

SPEC
COLL
GC
1552
.P75
H39
1990
v.31

IN THE TRIAL COURTS FOR THE STATE OF ALASKA
THIRD JUDICIAL DISTRICT
AT ANCHORAGE

STATE OF ALASKA,

Plaintiff,

vs

JOSEPH HAZELWOOD,

Defendant.

RECEIVED

SEP 06 1990

Appeals Division
Anchorage

No. 3AN 89-7217; 3AN 89-7218

TRIAL BY JURY
MARCH 1, 1989
PAGES 5722 THROUGH 5921

VOLUME 31

Original

ARLIS

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

Alaska Resources
Library & Information Services
Anchorage Alaska

BEFORE THE HONORABLE KARL JOHNSTONE
Superior Court Judge

Anchorage, Alaska
March 1, 1989
8:40 a.m.

APPEARANCES:

For Plaintiff:

DISTRICT ATTORNEY'S OFFICE
BRENT COLE, ESQ.
MARY ANNE HENRY, ESQ.
1031 West 4th Avenue, Suite 520
Anchorage, AK 99501

For Defendant:

CHALOS ENGLISH & BROWN
MICHAEL CHALOS, ESQ.
THOMAS RUSSO, ESQ.
300 East 42nd Street, Third Floor
New York City, New York 10017

DICK L. MADSON, ESQ.
712 8th Avenue
Fairbanks, AK 99701

3 3755 000 23370 0

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

TABLE OF CONTENTS

WITNESS INDEX

DIRECT CROSS REDIRECT RECROSS VOIR DIRE

FOR PLAINTIFF:

BEEVERS, ROBERT (CONTINUED)

Mr. Madson	5726		5795
Mr. Cole		5775/5810	

MILWEEL, WILLIAM

Mr. Cole	5812		
Mr. Chalos		5851	

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

EXHIBIT INDEX

<u>EXHIBIT</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
	No exhibits admitted	

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

1 PROCEEDINGS

2 MARCH 1, 1990

3 (Tape - 3647)

4 (652)

5 THE CLERK: The Superior Court for the State
6 of Alaska, with the Honorable Karl S. Johnstone
7 presiding, is now in session.

8 THE COURT: You may be seated. We will resume
9 with the cross examination of the witness. You are
10 still under oath, Captain Beevers.

11 ROBERT BEEVERS

12 recalled as a witness, having previously been sworn,
13 upon oath, testified as follows:

14 CROSS EXAMINATION OF CAPTAIN BEEVERS, CONTINUED

15 BY MR. MADSON:

16 Q Good morning, Captain Beevers.

17 A Good morning.

18 Q Just before the volcano interrupted us we were
19 at the point where the ship was hard aground,
20 correct?

21 A The ship was aground, yes.

22 Q Well, do you got some questions about whether
23 it was hard aground or not?

24 A Not after reviewing all the information we
25 have at this date, no.

1 Q We'll get to that in a minute. First of all,
2 sir, I believe you acknowledged that the
3 conditions at the time of the grounding, it was
4 dark?
5 A Yes.
6 Q And the ship had come to a stop. The engine
7 was still running?
8 A Yes.
9 Q Now, at that point, would you agree, certain
10 decisions had to be made?
11 A Yes.
12 Q You know, relatively soon?
13 A Yes.
14 Q The captain didn't have the luxury of sitting
15 back and analyzing things for a period of week or
16 months, right?
17 A That's correct.
18 Q He had to do it now? One of the things we
19 talked about was soundings. Soundings on a ship
20 of this size is a very time consuming process, is
21 it not?
22 A It would be relatively time consuming compared
23 to a smaller ship, but it's something that could
24 be done with the personnel he had, that he could
25 have had soundings taken, yes.

1 Q What about knowing the ship's load condition.
2 Is that important?
3 A That's important. I think he had the chief
4 mate checking that.
5 Q The tide was rising, was it not?
6 A Yes.
7 Q Between 12:00 o'clock -- 12:07 and high tide,
8 how much difference in tide would there be? How
9 much rise in tide?
10 A I would have to look at a graph to tell you
11 exactly, but...
12 Q Did you look at one before?
13 A Yes, I would say the tide was coming up two
14 and half, three feet, something like that, in
15 that length of time.
16 Q Between...
17 A Yes. It was a 12 foot tide, and I would have
18 to look at the thing to get the exact...
19 Q Maybe we could find that.
20 A Let me see that, and I could...
21 Q I believe it's a plaintiff's exhibit.
22 A That should be the a.m. of the 24th.
23 Q Let me hand you both Plaintiff's Exhibit 123
24 and 124. One appears to be for Thursday and one
25 for Friday. So maybe between the two of them.

1 A Okay. According to this, at midnight, the
2 height of the tide was just under 10 foot, and at
3 high tide it was going to be approximately 12 1/2
4 foot. So, roughly two and a half feet the tide
5 was coming up.

6 Q And the draft on this vessel was what?
7 A Fifty-six foot something -- 56.

8 Q So would you agree it would be rather
9 difficult to know just what affect the rise in
10 tide is going to have on your grounding -- on
11 your condition?

12 A It would be something to check, yes.
13 Something to keep an eye on and worry about and
14 consider.

15 Q But there's certainly no way of checking that,
16 is there?

17 A At that point there is no way to know if it is
18 going to have an affect or if it isn't going to
19 have an affect, no.

20 Q So there's no way to know for sure whether
21 that tide was going to cause you to lift off the
22 reef or not, because the water level's rising?

23 A The -- it would be a hard decision to make.
24 The only thing that you would have indicating --
25 would be, once you got your information back from

1 your computer on the load, and what you had lost
2 in oil, what you gained in water. You might
3 determine then that you had enough weight that it
4 wouldn't bother you, but it would be something
5 you would need to look -- you couldn't make that
6 decision at once from the bridge, no.

7 Q And, of course, if you were awaiting the
8 information from your chief mate on a computer
9 analysis, that takes time, too, does it not?

10 A Yes.

11 Q It isn't something that's done immediately?

12 A No, it's not instantaneous, it takes a few
13 minutes.

14 Q And if you were concerned about floating off
15 the reef, wouldn't you agree that it would be
16 better to have your engines running and
17 available?

18 A With that particular -- with a diesel engine
19 you could stop it and still have it available,
20 it's just a matter of moving the throttle to
21 start it from a dead low, or slow, or full ahead,
22 whatever you want.

23 Q You mean, if the engines are full stop, how
24 long does it take to get it started and get it up
25 to some kind of speed?

1 A To get it up to some kind of speed your
2 talking -- with the propeller you're talking just
3 a matter of a few seconds. It just depends on
4 what speed you want to get up to.

5 Q Let me ask you about this then. In the
6 sequence of event that occurred between the
7 grounding at 12:07 according to your time, and
8 the time the engines were stopped at 12:20?

9 A 12:20, I believe.

10 Q You evaluated the information you had from the
11 state of Alaska regarding the captain's decisions
12 and what he did, right?

13 A Yes.

14 Q You know that after the grounding he told the
15 mate to get a fix immediately?

16 A Yes. And that's a correct thing to do.

17 Q Because if you needed help you need to find
18 out where you're at?

19 A That's right.

20 Q Do you agree to calling the engine-room to
21 check if the engines were okay and everyone was
22 okay down there?

23 A That's a correct move, yes.

24 Q Shutting down the engines in a relatively
25 short period of time, is that correct?

1 A That may have not been a relatively short
2 period of time, but that could be explained due
3 to the confusion. I really didn't find that much
4 fault with leaving on that long. I think he
5 could have probably stopped them earlier, but
6 that's not -- you know, that's one of those
7 things that's decided at the time under the
8 circumstances. I wouldn't...

9 Q That's one of those judgment calls that you
10 gotta decide this first or that first, right?

11 A Yeah. But the engine would be something that
12 most people would want to stop as quickly as
13 possible.

14 Q Well, from the grounding to the stop, what
15 were the engine orders on that?

16 A It was on full ahead when they grounded
17 somewhere in here, and then they went to half
18 ahead at 18 minutes. They continued on full ahead
19 from 05 until 18 minutes after. They went to
20 half ahead at 18 after; slow ahead; dead slow and
21 then stop.

22 Q The engines were gradually slowed down to
23 stop?

24 A From 18 minutes until -- yes, two minutes. So
25 they went full ahead from 7 minutes until 18

1 minutes after.

2 Q And the engine at the time of the grounding

3 was on the load program up, right, on the

4 computer program?

5 A Yes.

6 Q So that wasn't really full ahead, when you say

7 "full ahead" there? That was full maneuvers,

8 right?

9 A It was on the...

10 Q A little bit beyond full maneuver?

11 A This was at -- it was -- at 24 after it was

12 still on 4 left. At 005 it was up to 61. So it

13 was a little above normal maneuvering speed.

14 Q Yeah. But when you say "full speed"?

15 A No, it wasn't up to full sea speed.

16 Q Full sea speed was what? How many knots would

17 you say in that condition?

18 A Well, in that condition, I would say around 16

19 knots. That would vary with weather and

20 whatever, but somewhere near 16 knots.

21 Q Then he called the captain also asking the

22 chief engineer to sound the void spaces and check

23 the ER tanks?

24 A Engine-room tanks.

25 Q Engine-room tanks.

1 A Yes, that was the correct move to make.

2 Q He also asked if the engines were okay and
3 could be used?

4 A That's a correct move to make, yes.

5 Q What about preparing to lower -- giving an
6 order to lower the life boats down to the de-
7 embarkation deck, I guess it's called.

8 A That apparently was done, but I didn't
9 determine just at what time. It seemed to me
10 that was done a little later in the -- I don't
11 think that was done immediately.

12 Q But you don't know that for sure?

13 A That was later.

14 Q Well, "later" -- later from when?

15 A The information that I have, it was never
16 decided, but it wasn't anything that was
17 mentioned as being done early on. This was done
18 after they got everything else done and got
19 finished with the engines completely, I believe,
20 or something. It was not something done in the
21 first 10 or 15 minutes.

22 Q Was it done, would you say, within 15 minutes?

23 A I have no opinion as to how soon it was done.
24 I think it was done much later than that, but I
25 don't have a definite time.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(1087)

Q And you weren't here to hear Chief Mate Kunkel's testimony?

A What I read of his testimony, I believe that they talked about getting things ready at 12:30. But he didn't -- I mean, that was the -- the discussion was to get some fire fighting equipment out and get the boats ready, but there was not indication that they immediately did this.

I checked on the statements from the unlicensed crew and apparently they sat around in their rooms. This would have been a good time to have them out at 12:30 getting this ready, and there is no indication that they did.

Q Who sat around in the room?

A That's what Mr. Radtke, I believe, said in his statement; Mr. Claar and Maureen Jones and Kagan worked on the bridge. And the other two crew members, there was no mention of them that I recall.

Q Once again, when somebody is present at the time, they would be in a better position to judge the condition of the vessel as to whether or not it was a life or death situation, and we better

1 abandon ship, or if things are stable enough, we
2 could sit here and wait for awhile until we get a
3 determination, right?

4 A The thing is, in a situation where you
5 severely grounded your vessel and you are leaking
6 oil at the rate that they were leaking oil, it is
7 an extreme emergency. It is a situation that you
8 want to be prepared for and you want to be
9 prepared as soon as possible.

10 Q Well, I guess your criticism is, from the
11 information you have, you think the life boats
12 could have been lowered sooner than they were?

13 A The life boats and the fire fighting equipment
14 could have been readied a lot quicker than it
15 was, yes.

16 Q When you say "a lot", what are you talking
17 about?

18 A It should have been -- that should have been
19 up there right after -- or in conjunction with
20 such things as sounding the engine-room spaces
21 and determining the cargo tanks. You have the
22 second mate that apparently -- if it was used, it
23 was used by itself. You have all your sailors
24 and crew. You could have had them doing various
25 things at the same time. You don't have to do it

1 one step at a time. There's people on there --
2 the people that have been trained -- people that
3 should be able to do those jobs, and you should
4 use them.

5 Q And they did those jobs, didn't they?

6 A Eventually.

7 Q Well, how about -- I think you said, the day
8 before yesterday, that you would have sounded the
9 general alarm.

10 A I believe I would have, yes. And used the PA
11 system to announce that we have grounded the
12 vessel; don't panic; report to such and such a
13 place. Then you could use your people. From
14 there you could have an officer tell them --
15 explain to them about all the safety procedures
16 you want followed at that time and what you want
17 them to do.

18 Q And you say you believe that, but you, from
19 that statement can't say you're absolutely sure
20 if that was done?

21 A Like I said at that time, if I didn't sound
22 the general alarm, and if I had opted to send an
23 officer around to tell the crew, I would have
24 aroused them from their rooms. I would have had
25 them go to a central place where you could use

1 them to work. Give them a job at that time.

2 There was a delay in using the crew to assist in
3 preparing for this possible -- or, this emergency
4 underway and prepare for further damage. And
5 they weren't used at that time.

6 Q You said there is a PA system available on the
7 ship, right?

8 A Yes.

9 Q I mean, you could get on there, in seconds you
10 could inform everybody of what to do?

11 A Not necessarily. If they're asleep they may
12 not hear it. You sound your general alarm; you
13 muster the people. The general alarm will
14 normally wake everyone up. But the key thing
15 that they didn't do, whichever way he called them
16 -- the key thing they did not do, was they did
17 not check to see that, in fact, everyone was woke
18 up and everyone knew the danger; everyone knew
19 what should be done.

20 Q Well, let's see. You will agree the captain
21 was pretty busy on the bridge, was he not?

22 A Oh, yes, yes.

23 Q He gives an order to the third mate. He says,
24 "Go wake up everybody up; tell them we're
25 aground, stand by." Would you agree that he is

1 giving a command someone that he would expect to
2 carry it out?

3 A He got it that far and he should have had --
4 he should have ins -- had the third mate check
5 the people, or he should have -- and someone
6 certainly should have asked the third mate to
7 give a report, or -- there's got to be a way,
8 because invariably when you call a group of
9 people you do not get them all up. I found that
10 out from experience.

11 You send someone around to wake the crew up
12 for such things as clearing the ship coming back
13 from foreign, and invariably there's one or two
14 missing. So you should have a muster list and
15 check that you got them all up.

16 Q Once they're all assembled in the room it
17 wouldn't take very long to see who's missing; go
18 back and get them, would it?

19 A Sometimes in cases of explosion or fire you
20 don't have time to go back to get them.

21 Q Explosion or fire he wouldn't have time to do
22 anything, would he?

23 A But you'd have them all up and out at that
24 time.

25 Q Unless the explosion or fire happened to kill

1 everybody that was in that particular area?

2 A That's a possibility too, yes.

3 Q Well, what we're talking about here is
4 possibilities?

5 A Yes. Right. Yes.

6 Q You notified the Coast Guard?

7 A Yeah. At -- a little -- yes, at 26 after, and
8 that would be reasonable with everything else
9 he's doing first. I have no objection with his
10 notification of the Coast Guard at all, no.

11 Q He told the second mate to walk the anchors
12 out to the water line. Remember that?

13 A That come quite a bit later.

14 Q Still it's something, in case you're going to
15 have to secure your position, you want your
16 anchors down?

17 A Yes. But the anchors weren't walked out until
18 considerably later. So that's no problem either
19 because, as you say, they had other things to do.
20 When they decided not to go anywhere then it was
21 obviously time to walk the anchors out.

22 Q Would you agree, sir, that at 12:30 the chief
23 mate told the captain that he had run an analysis
24 and at that time the computer analysis said we
25 are stable, in the sense that you couldn't go to

1 sea. couldn't go past Cape Hinchinbrook. But it
2 would be at least safe if you got off the reef?

3 A He gave him that report, yes.

4 Q Would this cause -- would this, in your
5 opinion, then, give Captain Hazelwood any certain
6 degree of confidence, maybe small, maybe great.
7 But some degree of confidence that if he did get
8 off the reef the vessel was not going to capsize
9 or sink at that point?

10 A Well, when I -- if I woulda looked at that I
11 would have realized that you have several tanks
12 that's got liquid in or out that's different, and
13 I would be suspect of the computer printout. And
14 I would use that as part of my determination, but
15 I would also consider that the tanks that had
16 lost so much oil would be the overriding factor
17 and I wouldn't consider it an accurate piece of
18 information as far as being safe to take the ship
19 off the reef, no.

20 Q So you would have to take that analytical
21 piece of information and balance it against your
22 subjective judgment, experience and everything
23 else...

24 A Yes. Yes.

25 Q ...and make your decision?

1 A Yes.

2 Q And, of course, Captain Hazelwood was in a
3 position to see what was going on, but you were
4 not?

5 A That's right. Yeah.

6 Q But based on that information you agree that
7 that's something you would want to know.

8 A Oh, yes. I would want to know what he had --
9 what the chief mate had worked up, and I'd
10 certainly use that in making a judgment call, but
11 I wouldn't depend on that solely, no.

12 Q But at least in part that would cause you to
13 either have a feeling that the risk involved is
14 reduced, because you have one more piece of
15 information that says, "Hey, if we get off the
16 reef we're going to be stable."

17 A I'll agree that I would have one more piece of
18 information; I won't agree that that would cause
19 me to feel more secure in it. It would give me
20 what I would consider a little more insight as to
21 what all the problems were that I were facing,
22 but that's...

23 Q You've got a number of problems and use all
24 the information available from whatever source,
25 drawing on your experience, things like this,

1 right?

2 A Yes. Your experience and your judgment of
3 what the condition is at the time, yes.

4 Q And, of course, your prior grounding
5 experience was in mud, never on a rock, right?

6 A Right.

7 Q So if you never had the experience before,
8 that makes it a little more difficult to judge
9 the situation completely accurately?

10 A Oh, yes, it does.

11 Q Now, one other thing, your opinion, you said
12 Captain Hazelwood was reckless because he was
13 trying to get off this, and not knowing whether
14 the ship was going to capsize, or sink, or cause
15 damage, right?

16 A Uh-huh (affirmative).

17 Q Why didn't he back up; go astern? There's no
18 astern orders on there, is it?

19 A There's no astern orders there, no. What I
20 based my decision that he was trying to get off
21 the reef on is statements to the Captain of the
22 Port while he was maneuvering ahead, during the
23 time he was maneuvering the vessel full ahead,
24 and the statements he made upon the first
25 investigating officers come out.

1 In both cases he stated he was trying to get
2 off the reef in various terms. And I had no
3 reason to think that he, at that time, would be
4 lying to the Coast Guard or the Captain of the
5 Port when he's talking to them. I would think
6 that whatever he told him would be what he was
7 doing and what he felt at the time.

8 Q And maybe by telling that to the Coast Guard
9 he was trying to alleviate some of their concerns
10 that the vessel wasn't really in peril. That
11 things were going to be okay. He's going to get
12 back to them -- "I'm going to assess the
13 stability, I'll get back to you.", things like
14 this?

15 A It seems to me that all the way through the
16 grounding that Captain Hazelwood tried to
17 minimize the scope of the emergency.

18 Q Okay. Now, would you agree, sir, that if you
19 run aground, the ship was going forward, just
20 instinctively, you would want to try -- if you
21 are going to get away from that situation,
22 instinctively you'd want to go astern.

23 A If I ran a ship aground, instinctively I would
24 stop the engine, and I would survey the
25 situation. That's...

1 Q But my question was not that, what you want.
2 If you instinctively wanted to get off the reef -
3 -away from it?
4 A Yeah, I don't think I would instinctively want
5 to -- I mean, you're asking me a question that I
6 don't...
7 Q You ram into something. You say, "I want to
8 get out of here." What's your first reaction?
9 "I go forward and I stop. I got deep water
10 astern."
11 A I don't think that an experienced captain
12 would do that. I think that everyone has thought
13 about these disasters. You try to avoid them
14 naturally, but I think that they -- I don't think
15 you would have that instinct. No, I think that
16 if you went astern it would be after considering
17 all the possibilities.
18 Q In all that time, Captain Hazelwood, in your
19 opinion, was trying to get off this reef and
20 never once tries it astern, when he can't move it
21 all going forward.
22 A You look at where he's at and the majority of
23 the reef is behind him. The shallower part of
24 the reef, according the chart that's available to
25 use, is behind him, ahead of him is deep water.

1 I would think that if he made a decision to get
2 off the reef, which he said he made that
3 decision, I would think that he would be trying
4 to do it in what he would have determined would
5 be the best fashion. And apparently he
6 determined going ahead was.

7 (1587)

8 Q But if you make that determination and you
9 spend that much time -- the time involved in
10 trying to go ahead and you're not having any
11 success, you have no reason to believe that ship
12 moved forward at all, do you?

13 A Not significantly, no. We discussed that
14 yesterday. No, not...

15 Q By significant we can't even talk about a
16 foot, can we? Say for sure it moved a foot?

17 A We can't say it moved -- I'm sure with that
18 much action and that much turning it moved
19 somewhat, but whether it's inches or yards, or
20 something, but it wasn't significant. That's...

21 Q You had a lot of discussions with the district
22 attorney about this concern -- about him not
23 going astern, didn't you?

24 A We discussed it, yeah. The same thing, I
25 don't think that a master would automatically

1 just gone up to the bridge and threw his vessel
2 astern instinctively.

3 Q Well, whether he did it automatically or not,
4 given the time available to him and the ability
5 to assess the situation, the acknowledgement that
6 he could not go forward -- would you, in that
7 case say, not instinctively, but in a thoughtful
8 manner, say, "I can't go forward, I better try
9 going backwards."

10 A In that situation he would have looked and
11 seen most of the reef was behind him. You don't
12 have as much power when you're backing -- your
13 vessel is not as -- if you do get loose or not,
14 it's maneuverable. And if he -- I would have
15 thought that if he instinctively wanted to go
16 astern when he tried to get off the reef, he
17 would have...

18 Q Okay. I didn't mean to interrupt.

19 A Yeah. Go ahead, that's all right. Well, my
20 next question was, in your opinion he was very
21 determined to get off that reef and he was going
22 to do it in a forward manner, right?

23 A That seems to be the indication of everything,
24 yes.

25 Q Okay. Then in that situation, would you not -

1 - would you agree that Captain Hazelwood would
2 want to use all the power available to him to get
3 off the reef by going forward?

4 A Not necessarily because you are in a situation
5 like that -- there, again, he hasn't really
6 studied it, he just started ahead. And if you're
7 going to use full sea speed for the -- load the
8 program up, you're talking about another 40
9 minutes to get up to sea speed.

10 And I would -- if I was aground and I can't
11 foresee any time that I would have ever wanted to
12 use full ahead, but if I had I would have never
13 considered sea speed until I tried everything
14 else.

15 Q Okay. Trying to go off the reef, going
16 forward, he would have to use a certain amount of
17 thrust to get him off that stuck situation,
18 right?

19 A Yes.

20 Q Now, you could certainly get off the load
21 program up by simply pushing a button?

22 A Yes. It's easy to -- it upsets the engineers.
23 The engine is built to increase slowly and reduce
24 speed slowly, but, yes, you could -- at any time
25 you can go from full sea speed to maneuvering

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

speed quickly.

Q And the engineers might be a little upset by this time anyway, right?

A Right. They might be. Yes.

Q Now, that little thing isn't probably going to cause any more concern.

A Yeah.

Q Okay. So then you have whatever power that engine can generate at your disposal to use by going forward, right. How much power did Captain Hazelwood utilize on the Exxon Valdez to get off that reef, considering the amount of potential power you had available?

A He had it on full ahead maneuvering.

Q Well, how much horse power is that?

A That I don't know. You'll have to get a chart out here and I could tell you, but I'm not -- I never checked the horsepower. I didn't enter into that -- that didn't enter into my decision on the fact that he was trying to get off the reef.

Q How much total available power did he have?

A I believe 31,000, something like that; 31,600, is that right? I mean, I'm thinking...

Q Well, let's assume that's correct.

1 A I'm thinking from memory now. It's been a
2 while since I looked at that.

3 Q Assuming, sir, you're correct, it's in the
4 neighborhood of 32,000, let's call it.

5 A Something like that.

6 Q In that situation, my question was, did you
7 calculate or did you make any determination of
8 what a power he was generating at 55 rpm's?

9 A No, I didn't. I didn't make a calculation to
10 that, no.

11 Q Would you agree sir, that the -- well, first
12 of all, the engine -- there's curves for --
13 horsepower rpm curves for engines, are there not?

14 A Uh-huh (affirmative).

15 Q As you increase rpm horsepower -- well, if we
16 go rpm, let's say on the baseline, horsepower
17 vertically. There's an increase in horsepower as
18 the speed of the engine is increased?

19 A Yes.

20 Q Is that a linear type of progression or is
21 that exponential in a low speed diesel engine?

22 A That I don't know.

23 Q And you do not know, sir, that Captain
24 Hazelwood at 55 rpm was using less than a third
25 of the available horsepower that he had?

1 A That would be reasonable, but I don't know for
2 sure what it is. But it wouldn't be to the
3 maximum that you would have at full sea speed,
4 no.

5 Q But still it would be in that neighborhood of
6 a third of its available power, at 55 rpms?

7 A I wouldn't want to hazard a guess on that, but
8 it would be well below sea speed. But that
9 doesn't alter the fact that from every statement
10 that he made and every maneuver he made with the
11 rudder, and the fact that he was not trying to
12 get the ship off the reef.

13 Q You don't think that's inconsistent with
14 trying to get off the reef when you're using only
15 a third of the power you have available?

16 A Not when he stated several times that he's
17 trying to get off the reef. I would take the --
18 I had no reason to believe that Captain Hazelwood
19 would lie to the Coast Guard. I've got no reason
20 to believe that he would tell him anything, other
21 than what he's trying to do.

22 Q Sir, yesterday you said you disbelieved
23 certain witnesses since -- Mr. Cousins and Mr.
24 Kagan with regard to the autopilot?

25 A I said I -- I said that I -- from the facts

1 that I could see, that they couldn't have done
2 what they said they done. That's disbelieving
3 them. But I don't see anything in what Captain
4 Hazelwood did that leads me to disbelieve what he
5 told the Coast Guard.

6 Q That Kagan and Cousins couldn't have done what
7 they said they did by turning the autopilot off?

8 A They could not -- during the time they had,
9 the vessel on 180, they could not have made --
10 put the rudder over before -- shortly before they
11 started their turn, because the vessel would have
12 turned.

13 Q Because the autopilot was on, right?

14 A If the autopilot was on it wouldn't turn, it
15 would have to be off, yes. I mean, there's an
16 inconsistency in their statement. With Captain
17 Hazelwood telling the Coast Guard, "I'm trying to
18 get off the reef.", and his maneuvering the
19 vessel. I don't see any inconsistency there.

20 Q Well, let's try it again. You say there's an
21 inconsistency -- or, is there an inconsistency
22 between Kagan and Cousins' testimony that they
23 turned the autopilot off and the vessel didn't
24 turn because perhaps the order wasn't given or
25 the turn wasn't made? That's consistent, isn't

1 it?

2 A Yes. What -- there's something that didn't
3 happen there, obviously, or else they would have
4 made the turn.

5 Q If that theory is correct, then -- or, your
6 theory about the autopilot to be correct, you
7 have to say, "Well, then we have to disbelieve
8 Kagan and Cousins. That autopilot must have been
9 on and they didn't tell the truth."

10 A You have to believe that their statements at
11 that point -- now, the rest of their statements
12 may be true; they may be confused at that point
13 on what they said. But from my findings, they
14 did not get the rudder to go 10 right when they
15 said they did, whatever -- for whatever reason.

16 Q Well, sir, I don't believe there's any dispute
17 as to the fact the rudder wasn't turned.

18 A Right. Yeah.

19 Q But then getting back to this situation, you
20 want to say just the opposite. You want to say,
21 "I'll believe Captain Hazelwood, that's what he
22 said. And then I will discount everything that
23 he did, or a lot of what he did."

24 A I'm not discounting -- what I base my decision
25 that I though he was getting off -- he's on the

1 edge of the reef heading in the direction to get
2 off the reef, first off. Behind him is a marking
3 there of approximately five fathoms behind him.
4 He's put the engine on full ahead maneuvering,
5 which seems like an intention to go ahead. He's
6 used the rudder a total of, I believe, 16 times
7 the heading change, so that would indicate at
8 least 16 rudder commands were given. That would
9 indicate to me that he's trying to get the ship
10 to move free from whatever he's on.

11 Q Or not some indication to find out if his
12 position is such that he could either turn the
13 vessel one way or the other.

14 A Well, without taking soundings around -- to
15 get back to the soundings again, if he's turning
16 the vessel like that and moving the vessel from
17 one -- swinging the vessel, he runs the risk of
18 further holing either his cargo tanks or the
19 engine-room from either side as the vessel was
20 rotating on this rock that it's impaled on.

21 Q On that point, sir, do you have any
22 specialized knowledge about salvage operations or
23 anything like that? How do get ships off the
24 reef?

25 A I've never done it. I have no specialized

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

knowledge in that field at all, no.

Q Well, let me ask you, have you ever run across any type of equations or anything, any studies, as to when it would be physically impossible to remove a ship from a grounded condition, because of the co-efficient of friction, the force that's on the rock or the mud, or whatever?

A No. I have never done that. I don't know of anyone that would be able to determine that from the middle of the night on a ship stranded on a reef, no.

Q Well, let's do it now in the courtroom. Let's talk about it right now, 11 months later.

A Okay. I am not a salvage expert, I'm a tanker captain. I never sat down to try to figure -- I didn't assume -- when I was interpreting what Captain Hazelwood did, I didn't assume that he knew that he could or couldn't get the vessel off the reef. I assumed from every indication that I had that he was making a maneuver to do what he said he was going to do, and that was to get the vessel off the reef.

Q What if he couldn't get the vessel off the reef. Did you consider that? No matter what he did it simply wouldn't move.

1 A Well, that's what happened. And so then
2 eventually they stopped and went through other
3 things.

4 Q And you don't have any dispute with any
5 conclusion or finding by anybody else, that no
6 matter what he did, that vessel could not have
7 been removed from that reef at that time?

8 A That's probably -- I don't know for sure, but
9 I would assume that that's probably true. That
10 doesn't mean he wasn't trying to get it off at
11 that point.

12 Q Very true, sir. But doesn't that -- if
13 there's a risk involved, as you said earlier --
14 there's a risk -- the ship did get off, it would
15 either sink, the damage would cause more oil to
16 spill, things like this. There would be that
17 risk if you get off the reef, right?

18 A There's that risk of further damage just
19 trying to get off the reef.

20 Q But if the ship didn't move...

21 A Well, if the ship didn't get off the reef, it
22 -- obviously, if it stays on the reef it's not
23 going to sink or capsize, but in his maneuvering
24 at that time, he had no idea whether it would get
25 on or off the reef.

1 Q Okay. But you have no evidence whatsoever to
2 indicate that any damage whatsoever -- additional
3 damage was done to that ship by any maneuver he
4 made after the grounding?

5 A I have no evidence that any damage was -- any
6 additional damage was done. My statement was
7 that he risked doing further damage by his
8 movements. Now, to -- apparently most of the
9 damage was done during the original grounding.
10 That doesn't mean that he didn't risk doing
11 further damage when he was maneuvering the
12 vessel.

13 Q You've certainly had a lot of time to talk to
14 Mr. Cole about this risk factor, haven't you?
15 You knew you were going to be asked about this,
16 didn't you? Did he tell you about that?

17 A I talked to Mr. Cole about this. I don't
18 recall any specific questions or statements or
19 anything, no.

20 Q He didn't tell you you were going to be
21 questioned, perhaps, about the risk involved?
22 Whether any damage was done, or could have been
23 done?

24 A When we first went over this we were talking
25 about this, and one of the things that he asked

1 me was what the risk would be in trying to get
2 off --the maneuver to get off the reef and I told
3 him. This was a while back, yes.

4 Q There's a risk involved in trying to get off
5 the reef, right?

6 A Yes.

7 Q There's a risk involved if the vessel would
8 move to cause that to happen, correct?

9 A Yes.

10 Q If it did not happen, then there may have been
11 a risk, but the risk would be very substantially
12 reduced, would it not, if it was physically
13 impossible to move the vessel to cause the
14 damage?

15 (2234)

16 MR. COLE: Judge, I'm going to object. Mr.
17 Madson is going into an area of the law that this
18 person is not qualified. He's giving him a question
19 that goes to the instructions that the court is going
20 to give.

21 MR. MADSON: Well, Your Honor, if the witness
22 isn't qualified then I'd ask the court to strike all
23 his testimony, because that's exactly what he's been
24 testifying about.

25 THE COURT: Form of the question; sustained.

1 Q (Captain Beevers by Mr. Madson:) Captain
2 Beevers, if I were to tell you -- if I were to go
3 over to that wall and there's people next door,
4 and I'm going to say, "I'm intending to push this
5 wall over on those people and kill them.", do you
6 feel there's any real risk involved in my doing
7 that? With the available power that I have, and
8 obvious strength of that wall?

9 A The only risk that you may be locked up, yes.

10 Q I'll be the first one to go.

11 A You know, that's...

12 Q Yeah, it would be impossible.

13 A But this is after the fact, as far as the fact
14 he couldn't get off. At the time Captain
15 Hazelwood did not realize that the vessel was --
16 that it couldn't get off. I'm sure that that was
17 something that naval architects sat down and
18 figured out over a period of time at a later
19 date.

20 Q Let's assume, though, that I really believe I
21 can do that. In my mind that's my intent, I
22 believe I can. But I still can't, right?

23 A That's -- yeah.

24 Q No matter how hard I believe and how much I
25 want to do it, I can't do it.

1 A I don't believe that's a good analogy -- you
2 know, that's a good reference to the ship being
3 on the reef.

4 Q Well, I'm talking about the actual potential
5 of something occurring; the degree of risk
6 involved. I'm talking about that situation.

7 A Okay.

8 Q And we don't know and you don't know that this
9 ship moved one inch, that it created any
10 additional damage, or even came close to it after
11 the grounding.

12 A No, I don't know that there was any additional
13 damage, no.

14 Q Let me ask you something else, but I think I
15 may have to draw a diagram. I'm going to ask
16 you, Captain Beevers, about floatation of a
17 vessel such as the Exxon Valdez.

18 Now, sir, let's assume that this is a glass,
19 sir -- some kind of a tumbler. Let's assume it's
20 a glass. If you were to invert that and put that
21 into water, it would go down to a certain level,
22 and assuming it would stay stable and turn over,
23 it would essentially float, wouldn't it?

24 A Depending on the weight of the glass, yes.

25 Q In other words, the air in here is trapped?

1 A Yes.

2 Q And the water is here.

3 A Uh-huh (affirmative).

4 Q Would you agree that that's somewhat analogous
5 to the Exxon Valdez or an oil tanker?

6 A Close to it, if there's no bottom, yes. That
7 would be...

8 Q Assume, of course, the tanker has a bottom.

9 A Yes.

10 Q Now, what if we put a -- let's say a vent
11 here. Now, if you could vent the air away, that
12 would allow the water to rise, or the tumbler or
13 the ship to sink, right?

14 A Uh-huh (affirmative).

15 Q Now, at the risk of over simplifying, I would
16 ask you, then, in the Exxon Valdez, or a tanker
17 like that that has tanks which are essentially
18 sealed.

19 A They're closed up, yes. You have a -- these
20 tanks are sealed from the atmosphere by the --
21 being closed up and they do have their inert gas
22 system which is sealed off from the atmosphere by
23 water seals, yes.

24 Q And one way of reducing the -- or, increasing
25 the draft and decreasing the buoyancy of the

1 vessel, is to open valves and allow water to come
2 in, or oil, or whatever, and allow, then, the
3 vessel to sink, right?

4 A Yes.

5 Q Do you recall the testimony of Mr. Kunkel, the
6 chief mate?

7 A Yes.

8 Q Do you recall him saying, "Well, earlier on I
9 thought the captain was trying to get off the
10 reef, but then when I realized he was giving me
11 these orders or making these requests, I knew he
12 wanted me to make sure we could get the buoyancy
13 reduced to settle on the reef."?

14 A He -- from what I remember reading, he asked
15 him to do some calculations on that, yes.

16 Q And Mr. Kunkel agreed that what he was trying
17 to do, in his opinion, was to be ready in case
18 the tide was coming up, the vessel was going to -
19 - actually going to go off -- he wanted to be
20 ready to flood tanks and settle on the reef.

21 A This was one of the scenarios that he was
22 working up as an option to do with -- you know,
23 in finding out what they could do and what they
24 couldn't, which is in the scope of what they
25 should have been doing, yes.

1 Q That's in the scope of what should have been
2 done?

3 A Find out their options, yes.

4 Q Now, getting back to the -- and I'm hopefully
5 finally done here -- getting back to the bridge
6 situation prior to the grounding, okay. Let's
7 say from 11:55, 11:56 to oh, 6 -- let's say 6
8 minutes after, something in that period.

9 Would you agree sir, that there was -- would
10 there be a period of time after which, no matter
11 what anybody had tried to do, the vessel was
12 going to run aground as long as it remained on
13 that course at that speed, under our situation?
14 I don't mean to confuse you. What I'm saying
15 is...

16 A Actually, if it remained on 180 there's a
17 possibility it would have skimmed down behind it,
18 but they already started their course change by
19 that time, and at that point it was too late to
20 keep from running on the reef, yes.

21 Q Right. The facts that the course hadn't
22 changed, there was a good possibility it could
23 have made it to the east of Bligh Reef?

24 A Something nobody would ever attempt to do,
25 but, yes.

1 Q Would it raise a little anxiety problem?
2 A But at that point, when they started the
3 swing, there is no question that they were
4 beyond...
5 Q So there was, say, what? Six minutes, would
6 you say, from the time the vessel was supposed to
7 turn. At least Captain Hazelwood thought it was
8 turning.
9 A Five to six minutes, yes.
10 Q So he thought -- there was a point in time for
11 five or six minutes, he thought it was turning,
12 then you reach that point, no matter what he or
13 anybody else did, it would have been too late and
14 it was unavoidable, right?
15 A Yes.
16 Q Okay. Now, with regard to his actions on the
17 bridge and your opinion concerning them, did you
18 use the same thought process and degree of
19 objectivity on that as you have with the
20 grounding situation? Just as objective in your
21 analysis in that case as you are with the
22 grounding?
23 A I would think so, yes. I don't quite
24 understand what you're driving at, but I looked
25 at everything and, you know, did the best I could

1 to be fair and impartial in what I decided, and,
2 yes, I would say I was objective in that.

3 Q And you had to use different degrees of
4 expertise, would you say, in either situation,
5 both -- you know, one, a grounding is a little
6 bit different than being on the bridge?

7 A Yeah. I know more about maneuvering a vessel
8 than I do about getting one off a reef, if that's
9 what you're...

10 Q Yeah.

11 A Yes. Because of my experience as a ship's
12 master, not as a salvage master, yes.

13 Q And you still, even with, would you say, is
14 less experience and less knowledge about getting
15 ships off a reef, you still came to some very
16 firm conclusions and opinions, right?

17 A Yes.

18 Q And you're just as firm based on the same
19 degree of how you approached the situation and
20 how you look at it...

21 A Yes.

22 Q ...in the grounding, as you were, on the
23 bridge situation, right?

24 A Yes.

25 Q Then your disagreement -- or, what you said

1 Captain Hazelwood really did wrong was leaving
2 the bridge, right?

3 A That's what caused this. If Captain Hazelwood
4 would have stayed on the bridge, as he should
5 have, due to his pilotage, and due to the fact
6 they were in these close quarter situations, I'm
7 sure that when he ordered the right rudder that
8 Captain Hazelwood has enough experience, could
9 have realized the vessel wasn't turning, and he
10 would have realized it much quicker than a
11 relatively inexperienced third mate. And if it
12 wasn't, in fact, turning, I would certainly
13 assume that he would have realized that before
14 and made the change properly, yes.

15 Q You're assuming he would have checked to see
16 if Cousins had checked to see if Kagan had, in
17 fact, turned?

18 A I would certainly think so, yes. I don't
19 think that Captain Hazelwood would have got to be
20 a master on one of Exxon's vessels if he wasn't
21 competent and able to do that, and I'm sure he
22 would have if he would have been there.

23 Q And competent master's rely on competent help
24 and competent mates?

25 A In the proper place, yes. This wasn't the

1 proper place to leave someone. This is a place
2 the master should have been on the bridge.

3 Q I assume, then, sir, you would say exactly the
4 same thing if Captain Hazelwood had said, "I've
5 got to go in the bathroom for a while.", and he's
6 there for six minutes and he can't see rudder
7 indicators. But he says, "Let me know when you
8 start your turn."

9 And Cousins says, "We're starting now,
10 Captain."

11 A The thing is, you just kinda train yourself
12 not to go to the bathroom at those times.
13 That's...

14 Q Do you jump up and down?

15 A Whatever it takes. But normally when a vessel
16 of that size is turning, even with 10 degrees
17 rudder, you can certainly feel a lot of vibration
18 while it's making the turn.

19 Q Have you ever been on the Exxon Valdez in a
20 turn of 10 degrees right?

21 A I've been on large tankers for years, and
22 every one I've been on has always -- with a 10
23 degree turn you could tell a change in the
24 vibration. Every ship, when it's moving, has a
25 little vibration.

1 Q Every one you've been on, but you haven't been
2 on the Exxon Valdez?

3 A I haven't been on the Exxon Valdez. But that
4 would...

5 Q So Captain Hazelwood would have been just a
6 reckless with a bad case of diarrhea and having
7 to go to the bathroom and not being there to see
8 if Cousins watches the rudder indicator or not?

9 A No one's ever indicated in nothing I've ever
10 read that he had diarrhea, or that he had an
11 upset stomach, or anything at that time.

12 Q I'm saying, if that had happened. He's not
13 there to see it.

14 A I'm sure that in a major medical problem, that
15 would be taken into consideration when you're
16 evaluating things. At this point that wasn't
17 part of the information I had.

18 Q Okay. Let's say it isn't a major medical
19 problem, but to say he was in the bathroom?

20 A Like I say, normal bathroom functions can be
21 held back for a few minutes, or what have you.
22 And I don't think that leaving the vessel in a
23 tight situation...

24 Q Well, he didn't leave the vessel, did he?

25 A Or, leaving the -- he left the vessel in a bad

1 situation. He didn't leave the vessel himself.
2 But I don't think that doing that -- I don't
3 think going to the bathroom normally -- under
4 normal circumstances would warrant leaving the
5 vessel's con to a third mate in that situation,
6 no, if that's what he did.

7 Q Then what about the -- there's a chartroom
8 right behind the bridge area, is there not?

9 A Uh-huh (affirmative).

10 Q That's normally kept lighter than the bridge.
11 The bridge is kept dark?

12 A Uh-huh (affirmative).

13 Q Captains normally go back there, too,
14 occasionally, do they not?

15 A Yes.

16 Q And if Captain Hazelwood had stepped behind
17 there and said to Cousins, in effect, "I'm going
18 to be over here for a few minutes doing
19 something, let me know when you start the turn."
20 And he is informed that, "Yeah, we're starting
21 the turn." But he doesn't come out and check to
22 see if that really was done. Would that be the
23 same recklessness?

24 A If he's in the chartroom he will be able to
25 check by the fact that you'll hear the course

1 recorder clicking as the heading is changing.
2 This is something that a man with experience
3 would automatically -- if you are in the -- if
4 you would be in the chartroom you'll hear this.
5 You'll know that making a course change -- if
6 you're concerned whether they're going the right
7 way or not, you can tell by looking at the course
8 recorder.

9 Q And, of course, if that order or that maneuver
10 had been carried out, it wouldn't -- the turn
11 would have been made in plenty of time, right?

12 A Yes.

13 Q So, in essence, a master can be on the bridge
14 and yet be in a situation where he is not
15 available and not in a position to readily see
16 whether an order is carried out or not, because
17 of the bathroom, the chartroom, or something like
18 that?

19 A They -- normally, going to the bathroom is a
20 minute. It's not a 10 or 15 minute thing. He
21 had five or six minutes from the time the course
22 should have been changed until it was too late.
23 And there is no reason not to be on the bridge.

24 During that five minutes, if he had to go to
25 the bathroom for a minute and back out, or if he

1 stepped in the chartroom and back out, he still
2 had time to check and see that the course was
3 properly changed. And from your stateroom you
4 can't do that.

5 Q He's 12 seconds away, but he's vertically
6 away, rather than 12 seconds away horizontally,
7 right?

8 A Yeah, he's out of -- up on the bridge deck
9 area you're in the realm of operating the vessel.
10 Down in your stateroom you're out of that area.

11 Q And he would be out of the area, in the sense
12 that you're in the chartroom, you can't see
13 rudder indicators and things like that?

14 A The chartroom -- the use in entering and
15 leaving the chartroom area from the bridge -- the
16 chartroom is something you do normally in the
17 functions of maneuvering a vessel. That's not --
18 that's traditionally, and that -- you walk in
19 there to look at the chart or put a position
20 down, and back out -- that's part of the routine.

21 Q And, sir, lastly, if Mr. Cousins was a
22 competent person -- competent to the extent that
23 all he had to do was look at a rudder indicator,
24 and if he gave a command -- assuming he gave the
25 command to Mr. Kagan, and assuming Mr. Kagan was

1 competent enough to turn the rudder 10 degrees to
2 the right, and Mr. Cousins told Captain Hazelwood
3 whether he was in the chartroom, bathroom, or
4 even off the bridge and down in his stateroom,
5 that, we're starting our turn -- we're starting
6 our turn. That, you said yesterday, would be an
7 indication that his degree of consciousness or
8 awareness of a risk would be reduced, would it
9 not?

10 (2989)

11 A If I said that. I think that if a third mate
12 told you he was altering course at that time, I
13 would think that you could accept that under
14 normal circumstances. However, in their
15 situation this wasn't your usual position, that
16 you have a third mate conning the vessel, where
17 he would be telling you what he was doing. This
18 is a situation where you would be -- as master,
19 would be conning the vessel.

20 Q And you agreed yesterday, other ships
21 certainly went through the area, around the ice
22 at higher speeds close to Bligh Reef?

23 A Yes.

24 Q And you don't know necessarily who was on the
25 con at the time?

1 A Well, in my looking at them, I don't know
2 specifically names or anything, but they both
3 took frequent fixes which would indicate that
4 there were two people on the bridge.

5 Q You don't know that for a fact?

6 A It's certainly an indication that they did,
7 and that's what we're having to work with, the
8 facts that we have. And I don't approve of what
9 they did or what the -- they were on maneuvering
10 speed. Their heading was -- they never put
11 themselves heading behind Bligh Reef or anything,
12 that was a normal maneuver.

13 Q But certainly the Arco Juneau was reckless?

14 A The Arco Juneau was excessive in their speed,
15 as far as I'm concerned. They got a little
16 close to Bligh Reef for that speed, and -- but
17 they had fixes regularly. Apparently they had --
18 as best I could determine, they had two people on
19 the bridge, and I have to assume one of those was
20 the master who had pilotage for that area. They
21 also were right at -- the Juneau, it was not
22 quite dark yet, which gives them a little better
23 visibility than the Exxon Valdez.

24 Q One second -- I don't mean to interrupt.

25 A Well, the degree of recklessness there, it's

1 still something I wouldn't do -- something that I
2 don't think was right. But the captain managed
3 to do it and managed to go on about his business,
4 so therefore it's not -- he's not here today.
5 That's fine.

6 Q Well, you don't know why he's not here today?

7 A He didn't run into the reef, that's why he's
8 not here today.

9 Q Oh, is that why we're here today.

10 A You know, I mean...

11 Q Because someone ran into the reef, but the
12 same could be reckless and not run into the reef,
13 and that's okay.

14 A It's not okay, no. I don't approve of that.
15 But then that's...

16 Q Well, do you approve of the state of Alaska
17 judging the actions of tanker captains in Prince
18 William Sound and deciding who or who shall
19 not...

20 MR. COLE: Objection. I object.

21 MR. MADSON: I'll withdraw the question, Your
22 Honor. I agree, it's improper.

23 Q Now, you had, of course -- did you talk with
24 Mr. Cole last night or yesterday afternoon after
25 you finished in court yesterday?

1 A Not much, just a minute or two.

2 Q Did he indicate that perhaps you should change
3 your mind a little bit about the degree of
4 recklessness of the Arco Juneau?

5 A No. He asked me why I considered it reckless,
6 and I told him.

7 Q How many other charts of other vessels have
8 you examined before coming here today?

9 A Just those two.

10 Q So you don't know how many other ships, of
11 what other company, Arco, Texaco, Exxon, have
12 executed similar maneuvers and similar speeds in
13 the vicinity of Bligh Reef?

14 A No, that I don't know.

15 Q You don't know how many masters did not have
16 pilotage and didn't have a state pilot on board
17 between Rocky Point and Bligh Reef?

18 A No, that I don't either.

19 Q I have no other questions.

20 REDIRECT EXAMINATION OF CAPTAIN BEEVERS

21 BY MR. COLE:

22 Q Captain Beevers, is it your understanding --
23 what is your understanding of whether the
24 regulations with regards to pilotage vessels has
25 changed?

1 A The way I understand it is the only change
2 from when we originally started up here was the
3 fact that a vessel without pilotage could transit
4 from Hinchinbrook into the Bligh Reef area and
5 back, with approval on a trip-by-trip basis with
6 approval from the Coast Guard. Originally, that
7 was a daylight transit, which now has been
8 changed again by an issue of an order by the
9 Captain of the Port to the fact that it was a two
10 mile visibility and a few other things. They had
11 them put -- you had to have -- do you want the
12 details of what they required, or just the fact -
13 - okay. And that's the only change I know of, is
14 that.

15 Q Were there any changes to pilotage vessels
16 themselves?

17 A No, that remained that same.

18 Q And if you had any questions about what your
19 responsibilities were, as a mater on board a
20 tanker, coming into Prince William Sound, who
21 would you ask?

22 A You would call the Coast Guard.

23 Q And how easy is that to do?

24 A Well, that's exactly what I did after this all
25 happened when I heard that they made some changes

1 in the pilotage, I called Commander McCall in
2 Valdez.

3 Q Now, as a captain on the bridge, even in times
4 where you were you required -- are there times
5 that you need to leave the bridge?

6 A It can happen, yes.

7 Q Are there times when you don't leave the
8 bridge?

9 A There is times when you definitely should not
10 leave the bridge, or that I never did leave the
11 bridge, yes.

12 Q What type of situations are those?

13 A Okay. Two things definitely is going through
14 the Narrows, and secondly, is if you are
15 maneuvering through or around the ice, or if you
16 have -- if there is other vessels in the area,
17 and if you have to leave the traffic lanes that
18 are over -- close to any land, it's definitely a
19 time for a master to be on board.

20 Q Mr. Madson asked you about delegating or
21 relieving the chief mate, how a master can take
22 over a chief mate's watch. Are there other ways
23 to do that?

24 A Yeah. You know, I was looking -- going over
25 that and I was looking, and it seemed to me that

1 the chief mate had time off between 8:00 a.m. and
2 noon, in his statement. He had time off between
3 1:00 p.m. and 4:00 p.m., and then truly was up
4 until 10:00.

5 But it seemed to me that at the time that he
6 would have been coming on watch at 4:00 a.m.,
7 that he would have had more rest than Captain
8 Hazelwood during the day. But another way to
9 alleviate that problem is the same as they were
10 doing at midnight when Cousins stayed up to -- a
11 little longer to allow LeCain to have a little
12 rest. There would have been nothing wrong with
13 the two watch mates doing this until the chief
14 mate had had a full night sleep, if that's what
15 he needed.

16 There wouldn't have been a problem with -- if
17 they wanted him to have eight hours sleep before
18 he went on watch. Cousins could have stood until
19 1:00. LeCain could have added an extra hour on
20 his watch, and then the chief mate could have
21 came on. It didn't have to be that Captain
22 Hazelwood had to relieve him.

23 (3541)

24 As far as I could see, Kunkel had enough rest
25 as it was, he could have stood his own watch.

1 Q As a master of a tanker, are there certain
2 duties that you do not delegate?
3 A Yes.
4 Q What are those?
5 A You don't delegate your...
6 MR. MADSON: Excuse me, Your Honor. I'm going
7 to object unless it's clear this witness is testifying
8 only from his personal preference. There is no
9 regulation or law that he's referring to.
10 THE COURT: He may give his opinion. I take
11 it, in the form of opinion. Objection overruled.
12 A Okay. You don't delegate your authority when
13 you are maneuvering in close quarters; docking
14 and undocking a vessel; maneuvering in any area
15 where you are close to a danger of the vessel.
16 That's just something that's not done.
17 When you are a little further out if you have
18 to go below for a couple of minutes and you are
19 transitting through the traffic lanes -- you
20 know, common sense will tell you if you have to
21 go below for a minute or two, that's the time to
22 do it. And I doubt -- it may not comply with the
23 law that you have to be up there all the time,
24 but I think that's acceptable, if something
25 happens you can run below and back up.

1 Q Who handles the majority of commands during
2 docking and undocking procedures.

3 A You are directly giving the commands to the --
4 it depends on the situation. Normally, on the
5 bigger ships, and the way I did it on my ship was
6 the pilot -- if we were in the wheelhouse the
7 pilot would issue the commands to the
8 quartermaster and command for the engine speed
9 change. If we were out on the bridge wing, then
10 he would tell me, and I would use the Walkie-
11 Talkie to call in, so we didn't have to shout and
12 have any misunderstanding with yelling back and
13 forth.

14 Q How do you find out whether or not a vessel's
15 sailing time has changed when you are in Valdez?

16 A You can call the terminal or you can call the
17 -- your agent would know.

18 Q Captain Beevers, would you leave the bridge of
19 your vessel in the Valdez Arm, relying on the
20 fact that if your vessel got into trouble the
21 Coast Guard would contact you and let you know?

22 A No.

23 Q Why?

24 A First off, it's your responsibility to
25 maneuver properly. Secondly, I wouldn't have

1 that much confidence in their radar plotting.
2 And, you know, you're getting farther off in the
3 distance -- the people manning that I have never
4 met before, would have no idea -- and I've always
5 considered the radar as strictly an advisory to
6 the vessel. Anything that they would say, I
7 would certainly check it and do what I felt was
8 right.

9 Q Why, in your opinion, is it necessary for a
10 master to be aboard -- be on the bridge when
11 transitting the Narrows?

12 A Well, you are in restricted waters, a very
13 narrow channel. You're going at a reduced speed.
14 The reason they pick the six knots as reduced
15 speed, that's after tests, they decided that was
16 the optimum speed, that you could still steer
17 your vessel and have minimum damage if you lost
18 steering, and if you lost your plant, would still
19 basically drift on through the Narrows.

20 And why a master is up there is that any --
21 you know, it's the tightest place in the Sound
22 and it's a place that if there was a problem, you
23 would want to immediately be able to react, and
24 you would then be able to tell your crew what you
25 wanted them to do and get a response as soon as

1 possible.

2 Q Mr. Madson asked you some questions yesterday
3 about when Captain Hazelwood came to the bridge.
4 Does the fact that Captain Hazelwood may have
5 come to the bridge earlier change your opinion
6 about whether he used bad judgment in not being
7 on the bridge through the Narrows?

8 A No. At that point they were beyond the
9 Narrows at Potato Point, and that doesn't change
10 my opinion, his not being on the bridge at that
11 time was a bad judgment call.

12 Q In Evaluating Mr. Cousins' and Mr. Kagan's
13 statements, are they in conflict with the
14 physical evidence in this case?

15 A Yes.

16 Q And did their prior statements -- were they in
17 conflict with the statements in this case?

18 A Yes.

19 MR. MADSON: What statements are we talking
20 about? Prior to what, when, and -- I would like a
21 little more foundation so we could look at that if we
22 had to.

23 THE COURT: The question's already been
24 answered. You may ask your next question.

25 (3940)

1 Q Captain Beevers, once again, why would -- if
2 your third mate brought you a computer program,
3 which he said he ran aground in the grounding
4 mode, why wouldn't you rely upon the stability
5 figures from that?

6 A If the chief mate...

7 Q Brings the computer program printout up to
8 you, why wouldn't you rely on it?

9 A Well, because, obviously looking at the
10 program you would see that you had 2:30 of your
11 tanks ruptured, so you would have to assume that
12 there's a tremendous amount of structural damage
13 to the bottom, which would weaken the integrity
14 of the vessel, and that's what the stress figures
15 are on based on -- that's what your stability is
16 based on, is an intact ship.

17 And I would take that as fine -- you know, as
18 a piece of information to use, but I certainly
19 wouldn't rely on it as the whole -- to make every
20 decision on. That's just another factor. And I
21 would be very leery of the facts that it showed
22 that it was stable, and the fact that it showed
23 that it could go to sea, or that it could float
24 or anything else. I would just think that the
25 holed tanks are the more important part of that.

1 Q Would you rely on it to the extent that you
2 would attempt to get your tanker off a reef -- a
3 rock reef?

4 A No.

5 Q Were Captain Hazelwood's actions that evening
6 consistent with the statements that he gave both
7 the Coast Guard and the trooper that day?

8 A I believe so, yes.

9 Q How important is it to give the Coast Guard
10 important information when you call them?

11 A Well, it's accepted that you're going to give
12 them the information that is required that they
13 need, and it's important because they're -- at
14 this point they're handling a response team;
15 they're handling notifying the proper agencies
16 and getting equipment out. So you should keep
17 them --give them as accurate information as you
18 have.

19 (Pause)

20 Q I'm showing you Plaintiff's Exhibit 29. Do
21 you recognize that?

22 A Yes. This is the chart of the Busby
23 Island/Bligh Reef area.

24 (Tape: 3648)

25 (000)

1 Q And is that an accurate representation?
2 A Yes.
3 Q Do you remember seeing this chart?
4 A Yes. This has got -- this is the copy, I
5 think, that the Coast Guard picked up, is that
6 right.
7 Q And when you look at that can you tell where
8 the plot of this vessel was when it grounded on
9 Bligh Reef?
10 THE COURT: What number are you referring to?
11 MR. COLE: Exhibit 29.
12 A Well, they have an arc here and an arc this
13 way (indicating), and it looks like it's either a
14 bearing line -- it's scribbled, but it looks like
15 two possible positions here. There's two dots.
16 But anyway, one of these two dots is -- perhaps
17 they had a range in bearing on each one, and
18 that's the range in bearing, that's the range in
19 bearing. So the position would be either one of
20 those two, or in between, or in that area, yes.
21 Q And right behind that, what's the depth of the
22 reef right behind that?
23 A That's five fathom. That's approximately 30
24 feet.
25 Q And what else was behind the vessel?

1 A Reef Island.

2 Q And what was in front of the vessel that the
3 tanker captain was looking at?

4 A The traffic lanes.

5 MR. MADSON: Excuse me. I'm going to object.
6 We don't know the captain was looking at that. There's
7 no foundation for that whatsoever. And he's leading
8 the witness.

9 THE COURT: Rephrase your question, Mr. Cole.

10 Q What fathom marks were in front of the Exxon
11 Valdez as she lay at rest?

12 A Okay. As soon as you get off the reef you've
13 got 22 fathoms, 40 fathoms, 33 fathom. It's --
14 you're very close to deep water there.

15 Q Twenty-two fathoms is approximately how deep
16 in feet?

17 A Hundred and thirty-two.

18 Q Five fathoms is approximately how deep?

19 A Thirty.

20 Q And the draft of this ship was?

21 A Fifty-six foot, in that area.

22 Q Captain Beevers, what happened when this
23 vessel grounded prior to the first time that it
24 shut off? Do you remember reading the chief
25 engineer's statement of what he observed when he

1 was in the engineering room?

2 A Yes. At the time they were in program up mode
3 and the engine was over-heating.

4 Q And that was between 12...

5 A This is 12:07 and 12:20, yes.

6 Q And that was when it was on load program up?

7 A Yes.

8 Q what happens to the bottom of the vessel when
9 it's stuck on a reef and you turn it back and
10 forth?

11 A Well, you're undoubtedly doing more damage to
12 the area that is sitting on the reef.

13 Q Why do you say that?

14 A Because of the weight of the vessel, and the
15 fact you're on rock, and the fact that you're
16 with -- with turning and moving at each end of
17 the vessel, you're moving considerable -- you
18 know, up to 100 feet probably from one side of
19 the arc to the other. So there's considerable
20 movement, and you would definitely damage the
21 vessel.

22 Q If a vessel was going to be lifted off a rock
23 by high tides -- by high tide -- by cresting of
24 high tide, would driving it full ahead -- full
25 maneuvering speed keep you on that rock?

1 A It would not -- it would depend on how --
2 setting on a rock like it turns out the Exxon
3 Valdez was, I doubt it very much. Going at full
4 speed is the -- if the ship, indeed, did float
5 up, it would nearly cause you to go off the reef,
6 because there was nothing in front of him to --
7 if you're going to go full ahead and stay on the
8 reef you have to make sure your bow is pointed in
9 the direction of shallower water, so that you
10 will stay where you want to stay.

11 (Pause)

12 Q Now, Captain Hazelwood -- or, when you
13 evaluated the tanker captain of the Arco Juneau,
14 have you ever been on a ship like that before?

15 A Yes, I was on a ship that was exactly like
16 that. I was on the Overseas Juneau and that was
17 originally built for Arco and sold to Maritime
18 Overseas before it was completed getting built,
19 and then I was captain on that for four or five
20 years, something like that.

21 Q And is it a steam turbine or a diesel?

22 A That's a steam turbine.

23 Q What was it about that -- his transit that you
24 found to be unacceptable, to be reckless?

25 A Okay. What I found unacceptable about it is

1 that he was going at sea speed when he's very
2 close to Bligh Reef, and also with ice in the
3 area.

4 Q Why is that a problem?

5 A Well, his vessel, as I say, is a steam
6 turbine, and if he's up to sea speed -- if you
7 immediately come back to maneuvering speed on a
8 turbine you end up having to dump so much steam
9 in your condenser, you don't -- what you do is
10 you --as your steam is used through your turbine
11 it's dropped down and condensed back to water and
12 pumped back in the boiler as water. And if you
13 get too much steam in there it can't condense and
14 you're putting steam right back in your boiler
15 and this upsets the water and can create a
16 problem with the boiler or a problem with the
17 turbine, for that matter, and it could be a
18 serious problem for the engine.

19 So you have to have time to slow a steam
20 turbine down. It's not something that you would
21 just do automatically. A diesel you could slow
22 down a lot quicker.

23 Q From the plots on the chart of the Arco
24 Juneau, does that give you an indication of who -
25 - of how many people were on the bridge?

1 A Yes. There were significant plots to indicate
2 that there were probably two people on the bridge
3 all the way through the transit.

4 Q Now, one thing I would like you to point out
5 to the jury. The Exxon Valdez is right here
6 (indicating). Let's say it's about a mile north
7 of Busby Island. How long are we talking about
8 before that vessel gets back over into that safe
9 area?

10 MR. MADSON: I object to the form of the
11 question. It assumes that it's leading and it's also
12 assuming something that is not in evidence, as to
13 what's safe and what isn't safe.

14 MR. COLE: I'll rephrase it.

15 Q How long are we talking about that Captain
16 Hazelwood had to be on the bridge before he got
17 back into the TSS lane?

18 A You've got roughly six or seven miles to get
19 past Bligh Reef, and depending on how fast you
20 could get back over here. And six miles at full
21 speed, it's a little over a half hour. So if
22 he'da stayed on the bridge, maneuvered the vessel
23 around and through that, probably within 30
24 minutes they would have been well clear of the
25 ice, well clear of Bligh Reef and back over in

1 this area someplace where he could set a course
2 to come back into the proper lane.

3 Q Now, Captain Beevers, I'd like to talk for a
4 minute about your experience in going through
5 ice. When you were travelling in the area of
6 Antarctica, what type of icing conditions would
7 you get in that area.

8 MR. MADSON: Your Honor, excuse me, but I
9 don't see the relevance in comparing Antarctica with
10 Prince William Sound. Well, that's my objection.

11 THE COURT: Are you going to tie this up
12 somehow?

13 MR. COLE: Yes.

14 THE COURT: I'll let you have a chance, Mr.
15 Cole, with a couple of questions. Get on track.

16 Q (Captain Beevers by Mr. Cole:) What kind of
17 conditions?

18 A Okay. On your way southbound out in the ocean
19 in deep water, the first thing you would come
20 across is large icebergs. And they are -- you
21 know, they're much bigger down there than they
22 are up here. And you travel through that on into
23 calmer waters.

24 What keeps the icebergs in that area is the
25 rough water further north. There's a -- in the

1 50's. It's usually high winds and the icebergs
2 naturally drift out as far as they can and then
3 they just circle the earth.

4 In fact, once you get inside that you run into
5 areas of ice that area -- you have areas of open
6 water, of course, too, but you run into areas of
7 sea ice that has been frozen and broken up into
8 hugh pancake sheets that may be any -- you know,
9 one to two foot thick. Maybe thicker, depending
10 on the -- how the winter it was. And this drifts
11 around, and through the months ends up in huge
12 long tidal rows -- wind rows or something.

13 You'll have an open stretch of water and you
14 may have a stretch of ice as far as you can see
15 that may be a mile or two miles across, maybe a
16 half a mile across, depending on that particular
17 one, that you either have to maneuver around, if
18 it's possible. And if you look from horizon to
19 horizon, there's nothing but ice. Obviously, the
20 thing you do then, is you maneuver through this
21 ice.

22 Q Had you maneuvered through ice and went around
23 it in Prince William Sound?

24 A Yes.

25 Q And, always, what was your utmost objective?

1 A Safety of the vessel. And you have to --
2 that's the big concern with operating a ship, is
3 you have to keep your vessel in a safe condition
4 and keep it afloat.

5 Q And did you ever have any problem going
6 through ice, weaving your way through it?

7 A In Prince William Sound, no.

8 Q And what is the advantage of going around the
9 ice?

10 A Versus through?

11 MR. MADSON: Your Honor, I'm going to object.
12 It's been asked and answered, and I think it's obvious
13 by now that there is no ponderous to determine there is
14 no proper way to do it or not do it. It's immaterially
15 irrelevant, which he would, and problems he may have,
16 or advantages you think that exist?

17 THE COURT: Objection overruled.

18 Q What is the advantage going around?

19 A The advantage for going around is, one, you
20 don't have to maneuver through the ice. Number
21 two is, you save time in this situation because
22 you can -- going around the ice, you can go a
23 little faster than when you are maneuvering
24 through the ice.

25 Q Captain Beevers, if Captain Hazelwood had

1 wanted to be sure that Mr. Cousins was giving the
2 orders appropriate to avoid this ice situation,
3 how could he have done it?

4 A By being on the bridge.

5 Q And if he wanted to make sure that Mr. Kagan
6 was following those orders as he was given, how
7 could he have done it?

8 A There again, by being on the bridge.

9 Q Thank you.

10 THE COURT: Mr. Madson, why don't we take our
11 break and come back.

12 MR. MADSON: That's fine, Your Honor. I
13 didn't realize it was 10:00 o'clock, sure.

14 THE COURT: Remember my instructions, Ladies
15 and gentlemen, not to discuss this matter among
16 yourselves or to form or express any opinions. We'll
17 call you back in about 15 minutes.

18 THE CLERK: Please rise. This court stands in
19 recess subject to call.

20 (Off record - 10:01 a.m.)

21 (On record - 10:22 a.m.)

22 (499)

23 THE CLERK: Court now resumes its session.

24 THE COURT: Mr. Madson.

25 MR. MADSON: Thank you, Your Honor.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

RECROSS EXAMINATION OF CAPTAIN BEEVERS

BY MR. MADSON:

Q Captain Beevers, at the risk of kicking this horse one more time. It's not dead, maybe we'll finish him off here shortly. Before we go too far, let me hand you something here. Handing you what's been previously marked as Exhibit AJ. I believe -- do you care to see this again.

Let me ask you if you can identify this, sir?

A Yes. This is a paper with a list of positions that was taken on board the Exxon Valdez on April 2 by -- it's four positions here, three of which were taken or observed by me. One of which with the ship's officers had taken.

Q You signed that document, did you not?

A Yes.

Q Does it appear to be a true and accurate copy?

A Yes.

Q Okay. This was on April 2nd, was it?

A April 2nd.

Q And it was on the Exxon Valdez after the grounding?

A Yes.

Q What was the purpose of taking these fixes?

A Two things. One is to -- so we'd have an idea

1 where the vessel was at, and then to check the
2 equipment that would be used to take fixes to see
3 if it was working properly.

4 Q You also got gyro headings, did you not?

5 A I believe so. Let me -- yes.

6 Q Okay. Those told not only the location of the
7 vessel on the reef -- let me back up. Mr. Cole
8 showed you earlier a chart, did he not?

9 A Uh-huh (affirmative).

10 Q And you said, here's -- basically, here's
11 where the vessel was, on Bligh Reef. You pointed
12 to a spot on the chart. Right.

13 A I pointed to an area, yes.

14 Q Okay. And you could do the same thing on a
15 chart over there, right?

16 A Uh-huh (affirmative).

17 Q Okay. What I'm getting at is, that tells you
18 the location on a chart, but it does not tell you
19 the heading of the vessel, from just looking at
20 the chart, right?

21 A No. How you would tell the heading of the
22 vessel is with other information, such as here
23 where we read the gyro, or in the case of the
24 grounding, that you'd use the course recorder.

25 Q Okay. So from that document there you were

1 able to determine not only the position that it
2 was, in fact, on Bligh Reef, but the actual
3 physical position of the vessel with relationship
4 to how it was on Bligh Reef and which direction
5 it was heading?

6 A On April 2, yes.

7 Q Now, do you know whether or not this -- the
8 heading on April 2 was different or the same as
9 the heading, that it was on the 24th?

10 A It seems to me -- I'd have to look, but it
11 seems to me it was 280 something on the 24th when
12 they finished up according to the course
13 recorder, and it's 294 here.

14 Q Okay. So there may be a difference of 10
15 degrees or so?

16 A Yes, 10 degrees roughly different. They had
17 done some lightering and stuff too in there, so
18 that -- that just doesn't mean that's where it
19 actually ended up at the...

20 Q Yes. What I'm getting at, sir, is if you knew
21 roughly. It's within, say, as far as you know,
22 10 degrees of its original position?

23 A Yes. Within a point on the compass, yes.

24 Q Okay. But the point is, the heading on the
25 reef -- the position as the vessel lies on the

1 reef, would tell you, would it not, exactly -- if
2 you're going to compare that with soundings, you
3 know, as far as the depth of water is concerned,
4 the exact position is necessary to determine what
5 water you have behind you or ahead of you, or at
6 the port or starboard, right?

7 A Uh-huh (affirmative).

8 Q Now, you've also indicated -- Mr. Cole asked
9 you about, well, if you had questions about
10 pilotage, you know, you go to the Coast Guard.
11 They are the ultimate authority on this, as I
12 understand?

13 A On a day-to-day basis, yes, they are.

14 Q So you went to Captain McCall and asked him,
15 you know, what he meant by this essentially,
16 right? What he meant by Captain of the Port
17 order?

18 A Yes.

19 Q When did you do that?

20 A This was some time after the grounding.
21 Probably on or around -- it wouldn't be on, but
22 near this...

23 Q After the grounding?

24 A Yeah. After the grounding. Near that --
25 April 2nd.

1 Q And you don't know whether or not the Coast
2 Guard had any interest in what might happen? Any
3 litigation involving them? Or the fact that they
4 may be potential defendants in a case, or
5 anything like that, right? Captain McCall didn't
6 -- you know, have any reservations about that?

7 A I was surprised, he told me exactly what he
8 had there, yes.

9 Q Well, you mean, you were surprised. You
10 expected them to...

11 A I wouldn't have been surprised if he would
12 have said, you know, "No comment.", or something.
13 Because he didn't have any idea who I was when I
14 was calling up, I just called up and asked him
15 about it.

16 Q But certainly he as telling you, whoever you
17 were -- I mean, whoever he thought you were,
18 "Hey, this was perfectly obvious. This is what I
19 meant." Pretty clear, right?

20 A Yeah.

21 Q Taking all that responsibility away from the
22 Coast Guard, if there was any. Right.

23 Now, you also talked about the possibility of
24 other people taking the chief mate's watch, and
25 whether he could have stood that watch rather

1 than Captain Hazelwood doing it for him, right?

2 A Uh-huh (affirmative).

3 Q Again, this is a nice thing to look at in
4 hindsight, right?

5 A Uh-huh (affirmative).

6 Q And would you also agree it's a captain's
7 prerogative to decide who might be tired and who
8 is the best person to maybe take over for another
9 one?

10 A That's correct. He could make that decision
11 any time he wants to. I just was pointing out
12 that it seemed that Mr. Kunkel had probably had -
13 -at that time had as much rest as anyone else.

14 Q Well, maybe you might ask Mr. Kunkel that. He
15 might have been able to say, "Hey, I was really
16 tired. I appreciated having a few more hours of
17 sack time." Right?

18 A I'm sure he would appreciate having the time
19 off, yes.

20 Q Now, certainly, sir, while the master or
21 captain of the vessel is very important, if he
22 should drop over with a heart attack or get
23 severely ill, the ship doesn't come to a complete
24 halt, and everybody is running around saying,
25 "What do we do now." That doesn't happen, does

1 it?

2 A Well, it shouldn't happen. There's a
3 progression of order there, yes.

4 Q For instance, on the Exxon Valdez, Kunkel --
5 Mr. Kunkel had a master's license?

6 A Uh-huh (affirmative).

7 Q He would be authorized to operate this
8 vehicle. "Authorized", when I say that, maybe
9 not by Exxon hiring practices, but by Coast Guard
10 standards, he was authorized to operate that
11 vessel -- command it.

12 A Oh, yes, he had the license. He could have
13 been -- they could have just as well named him
14 captain of that vessel if they had chosen to,
15 that's right.

16 Q Now, you also talked about when captains
17 should be on the bridge and when they shouldn't.
18 And, again, you said closed quarters. If you're
19 a mile from Busby Island and two miles from Bligh
20 Reef, do you consider that closed quarters?

21 A For a ship that size, yes.

22 Q And you disagree with Captain Murphy when he
23 said those waters aren't dangerous?

24 (800)

25 MR. COLE: Objection, Your Honor. I don't

1 think that's what -- is he's saying, this situation is
2 not dangerous? Is he's saying, general travel in the
3 area of Busby Island?

4 THE COURT: Are you asking him if he does
5 disagree?

6 MR. MADSON: Yeah. Just if he disagrees.

7 Q If Captain Murphy, in fact, had indicated, in
8 that area it was not dangerous in his opinion,
9 would you agree or disagree?

10 A On that, with the conditions the way they were
11 then, yes, I would disagree with him.

12 Q You also said that he Coast Guard -- you
13 wouldn't rely on them to tell you you're off
14 course, but you would at least expect them to
15 advise you that you may be off course, would you
16 not?

17 A Yes. Before -- up until this -- yes, I would
18 have expected them to advise you to be off
19 course.

20 Q After the grounding, now, you wouldn't have
21 that expectation anymore?

22 A Yeah. I realize now they're not doing it. To
23 that point I thought they were checking it, yes.

24 Q No reason to think Captain Hazelwood didn't
25 have the same knowledge that you did, and the

1 same belief that you did, is there?

2 MR. COLE: Objection. Speculation.

3 THE COURT: He'd be in no way of answering
4 that question -- no way to answer. Sustained.

5 Q Now, with regard to the statements of Cousins
6 and Kagan and turning off the autopilot, you
7 looked a number of their statements, did you not?

8 A Yes.

9 Q They were entirely consistent at all times,
10 weren't they, that the autopilot was turned off?

11 A I'd have to review them each individually now,
12 but I believe that somewhere along the line they
13 both had said, yes, they're off. But there's --
14 I have never got a clear picture of just exactly
15 when, what and how. I said that the other day, I
16 believe. But somewhere along the line they both
17 said that the autopilot was off.

18 Q In addition to their statements, they both
19 testified under oath at the NTSB hearing here in
20 Anchorage, did they not?

21 A Uh-huh (affirmative).

22 Q Did you review that testimony?

23 A Yes.

24 Q And they both said clearly at that time, it
25 was turned off, didn't they?

1 A Uh-huh (affirmative).

2 Q I'm afraid you'll have to answer out loud.

3 A Yes. Yes.

4 Q You testified again on redirect examination
5 about possible damage to a ship if it was
6 turning, say, 100 feet laterally after it's
7 grounded?

8 A Yes.

9 Q Did you talk to Captain Greiner about his
10 theory of the grounding?

11 A We discussed it, but nothing specific that I
12 recall, no.

13 Q Well, did he mention to you anything about
14 maybe 94 feet of possible movement of the bow?

15 A We both figured out various, depending on the
16 point. At the time I was discussing it with him,
17 I wasn't sure where the point was. But we took
18 various measurements and figured it out, and I
19 got one scenario in which I don't have the
20 figures with me here. But 123 foot, one of just
21 over 100. And I think he had something less than
22 that.

23 Q And there's a lot of scenarios?

24 A Oh, sure. It's all speculation at that point.
25 You know it's swinging, you know it's moving. We

1 were just trying to determine how far it actually
2 was swinging.

3 Q And that would be almost, like, on a pinnacle,
4 would it not. It's pivoting like this?

5 A Uh-huh (affirmative).

6 Q If there's a distance of, let's say, 100 feet
7 -- 150 feet that the vessel is actually riding
8 on. You know 150 feet is a relatively long
9 distance, is it not?

10 A Well, yes. It's...

11 Q And if the ship is having to move on that 150
12 feet -- that, is swing -- when it -- that
13 distance, wouldn't you expect to see some lateral
14 damage obvious on the hull of that vessel?

15 A You would expect to see that.

16 Q I may have used the wrong word. Let's say
17 "transverse", okay?

18 A Yes, I know what you mean. Yes, you would.
19 And I would -- that's one of the things that I
20 believe they went into. I happened to not go to
21 San Diego when they looked at it, so...

22 Q So you didn't go down there to see if any such
23 damage was observed?

24 A No.

25 Q And you don't, when talking with Captain

1 Greiner -- did he tell you that they saw no
2 damage to indicate the ship had turned in a
3 sideways fashion at all -- could not determine
4 that?

5 A . . . Apparently there wasn't anything that they
6 could see. I think what they determined is that
7 it either had been crushed in so badly, or broken
8 away, or cut off before they got to see the ship,
9 that they really couldn't determine if there had
10 been or there hadn't been.

11 Q Okay. So then what you did, and what Captain
12 Greiner did, was take the worst case scenario,
13 right?

14 A I just took an idea that there were
15 approximately 330 or 350 feet off, and assumed
16 that it had pivoted on that point. I didn't take
17 into consideration that -- still, if you are
18 pivoting, I would assume that there's a point --
19 somewhere there's a center of that circle.
20 Whether you got 150 foot base that it's pivoting
21 around, or whether you've got a pinpoint,
22 there's still a point. And all I was trying to
23 do was determine, actually, how much swing that
24 they were getting.

25 Q And, of course, there is no way of determining

1 now, or even earlier, what, if any damage was
2 caused in excess of what was already caused by
3 the initial grounding itself?

4 A That's correct. I couldn't determine any --
5 you know, I wouldn't be able to do it now, and I
6 wouldn't have been able to, probably in San
7 Diego, if other people didn't. No.

8 Q You talked a little bit, again, about steam
9 turbines and diesel engines. From your knowledge
10 of a slow speed diesel engine such as that on the
11 Exxon Valdez, isn't it true, sir, that when you
12 turn the diesel engine in reverse -- in other
13 words, put it in reverse, you have all the power
14 available in reverse as you have in forward?

15 A You have all the power on the engine, yes.
16 Where you have trouble with power is the fact of
17 the propeller. And the prop wash against the
18 vessel.

19 Q Okay. You have horsepower but you don't
20 necessarily have the same amount of thrust, is
21 that what you're saying?

22 A Right.

23 Q But the engine itself will turn just as much?

24 A Yes. Yes.

25 Q And the propeller will go around just in

1 reverse just as much, same rpm and everything
2 like that?

3 A Yeah. Just you don't have the -- if I give
4 you the impression that it didn't have the same
5 rpms astern as ahead, that's wrong; it does.

6 Q The only difference is because you have the
7 bulk of the vessel behind you; instead of pushing
8 it you're trying to pull it?

9 A Yeah.

10 Q Now, last -- getting to the end here,
11 hopefully. The Arco Juneau, you went into that a
12 little bit, and the Brooklyn. Those were the
13 last two trips out of Valdez prior to the Exxon
14 Valdez, correct?

15 A To my knowledge, yes.

16 Q And you examined no other ones?

17 A No.

18 Q So if you were to assume, sir, that those two
19 trips by the Brooklyn and the Arco Juneau and the
20 Exxon Valdez, all went around the ice -- maybe
21 not exactly the same course, but at different
22 speeds, but went around the ice. Would you tend
23 to believe that that might be the normal
24 procedure for what is done in Prince William
25 Sound?

1 A I would say that that may have been what they
2 chose at that time. It may be normal, yes.

3 Q When I say "for Prince William Sound", I'm,
4 again assuming that there's ice conditions that
5 would cause one to make those maneuvers.

6 A Right. That may be normal. You know, I can't
7 speak for everybody transitting in through there.
8 It would appear that all three determined to go
9 around it that time for some reason, yes.

10 Q And that was three in succession, wasn't it?

11 A Yes.

12 Q And so they chose to go around, and not what
13 you necessarily might do by slowing down and
14 maneuvering through?

15 A Yeah. That's a decision for the master to
16 make on site at that time, yes.

17 Q And lastly, sir, you are retired, correct?

18 A Yes.

19 Q You don't have to be concerned about perhaps
20 having other people sit in judgment of your
21 actions in the future as a commander of a vessel?

22 A As a sea captain.

23 Q Right?

24 A No.

25 Q And, of course, if I were to stand here for

1 the next two days, you aren't going to
2 substantially change your opinions, are you?

3 MR. COLE: Objection.

4 THE COURT: I don't think that's gonna help
5 anybody answering that question.

6 Q Let's say, sir, that you have pretty firm
7 opinions, right, in this case?

8 A On what I've read and seen, yes, I do have a
9 firm opinion.

10 Q And you don't feel, in all fairness, that your
11 fee in this case influenced any of those opinions
12 at all, not in the slightest?

13 A No.

14 Q I don't have any other questions.

15 THE COURT: Anything further, Mr. Cole?

16 MR. COLE: Just two brief areas.

17 REDIRECT EXAMINATION OF CAPTAIN BEEVERS

18 BY MR. COLE:

19 Q Captain, what would tell you that the vessel,
20 the Exxon Valdez, was moving -- the heading of
21 the vessel was moving on March 24, 1989 between,
22 say, 12:35 and 1:40?

23 A The most obvious thing that would tell you
24 that it's moving is the course recorder, because
25 you're changing heading as the rudder's is being

1 -- had been put over one way or the other.

2 Q As a retired master, you don't have to go back
3 to the shipping industry and face the pressure
4 from the shipping industry for testifying in this
5 case, do you?

6 A No.

7 Q Thank you. Nothing further.

8 THE COURT: All right. May this witness be
9 excused from further performance?

10 MR. COLE: Yes.

11 THE COURT: Mr. Madson?

12 MR. MADSON: He may be excused, yes, sir.

13 THE COURT: Okay. You're excused.

14 (1200)

15 (Witness excused)

16 THE COURT: Call your next witness.

17 (1250)

18 (Oath administered)

19 A I do.

20 WILLIAM MILWEE

21 called as a witness in behalf of the plaintiff, being
22 first duly sworn upon oath, testified as follows:

23 THE CLERK: Sir, would you please state your
24 full name and spell your last name?

25 A My name is William I. Milwee, Jr.,

1 M-i-l-w-e-e.

2 THE CLERK: Your current mailing address?

3 A 4019 Southwest 55th Drive, Portland, Oregon,
4 97221.

5 THE CLERK: Your current occupation?

6 A I'm a consultant in marine salvage diving,
7 towing and related disciplines.

8 THE CLERK: Thank you.

9 DIRECT EXAMINATION OF MR. MILWEE

10 BY MR. COLE:

11 Q Mr. Milwee, why have you been asked to testify
12 in this matter?

13 A I was asked to look at the Exxon Valdez and
14 the incident in which it grounded on Bligh Reef,
15 and to evaluate the action that was taken
16 following the grounding.

17 Q Before we talk about this, would you tell the
18 jury what your education background is?

19 A I have a B. S. from the U. S. Naval Academy in
20 1959. I have a Master's in Naval Architecture
21 from Webb Institute, and a Bachelor's in Marine
22 Engineering.

23 Q And where did you get your Bachelor's in
24 Marine Engineering?

25 A At Webb Institute, also.

1 Q What is Webb Institute?
2 A It's a school of naval architecture in New
3 York.
4 Q And after -- would you explain your Naval
5 career to the jury?
6 A The first four years after I graduated from
7 the naval academy, I was a line officer. I
8 served in the destroyers as a deck officer and
9 was chief engineer. I was -- during that time I
10 qualified for command of destroyers. Following
11 that I went to graduate school at Webb for three
12 years.
13 Immediately after graduate school I went to
14 the Naval School of Diving and Salvage where I
15 was trained in, obviously, diving and salvage.
16 Went to a short tour at Long Beach Naval Shipyard
17 in the ship repair business. During that time I
18 was borrowed for a salvage job in Viet Nam.
19 Following that I went to a unit that was doing
20 salvage in Viet Nam and other places in the
21 Pacific.
22 Following that tour I spent five years in the
23 Supervisor of Salvage office in the Navy in
24 Washington. And following that, for four years I
25 was salvage officer for the Pacific Fleet. And

1 the following two years back at Long Beach Naval
2 Shipyard I retired in 1979.

3 Q When you say that you were the fleet salvage
4 officer for the U. S. Pacific Fleet, what does
5 that mean?

6 A It means that I was responsible for the fleet
7 readiness as far as salvage and operations,
8 insuring that we were equipped and prepared, and
9 I personally went to the scene and took charge of
10 salvage operations.

11 Q Now, once you retired, would you tell the jury
12 about your career after retirement from the Navy?

13 A When I retired I went to work as a marine
14 manager for an offshore drilling company in the
15 southeast. They were operating 12 rigs in the
16 Gulf of Mexico. I was responsible for all the
17 marine aspects of that, including moving the
18 rigs.

19 Ten days after I got there we lost a drill
20 tender and they realized they had just hired
21 somebody to do something about salvage, so I took
22 charge of that operation.

23 Q What's a drill tender?

24 A It's a type of drilling rig in which a
25 floating platform is used for the support of the

1 drilling platform. And this thing was moored
2 alongside the platform.

3 Then I joined a consulting group as president.
4 We were doing salvage and -- the same types of
5 things I'm doing now.

6 I was with them for about two years and then I
7 became senior vice president and general manager
8 of Devine Salvage down in Portland. When I left
9 them I set up my own operation. I've been doing
10 this since 1983.

11 Q What type of salvaging operations have you
12 been involved in? Can you give the jury an idea?

13 A Just about everything possible. I've done
14 sinkings, strandings, vessel raising from small
15 craft, barges, tugs, cargo ships, maybe half a
16 dozen tankers, ships up to 250,000 tons dead
17 weight, both a tanker of that size and a bulk
18 carrier of that size.

19 I've been, oh, just about everything you can
20 be on one of those operations, from salvage
21 engineer to salvage master.

22 Q What is a salvage master or salvage engineer?

23 A A salvage master is essentially the person
24 that's in charge of the salvage operation. The
25 salvage engineer does the engineering and

1 calculations associated with the operation.

2 Q Have you been involved in groundings and rock?

3 A Yes. And the last 10, 12 years I've been
4 involved in at least 30 casualties that I can
5 recall. And roughly half of those have been
6 either on rock or coral, which have very similar
7 characteristics.

8 Q And can you give the jury an idea of where in
9 the world you've worked?

10 A Well, I've worked on all seven continents,
11 actually. I've done a lot of work in the Pacific
12 and the South Pacific. I've looked at a lot of
13 casualties up here in Alaska for one reason or
14 another. I've worked on both coasts of the
15 United States, and the Persian Gulf and South
16 America, and was even on a casualty in Antarctica
17 last year.

18 Q Have you worked in military areas?

19 A Oh, yes. I got my basic training in Viet Nam
20 in doing salvage in the rivers and along the
21 coast of Viet Nam, where we had all sorts of
22 conditions ranging from rock to mud, groundings,
23 sinking, combat casualties, fires.

24 Q Would you like a glass of water?

25 A Please. Thank you.

1 Q Now, would you give the jury and idea of how
2 many -- let's talk just about the tanker
3 casualties that you've been to and worked with?

4 A I've done five or six tanker casualties. The
5 only one -- and I've done those around the United
6 States and abroad, Persian Gulf, Gulf of Mexico,
7 Hawaii.

8 The one in Alaska, it was a tanker striking a
9 rock. It was at Glacier Bay out in Cook Inlet in
10 1987, which hit a rock and then floated off on
11 the tide you have in Cook Inlet before I got
12 there. But we did an emergency discharge of the
13 ship.

14 (1610)

15 Q Now, have you done any writing in the area of
16 casualty salvage?

17 A Yes, I've done quite a bit of writing in the
18 area. I've written roughly 10 articles that have
19 been published in the United States and Great
20 Britain in the professional press on casualties
21 and salvage. I've done roughly the same number
22 in other areas.

23 One of these articles was an article on
24 essentially what to do after the ship is aground
25 and before the salvo's arrive. I, also, for the

1 last two years, have been the technical director
2 of a project to rewrite the U. S. Navy Salvage
3 Manual which is a six volume set of how-to books
4 on salvage. It's about half done. We've done
5 the volume on strandings and the one on sinkings.

6 Q Have you done any other work for the U. S.
7 Navy as far as publication?

8 A Yes. I'm involved in the publication of the
9 Salvage Engineer's Handbook. I worked on the
10 U. S. Navy -- and contributed to the U. S. Navy
11 Damage Control Manual, the Salvors Handbook, and
12 I have written some directives for them on how
13 salvage operations should be handled and managed.

14 Q How about any group memberships?

15 A I believe to the Society of Naval Architects
16 and Marine Engineers, the American Society of
17 Naval Engineers, the Nautical Institute, which is
18 a British Organization, the Society of Underwater
19 Technology, which is also a British organization,
20 and the Marine Technology Society, in which I'm
21 chairman of a group of professional committees.

22 Q Have you been asked to testify in the past?

23 A Yes, I have. I've testified in Alaska,
24 Washington, Texas, California, Louisiana.

25 Q Can you give the jury an idea of what type of

1 cases those have been?

2 A About half of them have been salvage and
3 salvage related cases. One involving an incident
4 in Dutch Harbor in which a processor broke loose
5 from moorings and was rendered salvage assistance
6 by two fishing boats.

7 Another ship grounded in Kiska and was
8 rendered some salvage assistance again by fishing
9 boats. Oh, two drill rigs that were casualties.
10 The remainder were diving cases.

11 Q Now, when were you asked to provide your
12 services in this matter?

13 A In August of this year -- August 1989, last
14 year.

15 Q And did you enter into a contract with the
16 State of Alaska for your services?

17 A Yes, I did.

18 Q Would you explain that to the jury? What that
19 contract entailed?

20 A Well, it was a contract to do as I said
21 earlier, to look at the documentation and
22 material relative to this and to use my expertise
23 in evaluating the casualty that occurred and the
24 action that was taken after the casualty.

25 Q What was your rate per hour?

1 A My rate per hour is \$90.00. And in
2 circumstances involving actual testimony or being
3 all burning ships or casualties, it has a 25%
4 premium on that.

5 Q What information did you evaluate? Did you
6 receive any information in this matter?

7 A I received a stack of paper that was somewhat
8 over two feet high in this matter.

9 Q And did you review that?

10 A Yes, I did.

11 Q Would you tell the jury in particular, were
12 there any areas that you -- in the paperwork that
13 you paid particular attention to?

14 A If I may refer to my notes so I don't miss
15 anything. I looked at all the NTSB testimony and
16 the exhibits that went along with that. The
17 interviews by the Alaska State Troopers; the
18 grand jury testimony; the characteristics of the
19 ship; the Bell Log; the maneuvering
20 characteristics; chart -- course recorder; I
21 looked at the salvage documents; loading and
22 damage data; and transcripts of taped
23 conversations between the Exxon Valdez and the
24 Coast Guard Vessel Traffic System.

25 And I also did a -- I looked at the analysis

1 of the course recorder tape from these people at
2 King's Point. And I used a lot of reference
3 material from my own library.

4 Q Did you have any conversations with a
5 gentleman by the name of Mr. Lights (ph)?

6 A Yes, I did. I had a telephone conversation
7 with Mick Lights in which we discussed the
8 salvage operation.

9 Q Who is he?

10 A He's a salvage master that lives in Portland,
11 and was salvage master during the Exxon Valdez
12 refloating.

13 Q And do you know him personally?

14 A Yes, I do.

15 Q What did you discuss with him?

16 A Again, I'll refer to my notes to be -- (pause)
17 -- we discussed the conditions that he found on
18 board and what he did on board the vessel, and
19 the salvage -- generally what was done during the
20 salvage operation on the vessel.

21 Q Now, did you end up going and visiting the
22 Exxon Valdez?

23 A Yes, I did. I visited the ship on 7
24 September.

25 Q And why did you go there?

1 A I was asked to go there by your office to look
2 at the damage and to familiarize myself with it
3 and see if I could add to the evaluation of the
4 damage.

5 Q After reviewing that damage and using your own
6 experience, do you have any opinions as to how
7 that damage occurred?

8 A Yes, I do.

9 Q Would you explain that to the jury?

10 A The damage was typical of the damage one sees
11 on ship's aground on rock. And that that was
12 plating, it was upset -- by "upset" I mean dented
13 and torn, badly scraped, from the stem of the
14 ship -- the most -- forward-most part to just
15 forward of the pumproom bulkhead well -- well aft
16 of the ship.

17 Q If you would, I will hold up the model here.
18 Maybe you could indicate to the jury...

19 A The damage started in this area of the ship on
20 the starboard side. It went mostly along the
21 bottom in a line that curves just about five
22 degrees from straight back, and extended back to
23 -- oh, right about in here (indicating). That
24 was the last markings.

25 It varied throughout in intensity. Some of it

1 was quite bad. There were holes. Two cases
2 there were rocks still in holes.

3 In the midships area here, just centered right
4 around bulkhead 23, the ship structure just
5 simply no longer existed. The ship's plating was
6 no longer there and there were large holes.

7 The longitudinal members -- structural members
8 were twisted, oh, as much as 90 degrees.

9 Q Now, before you -- what is a longitudinal?

10 A It's a structural member that runs the length
11 of a ship and it's one of the primary structural
12 members in the ship.

13 Q Where would it be running on the bottom of the
14 vessel?

15 A Oh, they run at very relatively close spacing
16 all along the bottom of the vessel inside the
17 plating.

18 The damage in that area, because the way that
19 the hull was set up, indicated that the hull was
20 crushed and that the ship had sat down very hard
21 on that area, and it's...

22 Q That was in the area of where?

23 A That was in the midships area around bulkhead
24 23, maybe 100 feet on either side of it. Maybe
25 80 to 100 feet on either side of it, I'd say.

1 Q Can you give the jury an idea -- do you have
2 an opinion as to how the vessel was -- how that
3 came to be caused?

4 A Yes. I think the vessel came over a rocky
5 area, passed completely over it, continued for a
6 short distance and then came to rest grounded on
7 an area along the starboard side, extending over
8 to just about midships.

9 Q Why do you say that it passed completely over
10 the first rocks?

11 A Because the damage extended well past the area
12 where it was hard grounded and back near the
13 stern of the ship, near the pumproom.

14 Q And do you have an estimate as to how long
15 that process would have taken?

16 A It's impossible to say exactly how long that
17 process would take, because one of the things
18 that happens as a ship grounds is the speed
19 decays -- the speed slows down. And that process
20 is impossible to predict, because there are other
21 things happening at the same time that affect it.

22 But to move that far it would take just about
23 two minutes for the ship to move that far under
24 the average speed that it had to be moving at.

25 Q Now, did you see any evidence of -- well,

1 before we get to that, I would like to talk about
2 something else. What does it mean to ground a
3 vessel?

4 A Well, can I draw a picture on that?

5 Q Yes.

6 (2185)

7 (Pause)

8 A When a ship is afloat and in the water it's
9 completely supported by the force of buoyancy.
10 And the force of buoyancy, which comes from the
11 surrounding water, is exactly equal to the weight
12 of the vessel. When a ship grounds, if it
13 grounds high and dry, it sometimes happens so
14 that it's completely out of the water and it's
15 sitting completely up on the land, the land
16 supports the vessel, and it completely supports
17 the weight of the vessel.

18 When a ship grounds as is the more normal
19 case, so that it is partially support by the
20 water and partially supported by the land -- that
21 being the land that the vessel is resting on --
22 it's partially supported by the buoyancy -- it's
23 own buoyancy and by the ground. But the
24 combination of the two -- the buoyancy, and what
25 we call the ground reaction, is exactly equal

1 again -- exactly equal to the weight of the
2 vessel.

3 Now, the vessel in this condition still has a
4 water line because it's still in the water. But
5 this water line is the below the water line that
6 the ship would normally float at, which would be
7 up here somewhere. The area between those two
8 water lines, or the volume between those two
9 water lines, actually, represents the lost
10 buoyancy of the vessel, and is exactly equal to
11 the ground reaction of the vessel.

12 Q Now, what, again, is the ground reaction?

13 A The ground reaction is the amount of the
14 weight of the vessel that is supported by the
15 ground. And it's an amount of buoyancy that the
16 vessel has lost in grounding.

17 Q When a -- what causes a vessel to stop?

18 MR. CHALOS: Objection, Your Honor. Turn the
19 engine off. Any number of thing could cause a vessel
20 to stop.

21 THE COURT: Well, that may be true, but we'll
22 let the witness answer that question. Objection
23 overruled.

24 I'm going to answer that question where a
25 vessel is grounding. When a vessel grounds

1 several things happen to it. It's bodily lifted
2 and it stops. The stopping is generally caused
3 by the friction of the vessel on the bottom.

4 Q And what has to be overcome before the vessel
5 comes to a stop after initial contact with the
6 bottom?

7 A Well, the momentum of the vessel has to go
8 from whatever it is, which depends on the size of
9 the vessel and the speed at which it's travelling
10 to zero.

11 Q Could you give the jury an idea of how the
12 type of bottom that a ship grounds on affects the
13 damage that's done?

14 A It's -- the damage that's done is a direct
15 result of the hardness of the bottom and what the
16 bottom is composed of. If a ship grounds in soft
17 mud it will generally just mush into it. And
18 since the mud is much softer than the steel of
19 the vessel, there's usually very little damage to
20 the vessel.

21 If it grounds on sand, depending on the
22 consistency of the sand, it has very little
23 damage to the vessel. There's -- sometimes if
24 it's very hard sand there will be some upsetting
25 in denting of a plate. Seldom enough to tear it,

1 unless there are rock or coral formations in the
2 sand.

3 On coral it depends on the age of the coral.
4 Young soft living coral is not as hard as old
5 hard coral. Old coral is very much like rock.
6 Rock is really the worst thing to ground on from
7 the standpoint of the damage to the vessel,
8 because it's more likely to severely indent
9 and/or tear the bottom.

10 I don't believe I've ever seen the bottom of a
11 vessel torn unless it grounded on either rock or
12 coral.

13 Q What about after the grounding? What's the
14 possibility of immediately refloating a vessel
15 depending upon what a vessel grounds on?

16 A Well, it depends on a number of conditions,
17 how hard the vessel is aground, what the vessel
18 is aground on, and how it's aground -- how it
19 lies.

20 It would be very difficult to quantify the
21 possibility of refloating it with -- it's
22 impossible to quantify it without knowing more
23 about the condition of the grounding.

24 Ofttimes a ship in -- oh, down in the
25 Mississippi or in an area like that, where

1 there's a soft bottom, is able to just nose into
2 a mud bank and back right off again.

3 Q Do the actions that you take as a salvage
4 master differ depending on the type of bottom
5 that a vessel has grounded?

6 A It depends on -- yes. It varies with the type
7 of bottom and the type of ship.

8 Q Now, I would like to talk a bit about what the
9 procedures should be of a master after a ship has
10 been grounded. What should a captain do?

11 MR. CHALOS: Your Honor, I object. No
12 foundation. Grounded, and what type of bottom, how
13 grounded. Is it grounded by the bow, on the stern.
14 There's so many factors that have to be laid out
15 before.

16 MR. COLE: Judge, he's just being asked to
17 give some general recommendations. I think there are
18 certain things that you should always do and I'm just
19 exploring that area.

20 MR. CHALOS: And I would further add, Your
21 Honor, that Mr. Wilwee, I believe, is an expert on
22 salvage operations, but I don't think he has been
23 qualified as an expert captain. I don't think that
24 foundation has been laid either.

25 THE COURT: Lay a little bit better foundation

1 for this type of an answer, what a captain should do.

2 Q (Mr. Milwee by Mr. Cole:) Well, as a salvage
3 master, are there certain things that need to be
4 done in order to allow you to do your job as a
5 salvage master?

6 A One of the first things you must do in any
7 grounding is to determine a condition of the
8 grounding. And the determination of the
9 condition of the grounding should be made before
10 any salvage attempt is made.

11 Q How does one do that?

12 (2570)

13 A Well, the first thing to do is to take
14 sounding all around the vessel to determine how
15 the vessel lies on the ground, how much of it is
16 actually resting on the ground, and how hard she
17 is resting on the ground.

18 From these soundings it's a very simple
19 calculation to determine the ground reaction and
20 the amount of weight of the vessel that is
21 supported by the ground.

22 Q Is it important to know, for instance, the
23 damage done to the vessel?

24 A Oh, yes, it's very important to know the
25 damage done by the vessel.

1 Q Why?

2 A Because to refloat a vessel with extensive
3 damage is extremely dangerous. It may result in
4 the loss of a vessel.

5 Q What do you use to ascertain the damage?

6 A When it's possible, an inspection of the
7 damaged area should be made. If it's not
8 possible, because of cargo or material in the
9 ship, the soundings should be taken -- or,
10 essentially measurements of the depth of water
11 inside the various holds to determine if there is
12 leakage coming in from the outside, and how bad
13 it is.

14 In the case of a tanker, one of the best
15 indications of damage is -- because there's a
16 loss of cargo.

17 Q What needs to be done as far as the crew?

18 MR. CHALOS: Objection, Your Honor. No
19 foundation. This gentleman has expertise as to what a
20 captain would do on a ship with his crew. Unless he's
21 asking what should be done with the crew after he comes
22 on board when the vessel is being salvaged.

23 THE COURT: Mr. Cole?

24 THE COURT: Your Honor, I think he can testify
25 as a salvage master, as to what he regards as the

1 important things to be taken into consideration.

2 THE COURT: I don't think he's been qualified
3 in that area, Mr. Cole. Objection sustained.

4 Q (Mr. Wilwee by Mr. Cole:) Well, when you come
5 aboard -- and let's say that you got there a very
6 short time after a grounding, and you were --
7 what would be your first priority?

8 A Well, I've been in exactly that circumstance
9 where I have come aboard groundings immediately
10 and there has been no one else around other than
11 the crew.

12 My first priority is to insure that the ship
13 is secure and that the crew is secure. And by
14 secure, I mean to determine the extent of the
15 grounding. And I use that crew working through
16 the master, of course, to assist me in
17 determining the extent of the grounding, and also
18 make sure that if the situation worsens for some
19 reason, that we've got a way out of there. The
20 proper safety measures have been taken.

21 Q When you say "secure the crew", what do you
22 mean?

23 A Essentially insure that measures have been
24 taken for their safety. That boats are rigged.
25 Everybody's got the proper survival gear, and

1 they are ready to use it, and fire protection
2 measures have been taken. And usually measures
3 have been taken to prevent any further
4 deterioration of the ship, if the ship is in an
5 extremely hazardous condition.

6 Q What about communicating with authorities? Is
7 that something that you would do?

8 A That should have been done by the master
9 immediately upon grounding. But I would
10 certainly communicate with whoever I'm
11 representing on the case.

12 Q When you come on a vessel immediately after a
13 grounding, what type of options are open to you?

14 (2830)

15 MR. CHALOS: Objection, Your Honor. I think
16 the testimony is that that happened once. We don't
17 know how quickly it came on after the grounding, but I
18 think Mr. Cole is asking generally what's available
19 when he comes on board in a grounding. I think the
20 testimony is one specific incident. And whatever
21 options were available then, and certainly he could
22 testify to, but no generally.

23 THE COURT: This witness can give his opinion
24 in general. Objection overruled.

25 A Would you repeat the question, please?

1 Q When you come aboard tanker vessels. And
2 let's say, for instance, if you're on it
3 immediately after the grounding, what options do
4 you have available as far as action that can be
5 taken?

6 A Again, the first necessary action is to
7 determine the condition of the grounding by
8 taking soundings, perhaps getting a sounding boat
9 out, wants to get a boom out, also, around the
10 vessel and contain any cargo that may have
11 spilled. And in doing that you use whatever
12 resources are available. If it clearly is the
13 only resource available, you use them. If you
14 have a salvage crew or a crew that you bring in
15 from the shore, you use them, too.

16 Q Now, do you -- your experience, has it been,
17 that you, say, for instance, check the holds --
18 open hold. The engine-room, the pumproom, to
19 make sure that they're...

20 A That's right. You check all the spaces on the
21 ship, not just the spaces where you knew there is
22 damage indicated.

23 Q After evaluating the evidence that you have in
24 front of you, what are your options then at that
25 point?

1 A When I come aboard a vessel and I start to
2 make an evaluation, my first -- my choices are,
3 after I determine how I lie on the ground and
4 what my conditions are, I just start to develop a
5 salvage plan and to determine if I am going to
6 refloat the vessel.

7 Probably the first thing to get out of the way
8 is to determine if it's practical or possible or
9 reasonable to make an initial refloating attempt
10 using the ship's engines and whatever tugs I may
11 have available. Or, if I should just put that
12 option aside and wait for it -- and lighten the
13 vessel, or bring out heavy gear to drag the
14 vessel back afloat.

15 Q What type of risks are associated with
16 immediately trying to refloat a vessel?

17 A If the vessel is badly damaged there is risk
18 that the vessel may sink; that you may put the
19 crew in the water; that you may have additional
20 pollution; or, that you may strike something that
21 you don't know about as you refloat. It's
22 absolutely vital to determine the conditions
23 before you do anything, and have knowledge of
24 what you are about to do before you do it.

25 Q What would be the risk of not attempting to

1 refloat a vessel after a grounding?

2 MR. CHALOS: Your Honor, I object to not any
3 foundation being laid. Again, what circumstances are
4 we talking about.

5 THE COURT: Objection overruled. This witness
6 may give a dissertation in general on this subject,
7 he's been qualified.

8 A It depends on the condition of the grounding
9 of the vessel. If the vessel is grounded, oh, on
10 a sandy beach -- sandy, moderately sloping beach
11 and a surf, it's an extremely dangerous situation
12 to the vessel. And one in which a refloating
13 attempt is often justified immediately, without
14 some of the knowledge that you would have
15 otherwise.

16 Q What makes that situation dangerous?

17 A If the ship lies directly facing the surf,
18 it's a very good chance that she is going to
19 rotate so that she's broadside to the beach. And
20 on a sandy beach the surf coming in will generate
21 very high currents around the ends of the vessel
22 that will scour the sand out from the vessel and
23 around the ends of the vessel so that she's
24 supported only in the middle. And a vessel will
25 break very quickly like this.

1 The Old Alaskan out on St. Paul Island in '87
2 is an example of exactly this type of casualty.
3 She grounded on a Friday and broke on Sunday
4 night and she was broad side of the beach.

5 Ships like that, on that type of beach in a
6 surf, may pound very hard and do itself
7 additional damage and hole herself even more. A
8 ship aground on rock is better left alone until
9 other measures can be taken. Because she will
10 ride heavy on that rock and stay there. But if
11 you try to move her in an initial refloating
12 attempt, there's a possibility of doing
13 additional damage to the ship. Coral is the same
14 as rock.

15 (3190)

16 Q Now, you reviewed the evidence in this matter.
17 Do you have an opinion of what Captain Hazelwood
18 was attempting to do with the throttle and the
19 rudder after the Exxon Valdez was grounded on
20 March 24, 1989?

21 A Yes. I believe he was attempting to refloat
22 the vessel.

23 Q Why do you say that?

24 A Because he used a full bell and considerable
25 rudder. He essentially was doing...

1 Q What did you say, "a full bell"?

2 A A full ahead on the engines at his maneuvering
3 speed. He was using a lot of force to disturb
4 the vessel, which is exactly what you do when you
5 try to refloat a vessel. You try to disturb its
6 position so that it will move. He did this on a
7 rising tide, which is exactly the way you would
8 do to refloat a vessel.

9 Q Why do you say that?

10 A Because as the tide rises this water line
11 comes up closer to the original floating water
12 line of the ship and the ground reaction is
13 reduced. The ship rests more easily on the
14 bottom. And Captain Hazelwood said that he was
15 attempting to refloat the ship.

16 Q Did you rely on statements that you heard from
17 Captain Hazelwood?

18 A Yes, I did.

19 Q Do you have an opinion on whether or not his
20 actions were inconsistent with attempting to keep
21 the vessel on the reef?

22 A Yes, I do. I do. I think they were
23 consistent with attempting to keep the vessel on
24 the reef, because it was too much force to use.
25 And most telling to me is that he stopped doing

1 this at 17 minutes before the water was at the
2 highest, when the ship was resting most lightly
3 on the ground.

4 If it had been necessary to do that and to use
5 that much force to keep the ship on the reef, he
6 would have had to continue that during the water
7 and well out to the high water until the ship was
8 resting as it was an hour or two hours before.

9 Q Maybe you can explain that concept by
10 referring to Plaintiff's Exhibit 123 and 124.

11 A This is a representation of the tide. And as
12 it rises -- as it rose and fell on the night of
13 -- I think I've got the right one here. This is
14 March 23.

15 Q Was it the evening of March 23 or the 24th?

16 A Well, this is early in the morning of March
17 24. It was -- the high water was at 1:57, just
18 before 2:00 here. And it was in the period from
19 the time of the -- some time after the stranding
20 until 1:40 that the maximum force was used to --
21 as I believe, to free the vessel.

22 Coming up on about 17 minutes before high
23 water, the water is continuing to rise. And the
24 tide may stand at its high water for a period of
25 time. And during that time the vessel is resting

1 very lightly on the ground, or as lightly as it's
2 going to rest.

3 If it's necessary to use a lot of force to
4 keep the vessel on the ground, that's when you
5 have to do it. Again, the tide began to drop
6 after the high water. And the same conditions
7 that existed in that time before high water
8 exists after high water. As the tide begins to
9 drop the vessel rests more heavily on the ground.
10 But it goes through that period where it's as
11 light as it's going to be at the time, and where,
12 if you have to use a lot of force to keep it
13 there, you have to do it the whole time, not just
14 part of it. And certainly you don't stop just
15 before it's lightest.

16 Q You indicated the use of excessive disturbing
17 forces -- how is the use of the rudder
18 inconsistent with attempting to stay on the reef
19 in this matter?

20 A Well, the rudder swings the ship and it
21 disturbs the condition under which the ship lies.
22 If you are attempting to stay on the reef you
23 don't disturb it; you make the ship heavy and you
24 don't move it. You just don't do anything that's
25 going to disturb the conditions under that ship.

1 Q Would you describe for the jury what action is
2 being done from 12:35 to 1:40? And there's a
3 pointer there if you want to point to that.

4 A Get out of this tangle.

5 Q You may have to stand just a little bit to the
6 side so that they could see.

7 A This area in here indicates changes in heading
8 of the ship in both directions, and obviously, as
9 it comes back and forth, and it indicates to me
10 that the rudder is being used in conjunction with
11 the engines to swing the ship back and forth and
12 to disturb it as it lies on the bottom.

13 Q Now, is that consistent with going ahead or
14 trying to get something off the reef, or trying
15 to stay on?

16 A It's consistent with trying to get it off. If
17 the ship were -- if there were conditions
18 existing that made it necessary to keep the ship
19 on the reef, it would generally just drop off in
20 one direction and there would be a response to
21 it; to hold the ship as steady as possible, not
22 to wiggle it.

23 Q If you had rock and you had a tanker and you
24 were worried about your tanker coming off that
25 rock, how would you turn your rudder and how

1 would you use your throttle to stay on that reef?

2 MR. CHALOS: Objection, Your Honor. Does that
3 purport to be the grounded condition of this vessel, or
4 is Mr. Cole just asking about that example of the...

5 MR. COLE: I'm asking a general question using
6 that example.

7 MR. CHALOS: Then I object to the foundation,
8 Your Honor, and relevance, really.

9 THE COURT: Okay. Can you understand what
10 that picture is?

11 A Yes, sir.

12 THE COURT: Okay. If you can answer the
13 question, go ahead. Objection overruled.

14 A The rock is holding the ship at some point and
15 the ship may be able to rotate. Now, would you
16 repeat the question, because I got a little lost.

17 Q (Mr. Milwee by Mr. Cole:) If you felt that
18 there was a chance, or you had a problem that was
19 going to cause your ship to come of that rock,
20 how would you take that action to prevent that?

21 A You would see what that problem was doing to
22 you. If you had a current that was acting to
23 rotate you away from that, you would use just as
24 little engine and rudder as necessary to hold the
25 ship in position.

1 Q So it's literally a turning into?

2 A Well, in this case you would turn ahead to
3 start, just throw the stern to port, and keep it
4 hard up on the rock.

5 (Pause)

6 Q How would you use your anchor if you were
7 concerned about keeping the vessel on the reef?

8 MR. CHALOS: Objection, Your Honor. No
9 foundation.

10 THE COURT: With the same qualifications
11 before, the objection is overruled.

12 A You would put your anchor in the water and the
13 scope would change, depending on the depth at
14 your bow and the type of bottom.

15 Q What about using the rudder commands to change
16 to cause your ship to move back and forth to
17 determine what kind of bottom you have
18 underneath. Is that a good way to use your
19 rudder?

20 A It certainly is not, particularly if you are
21 on rock, because you're not going to do anything
22 but just wiggle that thing and grind it back and
23 forth on the bottom.

24 Q If you are sitting on a rock, and you are
25 going back and forth, what is happening to the

1 bottom of the vessel?

2 A You're grinding the two surfaces together.
3 Just like if you take an orange and squeeze it,
4 or take your foot and rub it back and forth on
5 the deck. You're grinding that ship on that
6 rock. And you are going to stand a very good
7 chance of doing additional damage to it.

8 Q And what about if you are unaware of other
9 areas that have rock while you're going back and
10 forth like that?

11 A You are likely to bump into one. You
12 certainly shouldn't do it -- you should attempt
13 to move that ship in any way until you have a
14 knowledge of the water that lies immediately
15 around the ship; the way the ship lies on the
16 ground; and the water that lies in the direction
17 in which you intend to move it.

18 Q After a grounding, what is the general rule
19 that you should abide by before taking any
20 action?

21 A Find out what you got.

22 Q Now, Mr. Milwee, you've give us your opinion
23 on what you believe Captain Hazelwood was
24 attempting to do after the Exxon Valdez was
25 grounded. I'm reading you the definition of

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

recklessly in the state of Alaska.

"A person acts recklessly with respect to a result or to a circumstance described by a provision of law defining an offense when that person is aware of and consciously disregards a substantial and unjustifiable risk that the result will occur or that the circumstance exists. The risk must be of such a nature and degree that disregard of it constitutes a gross deviation from the standard of conduct that a reasonable person would observe in the situation."

Do you have an opinion on whether or not Captain Hazelwood acted recklessly in attempting to move that vessel from the reef on March 23, 1989?

(4145)

MR. CHALOS: I object, Your Honor. This gentleman has not been qualified as a tanker master or having knowledge of what a tanker master should or should not do. On that basis, he can't give an opinion as to whether Captain Hazelwood acted reckless. All he can give an opinion on is what he saw from a salvage standpoint.

MR. COLE: Your Honor, he is a salvage

1 captain. He evaluates tanker captains' actions.
2 That's what he makes decisions on in salvage plants.
3 He should be able to give his opinion on that action.

4 MR. CHALOS: I don't think there's been any
5 testimony, Your Honor, that this gentleman evaluates
6 tanker captains' actions.

7 (Tape: C-3649)

8 (000)

9 THE COURT: Objection overruled. He may give
10 his opinion.

11 A Yes, I have such an opinion.

12 Q (Mr. Milwee by Mr. Cole:) Would you tell the
13 jury what that opinion is?

14 A It think it was reckless, because Captain
15 Hazelwood did not have enough knowledge of the
16 situation to make the decision to make a
17 refloating -- an immediate refloating attempt.
18 He knew that he had a badly damage ship. He had
19 enough information to know that he should stay
20 there, but he didn't have enough information to
21 know that he should refloat.

22 Q When you say he had enough information to say
23 that he should stay there, what do you mean?

24 A He -- from the drop of the level in the cargo
25 tanks that was reported to him by his chief mate,

1 he knew that he had severe hull damage. He knew
2 from his knowledge of the bottom, that anyone
3 going into a certain area had, that he was
4 aground on rock. That was information that he
5 did not know how he was aground. He didn't know
6 where he was aground. He just simply did not
7 have enough information to make the decision to
8 make that immediate refloating attempt.

9 MR. CHALOS: Your Honor, I move to strike
10 This gentleman can't tell us what Captain Hazelwood
11 knew as to how he was aground or where he was aground.
12 I don't think there's been any testimony to that
13 effect.

14 THE COURT: Objection overruled.

15 Q And when you say he didn't have enough
16 information to take action to refloat the vessel,
17 what do you mean?

18 A He had not taken soundings around the vessel;
19 he had not made any attempt to determine how the
20 vessel lay upon the ground. It's just basic
21 information that's needed for attempting to
22 refloat a vessel.

23 Q If you refloat a vessel, how certain should
24 you be before you attempt to do it, that your
25 vessel will float?

1 A Dead certain.

2 Q What do you risk by not being certain?

3 A Loss of the vessel, loss of your crew,
4 additional pollution.

5 MR. COLE: I have nothing further.

6 THE COURT: We'll take our break now.

7 Don't discuss the matter among yourselves or
8 with anybody else; do not form or express any opinion.

9 THE CLERK: Please rise. This court stands in
10 recess subject to call.

11 (Off record - 11:36 a.m.)

12 (On record - 11:47 a.m.)

13 (96)

14 (Jury not present)

15 THE COURT: You may be seated. I understand
16 there is a discovery request?

17 MR. CHALOS: We received, as part of the
18 discovery, a letter from Mr. Milwee to Sam Adams dated
19 February 12, 1990. May I approach the bench and give a
20 copy to Your Honor.

21 As you will notice, Your Honor, Mr. Milwee
22 renders a pretty extensive opinion in that particular
23 letter, but it makes reference to a letter or a
24 memorandum that he received from Mr. Adams on February
25 2nd, 1990. We've asked for production of that

1 particular letter so we could determine what it is that
2 he was asked to do, and what information he was given
3 on which he could base these conclusions.

4 THE COURT: He wants the memorandum?

5 MR. CHALOS: Yes.

6 THE COURT: Let's hear, why not?

7 MS. HENRY: Your Honor, the memorandum
8 contains attorney work product. It contains our view
9 and our theories of the risk that Captain Hazelwood did
10 in his conduct in this case. In addition to that, the
11 memorandum contains a list of the information that we
12 provided to Mr. Milwee, which defense already knows.
13 They already provided that independently of this
14 memorandum. And it also contains a list of requests
15 that we were making of Mr. Milwee to set forth in a
16 report that he was to produce. Our request of what he
17 was to do, as has also has been made known to the
18 defense.

19 So the only other thing in this memorandum,
20 other than those two areas, is work product. If I
21 could approach the bench with that report.

22 (Pause)

23 THE COURT: So the portion here that sets
24 forth the view of Mr. Adams, Mr. Cole, retired Captain
25 Bob Beevers, and Mary Anne Henry, and State Trooper

1 Sgt. Jim Stogsdill, is that portion of the letter that
2 you object to as work product, setting forth what your
3 opinions are to this witness?

4 MS. HENRY: Yes, Your Honor.

5 THE COURT: As far as the rest of the letter,
6 you have no problem with it, correct?

7 MS. HENRY: Yes, sir. I have no problems as
8 to the rest of the letter. That's not work product.
9 It was just my view that we had already provided most
10 of that information, except the documents.

11 THE COURT: What is objectionable about
12 setting forth your views to this memorandum to the
13 witness. It doesn't seem to be any kind of a surprise.
14 I'm sure that that's consistent with the opening
15 statement that's been made by Mr. Cole and the tenor of
16 the testimony so far. What's so surprising about this,
17 or something that you want to keep confidential that
18 hasn't already been disclosed in opening statements and
19 your examination of witnesses?

20 MS. HENRY: Your Honor, I don't believe our
21 entire theory of the case and discussions that we had
22 over the last 11 months about our theory of the case,
23 which did involve evolve in change, is something that
24 the defense has a right to know.

25 THE COURT: I'll order production of the

1 letter to Bill Milwee from Sam Adams dated February 2,
2 1990, subject: Expert Analysis. And you already have
3 the February 12, 1990 letter. You can have that back.

4 Is this a copy of it, Ms. Henry?

5 MS. HENRY: That is a copy that can be
6 provided.

7 THE COURT: Is it okay if we give this one,
8 then. We don't need a...

9 MS. HENRY: Yes, that's fine.

10 THE COURT: That doesn't mean that what's
11 contained in here is necessarily admissible before a
12 jury. This is just a discovery. We'll take a recess
13 and come back in about five or six minutes.

14 THE CLERK: Please rise. This court stands in
15 recess subject to call.

16 (Off record - 11:54 a.m.)

17 (On record - 12:02 p.m.)

18 (311)

19 (Jury present)

20 THE COURT: Mr. Chalos?

21 CROSS EXAMINATION OF MR. MILWEE

22 BY MR. CHALOS:

23 Q Good morning, Mr. Milwee.

24 A Hello.

25 Q You are here under contract to the State, are

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

you not?

A That's correct.

Q How much is your contract for?

A The maximum value of my contract is \$25,000.00.

Q Have you billed the State?

A I have.

Q How much have you billed them so far?

A I'm not dead sure. It's under \$5,000.00.

Q Do you expect to bill them more?

A Yes, I do.

Q Do you expect to bill them up to \$25,000.00?

A I doubt it.

Q What do you think you are going to bill them before this is over?

A I don't know exactly. I've been in Anchorage for 10, 11 days now. Probably another \$9,000.00, \$10,000.00 at least.

Q Plus your expenses?

A Plus expenses.

Q Now, you're not a ship's master, are you?

A No, I'm not.

Q And you hold no licenses issued by the Coast Guard?

A No, I don't.

1 Q You don't have any engineering licenses issued
2 by the Coast Guard?
3 A No, I don't.
4 Q You've never commanded a merchant ship, I take
5 it?
6 A No, I have not.
7 Q Now, have you ever been on a ship that's going
8 along and all of a sudden it grounds?
9 A No, I have not.
10 Q You said that...
11 A Ah!
12 Q Yes, go ahead.
13 A That's not totally true. I have intentionally
14 grounded a ship, but that was part of a salvage
15 operation.
16 Q Why did you intentionally ground a ship?
17 A Because it was the safest thing to do with the
18 ship at the time. We wanted to ground it so that
19 we could secure the ship and salvage it.
20 Q In other words, in that case you wanted to
21 make the ship more secure?
22 A That's correct.
23 Q And you ran it forward, I take it?
24 A That's correct.
25 Q On to something?

1 A On to a sandbar.

2 Q Now, you said that you had been on ship where
3 you came on shortly after the grounding, is that
4 correct?

5 A No, that's not correct. I've been on ships
6 where I came aboard shortly after the grounding.
7 I've been on several in that situation.

8 Q How quickly after the grounding was the
9 quickest you've ever been on?

10 A Probably six or seven hours.

11 Q By then all the initial decisions by the
12 master had been done, had they not?

13 A Usually.

14 Q And no doubt by then the vessel was secure in
15 whatever fashion it was secured at that time?

16 A Usually they required additional action to
17 make them totally secure.

18 Q Now, with respect to the Exxon Valdez, you
19 didn't see the ship out at Bligh Reef, I take it?

20 A No, I didn't.

21 Q And you didn't see it at Naked Island, is that
22 right?

23 A No, I didn't.

24 Q Just a little bit about your experience. You
25 said you have been involved with tanker

1 groundings before. How many of those tanker
2 groundings involved a rock bottom?

3 A As I stated, the only one that I have been
4 involved that hit a rock was at Glacier Bay out
5 in Cook Inlet.

6 Q And by the time you got there the vessel had
7 already been refloating?

8 A The vessel was refloating.

9 Q How did they refloat the vessel in that case?
10 Did they back up?

11 A No, it was tide rise.

12 Q The tide took it up and refloated it?

13 A That's correct.

14 Q She was holed, wasn't she?

15 A Yes, she was.

16 Q And she didn't sink?

17 A She was holed in two tanks. She was not...

18 Q But she didn't sink?

19 A She didn't sink. There was no way she was
20 going to sink with the amount of damage that was
21 done.

22 Q Okay. Now, your work as a salvage master is
23 based on accidents, isn't it?

24 A Marine casualties, yes.

25 Q When an accident happens, the ship runs

1 aground, and you're called out?

2 A That's right. When there's a casualty I
3 respond to it.

4 Q Would you agree that groundings happen
5 frequently?

6 A It would depend on your definition of
7 "frequently" and the degree of the grounding.
8 There are major groundings and there are very
9 minor groundings.

10 Q They are part of the maritime life, are they
11 not?

12 A That's correct.

13 Q Now, that can happen no matter how prudent a
14 master is, right?

15 A It's like any other kind of accident. There
16 is usually a cause for it. There is always a
17 cause for it. And it's very rare that there is
18 not a grounding to -- a deviation from the norm
19 when there is a casualty.

20 Q But that's true, isn't it, of every accident.
21 There is some deviation from the norm that puts
22 you into an accident situation?

23 A Well, I'm not qualified to talk about every
24 accident.

25 Q Well, if you act normally you would expect not

1 to have an accident, wouldn't you? I mean, using
2 the word "normally" as you use it.

3 A I suppose so. It's a matter of semantics,
4 yeah.

5 Q You spoke about some of the writings that
6 you've done over the years. You wrote an article
7 that appeared in the U. S. Naval Institute
8 Proceedings for March of 1974?

9 A Yes, I did.

10 (560)

11 Q I would like to talk generally about salvage
12 operations, and specifically about your article.
13 Let me approach you if I may. We marked the
14 document as Exhibit AH for identification. Do
15 you recognize that as a copy of your article?

16 A Yes, I do.

17 Q And you wrote that article, did you not?

18 A Yes, I did.

19 Q have you read it recently?

20 A Yes, I have.

21 Q Do you agree with the precepts that you set
22 forth in it?

23 A Just about completely.

24 Q Well, in the first paragraph you write...

25 MR. COLE: Objection. What is the purpose of

1 reading it? Is it to refresh his recollection? Is it
2 to...

3 MR. CHALOS: Your Honor, I want to know if he
4 agrees with the specific opinions that he expressed in
5 this letter -- or, in this article. The title is "The
6 Ship Aground, the Do's and Don'ts."

7 MR. COLE: He can't just read it in, that's
8 improper...

9 THE COURT: It's hearsay, and the objection
10 will be sustained unless you can come up with something
11 -- some exception here. I'm finding a relevance
12 problem here, too, with you just reading something into
13 the record without letting us know what it is about.

14 MR. CHALOS: Well, I'll ask him questions
15 about it then.

16 Q (Mr. Milwee by Mr. Chalos:) Mr. Milwee, do
17 you agree that when a grounding occurs, any
18 grounding, that there is a lot of confusion?

19 A Usually.

20 Q And that would be moreso in a situation where
21 you have a tanker the size of the Exxon Valdez at
22 night at Bligh Reef. Would you expect a lot of
23 confusion at that point?

24 A I would expect no more confusion there than in
25 the case of any other grounding.

1 Q But you would expect to see some confusion, at
2 least in the first five, 10 minutes?
3 A Yes.
4 Q Now, do you agree with the proposition that
5 when a vessel grounds there is an instinctive
6 reaction to get off?
7 A Yes.
8 Q And would you also agree with the proposition
9 that the instinctive reaction is to back up?
10 A No. It depends on how the ship grounds.
11 Q Well, let's say it grounds going forward and
12 comes to a stop.
13 A Ships ground going forward in any number of
14 ways. They may pass -- they may ground going
15 directly into shore. They may ground on a reef
16 or a sandbar. It depends on how the ship
17 grounds. And, no, I would not agree the
18 instinctive reaction is to back up.
19 Q Let me ask you this, then. Would you agree
20 that the wrong thing to do in a grounding,
21 initially, is to back up.
22 A Again, it depends on the condition of the
23 grounding and how the ship grounded and what the
24 master knows about the grounding.
25 Q Well, in this article you say, "Perhaps the

1 most common error made by the commanding officer
2 of a stranded ship is to attempt extraction by
3 lightering the ship and backing full on high
4 tide." Now, what do you mean by "lightering"?

5 A Likening, not lightering.

6 Q I'm sorry.

7 A Making your ship lighter.

8 Q How do you do that?

9 A Remove weight from the ship.

10 Q Such as?

11 A Cargo.

12 Q Cargo, ballast.

13 A Ballast. Any kind of weight.

14 Q How about in the case of a tanker -- how about
15 closing down your IG system? And I'm talking now
16 about a tanker that's been holed.

17 A That would be an excellent move.

18 Q To make it lighter?

19 A No. It would be an excellent move to prevent
20 the loss of cargo.

21 Q And how about making the ship more buoyant?

22 A It would depend on the amount of oil in the
23 tanks and the amount of damage that was done.

24 Q But that's another method if you wanted to
25 make the ship lighter?

1 A Not necessarily in itself.

2 Q Okay. We're going to talk about that in a
3 while. Now, you had a chance of studying the
4 evidence in this case, right?

5 A That's correct.

6 Q And would you agree that at not time after the
7 grounding, did Captain Hazelwood put his engines
8 astern?

9 A That's correct.

10 Q So he didn't commit the error that you say is
11 most common in this situation? That is, trying
12 to back up.

13 A That's correct.

14 Q Now, would you agree that one of the tasks
15 that a master has is to try and put his vessel in
16 any stranding in as secure position as possible?

17 A That's correct.

18 Q And is it your feeling that when a vessel
19 grounds, under no circumstances should the
20 captain back the vessel up?

21 A No, absolutely not.

22 (770)

23 Q Well, in your article on page 120 did you say
24 this: "In general the following form the basis
25 for action in most strandings. Unless the

1 weather is dead calm and no possibility exists
2 that the ship could be driven further ashore..."

3 A Wait a minute, I'm not finding you.

4 Q Let me come over and help you.

5 A Okay.

6 Q Are yo with me. Starting with, "In general".
7 Shall I start again? "In general the following
8 form the basis for action in most strandings.
9 Unless the water is dead calm and no possibility
10 exists that the ship can be driven further
11 ashore, broach or pound, no tug should be made to
12 back off. All efforts should be devoted to
13 making the ship secure."

14 Do you remember writing that?

15 A If I were writing that today I might not be so
16 definite about it.

17 Q Oh, so you disagree with what you wrote back
18 in '74?

19 A I don't necessarily disagree with it, I would
20 give that some thought and see if, perhaps, I
21 learned something in the intervening 16 years.

22 Q Well, let me ask you this: is it your opinion
23 that the standard of practice, rather than
24 backing the ship up and trying to get it off the
25 reef in that fashion, would be to weigh the ship

1 down?

2 A Sound practice is to determine a condition of
3 the grounding before you do anything. That's the
4 most critical thing.

5 Q Well, we're going to talk about that as well,
6 I promise.

7 MR. COLE: I object to Mr. Chalos' commentary
8 in the questioning.

9 MR. CHALOS: I'll try and restrict it as best
10 as I can.

11 Q Mr. Milwee, did you say in this article, "By
12 far the standard practice is to weigh the ship
13 down by filling all tanks from the sea"?

14 A That's a good practice in general. I was not
15 specifically referring to tankers certainly.

16 Q Now, in this article you also mentioned the
17 term "tons aground"?

18 A Yes.

19 Q Can you explain for the jury what that means?

20 A That's the ground reaction that I was speaking
21 of when I drew the pictures up here on the thing.
22 It's another term for aground reaction.

23 Q Let me see if we could simplify it, because I
24 have a tough time understanding ground reaction.
25 Do you mean by "tons aground" or "ground

1 reaction" that that is the weight of the vessel
2 resting on a particular bottom?

3 A It's the weight of the vessel that is
4 supported by the bottom, yes. Or the portion of
5 the weight of the vessel that is supported by the
6 bottom.

7 Q Did you make any determinations in this case
8 as to how many tons this ship was aground?

9 A I did. Of course, the ground reaction to tons
10 aground varies with the tide.

11 (911)

12 Q All right. We'll get into some detail. Now,
13 I would like to refer your attention to some
14 excerpts of a book by a fellow by the name of
15 Graham Danton (ph), called the "Theory of
16 Practice of Seamanship".

17 And ask -- which we marked as Exhibit AI for
18 identification. And ask you, does this book come
19 out of your library?

20 A Yes, it does.

21 Q You refer to it from time to time?

22 A Yes, I do.

23 Q You use it as part of your reference work?

24 A Yes, I do.

25 Q Have you referred to this book in respect to

1 your testimony here?

2 A Certainly have. I believe I gave you this.

3 Q You did?

4 A Uh-huh (affirmative).

5 Q Now, in this particular book starting with

6 Chapter 8, entitled "Stranding and beaching".

7 Mr. Danton gives 13 things to be done after a

8 grounding, does he not?

9 A Yes, he does.

10 Q And nine of them are immediate action upon

11 stranding, and four of them are subsequent

12 action. Do you see that?

13 A Yes.

14 Q Now, do you agree with the proposition that

15 the first thing that one does after the vessel

16 runs aground is to stop the engine and put it

17 astern as the tide is falling?

18 A No, I don't. Not necessarily.

19 Q So Mr. Danton doesn't know what he's talking

20 about?

21 A I didn't say that. Mr. Danton has a different

22 opinion than I do about that.

23 Q Okay. Do you agree with a second opinion that

24 he expresses which is: "The master must be

25 called to the bridge and the engine-room

1 informed."

2 A Yes.

3 Q Now, you've read evidence in this case, did
4 you not?

5 A Yes.

6 Q You read the testimony of Mr. Cousins?

7 A Yes, I did.

8 Q How about Mr. Kunkel?

9 A Yes.

10 Q How about Mr. Kagan?

11 A Yes.

12 Q Basically, all the crew members?

13 A Yes, I think so. All the crew members.

14 Q Okay. Do you remember testimony to the effect
15 that one of the things -- the first thing that
16 was done by the captain was to call the engine-
17 room to ascertain their condition?

18 A It was one of the things that was done by the
19 captain, yes.

20 Q And you also remember testimony that the
21 engineers were told to sound the void spaces and
22 to sound the engine-room tanks?

23 A I don't remember the engineers were told that;
24 I remember the engineers did it.

25 Q Did you read Mr. Glowacki's testimony?

1 A Yes, I did.

2 Q And do you remember him saying that?

3 A I remember him saying that the tanks were
4 sounded, yes.

5 Q Do you consider those prudent actions?

6 A They were correct actions.

7 Q How about the taking of a fix to ascertain the
8 position of the vessel?

9 A That's a correct action.

10 Q Good action?

11 A Good action.

12 Q The third thing that Mr. Danton suggests is:
13 "Close watertight doors and make the signal for
14 emergency stations." Do you agree with that?

15 A That's correct.

16 Q The fourth thing is to swing out the boats.
17 He means life boats, doesn't he?

18 A Yes, he does.

19 Q Do you remember the testimony in this case
20 that Captain Hazelwood wanted the life boats
21 brought down to the embarkation deck?

22 A Yes, I do.

23 Q Good action?

24 A Good action.

25 Q Now, number five says: "Observe Rule 30 of the

1 Rules For Preventing Collisions and show the
2 appropriate lights and shapes." Do you agree
3 with that?

4 A Yes.

5 Q Have you heard from anyone, or has anyone told
6 you that after the grounding they lit up their
7 two red lights?

8 A I don't know whether that was done or not.

9 Q Sixth action: "Ascertain position of the
10 ship." That was done?

11 A (No audible response.)

12 Q The seventh action, he gives is distress
13 message to be sent to other ships in the area.
14 Do you agree with that?

15 A Oh, yes.

16 Q In this case the Coast Guard was called?

17 A Oh, yes.

18 Q The eighth action that he suggests is: "The
19 master must decide whether to call for tugs to
20 stand by." Do you agree with that?

21 A Yes.

22 Q Do you remember the testimony of Mr. Myers
23 having a conversation with the captain about
24 getting salvage tugs out there?

25 A Yes, I do.

1 Q Correct action?

2 A In this case it's almost a trivial action

3 because of the nature of the tugs in the Valdez

4 area.

5 Q Well, that's not Captain Hazelwood's fault.

6 A That's true.

7 Q Now, number nine he says: "If the vessel is

8 damaged oil pollution may be occurring. This

9 should be reported to the coast radio stations."

10 That was done in this case, right?

11 A That's correct.

12 Q Okay. Now, he doesn't specifically say it,

13 but would you agree -- I know there are important

14 action to do here, is to ascertain where your

15 damage is and how you've been damaged and how

16 much oil you lost?

17 A Absolutely.

18 Q You remember Mr. Kunkel's testimony, do you

19 not?

20 A Yes.

21 Q Captain told him to go below, ascertain where

22 we're losing oil, how much oil we're losing, the

23 rate that we're losing it...

24 A Yeah. I think he said go below...

25 Q ...and report back to me on stability?

1 A ...and see what you've got and check your
2 options. Same thing.

3 Q In effect, the same thing, right? Correct
4 action?

5 A Correct action.

6 Q Now, in this Mr. Danton goes on and says:
7 "Subsequent action." He says: "The owner's
8 charter should be informed."

9 A Well, let's look at what Mr. Danton really
10 says. He said: "What should be classed as
11 immediate and subsequent action is very much a
12 matter of personal opinion and choice.

13 Q Okay. And that's the master's discretion at
14 that point?

15 A Well, I think it's what the master should do
16 immediately, yes.

17 Q Just choice, based on what he sees at that
18 time, based on what information he has at that
19 time?

20 A Uh-huh (affirmative).

21 Q Now, he puts number 12 as the item -- what he
22 called the item -- "The ship should now be
23 examined for damage, never forgetting that the
24 force of impact may have caused hatches to spring
25 away." It doesn't apply here, but certainly the

1 checking for damages is an important part of it,
2 right?

3 A Yes.

4 Q And the last thing that he has on here is:
5 "Soundings should be carried out overside and a
6 general survey of the area, weather permitting,
7 will enable the master to assess the best
8 direction to try falling off." Do you agree with
9 that?

10 A I agree with that. I think it's -- location
11 in here is perhaps unfortunate, because I think
12 it's a very, very important thing to do.

13 Q Okay. Mr. Danton...

14 A Because without it you can't make any rational
15 decision about action to be taken.

16 Q In the list of 13 things to be done, some
17 immediate and some subsequent, Mr. Danton lists
18 the soundings as the 13th item out of 13.

19 A That's Mr. Danton's opinion.

20 Q But you don't agree with that?

21 A I do not agree with it.

22 Q Okay. Now, let's talk a little bit about
23 soundings. Would you tell the jury how soundings
24 are made?

25 A Well, generally in soundings on a casualty you

1 take a weighted line and drop it over the side to
2 measure the depth of the water. You do this at
3 very frequent intervals around the ship in order
4 to get a picture of the profile of how the ship
5 rests upon the ground.

6 In the case of rough weather or something that
7 interferes with being able to take soundings in a
8 normal manner, you take the soundings by
9 measuring not from the surface of the water to
10 the bottom, but from the deck edge to the bottom,
11 and then marking it on a profile of the ship. So
12 you are essentially marking this distance, rather
13 than measuring from the water surface to the
14 bottom.

15 Q Mr. Milwee, when you talk about a "weighted
16 line", can you tell the jury what you're saying?

17 A It's a piece of relatively light line with a
18 weight on the bottom of it, so it will go in the
19 water -- and it's essentially a plumb line, so it
20 will sink and hang straight down into the bottom.

21 Q And how does one measure the depth of the
22 water when you're sounding on that particular
23 line?

24 A Well, if you're taking soundings from the
25 surface, you measure the depth of the weighted

1 line.

2 Q All right. You know in this case, from
3 reading what you've read so far, that there was a
4 lot of oil in the water, right?

5 A Correct.

6 Q Okay. So we go over to the side with this
7 sounding that you say. We drop it over the side
8 the first time. The line gets coated with oil,
9 doesn't it?

10 A That's correct.

11 Q Okay. So the second time we take it and drop
12 it into the water, you can't tell what the depth
13 is?

14 A And that's exactly why you don't do it that
15 way. That's why you measure it from the deck
16 edge. And measure it...

17 Q The deck edge to what? To the water line?

18 A From the deck edge to the bottom. You measure
19 until you...

20 Q Bottom of what?

21 A The bottom of the sea. And then you measure
22 that depth and plot it on a profile of the ship.

23 Q Now, would you agree that with oil spewing out
24 all over the ship, you're not going to get an
25 accurate reading?

1 A No, you're not going to get a totally accurate
2 reading at any one spot, that's why you take a
3 lot of them.

4 Q And would you agree that one of the ways you
5 determine your draft when you're taking
6 soundings, or determine how you're aground, is to
7 know what your draft is, right? Are you with me
8 on that?

9 A No, I'm not. Go back over that one again.

10 Q Well, you start out with a proposition that
11 you know you're drawn 57 feet; your draft is 57
12 feet.

13 A You start out, you knew you were drawing 57
14 feet when you were afloat.

15 Q Okay. But now you're holed. Now you're
16 losing oil and you're gaining water. You don't
17 know what your draft is, do you?

18 A That's correct.

19 Q Okay. So what good are soundings if you don't
20 know what your draft is?

21 A Because you can then determine the profile of
22 the bottom.

23 Q But if you don't know how deep you are in the
24 water you can't tell...

25 A That's exactly what you're trying to

1 determine. That's exactly what you are
2 determining.

3 Q Let's look at it a different way. If you are
4 on a rocky pinnacle bottom, right, which Prince
5 William Sound is. You can take a draft right
6 here and it would read one thing. You go over
7 here two feet away and it reads completely
8 different.

9 A That's right.

10 Q It could be even 20 feet difference?

11 A That's right. And then I know I've got a
12 problem.

13 Q You go back there and it reads something else,
14 right.

15 A And I know I got a problem.

16 Q Well, you know you're on a pinnacled bottom.

17 A I know I'm on a tough bottom and it's -- I
18 have some idea how hard I was on there.

19 Q Captain Hazelwood knew, didn't he, that he was
20 on a rock pinnacled bottom?

21 MR. COLE: Objection. Lack of knowledge.

22 MR. CHALOS: I'll rephrase the question, Your
23 Honor.

24 Q Based on what Captain Hazelwood was told at
25 the time, and based on the testimony you read,

1 it's fair to say, isn't it, that Captain
2 Hazelwood knew he was aground?

3 A Oh, yes.

4 Q And he knew that he was aground in Prince
5 William Sound?

6 A Yes.

7 Q And he knew he was aground on a rocky bottom?

8 A Yes.

9 Q Let's talk about what Captain Hazelwood did
10 know. He knew he wasn't holed on the port side,
11 didn't he? He was told that by...

12 A He didn't have any loss of cargo on the port
13 side. He had reason to believe he wasn't holed.

14 Q And he knew that on the basis of what was
15 given to him that he had enough water at least
16 right below his propeller and his rudder?

17 A Say that again, please.

18 Q He knew that he had sufficient water around
19 his propeller and rudder at that point? Do you
20 remember that testimony?

21 A I don't believe he knew that. I don't believe
22 he could have known that.

23 Q He knew that he was holed on the starboard
24 side, did he not?

25 MR. COLE: I'm going to object. Objecting

1 what he knew, that that's impossible for this person to
2 say.

3 MR. CHALOS: Well, let me rephrase it.

4 THE COURT: That's in evidence, Mr. Cole. Mr.
5 Kunkel told him what was happening with those tanks,
6 and I think that's the inference, is that he knew there
7 was damage on the right side. So the objection is
8 overruled.

9 (1470)

10 Q He knew that he was holed in the center tanks
11 and he knew he was holed on the starboard side?

12 A That's correct. And that's all he knew.

13 Q All right. What more would soundings have
14 told him? He knew all that information already.

15 A He didn't know where he was aground. He
16 didn't know how hard he was aground. He didn't
17 know how he was sitting on the bottom.

18 Q Again, if he was sitting on a pinnacle rock,
19 and that rock was projecting into the ship, the
20 fact that he may have taken a sounding over the
21 side over here where the rock wasn't, would have
22 told him how far in the rock was, would it?

23 A Nothing would have told him how far in the
24 rock was, but he didn't make any attempt to
25 determine that, and it's very basic information.

1 Q You know, I agree with you, if we're talking
2 about a mud bottom...
3 MR. COLE: Judge...
4 Q ...or we're talking about a shoal bottom, but
5 you say the same principal would apply when you
6 have a pinnacled rock bottom?
7 A It would apply on any type of bottom. It's
8 basic information. You've got to try to
9 determine it.
10 Q In spite of all the problems that we've just
11 discussed, the oil and the fact that he didn't
12 know his draft, and he knew certain other
13 information, that's your opinion?
14 A He knew very little information. He did not
15 try to determine this information, and I think he
16 should have.
17 Q By the soundings?
18 A That's correct.
19 Q Just before we leave this subject. The
20 soundings would have told them how the rocks were
21 impaled in this ship, would they?
22 A No, they can't.
23 Q And the soundings wouldn't necessarily, on a
24 pinnacled bottom, tell them how many tons he had
25 aground?

1 A Give him a pretty good indication.

2 Q Assuming that the bottom is uniform at that

3 point?

4 A No, not necessarily.

5 Q Would the soundings tell how much oil he's

6 losing?

7 A No.

8 Q Would the soundings tell him anything other

9 than what you say may be the general area

10 assuming that he could get through the oil?

11 A It would tell him roughly how much of the ship

12 was resting on the bottom. And by a very simple

13 calculation, it would have given him an

14 approximation of how hard the ship was aground.

15 Q Now, just a few more questions about your

16 background. You say you testified on a number of

17 times.

18 A Yes, I have.

19 (1610)

20 Q How many times have you testified?

21 A Half a dozen. Maybe 10.

22 Q Have you testified in any situation involving

23 a tanker aground?

24 A No.

25 Q Did I understand you correctly that you looked

1 at the King's Point simulation of this vessel's
2 force?

3 A Yes, I did.

4 Q why did you look at that?

5 A General background.

6 Q Did you rely on it in any way in coming to
7 your conclusions?

8 A No.

9 Q You mentioned, also, that you spoke with Mr.
10 Lights about the grounding?

11 A Yes, I did.

12 Q And without getting into the substance, he
13 basically told you what he did?

14 A How the salvage operation went, yeah.

15 Q Do you have any problems with what he did to
16 get this vessel off?

17 A Not at all. Not at all.

18 Q Do you have an understanding as to how this
19 vessel was lightered and taken off the strain?

20 A Cargo was removed and pressure was put on the
21 tanks where necessary and so she was lightened.
22 It's pretty much the standard way to remove
23 tankers. You lighten them and move them. You
24 don't try to drag them on the bottom, and you
25 particularly don't try to drag them on rock

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

bottoms.

Q In this particular case she was refloated on high tide?

A That's correct. It happened to be high tide, yes. It was -- one would normally choose to do it on high tide because it simplifies some of the other problems.

Q Okay. Now, you this ship down in San Diego, did you not?

A Yes, I did.

Q And you saw the damage that she had at that time?

A Yes, I did.

Q You couldn't tell, could you, by looking at it in San Diego what damage was caused by the grounding, what damage may have been caused by subsequent tidal action, what damage may have been caused by the refloating attempts of the salvors, or what plates may have been cut away?

A Well, no, that's not totally correct. Damage caused by the grounding was obvious. Damage caused...

Q What damage was that?

A It was the scraping and the upset plate -- the torn plate throughout the length of the ship.

1 Damage by the tidal action was also very obvious
2 because it was vertically oriented damage in the
3 structure of the ship. Crushing of the hull
4 plate. Ruffling of structural members, well up
5 into the ship.

6 Damage in the salvage attempt, there should
7 have been none, the way the ship was refloating.
8 She brought -- just brought straight up. That's
9 why you do that with tankers. That's why you
10 lighten them and refloat them that way rather
11 than trying to drag them.

12 Any damage that was done in the initial
13 refloating attempt couldn't be apparent because
14 the platings where that damage would have
15 occurred, essentially the shell plating -- the
16 hull plating in the grounded area was gone.

17 Q Could you tell in San Diego by looking at the
18 bottom of the ship whether there had been any
19 damage done transversely as a result of using the
20 rudders?

21 A I didn't see any, but most of that damage I
22 would have expected to appear in the area that
23 was gone.

24 Q Would you agree that whatever damage this ship
25 suffered occurred in the striking of the reef,

1 other than the damage that occurred because of
2 the tidal action?

3 A All that I saw occurred from both the striking
4 of the reef and the tidal action.

5 Q I would like to talk a little bit about your
6 opinion that there were two hits. It hit
7 initially and then it hit again and stopped.

8 Have you done any plotting to figure out where
9 this ship was at any particular time?

10 A No, except for the soundings that were taken
11 during the salvage survey, there are no fine
12 grained soundings in that area that I know of.

13 Q The soundings which you're talking about now
14 were done during the salvage survey. Have you
15 looked at those?

16 A I've looked at them. I haven't studied them
17 in great detail, but...

18 Q Do you have a copy in your book?

19 A I'm not sure whether I do or not. I don't
20 think I do.

21 Q Well, from memory -- from memory, do you
22 remember that in those soundings there was plenty
23 of water after of the ship, according to the
24 soundings?

25 A Immediately after the ship, I believe there

1 was. I didn't spend a great deal of time on
2 those soundings, because I wasn't greatly
3 interested in the salvage operation, rather than
4 just as a matter of general professional
5 interest.

6 Q But you do recall plenty of water being aft of
7 the ship.

8 A Immediately aft of the ship.

9 Q Okay. Now, you say that you didn't plot the
10 ship's course or speed on any chart to determine
11 where she might have been at any particular time
12 just before the grounding?

13 A I plotted it, but I just did it as a rough
14 plot; as a matter of general background.

15 Q And I take it you spoke to Mr. Greiner about
16 his theory of two hits, and the hits had flashed
17 in about two minutes between them?

18 A Well, I don't think we talked about it lasting
19 two minutes between them. We talked about taking
20 a total of about two minutes where the vessel had
21 come to rest.

22 Q That would depend, I suppose, on what depth
23 the water was in that particular area, as to
24 whether it would take two minutes, or one minute,
25 or 30 seconds?

1 A It would depend on the distance, how hard the
2 vessel hit the reef. How much of the energy of
3 the vessel went into speed decay. How much went
4 to physical lifting of the vessel. How much of
5 it went into breaking of the rock. But just from
6 the distance that the vessel followed, it looked
7 like it was about two minutes.

8 Q But you didn't plot it to make sure that your
9 theory is correct?

10 A No way to. No way to. The information wasn't
11 available.

12 Q And you heard the crew's testimony, or you
13 read the crew's testimony about the type of
14 action they felt; the type of noises they felt?

15 A I heard it was bumping and grinding across the
16 bottom, yes.

17 Q About 15, 20 seconds, you remember if they
18 said that?

19 A Well -- no, I heard a few seconds, but I don't
20 think anybody was that specific.

21 Q Now, if several members of the crew said, "We
22 felt vibrations; we felt the vessel rocking, and
23 that lasted about 15 second and we came to a
24 stop." That would be inconsistent with your two
25 minute theory, wouldn't it?

1 A It would be inconsistent with the vessel
2 travelling the distance it would have had to
3 travel to damage the length that it did.

4 Q Well, that's because you assumed that the
5 vessel first hit at the bow and then travelled
6 the whole length?

7 A Vessels going forward normally first hit at
8 the bow when they have damage to the bow, yes.

9 Q And you didn't assume any other potential
10 scenario?

11 A No. And the damage I saw was consistent with
12 damage at the bow first.

13 Q Did you speak to Mr. Vorus about this theory
14 of two hits?

15 A I don't recall specifically discussing it with
16 him. We may have.

17 (2100)

18 Q Have you seen a letter written by Mr. Vorus
19 dated September 11, 1989 which we marked for
20 identification as AA?

21 THE COURT: I suppose it should be shown to
22 Mr. Cole.

23 MR. CHALOS: I'm going to show him what we
24 marked for identification.

25 THE COURT: Have you shown it to Mr. Cole yet?

1 MR. CHALOS: I think he knows the letter I'm
2 referring to.

3 (Pause)

4 Q (Mr. Milwee by Mr. Chalos:) Have you seen
5 this letter before?

6 A No, I haven't.

7 Q This is the first time you've seen this?

8 A Yes.

9 THE COURT: Which Exhibit are you referring
10 to?

11 MR. CHALOS: AA, Your Honor.

12 Q First time?

13 A First time.

14 Q Have you discussed with Mr. Vorus the
15 proposition that you -- the experts in this case
16 had to show conclusively that there were two hits
17 on this vessel in order to offer that as a
18 logical explanation as to why Captain Hazelwood
19 did not go astern in this case. Did you ever
20 discuss that?

21 A I definitely have not discussed that with Mr.
22 Vorus.

23 Q How about with Mr. Greiner?

24 A No. I haven't discussed that with anyone.

25 Q And this is the first time you're hearing that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

proposition?

A That's correct.

Q Okay. May I approach the witness, Your Honor.
Let's talk about tons aground.

Have you looked at any schematics of the vessel aground? Specifically I'll show you what I marked as AG, which is a blow-up of something that you had in your file?

A Yes, I've seen several of those schematics.

Q Okay. Do you recall seeing this one here?

A I've seen several. It was either that one or close cousins to it, but that's typical of the ones that I've seen.

Q You made certain calculations about how many times this vessel was aground?

A Yes, I did.

Q Did you base those calculations in part on this schematic?

A Not that particular one.

Q One close to it?

A One close to it.

Q How...

MR. COLE: Is this being offered for admittance? If it is, I object. I object to him using it.

1 MR. CHALOS: Your Honor, I just want to ask
2 him how he determined the tons aground on the face of a
3 schematic, either this one or one close to it. I had
4 this blown up from documents that came out of...

5 THE COURT: Did you use this document in
6 making that determination?

7 A No, sir, not this particular one. I used one
8 very similar to it.

9 THE COURT: You're not offering it as an
10 exhibit, just...

11 MR. CHALOS: No. Just as illustrative right
12 now.

13 THE COURT: I'll let you go ahead. It won't
14 be admitted.

15 Q (Mr. Milwee by Mr. Chalos:) Could you tell
16 the jury how you made the determination how many
17 tons were aground?

18 A In the one that I had there were drafts marked
19 on the particular drawing. There were drafts for
20 the starboard bow, port bow, starboard quarter
21 and port quarter. I used a method of averaging
22 those drafts, in which you take means several
23 times in order to determine a mean draft or
24 result a mean draft. And from that I multiplied
25 it by quantity known as the tons per inch

1 emersion, which is the amount of weight that must
2 be removed from a ship to raise it one inch, or
3 add it to a ship to increase the draft one inch.
4 And I determined the amount that the ship had
5 been raised during the grounding.

6 That gave me the ground reaction under a
7 particular condition of the tide, and the drawing
8 that I had had the time on it, as this one does.
9 And then I took that and ran a series of
10 calculations for all states of the tide that
11 existed during the period of the grounding.

12 Q Let's just stop there one second. At what
13 stage of the tide did you start your
14 calculations?

15 A Oh, I think it was -- in fact, that's the date
16 and time. It was -- soundings were taken between
17 3:30 in the afternoon and 5:00 on that date. I
18 took -- I said, "Well, let's take the mean of
19 that, and let's take the average time and call
20 the soundings at 1615." And high water that day
21 was about 4:09. I said, "Well, let's just assume
22 it's at top of the tide." And we calculated the
23 ground reaction for that, and then it's just a
24 matter of going up and down a straight line.

25 Q Okay. So it's fair to say that you started

1 with the tons aground where the tide came in at
2 its highest?

3 A It wasn't at the highest that it reached
4 during that period, and it certainly wasn't...

5 Q Well, at high tide.

6 A ...at the high -- but it was a high for that
7 particular day. The tide doesn't reach the same
8 height every day.

9 Q Okay. Now, is it fair to say that this vessel
10 was always aground no matter what stage the tide
11 was in? Whether it was high tide or low tide?
12 She was always resting on the bottom?

13 A Yes, it was.

14 Q Would you agree that this vessel was very hard
15 aground, on the basis of what you read?

16 A It was from hard aground to very hard aground.

17 Q Was she impaled?

18 A I don't know that. I suspect that there was
19 rock. If not actually impaling the vessel, there
20 was rock well up into the -- some of the
21 indentations in the upset.

22 Q Mr. Greiner testified that for this vessel to
23 hit the forward most, or the aftermost point on
24 which it was aground, it would have to move
25 forward about 350, 400 feet. Would you agree

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

with that assessment?

A It would have to move forward from its initial impact 300 or 400 feet. This is 300 or 400 feet after the stem.

Q Right. And to get to the engine-room space it would have to move another 300, 400 feet?

A That's more than that, I think. I think the ship's well over that.

Q Well, what do you think?

(2470)

A Well, the ship's 945 feet long. This is about 480 -- yeah, it's about 400, almost 500 feet.

Q So in order for the engine-room spaces to come up here and hit this portion of the rock, the vessel would have to move forward about 400, 500 feet?

A That's correct.

Q Now, let me take this down. You made some calculations as to the number of tons aground at any particular point. How many tons aground did you figure approximately two hours before high water?

A Two hours before high water, it would depend on the...

Q And the reason I'm using two hours before high

1 water, it would have been about the time that
2 this vessel grounded on that night, the 24th.
3 A I calculated a figure when she grounded of
4 something around 13,000 tons.
5 Q 13,000 tons. May I write this down. Okay.
6 That means that 13,000 tons of this vessel was
7 resting on the bottom?
8 A That's correct.
9 Q And that number, as the tide changed, would
10 get bigger, wouldn't it? As the tide dropped
11 it...
12 A As the tide dropped it would get bigger. As
13 the tide rose, it would get smaller.
14 Q Okay. Now, this 13,000 is based strictly on
15 this TPI that you used?
16 A That's correct.
17 Q You didn't take into account the fact that
18 there was oil mixed in with water and more -- as
19 the oil was coming out more water got in?
20 A No, I didn't.
21 Q Okay. And that would increase the...
22 A That would increase it.
23 Q Would you agree with me that at the time this
24 vessel was aground, and approximately number of
25 tons aground was about 20,000 tons, given the

1 amount of oil that was going out and the water
2 coming in?

3 A I wouldn't agree with you without making those
4 calculations, no.

5 Q Okay. Do you find the number 20,000 to be
6 wacky, for instance?

7 A I wouldn't use the term "wacky". I just
8 wouldn't want to put a number on it without doing
9 my own calculations. It would be greater than
10 13,000.

11 Q It would be greater. Okay. Could you explain
12 to the jury what the term "coefficient of
13 friction" means?

14 A I think so. I'm gonna come around there and
15 draw pictures with you. (Pause) If weight, no
16 matter what it would be, is resting on the
17 surface, and all that weight is packing down and
18 supported by the surface, you can move that
19 weight by pushing on it. We all know that. You
20 have to push on it with a certain amount of force
21 in order to move it along the surface. And the
22 resistance to that and the amount of force that
23 you use, depends upon the surface that it's on
24 and how much friction there is between the
25 surface and the object, and the friction that has

1 to be over overcome before that thing is gonna
2 move.

3 So as a formula that engineers use, it says
4 the force is equal to the coefficient of friction
5 times the weight. So the coefficient of friction
6 is a number that relates the weight to the amount
7 of force that it takes to move that weight.

8 Q Another way of saying "force" in respect to a
9 ship would be the thrust generated by its engine,
10 would it not? In other words, that's the way a
11 ship moves, is by the thrust generated by its
12 engine?

13 A Not always in salvage operations, no. But
14 that's one thing that moves a ship, yes.

15 Q Okay. Now, the coefficient of friction varies
16 depending on what type of bottom you're one?

17 A Yes, it does.

18 Q For sand it's something; for coral it's
19 something else; and for rocks it's a different
20 number?

21 A Yes it is.

22 Q What is the coefficient of friction for a rock
23 bottom?

24 A It varies. It's quite high for rock. It
25 varies from about .8 to 1.5.

1 Q And I think in one of your papers you always
2 use 1.5 in determining the force necessary to
3 move the vessel?
4 A My practice is to be very conservative in this
5 because I don't want to get caught short with
6 insufficient force to move it.
7 Q Okay.
8 A It's not necessarily an accurate determination
9 of the force that is going to be used. It's a
10 planning figure for operation planning, yes.
11 Q All right. This is 13,000 tons. Now, the
12 coefficient of friction of 1.5 assumes a fairly
13 smooth rock bottom and a vessel that's not hung
14 up in any way?
15 A It assumes a rock bottom.
16 Q And a vessel not hung up?
17 A Not impaled in any way. If it's impaled
18 you're not gonna move it.
19 Q All right. Well, that's my next question. If
20 you have an impalement, the coefficient of
21 friction just goes right off the graph?
22 A Well, it becomes irrelevant.
23 Q And infinitive.
24 A Well, it become irrelevant because that's --
25 you're working against the rock. If the rock is

1 up in the ship you've got to move the rock, it's
2 not simply slide over it.

3 Q Okay. That would be the same, also, if you
4 had plates hanging down, hung up on the rock.

5 A To a lesser extent, yeah.

6 Q Okay. Let's assume for the moment that this
7 ship, Exxon Valdez was not impaled. And let's
8 use your number, which you say is 13,000, but it
9 could have been more at the time of the
10 grounding. And use the coefficient of friction
11 of 1.5.

12 So the force that you needed to move this
13 vessel would have been, would you agree, 19,650
14 tons?

15 A That's about right.

16 Q So even under the minimum circumstances you
17 described -- and, by the way, this number got up,
18 I think you calculate, as high as 50,000.

19 A Yeah. But it also got down as low as 4,000 --
20 or, less than 4,000.

21 Q That was at the highest high tide?

22 A That was at the high tide that existed shortly
23 after the grounding.

24 Q Again, not using -- not taking into account
25 the fact that oil was mixed in with water, and

1 A There is absolutely no question that this ship
2 could not have moved using its engines alone.
3 Q No matter what Captain Hazelwood did?
4 A No matter what Captain Hazelwood did. But
5 Captain Hazelwood didn't take any -- make any
6 attempt to determine that.
7 Q That's not the question. The question is:
8 Captain Hazelwood could have thrown this thing in
9 full full ahead, which he did; use the full
10 31,000 horsepower that he had, and the best he
11 was going to generate was 200 tons of thrust,
12 right?
13 A That's correct.
14 Q And even under your scenario, which you are
15 saying could have been higher at that time, he
16 would have to overcome 19,650 tons of friction?
17 A He would have to overcome a figure that was
18 greater than the amount of thrust that he was
19 able to generate. Those figures are a little
20 indeterminate because the ship was rising. The
21 tide was rising. The thrust was going down.
22 That's the highest possible coefficient of
23 friction...
24 Q You're talking about an impossibility, aren't
25 you? It was impossible to move this ship with

1 the power that it had in the condition she was
2 hung up?

3 A That's correct.

4 Q And that would be -- strike that. (Pause)
5 Sir, you say Captain Hazelwood couldn't have
6 known how he was aground, because you say he
7 didn't take soundings. We covered that ground
8 already.

9 Captain Hazelwood, on the basis of testimony
10 you read, knew that he had been holed in 10
11 tanks. He knew that his ship was hung up
12 somewhat. He could tell that just by using the
13 rudder, couldn't he?

14 A That's right. According to his statement to
15 Commander McCall, he thought the was hung up
16 astern somewhere.

17 Q Okay. But he thought at that time that he was
18 hung up somewhat, am I correct?

19 A That's correct.

20 Q And it's safe to assume, if he were making
21 calculations, he would know that at least one,
22 possibly two tanks, were sitting on the bottom at
23 that point?

24 A That's correct.

25 Q And he did a real quick calculation and said,

1 "I've got number three tank, number four tank
2 aground. I know that my tons aground are going
3 to exceed by far any potential thrust of this
4 engine."

5 A You've lots me completely.

6 Q Captain Hazelwood would have known he was
7 aground somewhere, right?

8 A Yes.

9 Q And he would have known that there was a
10 certain tonnage aground, whether he knew it was
11 13,000, or 19,000, or 50,000...

12 A Or 50.

13 Q Fifty?

14 A He had no idea how hard aground he was.

15 Q When you say "50" you're assuming one pinnacle
16 and the ship rests on that pinnacle, right?

17 A Not necessarily, no.

18 Q Well, how would he know that it would be...

19 A He could be very lightly aground. He could be
20 just resting very lightly on the bottom.

21 Q Well, okay. Let's take your scenario. If
22 that's true, if that's what he's trying to
23 determine, wouldn't one of the things that he
24 would do would be to use his rudder to see how
25 the ship swung?

1 A On rock, absolutely not.

2 Q That's your...

3 A Attempting to move a ship aground on rock,
4 without knowing anything about the grounding
5 condition, is not the thing to do.

6 Q And that's your opinion?

7 A Particularly a tanker that you can do
8 additional damage to.

9 Q What if he knows that he's got 10 tanks holed
10 and he's concerned about coming off this little
11 pinnacle that he might be on. What does he do in
12 that situation? Does he keep his engines
13 running?

14 A It would depend on what indication he had of a
15 reason to be concerned about coming off of this,
16 as you phrase it "little pinnacle", but he wasn't
17 on a little pinnacle, and he did nothing to
18 determine what he was on.

19 Q Mr. Wilwee, you will agree, won't you, that
20 we're talking about a major casualty situation,
21 right?

22 A Absolutely.

23 Q And you will agree that ship's crews are not
24 trained, or geared up, or experienced in major
25 casualty situations. Do you agree with that?

1 (3220)

2 A I will agree that most ship's crews are not
3 experienced in major casualty situations.

4 Q And will you agree that in a situation like
5 that the captain has to make some quick
6 decisions?

7 A That's correct.

8 Q And the decisions that he has to make are
9 under the pressure of the moment -- the panic of
10 the moment, the situation that exists at that
11 moment? Do you agree?

12 A I certainly wouldn't phrase it like that. I
13 certainly wouldn't say the panic of the moment.
14 I would say they have to be made under a great
15 deal of stress.

16 Q Okay. Stress is a better word. Did you read
17 the testimony of Mr. Kunkel when he came up to
18 the bridge at 1:30 and he spoke with Captain
19 Hazelwood?

20 A Yes, I did.

21 Q And do you remember how Mr. Kunkel described
22 Captain Hazelwood at that moment?

23 A I believe he said he was calm and collected
24 and...

25 Q And in command, right?

1 A Your words. Or, Mr. Kunkel's, perhaps.

2 Q Mr. Kunkel's words.

3 Now, the other situation where Captain
4 Hazelwood, under the stress of the moment and the
5 heat of the moment, had to make certain
6 decisions. And one of the decisions he
7 absolutely had to make was to figure out how his
8 ship was hung up at that particular time, right?

9 Now, you criticize him 11 months later for
10 using his rudder. But isn't that one of the ways
11 -- a quick way to try to figure out if you are
12 hung up astern, forward, in the middle; if you're
13 hung up on a pinnacle?

14 A The massive damage that that ship had at that
15 time, using the rudder was not the way to figure
16 out anything.

17 Q Sir, with the massive damage that the ship had
18 at that time, what other damage could have been
19 done by turning the ship a little bit to find out
20 whether you're aground or not?

21 A You don't know what kind of other damage could
22 have been done. And it's a risk that's not worth
23 taking. You know you've got massive damage. The
24 best thing to do is hold her right where she is,
25 not go wiggling around on the rocks.

1 Q You're a salvage master, right?
2 A That's right.
3 Q It's your job to know these things and to do
4 those things and to speak about those things as
5 an expert?
6 A That's correct.
7 Q The captain is not a salvage master?
8 A That's correct.
9 Q The captain has to do what he has to do at
10 that particular time in trying to minimize the
11 situation, right?
12 A That's correct.
13 Q Okay. You had 11 months to think about, "Boy,
14 if I was Captain Hazelwood on that night, and I
15 came up to the bridge, and I saw oil all around,
16 the first thing I would have done is I would have
17 run down and thrown my line over the side to get
18 some soundings, right?
19 A I've had more than 11 months to think about
20 what should be done in the case of a stranding.
21 I've had about six weeks, I think, to look at any
22 information in this case.
23 Q Let's talk about the information that you
24 looked at in this case. Before we do that, you
25 don't know what training or experience Captain

1 Hazelwood had in groundings on rock bottoms with
2 this type of vessel?

3 A Say that again, please?

4 Q I say, you don't have any idea whether Captain
5 Hazelwood had any training at all as to handling
6 a situation of this type?

7 A No, I don't.

8 Q There's no school, is there, that takes
9 masters, such as Captain Hazelwood, and says to
10 them, "Look, we're going to give you 14
11 scenarios. And if scenario number 12 comes up,
12 that is, night, Prince William Sound, hard around
13 on Bligh Reef, you do one, two, three, four,
14 five, six, eight, 12, 13 things." No school like
15 that, is there?

16 A There are no schools like that. There are
17 certainly publications for tanker masters about
18 what to do on groundings.

19 Q Well, talking about that, I read your two
20 publications. One was yours and one was Mr.
21 Danton's, right?

22 A That's two of four publications that I know of
23 in the English language.

24 Q All right. And let me give you a third one
25 called "Ship Board Damage Control" by Mr.

1 Bissell, Oro and Livingston (ph). Do you know
2 this book?

3 A I've seen it. It's not one I have in my
4 library.

5 Q They say on page 55...

6 MR. COLE: Judge, could I see this before he
7 reads it into the record?

8 (Pause)

9 (3621)

10 THE COURT: One of you folks needs to take a
11 break?

12 JUROR: Yes.

13 THE COURT: We're going to be finished in
14 about 10 minutes. Can you wait 10 minutes?

15 JUROR: (Indiscernible - away from mike.)

16 THE COURT: We can take one now if you need to
17 and we'll come back in about five or 10 minutes, and we
18 will be back about five or 10 minutes when we come
19 back.

20 We're going to recess at 1:30.

21 JUROR: (Indiscernible - away from mike.)

22 THE COURT: Can you wait for another 10
23 minutes?

24 JUROR: Yes.

25 Q (Mr. Milwee by Mr. Chalos:) Showing you page

1 65, Misterns Bissell, Oro and Livingston say: "It
2 is evidence that prompt action must be taken
3 by..."

4 MR. COLE: What are we doing here? Are we
5 reading this for the record?

6 MR. CHALOS: I'm going to read it...

7 THE COURT: Well, Mr. Cole, if you had an
8 objection...

9 MR. COLE: I object to his reading this into
10 the record without a proper foundation.

11 THE COURT: Okay. I hear hearsay hidden
12 somewhere behind that, but you could make my job a
13 little easier if you will just make it clear to me what
14 your objection is, Mr. Cole.

15 This hasn't been given the learned treatise
16 foundation by this witness if you trying to read it in
17 the record for that purpose.

18 MR. CHALOS: Judge, I'm only going to read
19 this sentence and ask him, in his opinion, if that is
20 correct or not.

21 THE COURT: Well, that's what learned
22 treatises are for and you have to lay a foundation for
23 them, Mr. Chalos. And he hasn't given you the
24 foundation, so the objection is sustained.

25 Q (Mr. Milwee by Mr. Chalos:) Mr. Milwee, you

1 say you know of this book?

2 A I know of that book.

3 Q And you read it?

4 A No, I haven't read it. I've looked -- thumbed
5 through it, looked at excerpts from it, and I
6 haven't read the book.

7 Q Do you know whether or not this book is used
8 in any Naval architecture school, such as Webb?

9 A I don't know that, no.

10 Q Have you seen this in the library of Webb
11 Institute?

12 A I think that book was published long after I
13 was last in the library of Webb Institute.

14 Q Where did you see this book?

15 A Oh, heavens, I don't know. Probably in the
16 Naval Institute book store, or the publisher's
17 book store, or in some marine shop somewhere.

18 Q Have you had occasion to visit other salvage
19 master's offices?

20 A Yes, I have.

21 Q Have you seen this book in their libraries?

22 A I don't specifically recall seeing it in their
23 libraries.

24 Q Let me -- if an opinion is expressed in this
25 book that the commanding officer should...

1 THE COURT: Mr. Chalos, you are trying to do
2 indirectly what you can't do directly. This witness
3 has not testified that this is a reliable source which
4 he relies upon, and you've got no foundation for it.

5 Q Mr. Milwee, do you consider this a learned
6 treatise for the salvage business?

7 A Well, I don't use it. I have never used it.
8 And I have deliberately not bought it on a couple
9 of occasions.

10 Q Do you know Mr. Bissell?

11 A No, I don't. I don't know any of the authors.

12 Q You don't. Is there a particular reason why
13 you wouldn't want to use this book?

14 A No, I just haven't chosen to use it.

15 Q Well, let's get back to the two treatises that
16 we did read, yours and Mr. Danton's, that you do
17 rely on. There seems to be a discrepancy between
18 what you would do and what Mr. Danton suggests,
19 right.

20 Mr. Danton says you should back up. You say,
21 no, never back up.

22 A I didn't say "never back up". I said I don't
23 agree with him that that's always the first thing
24 that you do.

25 Q Okay. You said there were publications

1 available to ship's masters. So if a ship master
2 was reading your paper and Mr. Danton's paper,
3 what does he do? You're saying one thing; he's
4 saying another.

5 A Well, I think he would evaluate what they said
6 and weighed it accordingly. I think he might,
7 also, if he were very interested in the subject
8 -- might go out and find the other documents that
9 apply. And I think if he were a tanker master,
10 he would go out and find the one that was
11 specifically directed at tanker masters.

12 Q Is there such a publication?

13 A Yes, sir, there is.

14 Q You didn't bring it here today?

15 A Yes, I did.

16 Q Do you have it with you?

17 A I have it with me.

18 Q Well, you didn't make that available to us.

19 A I certainly did.

20 Q You did. What's the name of it?

21 A It's "Peril At Sea and Salvage, A Guide For
22 Masters". It's published by the Oil Company
23 International Marine Forum and the International
24 Chamber of Shipping.

25 Q What is the name of it?

1 A "Peril At Sea and Salvage, A Guide For
2 Masters". It should be in that stack.
3 Q Well, maybe you could just point me to it.
4 Because I read all the publications.
5 A I didn't give you the whole thing. There's
6 just excerpts of it there.
7 Q Could you point out to me where that is?
8 A Well, this is a portion of it. This is the
9 proceedings from where it was introduced.
10 Q But you didn't give us any of the material...
11 A Wait a minute. Wait a minute.
12 Q ...all you gave us was the Forward on that.
13 A I haven't finished going through the material.
14 Yes, here it is. It looks like the whole thing
15 to me.
16 Q Let me...
17 A No, it's not the whole thing. It's the Table
18 of Contents and some pertinent portions.
19 Q Okay. (Pause) Now, I take it you've read
20 this article; the one that you just referred me
21 to?
22 A Yes, I have.
23 Q And would you agree that the premise of the
24 article is that every situation differs? Every
25 grounding situation differs?

1 A Anyone who has ever been anywhere around a
2 casualty situation knows that every grounding
3 situation differs, and that's why it's very
4 important to get all the information you can.

5 Q Right. And the situation, as it exists, is
6 best known by the people who were there? They
7 are the ones that are in the best position to
8 evaluate what the situation is?

9 A If they take the action to determine what the
10 situation is.

11 Q And in that article there is a suggestion, is
12 there not, that -- depending on the master's
13 discretion he can try and refloat the vessel if
14 he desires?

15 A After full information of the damage has been
16 obtained, and only after the full damages, it
17 would be possible to make a good attempt.

18 Q Now, just so we understand each other, the
19 thing that you say that Captain Hazelwood did not
20 do to complete this knowledge of everything
21 that's gone on, was take soundings, right?

22 A That's a primary thing that he didn't do. He
23 did not attempt to gain information that was
24 available to him that he could have gained.

25 Q And, again, assuming that the soundings would

1 have told him anything given the condition around
2 the ship at the time; the oil, and so on and so
3 forth. But he did do everything else that was
4 required in order to ascertain his condition, did
5 he not?

6 (Tape: C-3650)

7 (000)

8 A No he didn't. He did the one you skipped very
9 quickly over and...

10 Q Which one was that?

11 A Mr. Dattan's book, sounding the emergency
12 stations and getting the crew up and counting 'em
13 available for use and...

14 Q Okay. What you're saying is he should have
15 rang the general alarm, right?

16 A That's correct.

17 Q Do you remember Mr. Kunkel's testimony?

18 A Yes. I do.

19 Q Mr. Kunkel came up and said, "Captain," he
20 said he was in high anxiety, maybe even in a
21 panic situation.

22 He said, "Captain, let's ring the general
23 alarm. Let's go over here and pull this lever
24 and ring -- get everybody up."

25 And the captain said, "Calm down. I don't

1 want to get anybody excited or panicked here.
2 I've sent the third mate down to -- to wake
3 everybody up."

4 Do you consider that to be imprudent?

5 A I consider it not to be prudent to sound the
6 general alarm, come on immediately on the PA
7 system and address the situation and get the
8 people up with their survival suits and in a
9 central location.

10 Q You do recall Mr. Cousins saying that he was
11 sent around to get everybody up?

12 A That's correct.

13 Q And that was a conscious decision that the
14 captain made at that particular time?

15 A Yes.

16 Q And you're criticizing him for not -- him now,
17 11 months later?

18 A I would criticize him 11 months later, 11
19 minutes later, or 11 seconds later.

20 Q But you've never been aground. You haven't
21 been the master of a ship aground?

22 A No, but I've been in some pretty difficult
23 situations with salvage crews.

24 Q Uh-huh (affirmative). And in those situations
25 did you run over and pull the general alarm?

1 A I sure made sure I knew where my people were
2 and that they were prepared for the emergency.
3 And I have gotten crews up and assembled and
4 working in the middle of the night...
5 Q You think...
6 A ...when there was...
7 Q You think Captain...
8 A ...emergency.
9 Q ...Hazelwood had the luxury of making sure
10 every right command that he issued was carried
11 out at that particular time?
12 A I think that was his job.
13 Q You think he had the luxury of time in order
14 to bring Mr. Cousins back and say, "Mr. Cousins,
15 I told you to go down and get everybody up. Did
16 you make sure you got everybody up?"
17 A I think that was his job.
18 Q Do you have any evidence that he didn't do
19 that?
20 A We have a -- we have evidence that he didn't
21 get people up and assembled and...
22 Q No, you have...
23 A ...with their survival suits.
24 Q ...evidence that Mr. Cousins might not have
25 gotten them up. You don't have evidence that

1 Captain Hazelwood didn't get them up.

2 A We have evidence that Captain Hazelwood did
3 not sound the general alarm and give what I
4 consider proper instructions in an emergency
5 situation.

6 Q But you do have evidence that Captain
7 Hazelwood was aware of -- of ringing the general
8 alarm and made a conscious decision at that time
9 not to panic the crew but to get them up
10 individually?

11 A I can't imagine a crew that sounding the
12 general alarm is going to panic. If they are,
13 they're not much of a crew.

14 Q Well, in one of the treatises that I read that
15 you referred me to they -- do you recall reading
16 something about not panicking the crew? Not
17 putting -- not telling the crew members to get
18 into the boats, 'cause there's a tendency to
19 lower the boats and get into the water before
20 they have to? Do you remember reading that?

21 A Not telling them to get -- I wouldn't tell
22 them to get into the boats, no. But I don't
23 think it's -- sounding the general alarm is a
24 cause for panic.

25 Q Well, Mr. Milwee, did a single crew member on

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

this ship get hurt?

A No.

MR. COLE: Objection to relevance.

Q (Mr. Milwee by Mr. Chalos:) Did anybody whose testimony you read here say I was frightened for my life and Captain Hazelwood wasn't doing what he was supposed to be doing?

A No.

Q Do you remember reading any such testimony?

A I've read -- read some testimony that said I was frightened for my life.

Q That was Mr. Kunkel, wasn't it?

A That was Mr. Kunkel.

Q Right. He came up and he said, "Captain, let's ring the general alarm, let's put on our survival suits, let's do this, let's do that."

And do you remember what Mr. Kunkel said?

A Mr. Kunkel said later that he was a measure of his inexperience. I think it was a measure of his good judgment.

Q Do you remember what Mr. Kunkel said after he spoke with the Captain?

A Which time?

Q He said, "After talking to the captain and taking his instructions my anxiety dissipated. I

1 was completely at ease and I went about my
2 business. I went and did what the captain told
3 me to do."

4 A I...

5 Q You remember that?

6 A ...don't remember it being quite that extreme
7 with all this completely at ease business.

8 If he was completely at ease on his --
9 stranded on a grounded tanker that was leaking
10 cargo he was not rational.

11 Q Well, at ease enough to go about doing his
12 job. Do you remember him saying that?

13 A Uh-huh (affirmative).

14 THE COURT: Mr. Chalos, we're not gonna finish
15 this witness between now and...

16 MR. CHALOS: No. I...

17 THE COURT: ...half past and at least one of
18 the jurors want to take a break and I want to take one
19 now, too. So, let's recess for the day, ladies and
20 gentlemen and I'll see you back tomorrow morning at
21 8:15.

22 Remember my former instructions and continuing
23 admonishment regarding media information as well as my
24 admonishment not to discuss this case in any form or
25 fashion with anybody and not to form or express any

1 opinions. See you back at 8:15 and be safe, please.

2 THE COURT: We'll stay here for a minute.

3 You can step down.

4 (Pause)

5
6 (108)

7 How many more witnesses after this one?

8 MR. COLE: Three.

9 THE COURT: I thought you added a couple to
10 your...

11 MR. COLE: No.

12 THE COURT: Subtracted a couple now? Okay.
13 So the current number is three.

14 MR. COLE: I think we have a good chance of
15 -- well, I'm not gonna say it, but I was hoping to say
16 tomorrow.

17 THE COURT: Maybe tomorrow? Okay.

18 MR. MADSON: It's never gonna happen tomorrow,
19 Your Honor. Never.

20 We're right now figuring even if -- well, we
21 were figuring before that they probably would finish on
22 Tuesday. We are ready for Wednesday. Now that we're
23 geared up for Wednesday -- well, I don't know if we can
24 move it up or not. We can try.

25 THE COURT: I'd get geared up for Monday just

1 in case.

2 If we get finished tomorrow -- we have any
3 time tomorrow, we can take up other matters and we can
4 take up some matters Wednesday morning if we have to,
5 but...

6 MR. MADSON: Well, Your Honor, just for
7 tomorrow -- just from my understanding they have three
8 more experts to go, is that correct?

9 MR. COLE: Well, one of them is a trooper.
10 Two of them.

11 MR. MADSON: Stogsdill and Propst and somebody
12 else?

13 MR. COLE: Vorus actually it's Prouty.

14 MR. MADSON: Prouty and then Vorus, right?
15 And then, Stogsdill and who?

16 MR. COLE: That's it.

17 MR. MADSON: Oh. Okay.

18 THE COURT: Well, do this much, how 'bout if
19 we do this, if he's finished tomorrow we can use Monday
20 to take care of some motions. You can gear up. We
21 won't call the jury in.

22 If he doesn't finish tomorrow, then we're
23 gonna have to have the jury come in on Monday and I'll
24 consider releasing them early and then we take those
25 matters on Monday after the State completes. Is that

1 fair enough?

2 MR. MADSON: We'll make every effort to be
3 ready for Tuesday, then, certainly.

4 THE COURT: Please rise. Court stands in
5 recess.

6 (283)

7 (Off record - 1:26 p.m.)

8 ***CONTINUED***