

SPEC
COLL
GC
1552
.P75
H39
1990
v.32

IN THE TRIAL COURTS FOR THE STATE OF ALASKA
THIRD JUDICIAL DISTRICT
AT ANCHORAGE

STATE OF ALASKA,

Plaintiff,

VS

JOSEPH HAZELWOOD,

Defendant.

No. 3AN 89-7217; 3AN 89-7218

TRIAL BY JURY
MARCH 5, 1990
PAGES 5923 THROUGH 6087

VOLUME 32

Original

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

BEFORE THE HONORABLE KARL JOHNSTONE
Superior Court Judge

Anchorage, Alaska
March 5, 1990
8:56 a.m.

APPEARANCES:

For Plaintiff:

DISTRICT ATTORNEY'S OFFICE
BRENT COLE, ESQ.
MARY ANNE HENRY, ESQ.
1031 West 4th Avenue, Suite 520
Anchorage, AK 99501

For Defendant:

CHALOS ENGLISH & BROWN
MICHAEL CHALOS, ESQ.
THOMAS RUSSO, ESQ.
300 East 42nd Street, Third Floor
New York City, New York 10017

DICK L. MADSON, ESQ.
712 8th Avenue
Fairbanks, AK 99701

3 3755 000 23376 7

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

TABLE OF CONTENTS

WITNESS INDEX

DIRECT CROSS REDIRECT RECROSS VOIR DIRE

FOR PLAINTIFF:

MILWEE, WILLIAM (CONTINUED)

Mr. Chalos	5928		5966/5979
Mr. Cole		5951/5978	

VORUS, WILLIAM

Mr. Cole	5981		
Mr. Chalos		6073	

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

EXHIBIT INDEX

<u>EXHIBIT</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
AK	Sounding chart around vessel	5930
159	Diagram of shell of hull	6020

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

1 PROCEEDINGS

2 MARCH 5, 1989

3 (Tape: C-3650)

4 (592)

5 (Jury not present)

6 THE CLERK: ...the honorable Karl S. Johnstone
7 presiding is now in session.

8 THE COURT: You may be seated.

9 You folks ready for the jury now.

10 MR. MADSON: Yes.

11 MR. COLE: Yes.

12 THE COURT: Mr. Cole, I can't control
13 volcanoes. I can't control influenza, but there's no
14 excuse for you to forget things, so please don't let
15 that happen again. It's -- you've tied up a half an
16 hour of valuable time.

17 Let's get the jury.

18 (Pause)

19 (Jury present)

20 (675)

21 THE COURT: Good morning, ladies and
22 gentlemen, and thank you for being on time. I'm sorry
23 we're getting a late start. We'll try not to let that
24 occur again.

25 We'll resume with the testimony, and sir,

1 you're still under oath.

2 A Yes, sir.

3 WILLIAM MILWEE

4 recalled as a witness in behalf of the plaintiff,
5 having previously been sworn upon oath, testified as
6 follows:

7 CROSS EXAMINATION OF MR. MILWEE, CONTINUED

8 BY MR. CHALOS:

9 Q Good morning, Mr. Milwee.

10 A Good morning, Mr. Chalos.

11 Q You recall when we left off on Thursday we
12 spoke a little bit about soundings that were
13 taken around the vessel sometime either the next
14 day or the day after that. Do you remember that?

15 A Yes.

16 Q And I asked you about the depth of water
17 behind the vessel and you said immediately behind
18 the vessel there was sufficient water. You
19 recall that?

20 A Yes.

21 Q Now let me show you what's been marked into
22 evidence as exhibit -- or introduced into
23 evidence as Exhibit 95. And I'll show you what I
24 have marked for identification as Exhibit AK,
25 which is Exhibit 95 in its normal size...

1 A Uh-huh (affirmative).

2 Q Exhibit 95 appears to have been shrunk a
3 little bit.

4 Now, taking a look at what I've marked as
5 Exhibit AK and Exhibit 95 can you tell how far
6 back these soundings were taken that indicate
7 there was at least anywhere between 70 foot of
8 water and 120 foot of water?

9 A Appear to be about 150 feet. Wait a minute.
10 that's forward.

11 Q Yeah. Upside down.

12 A Well, just looking at the bow at the right
13 that's the -- that's the only thing. There's a
14 line indicates -- this first line of soundings is
15 about 180 feet out. This one's about 150 feet
16 out.

17 Q Okay. And you don't -- it doesn't appear to
18 have any further soundings to -- to this...

19 A None further...

20 Q ...past that?

21 A ...than that.

22 Q Okay. But at least from what you can see
23 here, to 180 feet out he's got anywhere between
24 70 foot of water and -- and a 112...

25 A That's right.

1 Q Okay.

2 MR. CHALOS: Your Honor, at this time I would
3 like to offer Exhibit AK, which is 95, but in a -- a
4 bigger form into evidence.

5 95's been shrunk down. It's difficult to
6 read.

7 MR. COLE: No objection.

8 EXHIBIT AK ADMITTED

9 THE COURT: Admitted.

10 Q (Mr. Milwee by Mr. Chalos:) Mr. Milwee, have
11 you looked at any charts to find out -- any
12 detailed charts of soundings to find out where
13 this vessel was at the time of the grounding and
14 how much water she had behind her?

15 A No. No fine grades charts, no.

16 Q Now, you said on Thursday that one of the
17 things that you believe Captain Hazelwood did
18 wrong was not to take soundings after the
19 grounding?

20 A That's correct.

21 Q Where do you get that information from, sir?

22 A I'm sorry? I don't understand what you're...

23 Q Well, you've read the testimony in this case,
24 have you not?

25 A That's correct.

1 Q You've read Mr. Cousins, you've read Mr.
2 Kunkel. I take it you read some of the other
3 crew members?
4 A That's correct.
5 Q Do you recall seeing anywhere where any crew
6 member said that no soundings were taken after
7 the grounding?
8 A I don't recall anywhere where soundings were
9 taken...
10 Q So, you're...
11 A ...and there's...
12 Q ...speculating...
13 A ...no record of soundings...
14 Q ...that no soundings were taken, right?
15 A ...soundings being taken.
16 Q You're speculating?
17 A Yes.
18 Q Now, you also said on Thursday that you
19 believe that the Captain was trying to get this
20 vessel off the reef by going ahead?
21 A Yes.
22 Q Is that right?
23 In your career as a salvage master and your
24 navy career where you were involved with
25 groundings, have you ever gotten a vessel off a

1 reef by going ahead?

2 A Yes. I have.

3 Q Straight ahead?

4 A No. The particular vessel, we took it all
5 forward, but we took it off...

6 Q On a high tide?

7 A Of course on a high tide.

8 Q After you -- you took some cargo off?

9 A After we took a lot of cargo off.

10 Q And after you -- you -- you pumped water out
11 of the vessel?

12 A No. We didn't have any -- that wasn't
13 necessary.

14 Q But in any event you lightened the vessel
15 before you went forward?

16 A Yes.

17 Q And in your career as a salvage master did you
18 ever get a vessel off aground by going astern?

19 A Oh, yes.

20 Q And that's the prescribed method for getting
21 it off, isn't it?

22 A It -- there is no prescribed method for
23 getting a -- a ship off. It's a -- dependent on
24 the particular conditions of the stranding.

25 Q Yeah. In -- in your career have you ever kept

1 a vessel on the strand, on the ground by going
2 ahead until more favorable conditions came about?
3 A I have not, personally, no.
4 Q But you've seen it done?
5 A Yes.
6 Q Now you said also on Thursday in response to
7 Mr. Cole that the captain used in your opinion
8 too much force after the grounding, which you
9 faulted him for?
10 A I don't think I phrased it quite like that. I
11 said he -- it was indicative that he was trying
12 to get the vessel off because he did use a lot of
13 force.
14 Q All right. Let's talk about a lot of force.
15 Have you done any analysis of the power curves
16 of this engine?
17 A No. I haven't. I've just done some very
18 rough calculations on that.
19 Q Do you know what full power -- what kind of
20 horsepower this engine had at full power?
21 A Yes. 31,600.
22 Q Do you know what kind of horsepower this
23 engine had at 55 rpms?
24 A No, not specifically.
25 Q Well, if I told you that it had 8800

1 horsepower at 55 rpm, is -- does that in any way
2 comport with your knowledge of slow speed diesel
3 engines?

4 A That seems reasonable, but I haven't seen the
5 curves for this particular engine.

6 Q Well, if -- I want you to assume for the
7 moment that 8800 horsepower -- 9,000 horsepower
8 at the most was all that Captain Hazelwood used.

9 If your scenario and your hypothesis is
10 correct, would you think that at some point he'd
11 press the button and let this engine go full
12 ahead if he was trying to get it off that way?

13 A Not necessarily.

14 Q You don't think he would do that?

15 A Not necessarily.

16 Q Now, you know that the captain, according to
17 what you testified ran his engine ahead for about
18 an hour at various speeds, the highest being full
19 maneuvering speed, or 55 rpms, do you recall
20 that?

21 A That's correct.

22 Q You would -- wouldn't you agree that in doing
23 so for an hour he realized that he wasn't moving
24 at all forward?

25 A Could you ask that again please?

1 Q Yes.

2 You know that the captain used this engine for

3 about an hour in the forward direction?

4 A Yes.

5 Q All right. And you also know that -- that the

6 vessel didn't move at all on the basis of what --

7 what we know today?

8 A That's correct.

9 Q And the captain -- we can assume, can we not,

10 that the captain also knew that at that time? He

11 could see that his vessel wasn't moving?

12 A Yes and it's not at all unusual not to move

13 for a period of time like that.

14 Q Okay. If, in fact, the captain was trying to

15 get the engine off, isn't it logical -- I mean,

16 the vessel off, isn't it logical that at some

17 point he's either gonna use full power or he's

18 gonna try and back up? Wouldn't you agree?

19 A No. I wouldn't. Not necessarily at all.

20 Q Because it doesn't fit your theory?

21 A No. It doesn't -- it's just not necessarily

22 what would happen.

23 Q Sir, in those situations where you're trying

24 to get a vessel off the strand, when you went

25 forward and nothing happened did you back up?

1 A Not necessarily. It depends on the conditions
2 of the stranding.

3 Q But you have done that, haven't you? You went
4 a little forward, didn't go anywhere, you backed
5 up a little bit trying to get it off?

6 A No.

7 Q Never in your career?

8 A No.

9 Q Even though you've written about that?

10 A That's true. It's...

11 Q Okay.

12 (Pause)

13 You said that if the captain was, in fact,
14 trying to get the vessel off -- trying to keep
15 the vessel on the reef, in your opinion he should
16 have kept the engines running up until high tide
17 and a little bit beyond it?

18 A Absolutely.

19 Q Did you do any calculations to find out what
20 the difference of tide was between the moment he
21 shut down his engine at 1:40 and 2 o'clock when
22 high tide came in?

23 A Yes. I did.

24 Q What -- what was the difference?

25 A It was trivial.

1 Q It was an inch, wasn't it?

2 A I would have to look it up. I don't -- it was
3 -- but it was a very small distance.

4 Q So, the fact that he shut his engine down at
5 1:40 when the tide had maybe another inch to go
6 wouldn't have made any difference at all, would
7 it?

8 A If it was necessary for him to keep the
9 engines running to keep on the beach during the
10 rise of the tide for the previous hour, it would
11 also be necessary for him to run the engines to
12 keep on the beach during the fall of the tide and
13 during the stand of the tide at high water.

14 Q Mr. Milwee, you said you read Mr. Kunkel's
15 testimony?

16 A Yes. I did.

17 Q Do you recall Mr. Kunkel saying that about
18 1:15, 1:21, 1:30 the vessel took a list to
19 starboard, and then, settled down on the reef?

20 A I remember him saying it settled. I don't
21 remember what time it was.

22 Q Well, it was about 1:30.
23 Do you remember that testimony?

24 A I remember him saying it settled.

25 Q Okay. And do you remember him saying to the

1 captain, we're not going anyplace and the captain
2 says, that's right, we're not going any place?

3 (1086)

4 MR. COLE: Objection, Your Honor.

5 Q You remember that testimony?

6 MR. COLE: I object to the form of the
7 question. I don't believe that that is what his
8 testimony was.

9 THE COURT: Objection...

10 MR. CHALOS: I'll withdraw the question.

11 Q (Mr. Milwee by Mr. Chalos:) You remember Mr.
12 Kunkel saying that the captain ordered him at
13 that point to be ready to ballast down sometime
14 between 1:00 and 1:30?

15 A I remember him saying that he was told to look
16 at his options and at the ability to ballast
17 down.

18 Q That's right. That's correct. That's the
19 testimony.

20 Now, is that consistent with somebody trying
21 to get off the reef if he's try -- is looking at
22 -- at an option to ballast down at that point?

23 A It's consistent with somebody looking at all
24 their options.

25 Q So, you'll agree that the captain at that

1 point is looking at all of his options?
2 A He -- well, he was looking at his options,
3 yes.
4 Q Now, you were asked to write a report by the
5 State of Alaska?
6 A Yes. I was.
7 Q Specifically by the DA's Office?
8 A Yes. I was.
9 Q And you did write such a report on February
10 12th?
11 A Yes. I did.
12 Q Let me show you what I've marked as
13 Defendant's Exhibit AD -- AM, rather, for
14 identification.
15 Is that the report your wrote for the State?
16 A Yes. It is.
17 Q And this report was written in request to a
18 request that had been made to you in early
19 February by the DA's Office?
20 A That's correct.
21 Q Was this the first written opinion that you
22 gave them?
23 A Yes. It is.
24 Q Had you given them any opinion prior to this?
25 A I don't recall specifically. I'm ver -- we

1 probably had talked on the phone about it.

2 Q Well, let me show you what I've marked for
3 identification as Defendant's Exhibit AL, which
4 is a letter dated February 2nd, 1990, from Mr.
5 Adams, who you know as a Assistant District
6 Attorney?

7 A Yes.

8 Q "Att: Bill Milwee." Do you remember receiving
9 that letter?

10 A Yes. I do.

11 Q And was it in response to that letter that you
12 wrote your report of February 12th?

13 A Yes. It was.

14 Q You see in the second paragraph of this letter
15 of February 2nd...

16 A Yes. I see the 2nd paragraph.

17 Q Second paragraph? Are you with me?

18 A Yes.

19 Q Did you render an opinion that in certain
20 circumstances it is appropriate to immediately
21 remove a stranded vessel?

22 A Yes.

23 Q And did you also render an opinion that
24 conversely in some circumstances it is imperative
25 that the vessel remain firmly aground?

1 A Yes.

2 Q And did you also render an opinion that it may
3 be necessary to run the vessel at slow ahead to
4 ensure that it doesn't go anywhere?

5 A Yes.

6 Q Now, sir, 8800 horsepower when you have 31,000
7 available is akin to a slow ahead, isn't it?

8 A Not when you render a full ahead, no. Slow
9 ahead is slow ahead.

10 Q Well, 55 rpms in terms of the power curve in a
11 slow speed diesel engine is equivalent to a slow
12 ahead, or just a little bit higher, isn't it?

13 A No. I'm not gonna agree with that.

14 Q In any event, you -- in any event you rendered
15 an opinion here that under some circumstances it
16 may be necessary to run the vessel slow ahead to
17 keep it on the reef, didn't you?

18 A That's correct.

19 Q Okay. Now, in your -- opinion of February 12,
20 you said -- you rendered this opinion, didn't
21 you, "Stranded vessels usually refloat along the
22 reciprocal of the course on which the grounded.
23 They refloat much less frequently by passing over
24 a reef or a shoal in deep water -- or into deep
25 water."

1 Is that correct?

2 A That's true.

3 Q Now, what do you mean by stranded vessels
4 usually refloat...

5 MR. COLE: Judge, I object and ask that under
6 Rule 106 the whole thing be read. I think Mr. Chalos
7 is taking this out of context and I'd ask that he have
8 to read the next sentence.

9 MR. CHALOS: Well, I'll be happy to, Your
10 Honor.

11 THE COURT: Go ahead.

12 Q (Mr. Milwee by Mr. Chalos:) Why don't you
13 read your paragraph 4, you wrote it?

14 A I'll read the entire paragraph.

15 Q Go ahead.

16 (1284)

17 A "Stranded vessels usually refloat along the
18 reciprocal of the course on which they grounded."

19 Q Uh-huh (affirmative).

20 A "They refloat much less frequently by passing
21 over a reef or shoal into deep water. Under --
22 until the conditions of the stranding are known
23 any refloating attempt is foolhardy. Before
24 defining the way that a ship lies upon the ground
25 and the amount of lost buoyancy a refloating

1 attempt with engines and tidal rise is a blind
2 attempt."

3 Q Now, before we get into the whole paragraph,
4 what do you mean by "stranded vessels usually
5 refloat along the reciprocal course on which they
6 grounded"?

7 A Well, it's much more frequent that a stranded
8 ship will strand headed into shallow water and
9 the logical way to remove her is to take her out
10 the way that she went in, just...

11 Q By backing up?

12 A ...back her off in the direction in which she
13 -- in the opposite direction of which she was
14 going when she grounded.

15 Q In this case Captain Hazelwood never used the
16 engine astern, right?

17 A It wasn't appropriate in this case.

18 Q Now, with respect to the -- the rest of your
19 opinion there in paragraph 4, you wrote that
20 because the state told you to write that...

21 A No, sir. I did not.

22 Q Is it not -- let's read something here.

23 Look at page 2 of Exhibit AL. Look at the
24 third paragraph, starting, "After extensive
25 review..." Would you please read that into the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

record?

A "After extensive...

MR. COLE: Object. Hearsay.

MR. CHALOS: Your Honor, this is for
impeachment purposes.

THE COURT: Objection overruled.

Q (Mr. Milwee by Mr. Chalos:) Go ahead.
(1367)

A "After extensive review of the relevant
evidence it is our view Sam Adams, Brent Cole,
retired tanker Captain Bob Beevers, Mary Anne
Henry and State Trooper Jim Stogsdill that from
the time Hazelwood returned to the bridge after
the grounding at approximately 12:10 p.m. until
the...

Q A.m.

A "...a.m. until the engines were shut down at
11:41...

Q 1:41.

A "...Hazelwood..."
"...1:41 Hazelwood's actions were designed
solely to remove the vessel from the reef..."

Q Now, this is the attorney -- the District
Attorney -- the Assistant District Attorney
telling you?

1 A This was telling me what their opinions are.

2 Q Uh-huh (affirmative). Now, when was the last

3 time Mr. Adams, Mr. Cole, Ms. Henry and Sgt.

4 Stogsdill commanded a vessel that went aground?

5 MR. COLE: Objection. Relevance.

6 THE COURT: Sustained.

7 Q (Mr. Milwee by Mr. Chalos:) Did you ask --

8 did you ask Mr. Cole, Ms. Henry, Sgt. Stogsdill

9 or Mr. Adams on what they based their opinion.

10 MR. COLE: Stogsdill.

11 MR. CHALOS: I beg your pardon. Sorry.

12 Q (Mr. Milwee by Mr. Chalos:) Did you ask 'em

13 on what they base their opinion on?

14 A I did not and I did not give any value to this

15 statement. I formed my opinion independently of

16 that.

17 Q Would you read the rest of the paragraph?

18 A "Our conclusion is based on the absolute lack

19 of any evidence that Hazelwood was trying to do

20 anything else but remove the vessel from the

21 rocks. From Hazelwood's statement to the Coast

22 Guard to that effect, from Greg Cousins statement

23 that Hazelwood gave a series of rudder commands

24 designed to remove the vessel from the location

25 of the vessel on the western edge of the reef,

1 from Hazelwood's statement to the Captain of the
2 Port at approximately 1 p.m. about his attempts
3 to free the vessel and from FBI statements and
4 trooper interviews with Maureen Jones, Chief Mate
5 Kunkel and also with Kagan."

6 Q Now, until you got this letter, Exhibit AL,
7 you hadn't rendered any opinion, had you?

8 A No. I had not.

9 Q And you say this -- this didn't influence you
10 in...

11 A Not in the slightest.

12 Q Yet when we look at your letter of February
13 12th, you write the exact same opinion that they
14 suggested to you.

15 A I formed that opinion completely
16 independently.

17 Q After you got the letter of February 2nd?

18 A Well, I got the let -- I believe before I got
19 the letter.


20 Q But you didn't write to them anything about
21 that? The first time you wrote was on February
22 12th, after you received a letter of February
23 2nd, is that right?

24 A That's right.

25 Q They also suggested to you, and you made that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25



1 part of your letter of February 12th, that you
2 expressed an opinion that the vessel would
3 capsize when she came off the reef. Isn't that
4 true?

5 A (No audible response.)

6 Q Look at the last paragraph of page 2.

7 (Pause)

8 Read the first sentence to us.

9 A "In your report please address the following
10 areas:..."

11 Q Go ahead.

12 A "...would a reasonable captain in the same
13 situation recognize that actions such as
14 Hazelwood's risk causing the vessel to come free
15 from the reef and possibly capsizing or sinking."

16 Q And you wrote in paragraph 5(b) of your letter
17 of February 12th, "Refloating the ship before
18 defining the condition of the vessel could result
19 in capsizing, sinking or catastrophic structural
20 failure of the hull garter."

21 Right? Am I correct?

22 A That's correct.

23 Q Okay. And then you spoke about the vessel
24 grinding into the rock. Do you remember that?

25 A That's correct.

1 Q Remember you had the model and you were
2 showing the jury...
3 A Uh-huh (affirmative).
4 Q ...that the vessel would grind into the rocks?
5 They told you to say that, too, didn't they?
6 A They didn't tell me to say anything, sir.
7 Q Well, continue on, then, please, in that
8 paragraph, starting with the word "related".
9 A "Related to the immediately preceding question
10 is a related question of whether a reasonable
11 captain would recognize that grinding a vessel
12 into a rocky reef could increase the possibility
13 that the vessel would break up either at the time
14 the rudder commands were being given or on a
15 falling tide."
16 Q Then you wrote in paragraph 5(c) of your
17 letter of February 12th, "Working the ship on a
18 hard bottom is likely to cause additional damage
19 and increase the possibility of catastrophic
20 structural failure," didn't you?
21 A That's correct.
22 Q And it's true also, isn't it, Mr. Milwee, that
23 they told you what evidence to read and what
24 evidence to ignore, didn't they?
25 A They suggested. They did not tell me and I

1 didn't necessarily take their suggestions.

2 Q Do you think it's proper in your business as
3 an expert to have the party you're workin' for
4 tell you what evidence to read and what evidence
5 not to read?

6 A It may or may not be proper, but it's
7 certainly proper for me to ignore their
8 suggestions.

9 Q Well, they told you looking at the first
10 paragraph of page 3, "Also, you should not put
11 much weight into second mate LeCain's NTSB
12 testimony that the crew was standing by for quick
13 action."

14 Remember that?

15 A I see that.

16 Q Did you ask 'em why shouldn't I pay attention
17 to what LeCain said. He was there.

18 A No. I ignored it.

19 Q But you did give an opinion that the crew
20 wasn't prepared to -- to deal with this casualty,
21 didn't you?

22 A Yes, sir.

23 Q And that's contrary to what Mr. LeCain said at
24 the NTSB.

25 A It's not contrary to what other people said,

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

though.

Q And your opinion is consistent with the State telling you to ignore his testimony?

A That's true, but I didn't give any credence to what the State suggested.

Q And yet your report of February 12th, 1990 goes right down the line as to what the State told you to say and you say...

(1622)

A The State did not tell me to say anything and I did not blindly do what the State suggested. I arrived at the opinions independently, sir.

Q The exact same opinions that the State suggested to you? You came to independently?

A I think if you bring out the whole thing you'll notice there are a couple of things that I did not address in my report.

Q Such as?

A The next to the last paragraph on the third page, "Lastly, would a reasonable captain drink even one alcoholic beverage just one hour before assuming command in violation of Coast Guard regulations."

Q And you said in paragraph 6(e) of your letter of February 12th, "I would expect the master of a

1 tank ship that is aground and is spilling oil to
2 (e) do nothing to impair his ability to perform
3 at his highest level of competence."

4 They didn't suggest that to you either, did
5 they?

6 I have no further questions, Your Honor.

7 (1676)

8 REDIRECT EXAMINATION OF MR. MILWEE

9 BY MR. COLE:

10 Q Mr. Milwee, when did you get hired on this
11 matter?

12 A In August of 1989.

13 Q And when did you receive the packet of
14 information circa this matter?

15 A January 1990.

16 Q Why was that? Why did you not receive it
17 until January 1990?

18 A I was given to understand that the evidence
19 was not tainted in any way.

20 Q And that was a decision that was made by the
21 State of Alaska?

22 A That's correct.

23 Q And were you given any instructions about how
24 to proceed as far as whether you could review
25 other newspaper articles, or watch TV or anything

1 else about how this -- the facts of this case
2 when you were hired?
3 A None whatsoever.
4 Q What do you mean by that?
5 A I mean I was not restricted in any way with
6 the information that I could review or look at,
7 have access to.
8 Q Now, in the memorandum that was sent to you
9 from Mr. Adams were you given suggestions as far
10 as conclusions to reach, or were you asked to
11 reach opinions on issues?
12 A I was asked to reach opinion on issues. They
13 were put in the form of questions that I should
14 answer.
15 Q Were there any opinions other than the ones
16 set forth in the one in paragraph number -- page
17 2, paragraph 3?
18 A None that I recognized as such.
19 Q Well, let's talk about the opinions that are
20 in that.
21 Did -- did you see any evidence whatsoever in
22 anything that you read, heard, or saw that would
23 indicate that Captain Hazelwood was trying to put
24 this vessel on the reef?
25 A I didn't.

1 MR. CHALOS: Objection -- I'm objecting, Your
2 Honor. He's leading the witness.

3 THE COURT: Overruled.

4 A I did not.

5 Q (Mr. Milwee by Mr. Cole:) In fact, what did
6 Captain Hazelwood say to the Coast Guard?

7 A Captain Hazelwood told the Coast Guard he
8 was...

9 MR. CHALOS: Objection, Your Honor.

10 A ...attempting to get the vessel off the reef.

11 THE COURT: Just a minute. What's your
12 objection?

13 MR. CHALOS: He's leading the witness.

14 THE COURT: No he's not. Objection overruled.

15 Q (Mr. Milwee by Mr. Cole:) What did Captain
16 Hazelwood tell the Coast Guard at 1:10 a.m.?

17 A That he was attempting to refloat the vessel.

18 Q How many times did he say that?

19 A Oh, several.

20 Q And did you read the trooper interview that
21 Captain Hazelwood had with Trooper Fox?

22 A Yes. I did.

23 Q What did he tell Trooper Fox he was trying to
24 do?

25 MR. CHALOS: That's hearsay. There's no

1 foundation that he's asking him did he base his opinion
2 on what he read or what he heard. He's asking him to
3 -- to submit it as the truth of the matter.

4 THE COURT: Objection overruled in support of
5 his -- stand behind the podium, instead of wandering
6 around, Mr. Cole.

7 Q (Mr. Milwee by Mr. Cole:) What did he tell
8 the trooper?

9 A He told the trooper he was trying to refloat
10 the vessel. And I believe he used the word
11 extricate.

12 Q What does extricate mean to you?

13 A Remove the vessel, clearly.

14 Q Did you read anything in anything Captain
15 Hazelwood said that would indicate he felt there
16 was the possibility of that vessel coming off the
17 reef, and that he took actions to stop it?

18 MR. CHALOS: I object to the form, Your Honor.

19 MR. COLE: I'll rephrase it.

20 Q (Mr. Milwee by Mr. Cole:) Anything that you
21 saw, that you looked at, that would indicate that
22 Captain Hazelwood was afraid of this vessel
23 coming off the reef?

24 A There was nothing in anything that I saw that
25 indicated there was any chance of the vessel

1 coming off the reef through the forces of nature,
2 or anything of that -- accidentally, or anything
3 of that nature.

4 Q So, Mr. Chalos asked you about the fact that
5 this vessel didn't go astern.

6 Does the fact that this vessel did not go
7 astern change your opinion on what Captain
8 Hazelwood was trying to do?

9 A Not at all.

10 Q Why is that?

11 A Because the action was consistent -- totally
12 consistent with attempting to refloat the vessel.

13 Q Why is that?

14 A Because he was using rudder. He was using the
15 engines. It was like he was aground on mud and
16 trying to slither off. That's -- the action
17 that's just what you would do to refloat a vessel
18 ahead like that. And it was an action that was
19 consistent with a ship grounded on a reef where
20 there was clear water out -- out ahead of it.

21 Q Okay. In your article you talk about reasons
22 why you back off a reef when you get stuck. And
23 you think -- when Mr. Chalos indicated that that
24 would be the kind of situation where you would
25 run into a shallow area from a deeper area. Is

1 that correct?

2 A That's correct.

3 Q What about the hypothetical of you've just run
4 over a rock and you have shallow water behind you
5 and you've got deep water in front of you? What
6 would you expect a master's actions to be, then?

7 A That's the type of action when you take the
8 vessel off in a forward direction. It's those --
9 the rare actions that I refer to in my report.

10 Q And Mr. Chalos indicated that -- asked you
11 about whether or not this vessel was not put on
12 sea speed. Does that indicate to you that he was
13 not trying to get it -- use full power? Does the
14 fact that this vessel was not put on load program
15 up and put up to 78, or say, 80 rpms change your
16 opinion about what Captain Hazelwood was trying
17 to do?

18 A No. Not at all.

19 Q Why is that?

20 A Well, because there -- the engine's running
21 under conditions for which it's not defined, and
22 it's -- very likely, it's gonna overheat.

23 Q And did you see any evidence that the engine
24 overheated at any point?

25 A I'm not certain that I remember specific

1 evidence to that effect now.

2 (Pause)

3 Q Now, Mr. Milwee, I'd like you to take a look
4 at the times up there between 12:38 and 1:41.
5 Now, do you see the time around 1:20? If 10 a.m.
6 is 1 o'clock?

7 A Okay. Yeah. Yes.

8 Q Now, is there anywhere in there that you see
9 action consistent with someone trying to keep a
10 vessel on the reef?

11 A No.

12 MR. CHALOS: Your Honor, no foundation.

13 THE COURT: He can give his opinion.

14 Overruled.

15 (2070)

16 Q (Mr. Milwee by Mr. Cole:) I'm sorry. I
17 didn't hear you, Mr. Milwee?

18 A No. I see the heading being changed
19 frequently, oh, 13 -- 14 degrees.

20 Q And would you describe this point at 1:20
21 right here? When this heading changes which way
22 is the vessel turning then?

23 A Well, the vessel is turning -- let's see.
24 He's turning to the port.

25 Q Turning to port? That's to the left, right?

1 A That's correct.

2 Q And he was grounded on his starboard side, is
3 that correct?

4 A That's correct.

5 Q So, he was turning away from the reef?

6 A That's correct.

7 Q And would you describe the number of turns
8 that he made after that?

9 A Oh, he -- he zigs back slightly to the right,
10 turns to the left again, to the right again, a
11 couple of degrees to the left, and then back to
12 the right and stops his engine -- stops
13 maneuvering.

14 Q Anything in that section of that course
15 recorder that would indicate to you that Captain
16 Hazelwood was trying to keep this vessel on the
17 reef?

18 A No. There's not.

19 Q And if he was trying to keep it on the reef,
20 and he was trying to use the minimum force
21 necessary, what would that course recorder look
22 like?

23 A There'd be considerable less swing than it --
24 than it shows there.

25 Q Would there be turns right and left?

1 A There would probably be an indication that he
2 started -- the heading started to drift off in a
3 response to it, but it wouldn't be a change of as
4 drastic as is shown there in the recorder.

5 Q And if you...

6 A Certainly shouldn't be.

7 Q ...were gonna slide off a reef and you were
8 hard on your starboard side, where would you be
9 afraid of sliding off toward? Your port side, or
10 your starboard side?

11 A I -- obviously the port. You know your port
12 side is -- is probably not aground. If you know
13 your starboard side is aground and you know your
14 port side isn't, you're gonna slide off to port.

15 Q And if you wanted to keep yourself from
16 sliding off when your port side was not aground
17 and your starboard side was, would you turn to
18 the port...

19 A No, you'd...

20 Q ...or would you turn to the starboard side?

21 A You'd probably carry a little cost to the
22 right rudder.

23 Q To turn into...

24 A To turn into the grounded area. If you were
25 grounded forward of your pivot point.

1 Q Now, I'd like to talk for a second about the
2 IG system on this vessel. The -- would you
3 explain to the jury why it would not have been a
4 good idea to seal the IG system? What does it --
5 before we start, what does the IG system do?
6 What's it's purpose?

7 MR. CHALOS: Your Honor, I think this goes
8 beyond cross. I don't think I brought up the IG system
9 at all with this witness.

10 MR. COLE: Your Honor, he talked about
11 sealing...

12 THE COURT: I think you did. I think there
13 was a inquiry about that.

14 MR. CHALOS: Well, I'll check my notes, it's
15 been so long. But I don't remember bringing it up with
16 this witness.

17 THE COURT: Well, I'll let Mr. Cole inquire,
18 and you'll have a chance after his inquiry. I think
19 you did bring it up to some -- you did bring some...

20 Q (Mr. Milwee by Mr. Chalos:) What does the IG
21 system do on this vessel?

22 A The purpose of the IG, or inert gas system, is
23 to put an inert gas -- a low oxygen content gas
24 into the tanks -- the cargo tanks to reduce the
25 danger of fire and explosion, to reduce the

1 amount of oxygen in the tanks so that the mixture
2 of cargo fumes and atmosphere in the tanks is
3 below the limits at which it will burn, or will
4 possibly explode. It's been a great boon to
5 tanker operations. It's reduced tanker
6 explosions tremendously over the last 10, 15
7 years.

8 Q And Mr. Chalos talked to you about closing off
9 the IG system in order to make this vessel, I
10 guess not lose any more buoyancy? Do you
11 remember him...

12 A That's correct.

13 Q ...talking about that?

14 What are the problems associated with taking
15 an action like that?

16 A Well, the -- one problem is timeliness. That
17 it's -- the loss of cargo is very rapid from
18 damage in the bottom.

19 But, a more significant loss is that if you do
20 that, disable the IG system, you're unable to put
21 any more inert gas in the tank. And this is at a
22 time when the cargo level in the tank has been
23 dropping rapidly and the vacuum breaker system on
24 the tank, which prevents a -- a vacuum from
25 forming has began -- begun to function and air's

1 pouring into the tank.

2 You've got an atmosphere in the tank that is 8
3 percent oxygen. You begin to mix air with it at
4 21 percent oxygen and the percentage goes up, and
5 the -- it becomes a danger of fire and explosion
6 that didn't exist with the IG system functioning
7 and the tank sealed.

8 Q On -- when -- we talked last week about
9 soundings, how difficult is it to take these
10 soundings -- would it have been to take these
11 soundings on the Exxon Valdez at that time?

12 A It's -- it's difficult to quantify that. It
13 wouldn't have been simply a matter of walking
14 around and -- and making the measurements, but it
15 would have been certainly within the possibility
16 for an AB and a mate to take these soundings and
17 to take them effectively.

18 Q And where would you have taken these
19 soundings? Where, physically, on the boat -- on
20 the ship?

21 A Oh, all around. Completely around the vessel
22 at short intervals, short intervals being, oh,
23 probably 25 feet first shot and then refine that
24 later. And when you got -- that was an area that
25 you found was aground you would certainly take

1 those at more frequent intervals.

2 Q I guess the last consideration -- the last
3 thing I -- no. There's two other things.

4 Why -- why does the tanker captain have to
5 take any throttle or rudder action at all after a
6 grounding? What is the necessity, or is there
7 any...

8 (2500)

9 MR. CHALOS: Your Honor, I object unless some
10 foundation is laid. What -- what situation are we
11 talking about? This one? Grounding in mud? Grounding
12 on coral? Ahead, there's just not enough foundation?

13 THE COURT: Mr. Cole, you asked about three
14 questions, there, too, and the form of each of them was
15 objectionable. So, if you can rephrase it.

16 Q (Mr. Milwee by Mr. Cole:) Well, let's just
17 talk generally. Why is it that a tanker needs to
18 come off a reef -- why is it that immediate
19 action has to be taken? Or is there a reason?

20 A It depends on the condition of the grounding.
21 In -- in most cases -- in many cases absolutely
22 not action is required. In all cases no action
23 should be taken until the condition of the
24 grounding is reasonable well defined.

25 It's particularly undesirable to take any

1 action when the ship is aground on rock.

2 Q And if you were told that you had damage in
3 center cargo tanks 1 thru 5, port -- or starboard
4 tanks 1, 3 and 5, and that you were taking on
5 water in your ballast tanks on the starboard side
6 2 and 4, and that within 100 -- within a half an
7 hour you'd lost 100 to 115,000 -- you could not
8 account for 100 to 115,000 barrels, what would
9 that tell you about your ship?

10 A That...

11 MR. CHALOS: Objection, Your Honor. This man
12 hasn't been qualified as a master of a ship. He said
13 he doesn't have any -- any experience as a master. His
14 expertise is in salvage, not as a captain of a vessel.

15 MR. COLE: It's on damaged tankers all over
16 the world, Your Honor. I think he should be able to
17 evaluate what that -- that effect has on his assessment
18 of the stability of that vessel.

19 THE COURT: Objection goes to the weight, Mr.
20 Chalos, not to admissibility. Overruled.

21 A Would you repeat the question please?

22 Q (Mr. Milwee by Mr. Chalos:) If you were told
23 that your center tanks 1 thru 5 were damaged,
24 that your starboard cargo tanks 1, 3 and 5 were
25 damaged, that your ballast tanks 2 and 4 on your

1 starboard side were taking in fluid and that you
2 could not account for between 100 and 115,000
3 barrels of crude oil, and all this information
4 was relayed to you within the first 20 to 30
5 minutes after the grounding, what would that tell
6 you about your tanker?

7 A I would know that I had a very badly damaged
8 tanker. I would be particularly concerned about
9 the flooding into the ballast tanks and a loss of
10 buoyancy that would come about from that -- from
11 that flooding. I would realize that I should
12 stay right where I was and -- and not attempt to
13 move that ship because there was a danger --
14 because I'm losing buoyancy and because I have a
15 very damaged -- very badly damaged ship, that if
16 it did come afloat it would sink. And I would be
17 much better off securing that ship in that
18 position where it's obviously can't sink very
19 far.

20 Q And in that situation what would have hurt --
21 what would have been lost by simply doing that?
22 By simply securing this vessel and waiting?

23 A Nothing.

24 Q And by attempting to remove the vessel what
25 was risked?

1 A The possibility that it might -- if it did
2 come off, the vessel would be in a very -- a very
3 dangerous situation.

4 I have -- in a similar -- in a situation where
5 a tanker suffered damage to one of the tanks, it
6 was -- one of its buoyancy tanks we put that
7 thing aground immediately. We did just the
8 opposite. We deliberately put it aground to
9 prevent the ship from sinking in deep water.

10 Q And did you see any indication from anything
11 that Captain Hazelwood was attempting to secure
12 that vessel between 12:30 a.m. and 1:41?

13 A No. Other than looking at his options, or
14 having the mate look at his options. There was
15 no -- no positive action to secure the vessel.

16 Q And all the things that you saw were
17 consistent with him attempting to get off?

18 A That's correct.

19 Q I have nothing further.

20 (2790)

21 RE-CROSS EXAMINATION OF MR. MILWEE

22 BY MR. CHALOS:

23 Q Mr. Milwee, when you're hired as a salvage
24 expert and you come on board the ship you're
25 always working under the supervision of somebody,

1 aren't you?

2 A I think we always -- always work under the
3 supervision of somebody.

4 Q Except a captain of a ship who's just run
5 aground, isn't that right? He's got to make the
6 decisions himself.

7 A Now that doesn't mean he's not working under
8 somebody's supervision.

9 Q Well, when you come on board you advise,
10 right? You advise the captain, you advise the
11 company representative. You advise whoever --
12 whoever's hired you?

13 A I'm sometimes in positions where I'm
14 completely running the operation.

15 Q When you say you would have done this and you
16 would have done that and some of the actions that
17 are inconsistent with what you would have done,
18 you've never been in that situation, isn't that
19 right?

20 A In what situation?

21 Q Of a ship just run aground spewing oil?
22 You've got to make a decision in the middle of
23 the night. You've got the crew members to worry
24 about. You've got your ship to worry about. You
25 have the Coast Guard to worry about. You've got

1 stability, you've got...

2 A I've been in very similar situations many
3 times where I had to make the decisions.

4 Q By the time you got there the ship had already
5 run aground and it was fairly stable at that
6 point, is that right?

7 A In a -- after the initial groundings, yes.

8 Q Now, you keep talking about the fact if the
9 vessel would have come off. Well, we know that
10 this vessel wasn't gonna come off. It was
11 impossible for it to come off, don't we?

12 A We know that now, 11 months later.

13 Q Uh-huh (affirmative).

14 The fact of the matter was that whether we
15 know it now or they knew it then, that vessel
16 wasn't going anywhere?

17 A But Captain Hazelwood took no steps to
18 determine that.

19 Q So, when you say he was reckless, what you're
20 saying is he was reckless in not knowing his
21 vessel couldn't move? Is that what you're
22 talking about?

23 A No. That's -- let me think about the way you
24 phrased that a little bit.

25 He was reckless in taking action without

1 determining the conditions that were extant at
2 the time.

3 Q But that -- all those risks that you talk
4 about, the capsize, the breaking up, the -- the
5 what do you call it? The sinking. Those were
6 all situations that weren't gonna happen no
7 matter what action he took. It was impossible
8 for him at that time to move the vessel, either
9 by using the engine or by using the rudder, isn't
10 that right?

11 A That's correct.

12 Q Now, Mr. Cole asked you about the evidence
13 that you reviewed at the end of January, early
14 February. Do you recall that?

15 A Yes.

16 Q What other evidence you reviewed, whatever
17 testimony you reviewed, whatever reports you
18 reviewed were reports, testimony, evidence that
19 was given to you by the State, isn't that right?

20 A Yes. That's correct, except for items from my
21 library.

22 Q Okay. So, the State controlled what you saw
23 and what you based your opinion on, isn't that
24 true?

25 A The State didn't restrict me in the seeking of

1 other evidence and other information whatsoever.

2 Q Did you on your own do any independent
3 analysis? Any independent study? Gather any
4 independent information with respect to the
5 grounding, other than what the State gave you?

6 A Well, other than specific information about
7 this grounding, and besides getting out charts
8 and talking to the salvage master, no.

9 Q You -- you remember Mr. Kunkel's testimony
10 where he said he came up 12:30 and told the
11 captain that the vessel was stable at that point?

12 A Well, I don't remember it exactly like that.
13 I...

14 Q Did you consider...

15 A ...remember him coming up and -- with a report
16 of another analysis he had done using the Load
17 Master computer.

18 Q That was later on. He said that was between
19 1:00 and 1:20.

20 A Well, there were two -- there were two
21 reports.

22 Q Right.

23 A One which indicated there was a stability
24 problem and the stress was all right. And the
25 other indicated that there was a stress problem

1 and the stability was all right.

2 Q That's right. And the first one was that the
3 stability was all right, but the stresses were
4 below the acceptable level if they were gonna go
5 beyond Cape Hinchinbrook, do you remember that?

6 A That's correct.

7 Q And the second report was that the stability
8 was marginal. Do you remember that?

9 A That's correct.

10 Q Did you consider that in your -- in your
11 opinion? Those...

12 A I certainly...

13 Q ...two reports?

14 A ...did.

15 Q Did you also consider the fact that when the
16 Captain spoke to the Coast Guard he told them on
17 several occasions we're ascertaining right now
18 -- we're ascertaining our situation right now.
19 Did you read that in those...

20 MR. COLE: Objection...

21 Q ...reports?

22 MR. COLE: ...Your Honor. I don't believe
23 that that's what that says.

24 MR. CHALOS: It certainly does say that.

25 THE COURT: On several occasions he said that,

1 Mr. Chalos?

2 MR. CHALOS: At least...

3 THE COURT: I don't have the transcript.

4 MR. CHALOS: Well, let me rephrase it, Your
5 Honor.

6 Q (Mr. Milwee by Mr. Chalos:) At least one
7 occasion the captain told the Coast Guard when he
8 was communicating with them that we're
9 ascertaining our situation right now?

10 A That's correct.

11 Q Well, what does ascertaining mean to you?

12 A It means he was determining the situation.

13 Q Now, you spoke about situations where you've
14 gotten vessels off the reef by going forward.
15 You remember that?

16 A Yes.

17 Q And in those situations you said you always
18 lighten the vessel by taking a lot of cargo off.
19 Right?

20 A That's -- because that fit the circumstances
21 of a particular grounding, yes.

22 Q Right. And if you did lighten the vessel, no
23 matter how much -- in that situation that you're
24 talking about -- no matter how much you drove it
25 forward, she wasn't gonna go anyplace. Isn't

1 that right?

2 A Well, I wasn't using the engines. Engines are
3 not my tool of choice for refloating vessels.

4 Q Well, that's because you -- you take out the
5 cargo and you let it float up and you weight for
6 a high tide and pull her off.

7 A Well, that's one way you do it. There are
8 other ways, also, of laying anchors and purchases
9 and hauling with -- with high powered vessels and
10 the like.

11 Q Tell the jury what you mean by anchors and
12 purchases in those situations.

13 (3186)

14 A One of the -- the basic tools of the salver is a
15 high holding power anchor laid in the direction
16 that the ship is to be refloated and taken to a
17 multiple part -- purchase -- a series of pulleys
18 and blocks, which multiplies the force that's
19 applied to it. And you'd either haul it with a
20 winch or a hydraulic puller to give a force and
21 direction that'll pull the ship off.

22 Q And in that situation you're generating a lot
23 of force, a lot of pull, aren't you?

24 A Well, you're generating a lot of pull, yes.

25 Q Now, one of the considerations of trying to go

1 forward when you haven't taken any cargo off --
2 aside from the fact that you were workin' the
3 vessel in rock is that whatever you're ground on
4 is gonna hit your propeller and your rudder,
5 isn't it?

6 A Very likely.

7 Q Now, you heard testimony here about Captain
8 Hazelwood being an experienced master, didn't
9 you?

10 A That's correct.

11 Q Now, does it make sense to you that an
12 experienced master like Captain Hazelwood would
13 run his engine and his propeller and rudder over
14 a reef? Does that make any sense to you?

15 A It's not the best action, but it's consistent
16 with what was -- what was done here.

17 Q Well, you've said that the captain knew that
18 his ballast tanks were damaged, didn't you?

19 A Yes. I did.

20 Q And you said that by -- by seeing that you
21 have water -- he knew that he had water in his
22 ballast tanks then?

23 A Yes.

24 Q And the effect of water in the ballast tanks
25 is to make the vessel heavier, bring her down,

1 isn't it?

2 A Yes.

3 Q Now, an experienced captain like Captain
4 Hazelwood would know that, wouldn't he?

5 A Yes. He would.

6 Q Now, you spoke sort of in an off handed manner
7 about the loss of product being rapid from the
8 damage to the bottom -- to the hull plate in the
9 bottom, do you recall that?

10 A That's correct.

11 Q It's true, is it not, that it's not the size
12 of the hole in the bottom that controls how much
13 oil flows out, but the smallest opening at the
14 top that -- that permits the air in that controls
15 the flow of oil, doesn't it?

16 A That's correct.

17 Q So, you could have 100 foot hole in the
18 bottom, but if you have a four inch valve that's
19 on top, it's the four inch valve that's
20 controlling the flow, not the 100 foot opening?

21 A That's correct.

22 Q You spoke about the danger of fire and
23 explosion by using the IG system, or not using
24 it.

25 You talking about the explosive range that one

1 goes -- that the system goes through at some
2 point?

3 A Yes.

4 Q Tell the jury what the explosive range is,
5 please?

6 A It's the mixture of oxygen and fuel vapor
7 that's -- where an explosion is possible.

8 Q Did you do any calculations to find out what
9 the explosive range in this situation was?

10 A No. I didn't.

11 Q Usually, it's...

12 A I didn't have the volume of the tanks, or the
13 other information that would have been
14 required...

15 Q So, when you...

16 A ...to do that.

17 Q ...say it would have been dangerous to use the
18 IG system, or not use it, you don't know where
19 they were in the explosive range, whether they
20 had gone beyond it already, when it -- that
21 happened, or what danger may have existed at that
22 point?

23 A No. I don't. I know that the -- the inert
24 gas would have been diluted. I know it would
25 have -- the oxygen percentage would have been

1 increasing, and I know that the industry standard
2 recommends keeping the inert gas system in
3 operation.

4 Q You know, do you not that one goes in this
5 type of situation where the inert gas system is
6 open and you're losing cargo rapidly at that
7 point, you know that the system goes through the
8 explosive range very quickly, don't you?

9 A I would have to see figures on that before I
10 would necessarily...

11 Q In any event, you didn't do the calculations?

12 A I did not do the calculations.

13 Q And then, it's also true, is it not, that once
14 you go through the explosive range the danger of
15 explosion or fire has dissipated?

16 A No. I think you've got a continuing danger
17 that you could run in and out of that explosive
18 range.

19 Q But it has nothing to do with using or not
20 using the IG system at that point?

21 A It'd have a lot to do with not using it.

22 Q I have no further questions at this time, Your
23 Honor.

24 THE COURT: Make it brief, Mr. Cole. We've
25 had this witness on a long time and we've covered the

1 same ground several times. So, stay outside -- stay on
2 new material only.

3 (3490)

4 REDIRECT EXAMINATION OF MR. MILWEE, CONTINUED

5 BY MR. COLE:

6 Q When Mr. Chalos asked you about whether or not
7 an experienced captain like Captain Hazelwood
8 would do such things, you assumed that he was not
9 intoxicated at the time?

10 MR. CHALOS: Objection, Your Honor. No
11 evidence to that.

12 THE COURT: Counsel approach the bench please.

13 (3540)

14 (Whispered bench conference as follows:)

15 Okay. There is evidence that he had been
16 drinking beforehand. The jurors can make
17 inferences on that if they want to.

18 But it's very argumentative. I'm gonna let
19 you ask that one question and that's -- the
20 answer's gonna be the end of that. And if you
21 want to go into that, if you want to open the
22 door wide, it's up to you.

23 MR. COLE: I don't, Your Honor. The reason I
24 did that (indiscernible - whispering).

25 THE COURT: Well, I think there's an argument

1 to be made that the reason Captain Hazelwood did what
2 he did is because he was -- didn't have his faculties
3 about him because of alcohol. There's an inference he
4 was (indiscernible - whispering).

5 UNIDENTIFIED SPEAKER: (Indiscernible -
6 whispering.)

7 THE COURT: Okay.

8 (End of whispered bench conference.)

9 (3540)

10 Q (Mr. Milwee by Mr. Cole:) When Mr. Chalos
11 asked you about what Captain Hazelwood, or what a
12 reasonable captain would do in this circumstance,
13 you assumed you had a reasonable captain that was
14 not impaired?

15 A That's correct.

16 Q Nothing further.

17 (3600)

18 RECROSS EXAMINATION OF MR. MILWEE

19 BY MR. CHALOS:

20 Q Sir, you have no reason to believe that at the
21 time of the grounding that Captain Hazelwood was
22 impaired, do you?

23 A I have read -- read testimony that Captain
24 Hazelwood was drinking earlier in the day. And I
25 have read testimony that he was not showing any

1 signs of impairment.

2 Q That's your answer?

3 A That's correct.

4 Q Okay. I have no further questions.

5 (3657)

6 THE COURT: All right, sir, you're excused.

7 Ready with your next witness, Mr. Cole?

8 MR. COLE: Yes.

9 THE COURT: You may call your next witness.

10 MR. COLE: The State would call Professor

11 William Vorus.

12 THE COURT: I see you passing briefs around

13 here. Do you have a copy for me?

14 MR. MADSON: I do, Your Honor. I didn't want

15 to interrupt the Court.

16 THE COURT: That's okay. This is as good a

17 time as any and file the originals downstairs if you

18 would. Just give me copies. Thanks.

19 MR. MADSON: It is, Your Honor.

20 THE CLERK: Sir, can you raise your right

21 hand, please?

22 (Oath administered)

23 A I do.

24 WILLIAM S. VORUS

25 called as a witness in behalf of the State, being first

1 duly sworn upon oath, testified as follows:

2 THE CLERK: Sir, would you please state your
3 full name and then spell your last name?

4 A William S. Vorus, V-o-r-u-s.

5 THE CLERK: Current mailing address?

6 A 1360 North Lake Road, Gregory, Michigan.

7 THE CLERK: And, your current occupation?

8 A I'm a professor at the University of Michigan.

9 THE CLERK: Thank you.

10 THE COURT: We'll take a break about 10:15,
11 Mr. Cole.

12 MR. COLE: Sure.

13 (3745)

14 DIRECT EXAMINATION OF MR. VORUS

15 BY MR. COLE:

16 Q Mr. Vorus, why have you been called to testify
17 in this matter?

18 A To render opinions in the general area of
19 naval architecture and specifically, with regard
20 to my findings having to do with the freeing of
21 the vessel from the reef.

22 Q Where do you teach, currently?

23 A Department of Naval Architecture and Marine
24 Engineering at the University of Michigan.

25 Q Would you tell the jury what your educational

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

background is?

A I have a B.S. in mechanical engineering from Clemson University, 1963, a Master's from the University of Michigan in naval architecture in 1969, a PhD in naval architecture in 1971.

Q Would you explain a little bit of your employment background in the ship building industry?

A I was with Newport News shipbuilding for 10 years. Actually, three of those years were on educational leave. I went with the shipyard in 1963 after graduation from Clemson. Was there for five years, away for school for three and went back there for three years.

Q What were you doing there?

A Various positions in the engineering departments. The last one was the manager in charge of ship machinery in engineering.

Q Would you describe what you mean by "manager of ship machinery and engineering"? What were your responsibilities there?

A Well, our job was to verify designs produced by the design departments in the area of man propulsion machinery, deck machinery, steering gear, anchors, primarily, structural interface

1 with the hull associated with those components.
2 The job was actually somewhat broader than that
3 and that this group was analytically well
4 equipped.

5 We handled all types of special problems.
6 Vibrations problems, noise problems, special
7 structural problems that arose. And, did it also
8 include looking at damaged vessels?

9 A I can remember occasions where we worked with
10 our ship repair department to do damage
11 assessment in terms of strength degradation.

12 Q Now, after working for Newport News, what did
13 you do?

14 A I went back, returned to the University of
15 Michigan as a professor in '73.

16 Q What were you teaching there?

17 A My first assignment was a junior level course
18 in structures, ship strength.

19 Q And, would you explain to the jury what is a
20 naval architect?

21 A Naval architecture could be viewed, probably
22 as a subfield of mechanical engineering and
23 having to do with vehicle design. We are to
24 marine vehicles what the aerospace engineer is to
25 space vehicles.

1 Q What's a marine engineer?

2 A Well, the naval architect is more the hull
3 envelope and outside the interface with the
4 water. Strength issues having to do with the
5 hull. A marine engineer; they could be viewed as
6 two types. One would be the operating marine
7 that are aboard the ship and then there is a
8 design marine engineer who is associated
9 primarily with man propulsion machinery.

10 Q Now, you've been teaching at the University of
11 Michigan for how long?

12 A 16 years.

13 Q And, what kind of classes do you teach now?

14 A At this moment, I'm teaching a junior level
15 course in ship dynamics, marine dynamics and a
16 graduate course in marine structures.

17 Q And, have you taught about structures' designs
18 in the past?

19 A Yeah, my first course at Michigan was a course
20 in design of ship hulls. I've continued to teach
21 that throughout the year.

22 Q Do you work with graduate students in this
23 area also?

24 A Well, I've been the graduate program chairman.
25 I'm not currently, but I was for a number of

1 years. I currently supervise seven PhD students.

2 Q And, that would be various projects with
3 regards to marine naval architecture?

4 A I think two of the seven are structures. The
5 two in hydrodynamics is one and propellers.

6 Q I would like to ask you a little bit about
7 Vorus & Associates; what is that?

8 (Tape: C-3652)

9 (000)

10 A Well, it's a company that I formed in 1980.
11 It's a corporation, a very small corporation, but
12 I felt a need to stay a little closer to the
13 front lines of activity in the field and that
14 company allows me to do that.

15 Q What kind of work have you done with that
16 company?

17 A We're specialists, but in a broad sense. We
18 specialize in non-routine problems in the marine
19 field. They could be structures that could be
20 hydranomically oriented problems. The types of
21 things that require a little extra effort in
22 terms of careful diagnosis, analysis and
23 resolution; the types of problems that the normal
24 design office is not equipped to deal with.

25 Q Can you give the jury an idea of the types of

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

problems that you've been asked to handle with Vorus & Associates?

A Well, we're currently, for example, designing lines of high-tech cavitating propellers for recreational craft. The other extreme, recently, I was engaged by a container ship operator who had a problem on the class of 12 ships with main deck damage up in the forebody in heavy storm seas. Others had recommended that the forebodies of those ships be rebuilt. We looked at it very carefully and determined that it could be very simply solved by the addition of some simple panel stiffeners which was done and done successfully.

Q Have you authored any publications in the field of structural design?

A About a third of my publications are instructors, in general.

Q And, the work that you do with Vorus & Associates, who helps you with that?

A My associates are generally the staff and students of the Department of Naval Architecture and Marine Engineering there. I use them on an "as needed" basis when they're available.

Q And, how much of your work with Vorus &

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Associates deals with structures?

A I would say about half.

Q Have you been asked to testify in cases before?

A Yes.

Q Approximately how many times have you had to testify in civil or arbitration cases?

A Well, not so many. I pick and chose these jobs real carefully, but I've been involved, I believe, in five arbitrations in the marine field and two civil cases.

Q When were you asked to provide the services that you've rendered in this case by the State of Alaska?

A August, September, 1989.

Q And, did you enter into a contract with the State of Alaska?

A Yes.

Q And, what was the amount of that contract?

A It was originally \$25,000.00.

Q And, what was that for?

A It was to help the State with the case, to provide some analysis and conclusions with regard to certain aspects.

Q And, have you reached any conclusions in this

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

matter?

A Yes.

(170)

Q What conclusions have you reached about the stability of the Exxon Valdez on March 24th, 1989 in the early morning?

A In the grounded condition?

Q If it had gotten off the reef.

A If it had been extracted from the reef soon after the accident or during that period, our analysis shows that the vessel would have capsized and sunk.

MR. CHALOS: Your Honor, I move to strike any testimony on what would have happened if the vessel came off the reef, since we already have testimony that that was impossible. So, anything that Professor Vorus would say would be hypothetical. It would be speculative and really of no probative value because the vessel couldn't come off.

THE COURT: Let's take a recess now for the jury and we'll take this up outside their presence.

Remember not to discuss this matter among yourselves or with any other person. Don't speculate on what we do in your absence, please and do not form or express any opinions concerning the case. I'll call

1 you back when we can.

2 (Jury not present)

3 THE COURT: Mr. Chalos, we've had several
4 witnesses testify as to what might have happened, the
5 risks that were involved. I'm sure you're aware of the
6 nature of the answer that was about to come and you
7 waited to object until after the answer came in. So, I
8 deem that you have waived the objection that you are
9 making now, but as to future objections, I think we
10 need to deal with the situation now.

11 I have your brief. I don't think Mr. Cole's
12 had an opportunity to look at your brief. You've had
13 an opportunity to look at his brief. This is somewhat
14 in response to the Court's inquiry last Friday and
15 apparently both counsel had understood this was going
16 to be an issue because briefs had been prepared by the
17 State already.

18 The issue boils down, I think, Mr. Cole, to
19 whether or not factual impossibility of the vessel
20 capsizing or any more damage occurring to it or any
21 further pollution occurring as a result of Captain
22 Hazelwood's actions, which we'll assume for the
23 purposes of argument were to extract the vessel from
24 the reef, can constitute the creation of a risk as the
25 term was used in the statute defined in the offense. I

1 think you need to have time to look at this brief
2 that's been filed by the defendant. We'll come back in
3 in a few minutes and we'll resolve this.

4 There's been substantial evidence already
5 submitted and we'll have to deal with this at some and
6 we can start dealing now if necessary and we'll
7 definitely be dealing with this question during jury
8 instructions. We'll come back in in about 15 minutes.
9 If you need more time, let me know. We stand in
10 recess.

11 THE CLERK: Please rise. This court stands in
12 recess subject to call.

13 (Off record - 10:13 a.m.)

14 (On record - 10:39 a.m.)

15 THE COURT: You may be seated. All right.
16 Ready to argue this point?

17 MR. COLE: Yes.

18 THE COURT: Okay. I think you know what the
19 objection is. We've got the brief on it. We got your
20 brief on it, too. Let me start off with a questions
21 for you. Maybe we can narrow this down. How can
22 Captain Hazelwood be reckless when the definition of
23 reckless requires to be aware of and disregard a
24 substantial risk if there is there is no risk?

25 And, for example, the crime cited by the

1 defendant where a defendant was charged with arson and
2 reckless endangerment. That case sounds like it might
3 be on point. In that case, the defendant contracted
4 with or made some agreement with an undercover agent to
5 burn some place down and they charged with an attempt
6 at arson and then they said they couldn't be charged
7 with reckless endangerment because it was factually
8 impossible. The undercover agent wasn't going to burn
9 the structure down. So, it was factually impossible
10 for the recklessness to have occurred. So, maybe you
11 can use that as an analogy to this case.

12 MR. COLE: Sure. Judge, I think we need to go
13 back to what the charging documents are in this matter.

14 THE COURT: Well, let's just deal with the
15 charging document at this time of criminal mischief in
16 the fourth.

17 MR. COLE: Right and the charging document at
18 this time reads "Joseph Hazelwood, having no right to
19 do so or any reasonable ground to believe he had such a
20 right, recklessly created a risk of damage to property
21 of others in the amount exceeding \$100,000.00 by
22 dangerous means to wit by the totality of his actions
23 on March 23rd and 24th, he recklessly risked damaging
24 the structural integrity of the oil tanker, Exxon
25 Valdez, causing the spillage of crude oil."

1 THE COURT: Now, as I understand it, based on
2 our earlier orders that have come out and the Bill of
3 Particulars ordered by the State to be produced, the
4 damage that the State is showing that exceeded
5 \$100,000.00, risk of damage, was to the shoreline,
6 marine mammals, the birds, and the fish, correct?

7 MR. COLE: That's correct. But, we have to
8 show that he risked, that by his actions that evening,
9 the 23rd, that he risked, that he was aware of and
10 consciously disregarded, this risk of causing damage to
11 the structural integrity of the Exxon Valdez and our
12 theory is when a tanker captain runs into a reef, he
13 risks causing structural damage to the oil tanker and
14 causing an oil spill, which causes this damage.

15 Now, we have to prove that. One of the ways
16 that we've chosen to prove that is to put on a person
17 who is going to explain what happened to this
18 particular vessel on this particular occasion -- what
19 would happen if it had gotten off the reef
20 instantaneously or five minutes later or hourly? One
21 of the element is that we have to prove that he was
22 aware of and disregarded this risk of damaging the
23 structural integrity by running into Bligh Reef.

24 Now, the way we've chosen to do that is put on
25 someone who can tell the jury, whose done an analysis

1 of the damage sustained by this and can point out to
2 the jury why these risks are there.

3 THE COURT: I understand all that. You're not
4 addressing the issue here, Mr. Cole. The issue is the
5 witness' testimony, had it gone it off the reef, had
6 Captain Hazelwood been successful in getting this
7 vessel off the reef with his efforts, it would have
8 capsized, when, in fact, he could never have gotten it
9 off the reef and I think that's undisputed, isn't it?
10 Do we have any dispute about whether or not he could
11 have gotten it off the reef?

12 MR. COLE: I don't think there's going to be
13 any dispute on that. He could not with the engine
14 horsepower.

15 THE COURT: What element of the offense of
16 criminal mischief in the fourth degree does the
17 testimony by this witness that it would have capsized
18 had he gotten it off the reef, what element does that
19 go to prove?

20 (479)

21 MR. COLE: It goes to prove that he risked
22 damaging the structural integrity of the oil tanker
23 causing an oil spill. I mean, you capsize, you've got
24 to explain to him why his actions risk an oil spill
25 causing the release of this \$100,000.00 damage and our

1 theory is it risks it because when you run into rocks,
2 you cause significant damage, which can cause the
3 release of oil.

4 THE COURT: Well, we understand that. That's
5 part of the elements. When he went aground, the oil
6 came out and the testimony so far is that no other
7 damage occurred after he went aground and it came to
8 rest and there's no evidence that any more pollution
9 took place. There was a risk, had he gotten it out,
10 that more pollution would have taken place. There's a
11 risk that it would have capsized and people's lives may
12 have been lost, but once it came to a stop, the
13 evidence seems to me to be pretty clear that it wasn't
14 going anywhere and there was no further risk. Even
15 though Captain Hazelwood may not have known that, in
16 fact, there was no further risk.

17 Now, I think that's a fair, if I'm wrong,
18 correct me. Is that a fair summary of the evidence so
19 far?

20 MR. COLE: I have no problem with that. But,
21 Judge, see, what we are going under and what I'm trying
22 to get at is originally we brought this case as 2X:
23 what he did before and what he did after. And, you
24 consolidated it at their request, so I have to prove in
25 my case that he risked the structural integrity my

1 running over a reef and that's what Professor Vorus
2 does. He gives that to the jury.

3 We're not focussing on, as Mr. Chalos would
4 like to say, what he risked by taking the actions that
5 he did because you told me and you consolidated this
6 whole thing. What we're talking about is what he
7 risked by running his vessel over a reef, which
8 Professor Vorus can testify about.

9 THE COURT: Well, let's get back to my
10 original question. The elements of the crime of
11 criminal mischief is that the defendant, having to
12 right to do so, or any reasonable ground to believe he
13 had such a right, recklessly created a risk of damage
14 to the property of others in the amount exceeding
15 \$100,000.00 by widely dangerous means. Those are the
16 elements.

17 After that, the "to wit" and the document that
18 the State shows to file isn't an element of defense.
19 The elements are -- I just read, so which of the
20 essential elements of the crime charged, I just read to
21 you, does this witness' testimony that had it gone off
22 the reef, it would have capsized, prove?

23 MR. COLE: It created a risk of damage. When
24 he was approaching the reef and he's 10 feet away or
25 he's 100 yards away, we have to prove that there is a

1 risk, that he creates a risk of the damage, and one of
2 them is Professor Vorus saying when this vessel hits
3 rocks, this is what happens to the undercarriage.

4 THE COURT: Maybe we're not communicating. My
5 question is what does the evidence of what he did after
6 it went aground and came to a stop and the damage was
7 done, the pollution took place, the damage was done,
8 what further evidence that if it had gotten off the
9 reef, which is factually impossible, it would have
10 capsized prove? What element does that prove? The
11 chance of getting off the reef that was impossible,
12 what does that go to prove after the grounding?

13 MR. COLE: If you want to focus on that,
14 Judge, I think it's a mistake of fact. Mr. Madson has
15 not addressed that at all in his brief. All he says is
16 it's an impossibility. Contrary, really, the law
17 review article that we pointed out and the cases in
18 line say that this is a mistake of fact, not
19 impossibility. A mistake of fact in the State of
20 Alaska is not a defense in this particular case. If
21 you want me to focus on that, what does the actions of
22 coming off the reef -- our response is this is not
23 impossibility. It's a mistake of fact and AS 11.81.620
24 sets out the defenses for when a person commits -- you
25 know, when mistake of fact.

1 The mistake of fact here is that Captain
2 Hazelwood thought he could get this thing off the reef,
3 when in fact, he couldn't and that is not a defense in
4 this case. So, we believe that if you look at it as
5 well, how does the actions of taking the vessel off the
6 reef or attempting to take it off the reef relate to
7 the State's case in chief? If that's the question
8 you're asking me, my response is that it's a mistake of
9 fact.

10 THE COURT: Maybe I misread the briefing and
11 maybe I was unaware of the point, but it seems to me
12 that's what the briefing addressed. The events by
13 Captain Hazelwood, him attempting to get it off...

14 MR. COLE: Right.

15 THE COURT: ...and the risk here that you're
16 trying to introduce is that had he got off the reef,
17 there would have been additional damage. There would
18 have been more pollution and there would have been risk
19 of life.

20 MR. COLE: That's correct.

21 THE COURT: Okay, now, that's what I thought
22 Mr. Madson's brief addressed. Am I incorrect, Mr.
23 Madson, about that?

24 MR. MADSON: I think you're correct, Your
25 Honor. It's what it addressed.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(694)

THE COURT: So, my question becomes again, if it was factually impossible to do any more damage or create any more pollution, what essential element of the charge does the opinion that it would have capsized had it gotten off by Captain Hazelwood's efforts, go to prove?

MR. COLE: Well, Judge, if you tell me that you're ruling that it's a factual possibility, then you're right. It doesn't.

THE COURT: Well, wait a second, now. I just asked you if it was a fair summation of the testimony that in fact, it could not have been removed by Captain Hazelwood. In fact, no more damage occurred and in fact, there was no chance of additional pollution. If that was a fair summary of the State's evidence so far, and I thought you said that is correct, am I wrong about that?

MR. COLE: Well, there was more damage done by what he did. I mean, you just don't put a tanker on a rock and grind it back and forth for an hour and a half and not be additional damage and that's what everybody's testified to, that there was additional damage that was done.

THE COURT: What witnesses have testified that

1 there is additional damage...

2 MR. COLE: Captain Beevers and Mr. Milwee both
3 testified that additional damage was done by going back
4 and forth on that reef.

5 THE COURT: Has there been any evidence of
6 additional pollution as a result of that?

7 MR. COLE: Well, I don't think that our expert
8 will say that the additional pollution is caused not by
9 the damage inside, but my understanding it's based on
10 the apertures on top of the deck.

11 THE COURT: Is there any evidence whatsoever
12 that Captain Hazelwood's efforts, for purposes of this
13 argument, were assuming his efforts were to remove it
14 from the grounding, that those efforts created
15 additional pollution?

16 MR. COLE: Yes, I believe some evidence...

17 THE COURT: What is the evidence?

18 MR. COLE: The evidence is going to be -- or,
19 that has been is that he created additional damage by
20 damaging the longitudinal beams on the keel of this
21 vessel.

22 THE COURT: What is the evidence that he
23 created additional pollution? Just bring it to my
24 attention.

25 MR. COLE: The additional pollution is caused

1 by the risk that he creates by disrupting the
2 longitudinal beams going forward and aft and that is --
3 and the problem that arises there is that 8:30 in the
4 morning, we've got a low tide coming in and that at
5 that time, there's the greatest chance of this vessel
6 breaking in half and that is what he risked. I mean,
7 Professor Vorus is going to say that, too. The most
8 critical time of this vessel was at 8:30 in the morning
9 at the low tide.

10 THE COURT: Had he succeeded in getting it off
11 the rock, is that what you're saying?

12 MR. COLE: No. Just by going back and forth,
13 he risked damaging the bottom of this vessel, which it
14 goes to the stress and stability of the vessel, but the
15 greatest stress that this vessel was going to see was
16 at 8:30 that morning.

17 THE COURT: Okay, now, Mr. Cole, the elements
18 say that he has to recklessly create a risk of damage
19 to the property of others. Now, what is the property
20 of the others that he risked damage to in this case?

21 MR. COLE: It's further oil pollution if this
22 vessel breaks up in the morning.

23 THE COURT: And, your witness is going to
24 testify that by his actions in trying to get this
25 vessel off, that there was a substantial risk that he

1 could have broken the vessel up even though it couldn't
2 have moved?

3 MR. COLE: No, he's not going to say that.

4 THE COURT: Okay, you've answered some of my
5 questions. I'll let you go ahead with your argument,
6 Mr. Cole.

7 MR. COLE: Well, in addition to that,
8 Judge,...

9 THE COURT: Now, we're just dealing with the
10 criminal mischief. We're going to get into other
11 charges against Hazelwood in a minute, but right now
12 we're just dealing with criminal mischief.

13 MR. COLE: As I said before, I think that when
14 you look at Count 1 of the information amending
15 indictment, Professor Vorus should be allowed to
16 testify as to what the risks are of a vessel going over
17 a reef and he has a scenario in this case where the
18 Exxon Valdez sustained the same damage, but came off
19 immediately or within five minutes after the grounding
20 and I believe that that's one of the elements that we
21 have to prove. That the risk is that when a tanker
22 captain runs over a reef, this is the type of damage
23 that can be sustained and this is the type of risk
24 that's involved with operating a tanker.

25 Second, as I stated earlier before, we believe

1 that in addition to the impossibility, there's also a
2 mistake of fact here. Captain Hazelwood certainly
3 believed he could take that vessel off the reef and it
4 wasn't for a lack of trying either.

5 Finally, there is two other things. The
6 defendants have waived this by failing to object
7 earlier than this. Professor Vorus gave his opinion.
8 The time to object was prior to that. He should be
9 allowed to explain his answer. And, finally, what
10 Hazelwood did afterward and what he risked -- Captain
11 Hazelwood did after and what he risked goes to the
12 element of bad judgment, Your Honor. That's one of the
13 things that we have to prove in this case, that he was
14 acting in an impaired state and he was acting not in a
15 conformity of a person because of the impairment of
16 alcohol and one of the ways that we can prove that is
17 to show what he risked by doing this and that's our
18 argument.

19 THE COURT: All right. Thank you.

20 (938)

21 MR. MADSON: Your Honor, at the risk of over-
22 simplifying this, I think the Court has really zeroed
23 right in and targeted the issue squarely on the head,
24 but there's a couple comments I would like to just make
25 with regard to what we're talking about and hopefully

1 it will put it in perspective. If I, or anybody else
2 here -- let's assume there are 10 weapons on Mr. Cole's
3 table over here. One of them is loaded and I have
4 reason to believe one of them is loaded. If I picked
5 up any one of the 10 and pointed it at somebody and
6 pulled the trigger, there is a substantial risk that
7 result. It was a substantial one considering even
8 though maybe one out of 10 or one out of 100 because of
9 the result that would follow.

10 But, let's take another example. Let's
11 suppose none of the weapons are loaded, but I don't
12 know that. I have reason to believe that there may be
13 one there. The fact that I grab an unloaded weapon,
14 point it at somebody, no matter what my intent is, does
15 not create this risk of whether it be damage to
16 property, injury or death because it is a non-existent
17 risk and that is exactly what we have here.

18 The evidence shows, and I think the State's
19 main expert on this, Mr. Milne clearly showed, and he
20 said it last Friday, and he said it here again today,
21 it was impossible to move this vessel off the reef no
22 matter what he did because he had insufficient power to
23 do it. No matter what he intended and how many times
24 apparently in discussions with the State, Mr. Milne had
25 a misconception of what his role was and his opinion

1 because he kept saying "But, Captain Hazelwood didn't
2 know that." And, of course, we agree with that. It
3 has nothing to do with what he knew or did not know.

4 Now, if he were charged with intentionally
5 trying to get the vessel off the reef, we wouldn't be
6 here arguing that. Intent crimes are completely
7 different and when you intend to do something that is
8 factually impossible to do it, that doesn't relive you
9 of criminal responsibility or liability. What it does
10 is simply say "Well, because of the result, the crime
11 couldn't occur". Therefore you have attempted to
12 commit the crime, even though it would be impossible to
13 do it. And, that's what the statute Mr. Cole refers to
14 really addresses.

15 The statute on impossibility or mistake of
16 fact or mistake of law really addressed the defendant's
17 mental state. It does not address the other part of
18 the recklessness statute which is the substantial risk
19 factor. In other words, like, just common sense says
20 that no matter what you do, every time you drive a car,
21 no matter what, it can be argued that you create a
22 certain risk. Recklessness doesn't come into play
23 until that risk raises to that level where it becomes a
24 substantial and unjustifiable one.

25 Now, if we look at this case in the context of

1 it was originally three counts as the State originally
2 had it. I don't think there would be any question, but
3 at this stage, or certainly by the end of at the end of
4 the State's evidence, that count would have to be
5 dismissed because there was simply no evidence of a
6 substantial risk which is an element the State must
7 prove.

8 Now, they're coming in here and saying "Well,
9 it's other things. It goes to the whole total, the
10 whole package." Well, we can remove it from the
11 package and that's what I asked the Court to do in the
12 brief is simply say it cannot be considered in the
13 total circumstances of Captain Hazelwood's judgment in
14 the context of recklessness.

15 Now, there may be others, but I am just saying
16 with regard to that, it's simply muddies the waters.
17 It allows the jury -- if it would go to the jury and
18 they came back with a decision after the testimony
19 they've heard and nothing else, it would be, I think,
20 serious error because we wouldn't know if the jury
21 based their decision on actions taken after the
22 grounding or not and if they did, they would be totally
23 wrong. So, we have to put it in the context of one
24 count now, but we can still remove that and whatever
25 happened prior to the grounding, the State is still

1 free to argue. We're not going that far. We're just
2 obviously saying from the State's own evidence here, it
3 was impossible to create the risk after the grounding.

4 Other than that, I don't know what more we can
5 add, Your Honor. I think the highest Court in the
6 state of New York -- it's certainly not a little
7 magistrate's court somewhere, it's taking exactly the
8 same statute we have word for word and basically saying
9 that in essence, you can't have a substantial risk if
10 it was impossible. Thank you.

11 MR. COLE: Judge, I just want to add one last
12 thing. There was another risk of what Captain
13 Hazelwood did and that has been shown on this thing.

14 THE COURT: Risk of damage to the property of
15 others?

16 MR. COLE: Damage to the vessel, yes.

17 THE COURT: You were given specific
18 instructions to give us a bill of particulars to set
19 forth what damage it was that you were claiming was
20 damage to the others and specifically, you said it
21 wasn't to the vessel as I understand it. Am I correct
22 about that?

23 MR. COLE: I'm saying that, but I'm saying
24 that there is a risk and that risk is -- let me just
25 show it to you and this has been testified to. The

1 vessel was sitting on this rock right here. Captain
2 Hazelwood does not know anything all the way around
3 this vessel and he goes backward and forward. The
4 whole time, the expert, Mr. Milwee sat here and told
5 you that he risked puncturing another hole in that
6 vessel. He risked the engine being damaged; he risked
7 that -- I understand the Bill of Particulars, but he
8 also risked running into a rock here, running into one
9 here, here, here, here and he didn't take soundings and
10 I think that supports more oil lost.

11 (1220)

12 THE COURT: At this stage of the proceeding,
13 since there's been so much evidence produced already
14 showing what might have happened had Captain Hazelwood
15 been successful, it would seem to me it would be
16 inappropriate to instruct the jury at this point -- I
17 haven't made up my mind completely, however, I do see
18 the relevance of this evidence to prove the element of
19 under the influence for driving a water craft while
20 under the influence.

21 The argument could be, and I'm not saying that
22 this is what the facts are, but it's relevant to show
23 under the influence. Mr. Cole might legitimately argue
24 that not taking soundings, trying to move the vessel
25 off the rock is evidence that Captain Hazelwood was

1 impaired and that he should have known or was under
2 duty to know that by doing this, he did take a chance,
3 had he been successful in capsizing the vessel and that
4 goes to his judgment at the time.

5 So, I think that goes to prove an element of
6 the misdemeanor operating a water craft under the
7 influence. It may not go to prove that Captain
8 Hazelwood recklessly created a risk of damage to the
9 property of others. I don't know the answer that yet,
10 however I can cure any kind of ambiguity that may be
11 given to the jury with the jury instruction at the
12 completion of the case. I'm going to let the answers
13 come in as proposed. If you have other objection to
14 testimony as it comes in, please make it timely so we
15 can make a timely ruling on it.

16 But, at this time, I'm going to over rule the
17 defendant's objection and I'm going to deny your motion
18 to strike and any other objection that comes in for
19 that very question or one very similar to it would
20 probably be overruled as well.

21 Although, Mr. Cole, please be on notice that
22 there is a likelihood that you will not get an
23 instruction that suggests that what could have happened
24 goes to prove an element of criminal mischief. My
25 inclination now, but I'm going to give it more thought,

1 and I hope that maybe you can give me a little better
2 briefing on this than you have already, is that factual
3 impossibility, physical impossibility, or creating any
4 additional damage, is not evidence in any of the
5 essential elements of the crime of criminal mischief in
6 the fourth degree and I would using the New York cases
7 and law clerk is doing some work on Alaska cases, but
8 I've been unable to find any Alaska cases on point so
9 far.

10 Let's call the jury back in.

11 MR. MADSON: Your Honor, I might mention I've
12 tried to find Alaska cases, too, and that's the only
13 case that we could find on this subject.

14 (Jury present)

15 THE COURT: How long do you expect the rest of
16 your direct will take?

17 MR. COLE: Probably an hour.

18 THE COURT: All right.

19 (Pause)

20 Q (Mr. Vorus by Mr. Cole:) Professor Vorus, in
21 coming to the conclusions that you did, what
22 evidence did you rely on?

23 A Piece by piece?

24 Q Yeah. Just generally.

25 A NTSB testimony, salvage plan, various ship

1 design documents, the longitudinal strength
2 report, "Trim and Stability" booklet. The ship
3 general arrangement, the body plan, the Caleb
4 Brett documents giving the loading and departure,
5 the output of the load master computer program
6 run at the departure condition. There may have
7 been a few others. That's essentially it.

8 Q Did you have any conversations with Mr.
9 Kunkel?

10 A Yes.

11 Q And, how about with Mr. Leitz (ph)?

12 A Yes. I also saw the vessel in dry dock in San
13 Diego.

14 Q Well, let's talk about that. When did you
15 visit the Exxon Valdez in San Diego?

16 A In September, 1989.

17 Q And, who were you with then?

18 A You, Mr. Adams, Mr. Milwee, Mr. Greiner.

19 Q And, had you received any of the evidence at
20 that time, the documents from the State?

21 A Yes, I had -- perhaps a very limited amount.

22 Q Now, would you describe for the jury the
23 damage that you observed? Let me...

24 (Side conversation)

25 Q Now, Plaintiff's Exhibit 159, is that diagram

1 that you made?

2 A Yes, this is a schematic or drawing of -- this
3 shows the plan view of the main deck of the
4 vessel with the compartmentation indicated. It's
5 basically the same drawing you see on the aisle,
6 but without the frame notation.

7 A These two are just simple views viewing the
8 ship from the bow from the front and what I
9 prepared this for was to sketch on here the
10 damage that I observed in the dry dock in San
11 Diego and the scenario that I expect as to how
12 that was created.

13 Q Can you show the damage, then, on the top of
14 the diagram there?

15 A Well, I would like to draw one on the sketch
16 to accompany this. The Exxon Salvage report has
17 attached with it soundings of the area that were
18 taken on March 24th. In addition we have a
19 number of course recreations that show the ship
20 on a 180° heading toward Bligh Reef. It was on a
21 180° heading.

22 The Exxon salvage report, this sketch is
23 lifted out of that documentation. It shows a
24 reef line that comes roughly across the path of
25 the ship. This represents a line of shallow

1 water, representing a ridge in this rock field
2 associated with the territory right off the
3 northern end of Bligh Island.

4 The ship executed a turn and at a heading of
5 about 250° is where the course recorder shows the
6 deviation in path. I suspect that that's where
7 it first encountered this reef ridge line. It's
8 momentum carried it across that line and in
9 viewing the damage in San Diego, what I saw was
10 the ship encountered that water and that depth
11 there, the vessel is running at a draft at this
12 point of about 56 1/2 feet and if you project
13 that -- now, this was from a view of the damage
14 and of some knowledge of what the water depth was
15 in way of the reef ridge.

16 It looks like that projecting the profile of
17 the reef on this view, what you saw was some
18 contact at the corner, water opened below much of
19 the starboard side and then a rock coming up
20 under close to the center the line on the
21 starboard side. The damage that that did, was, I
22 believe, it looks like, some scraping, it's hard
23 to tell here because the later encounter was with
24 shallower water, produced more extensive damage,
25 but back in this region, there was some scraping

1 associated that and mild holing here of the
2 number 4 ballast tank.

3 In addition to that, you can follow the damage
4 line of this rock. This looked like it was down
5 at a depth of, say, 54 feet, about a two foot
6 interference between the bottom of the ship and
7 the top of rocks. So, say this is 54, and these
8 are rough numbers, what that did was cut a
9 tunnel.

10 You could see the upset -- could view the ship
11 from the bow -- look down the tunnel. The ship
12 was in the starboard turn. It was turning to the
13 starboard and that rock seem to cut a tunnel,
14 just to upset the plate over most of the length
15 of the ship. You could follow it down the length
16 and it made a trajectory on the bottom that
17 started at the fore peak tank and went down the
18 entire length of the vessel with a trajectory at
19 starboard. It took out number 1 center tank. It
20 took out number 2 center tank and I say "took it
21 out"; it was like taking a pair of scissors and
22 just snipping the bottom plating.

23 This upset region, the plate was upset and it
24 was opened at the top. This was a width of about
25 six to eight feet, but it was holes through the

1 tanks. This trajectory followed a course
2 generally toward the starboard side as the ship
3 went into the turn. It took out 2-C, it took out
4 3-C, it took out 4-C. It took out both 5-C and
5 5-S and it took out the double bottom, the 10
6 foot, 11 foot double bottom under the starboard
7 slot tank.

8 So, I think that first encounter, which is
9 very likely the crew didn't even hear or didn't
10 even feel it, just thundered right over it, took
11 out one, two, three, four, five, six, seven,
12 eight, including the, I think, nine of the 12
13 tanks.

14 (1900)

15 All right, then it progressed into the turn
16 and came on around the heading of something like
17 305°. This was 180° -- 305°. It later settled
18 back to about 280°. This was a most intense
19 region of that reef from the simple soundings and
20 sketches that Exxon produced.

21 At that point, the interference was large
22 enough, again projecting on this view, and
23 interference that looks more like that, where now
24 we're set up here on the starboard side perhaps
25 to a 50 to 52 feet, somewhere in that range,

1 where there is a much more intense interference.
2 And, that interference was such to dissipate the
3 momentum of the vessel and stop it and in doing
4 so, it took out much of the turn here into the
5 bottom.

6 It did extensive damage in the fore peak and
7 to a one starboard to two starboard -- one
8 starboard, two starboard. This is a ballast tank
9 number 2. It got three starboard and came to
10 rest somewhere in this region on that shallow
11 part of the reef. Here we come across, all
12 right, for the first one, for the second one, the
13 ship stopped in an attitude something like that.
14 It stopped at about 305° and then swung back to
15 something about 280°.

16 Q Now, would you use the diagram that you've
17 just drawn to explain a little bit about the
18 longitudinal beams that run along the bottom of
19 the vessel?

20 A All right, we really need to get into strength
21 to deal with that concisely.

22 Q Well, just explain the layout before you get
23 into that. What is the layout on the bottom?

24 A You can see the layout better from the drawing
25 on the other aisle. The pink lines here, these

1 are the bulk heads, the major transverse lines
2 that I have on that sketch. The intermediate
3 transverse lines are frames. They're big frames
4 about as high -- higher than as high as this
5 ceiling, but are open, generally open and these
6 occur at roughly the spacing indicated here and
7 then there's the next level of structure, closely
8 spaced longitudinal with flanges on top that are
9 probably about this high that run longitudinally
10 and passed through all the frames and all the
11 bulk heads.

12 Q Now, when you were in San Diego, did you
13 notice any damage that would be consistent with
14 tide ballooning, going up and down on the tide?

15 A Yes, the vessel came to rest locally. This
16 ridge seemed to be rather steep, so it came to
17 rest right in the region here of bulk head 23 and
18 then with the outgoing tide, the ends of the
19 ship, then, tend to droop over. It's like you've
20 got a bar with a fulcrum somewhere in the center
21 and the tendency is for the ends, because of the
22 weight, to droop over and it creates a very
23 stressful situation.

24 Fortunately this ship, rather than knuckling,
25 breaking, at that point, it crushed, the local

1 structure crushed, so that the region here where
2 it settled on the grounding was -- you could walk
3 down the length of the bottom and the dry dock,
4 the docking blocks was set at four feet and
5 usually it's very hard to even get underneath.
6 In this case, you could stroll down between
7 longitudinales on either side of your head all
8 the way through this region and then in reaching
9 the region of settlement, this became like a
10 cathedral almost.

11 It was set up about eight feet. The
12 longitudinales were spread and they were heavily
13 bowed and that represented the settling of the
14 ship on the reef with the first low tide. Did
15 you see any indication of damage where twisting
16 of the heading of the vessel?

17 (2174)

18 MR. CHALOS: Objection, Your Honor. Your
19 Honor, I object. Misleading the witness.

20 MR. COLE: It's a foundational question, Your
21 Honor.

22 THE COURT: Maybe you can be a little more
23 specific. The form of the question is ambiguous. When
24 you say "twisting", what are you referring to?

25 Q Did you seen any evidence of damage due to the

1 vessel changing course through twisting motions?

2 A If, again, we draw that similar picture, this
3 time working upward from the bottom, the vessel
4 was fairly crushed and distorted in this region
5 where it is settled on the starboard side about
6 365 feet back. There were to me -- there were
7 signs of rotation due to some cause and that you
8 could generally walk out in any direction from
9 roughly the center of this cathedral and see
10 marks that were perpendicular to radial lines out
11 of that area, just walk out a radial line and
12 much of the plating was missing, but where
13 plating was intact, you could see, I could see
14 scratch marks that were roughly perpendicular to
15 my direction indicating a rotation roughly about
16 at some center in this area.

17 Q Now, can you determine the cause of that
18 twisting just from the marks, itself?

19 A No. I should add that there was also -- the
20 longitudinales are, by design, absolutely
21 straight. Nothing is absolutely straight, but
22 that's where they provide their maximum strength
23 as members of the hull structure. They're
24 absolutely straight. When these members become
25 bowed in any direction. They lose their

1 stiffness and essentially discontinue to provide
2 strength to the hull.

3 There was an indication of the longitudinal
4 splaying. It was certainly vertical. It was
5 unquestionably vertical where the ship has
6 settled on the reef, but there was also splaying
7 laterally, which could have come from the ship
8 rotating -- a rotation about some fulcrum at this
9 point. It would move the ship's center line,
10 transversely and then in the presence of rocks, a
11 rough bottom contour is catching on these
12 longitudinales and bending them sideways.

13 Q And, you saw evidence of longitudinales that
14 had been bent in this way?

15 A Yeah, I think the pictures that had been
16 introduced as evidence confirm that they exist.

17 Q When was -- well, we can get to that in just a
18 second. You talked about the conclusion that you
19 reached in this matter. Are there any factors
20 that the jury or theories that the jury needs to
21 understand before you explain why the Exxon
22 Valdez would not have floated had it come off
23 that reef?

24 A Well, buoyancy is they key. If you can
25 understand buoyancy, I think most of this becomes

1 rather simple. An understanding of simple
2 buoyancy leads to understanding what we did here
3 in terms of strength, stability, and even the
4 spill itself, the flow of flue is into and out of
5 the tanks.

6 Q Have you made some exhibits to demonstrate
7 this?

8 A Yes.

9 MR. COLE: I'm going to move for the admission
10 of what's been marked as Plaintiff's Exhibit 159.

11 MR. CHALOS: No objection.

12 THE COURT: It's admitted.

13 EXHIBIT 159 ADMITTED

14 (Side conversation)

15 Q Let's talk about stability. What do we mean
16 by "stability in a vessel"?

17 A You mean "buoyancy"?

18 Q Buoyancy.

19 A Well, I would like to illustrate this with a
20 very simple example. This may be unnecessary and
21 I don't want to insult you with this, but I think
22 if you'll bear with me for just a moment, I think
23 this is the key to understanding the things that
24 I'm going to show you a little later.

25 (2519)

1 MR. CHALOS: Your Honor, I don't mean to
2 interrupt, but I think the witness has to be responsive
3 to the question rather than give us a lesson as you
4 would students in class. I think there has to be
5 questions and answers rather than a lecture.

6 THE COURT: I think our rules, Mr. Chalos,
7 allow an expert to give somewhat of a dissertation a
8 subject, particularly preliminary to -- and I think I'm
9 going to let him do it. Objection overruled.

10 A I'm going to start with the idea that you have
11 two balls. One is a tennis ball made out of
12 fabric and the other, say, is a cannon ball,
13 muzzle loading cannon ball. They're both the
14 same size, roughly three inches in diameter.
15 And, let's say that we have water and you take
16 the two balls, same size and hold them beneath
17 the surface.

18 Now, obviously if you let go, one floats and
19 one sinks, but just say for now that you're
20 holding them below the surface. You know that
21 the tennis ball is going to rise. The cannon
22 ball is going to fall, and I mean that's the
23 proof, but there's another way to prove that and
24 that's by the concept of displaced volume. Say
25 these are both the same size, three inches and

1 they both have the same volume and the volume of
2 a three inch ball is essentially about one cup.
3 It's about eight ounces.

4 So, the volume -- and when we say "displaced
5 volume", it's the water which occupies the same
6 space as this material, so it's the volume of a
7 three inch spear.

8 All right, the volume here in both cases is
9 about eight ounces, volume ounces and the weight
10 of eight ounces of water is about a half a pound.
11 So, the weight of that volume is about one half
12 pound. Now, the fact is, if this weight is
13 greater than the weight of the ball, it's greater
14 than the weight of the ball, then the object
15 floats, rises to the surface. If this weight is
16 less than the weight of the object, the object
17 sinks.

18 Now, I think it's obvious that a fabric tennis
19 ball full of air weighs less than a half a pound.
20 So, the tennis ball rises and the cannon ball
21 sinks once you release the two. The half a pound
22 is the buoyancy. That's the buoyancy of a
23 submerged ball. It's the weight of the volume
24 displaced by the objects.

25 Now, let's forget the cannon ball. We're

1 interested in bodies that float and go to the
2 surface with the tennis ball. The tennis ball
3 goes to the surface and it floats there. It's
4 got to have the new displaced volume in this
5 configuration. The displaced volume, now, the
6 volume, the water displaced by this object is the
7 volume of this little cup. It's not just a cup
8 of water -- all right, and the weight of that cup
9 of water, which is the volume of the ball below
10 the surface, that's it's displaced volume, the
11 weight of that cup of water is its buoyancy and
12 it is exactly equal to the weight of the ball.

13 The weight of the ball, if the ball is heavy
14 or displaces more water, the weight of that water
15 is heavier equal to the heavier weight of the
16 ball. If the ball is lighter, it displaces less
17 volume. A lighter weight equal to the lighter
18 weight of the ball.

19 Q So, your little half circle there, if that was
20 filled up with water, the half circle there,...

21 A Yeah.

22 Q That would then be equal to -- the weight of
23 that half a cup of water would be equal to the
24 weight of your tennis balls.

25 A That's right. All right,...

1 Q Go ahead. I was going to ask you what about
2 the center of gravity?

3 A Okay, that's the next thing. You've got to go
4 from buoyancy now to talk about where the
5 buoyancy acts. It will be centered. We need the
6 concept of the center of gravity which I think
7 most people are familiar with. Low center of
8 gravity, high center of gravity. It's just a
9 center of your weight.

10 So, center of gravity for the tennis ball,
11 since this absolutely symmetric, would be in the
12 center of the ball. All right, so draw the
13 center of gravity. This represents "G" and I'll
14 refer to this as "G". All right, acting through
15 "G" is the way of the ball at the "W". Now,
16 center of buoyancy; center of buoyancy is nothing
17 more than the center of gravity of the displaced
18 volume.

19 All right, this is the displaced volume. That
20 displaced volume has a center which is somewhere
21 on the axis because it's symmetrical, but
22 somewhere below the surface. Just a geometric
23 center of that space. That's known as "B".

24 Q What's "B"?

25 A "B" is represents center of buoyancy. It's

1 center of buoyancy and center of gravity. Center
2 of buoyancy being the center of gravity of the
3 displaced volume. All right, now the weight, you
4 see, also then -- since buoyancy, the magnitude
5 of buoyancy is equal to the weight, you see, also
6 then -- since the magnitude of buoyancy is equal
7 to the weight, then you've got the weight of the
8 object acting down through the center of gravity
9 and you also have the weight of the object acting
10 up through the center of buoyancy because the
11 buoyancy is equal to the weight.

12 All right. That represents the condition
13 where the ball is stable and it's the condition
14 for -- and we'll define stability, but the center
15 of buoyancy must lie directly above the center of
16 gravity for any object in order for the object to
17 be stationary. If a center of gravity is not
18 directly above the center of buoyancy on the same
19 line, this will rotate.

20 Q When you say rotate, it will start to twist,
21 is that right?

22 A It'll turn. If you had a configuration, for
23 example, where the center of gravity was over
24 here and the center of buoyancy was here, you've
25 got the weight acting through both, but this is

1 coming down and that's going up, so it tends to
2 twist it and it will seek an equilibrium state.
3 The state where these two always line up one on
4 top of the other.

5 Q What forces are there that push this ball up
6 again? What is happening?

7 A Well, it's the pressure of the water which
8 holds it up, but that's represented in this
9 buoyant volume.

10 Q Now, what happens now when they become
11 disaligned?

12 A All right, for this case, for a sphere, you
13 can put it in any position you want. I can take
14 the ball and say rotate it. Rotate it and as I
15 rotate -- I can -- it will rotate, but as it
16 rotates, the center of gravity is rotating about
17 the center of gravity. About the center of the
18 ball, which is the center of gravity, that stays
19 in the same place, but as it rotates, the center
20 of buoyancy rotates with it, so the center of
21 buoyancy always stays onto the center of gravity
22 and I can put the ball, turn it into any position
23 that I want and it will stay there.

24 Now, that's not generally true. I mean, this
25 is a very special case because of the symmetry of

1 the ball and that's generally not the case with
2 the ship.

3 Q Why is the ship different?

4 A The ship is different in two respects. One
5 the center of gravity is not at the axis of
6 rotation. This shows a ship which has been
7 inclined and remember the center of buoyancy,
8 "B", both of these arrows represent the magnitude
9 of the weight of the ship, but the center of
10 buoyancy is the center on this displace volume
11 and you can see that that center is shifted to
12 one side.

13 All right, the center of gravity is along the
14 axis. Now, the ship has been rotated over, but
15 you can see that in this configuration with the
16 center of gravity below the axis of rotation --
17 the axis of rotation is where the vertical line
18 through the center of buoyancy intersects the
19 axis of the ship. If the center of gravity is
20 below that axis of rotation, the ship will rotate
21 back to upright because you would expect it to.

22 Q When you say "rotate back", in other words, it
23 will right itself?

24 A The action of these two forces is to rotate it
25 back toward upright and that's what you would

1 expect it to do. You know, you rock your boat.
2 When it stops rocking, it's sitting upright
3 again. All right, but that doesn't have to be
4 the case as indicated here on the lower picture.

5 Imagine moving the center of gravity. The
6 center of gravity, now, will be symmetric. It
7 will be on the axis with the ship because we're
8 assuming that the weight's the same on both sides
9 at this point. That's not necessarily true if
10 one side floods for example.

11 But, for the symmetric case, as a center of
12 gravity, you stack more weight on the deck, for
13 example. The center of gravity moves up this
14 line. If it ever crosses the versicle line
15 through the center of buoyancy, in other words,
16 if it gets above the axis of rotation of the
17 ship, then these two forces act to rotate it in
18 the direction of the angle.

19 Q So, instead of righting itself back up, it
20 continues to roll?

21 A It capsizes and turns over. That's stability.
22 Let me give you another example of that.

23 Q Go ahead.

24 (3199)

25 A I think one that maybe you can relate to -- a

1 log roller or a lumberjack. Think of the ball,
2 now. This ball that we drew is now a log. The
3 log is cylindrical, so instead of looking at a
4 sphere, you're looking in the end of a cylinder.
5 A ship is more or less cylindrical. If the log
6 roller is not a board, then this looks very much
7 like the sphere. It floats at some depth. The
8 center of gravity is in the middle, is in the
9 center and the center of gravity -- or the center
10 of buoyancy is some place below and you've got
11 the action of buoyancy and weight lined up
12 together on the same vertical line is stable.

13 But, now let the log roller climb aboard and
14 the center of gravity goes way up. If you put
15 the log roller on, the center of gravity of the
16 system now goes up, say, to somewhere here and if
17 his balance is not precise, and he leans one way,
18 then that log tends to rotate. It tends to
19 rotate, so that now the log roller, the center of
20 buoyancy is still in the same place, but the
21 center of gravity is now off to one side and the
22 weight is down and I think you can clearly see
23 that now because the weight and the buoyancy are
24 not in the same vertical line, that the log is
25 going to tend to roll over, capsize.

1 The center of gravity is above the axis of
2 rotation. So, in order to correct that, the log
3 roller has to start running. You have to do
4 something to get his center of gravity back above
5 the center of buoyancy to stabilize the system.

6 So, if he starts running to try to gain his
7 center of gravity back up, up over the center of
8 buoyancy, so that the log will stop rolling, in
9 this case, he's gone too far and it will be
10 rolling back the other way. But, if he can't run
11 fast enough, or respond quickly enough to this,
12 he gets thrown in the water.

13 Q Now, in the examples that you've given, does
14 it make a difference when you have a liquid cargo
15 like in the tankers here?

16 A Yes, that is a case of center of gravity
17 movement. Let me say first of all that, you
18 know, the idea of low center of gravity,
19 everybody skiing for example, you get your stoop
20 back and get the center of gravity low. High
21 centers of gravity are bad.

22 One other demonstration here, this thing
23 caught my eye and it looks like a good candidate
24 for capsizing. The axis of rotation is fixed
25 here at this fulcrum.

1 A The center of gravity, you can look at it, and
2 it would appear to be below the axis of rotation
3 and this is stable rocked back and forth and
4 aside from friction that exists in the mechanism
5 here, it tends to right itself. I would turn it
6 upside down so that the center of gravity
7 demonstrations often don't work. The center of
8 gravity is above the axis of rotation and let it
9 go to give it a start.

10 Well, that's friction that's keeping it
11 upright. It tends to turn over and turn back
12 into the stable position, which, if this was up,
13 if this was the attitude that you were trying to
14 maintain, this device would be unstable in that
15 condition and would capsize.

16 Q Now, we were talking about what happens when a
17 vessel, a ship, has a liquid cargo. Does that
18 further complicate stability questions?

19 A We talked so far about the center of gravity
20 rising above the axis of rotation. I think it's
21 really best to think of the two versicle lines
22 and where they lie relative to one another. If
23 you've got a vertical line through the center of
24 buoyancy, a vertical line through the center of
25 gravity, and for the vessel to be stable, those

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

lines have to be the same. They have to be coincident, or again, the stable configuration.

Now, this is stable because the vertical line through the center of buoyancy lies outside of that though the center of gravity, which tends to make it rotate back into the condition where the two lines are the same. All right, the condition here, the vertical line through the center of gravity lies outside of the line through the center of buoyancy and that tends to make it rotate so the lines are displaced further and further apart and it has to turn upside down in order to get the lines to aline.

All right, now, you can see that anything that moves the center of gravity further outside the center of buoyancy in this case tends toward greater instability. Anything that moves the center of buoyancy toward the center of gravity means greater stability.

(3610)

Now, you can see a tanker has an advantage with it's very boxy section in regard to the movement of the center of buoyancy. In other words, if this was circular, you don't get much movement of the center of buoyancy, but with

1 these very sharp corners, the center of this
2 displaced volume tends to move to the outside
3 which is good. It's like a sumo wrestler
4 spreading his legs apart.

5 On the other hand, liquid cargos, for example,
6 if this is carrying liquid, when the vessel rolls
7 to one side, the liquid will pile up on that
8 side, which tends to move the center of gravity
9 in the wrong way outside the center of buoyancy.
10 So, free surfaces, liquid cargos present a
11 problem with tankers. And, of course, you can
12 see that if you carry more weight, if the vessel
13 is holed, and you're taking on water on one side,
14 then that further shifts the center of gravity
15 outside of the center of buoyancy, not only
16 perhaps raising the "CG", leading to a more
17 unstable situation that can lead to capsizing.

18 Q So, we've talked a little bit about stability,
19 what is the next concept that we need to
20 understand?

21 A It's really the same mechanics except we've
22 got to not only talk about the -- we talked about
23 buoyancy where buoyancy and gravity act and now
24 you need to recognize that that really
25 distributes it. They don't really act at points.

1 That they're distributed over some dimension.
2 What I'm doing now is looking at the ship rather
3 than from the end, from the side and what this
4 represents, this is just a simple schematic,
5 these curves represent the distributions of
6 weight and buoyancy. I mean, the fact that the
7 ship has length, the weight is not at a point
8 that distributed over the entire length from
9 stern to bow.

10 Q You mean it's heavier in some spots than it is
11 in others?

12 A Yeah. This vertical distance represents the
13 weight at any point and you can see the ship
14 trims down to essentially no weight at the ends
15 and then as it broadens, carrying more weight in
16 this case in the center, the weight goes up and
17 there would be an engine room here, which takes
18 some weight out. So, but the area, the sum of
19 all these weights represents "W", what we've been
20 calling "W" and in fact, the area of that curve
21 is the weight, the total weight if you take that
22 area.

23 Q All right, now, the other curve that's
24 superimposed here is the buoyancy distribution.
25 The buoyancy, similarly is distributed over the

1 length. It will be smaller where the displaced
2 volume is smaller, which will be at the ends and
3 it will be largest where the vessel displaces
4 more volume near the center.

5 All right, and likewise, the total area under
6 the buoyancy curve is the total buoyancy and the
7 total weight and the total buoyancy have to be
8 the same so the areas under these two curves have
9 to be the same.

10 Q What happens then when they're not, certain
11 areas of the vessel?

12 A Then, we have stress. If they were exactly
13 the same, point for point, there would be not
14 stress of the type that we're primarily concerned
15 about. The stress occurs because these two
16 curves are not the same point for point. The
17 fact, you can see here that in the middle for
18 this particular case, it says that buoyancy is
19 larger than weight in the middle, which tends to
20 lift the vessel up locally in the middle.

21 However, the weight is greater than the
22 buoyancy on the ends, so it tends to sag off on
23 the ends which tends to bend it. I mean, the
24 weights in the middle, it's, you know, pushing
25 down on the ends. It's tending to bend this as a

1 beam. That's called a "hogging". That's a
2 hogging moment where it hogs up in the middle.

3 A tanker can be that. It's typically more the
4 other situation where it sags in the middle,
5 where it's more weight over buoyancy in the
6 middle and buoyancy over weight on the ends. It
7 can be either. But, it's the difference in
8 weight and buoyancy distributions that produce
9 the stress of primary concern. The stress that
10 essentially knuckles or breaks the ship.

11 Q How do waves affect this?

12 A It's just, again, a simple extension of the
13 same argument. You can view the wave as just a
14 change in the buoyancy, but this represents a
15 waive and it's freezing the picture in time. At
16 some other time, the wave will be somewhere else.
17 But, here there's been a waive placed here, so
18 that we've taken buoyancy out of the middle with
19 the wave. So, here, we tend to get buoyancy over
20 weight on the end and it sags down in the middle
21 trying to fill the space created by the trough of
22 the wave.

23 A half or quarter wave length, half a wave
24 length later, this trough is now reversed. It's
25 in the middle, tending to make it hog. Lifted in

1 the middle and it falls off on the ends
2 supporting what we have here just in still water
3 without waves, so that the ship as it traverses
4 waves is continuously going like that.

5 Q These are these tankers. Even though they're
6 made out of steel, they're bending up and down?

7 A Well, any ship, but particularly these
8 tankers. It was thought that these ships could
9 never be built in these sizes because the big
10 waves and storm seas are about 1,000 feet long,
11 which is typically the lengths of these ships and
12 this represents a wave which has the length of
13 the ship. If the waves are longer than that or
14 much shorter, they don't stress it as severely.

15 The way this was accomplished was to move the
16 superstructure back to the stern and get a long
17 continuous parallel mid-section with all this
18 longitudinal material. The ships had to be
19 reconfigured, these ships in order to handle
20 stresses associated with this with practical
21 construction methods.

22 Q What is the ultimate consequence if a ship
23 gets over stressed in a hogging or sagging
24 motion?

25 A Well, it can fracture. The buckling of either

1 the deck or the bottom probably occurs first,
2 depending on whether it's hogging or sagging and
3 then knocking. It just takes, you know, from
4 that set, and possibly fracture beyond that.

5 Q You indicated that the longitudinales were
6 designed to help. How do the longitudinal beams
7 running down the length of the vessel help
8 prevent this?

9 (Tape: C-3653)

10 (000)

11 A Say, that we have a hogging situation, I think
12 that was the one that was most critical with
13 regard to this case. Where the bending of the
14 vessel is up in the middle, down on the ends,
15 what that tends to do is stretch the deck.
16 You're stretching -- this is being stretched out.
17 In other words, because this is bending on an
18 arc, this has to become longer and is being
19 stretched. By the same token, the bottom is
20 being compressed, all right, so there is
21 compression on the bottom.

22 So, these longitudinales that we talk about,
23 if this is a web and this is a web, these are the
24 transverse members that we showed on this sketch.
25 The transverse webs that run between the bulk

1 heads and this is a longitudinal. This might be
2 20 feet. These are, you know, 10, 12 feet high.

3 This member from here is being pushed. It's
4 being pushed and all of them are being pushed
5 together by the action of the this hogging. If
6 this member is absolutely straight, it's stiff in
7 compression, but if it has some initial bow to
8 it, if it's already bowed, then to press on it in
9 a bowed or distorted condition, it has no
10 stiffness. It doesn't really contribute to
11 resisting the stress associated with this hog.

12 In other words, if this is bowed up,
13 initially, when you bring the compression on, it
14 bows up some more. It just has very little
15 rigidity. So, these members become ineffective
16 in resisting stress once they're subjected to
17 these out of plan deformations.

18 Q Isn't that what happens when a vessel hits
19 rocks and tears out the bottom of it's...

20 A Some of it is bound to occur.

21 Q Now, we've talked about two things. What is
22 the final concept that the jury needs to
23 understand to understand how this vessel reacts
24 if afloat?

25 A All right, it's to take the same concept of

1 buoyancy and stability and apply it to the tanks
2 themselves, the tanks with holes in the bottoms.

3 I would like to consider this just as a tank.
4 It's not necessarily at this point a tank and a
5 ship, but it's just a tank that -- oops, that's
6 the wrong one. It's an open tank with no top and
7 you take this tank, it has a depth, "D", and this
8 is what we've been calling draft. It's the
9 distance from the waterline to the bottom of the
10 vessel, but this is the tank and you put water in
11 it up to the level of the surface, exactly equal
12 to the level of the surface. So, that the
13 displaced volume -- the displaced volume now is
14 volume of this vessel below the waterline. That
15 is exactly equal to the weight of the water in
16 the tank, by definition.

17 Now, take the bottom away and what happens?

18 Q When you say "take the bottom away", you
19 mean...

20 A Remove the bottom. These dotted lines mean
21 that the bottom has been taken out. Nothing
22 happens all right because the water inside --
23 this just becomes an open cylinder, a rectangle
24 and the water is stable. The water stays at that
25 level if I take the bottom away.

1 All right. Now, I go to the next picture and
2 let's say that I want to put a weight of oil in
3 the tank equal to that original weight of water.
4 Now, oil weighs less than water, so it would take
5 a bigger volume of oil to get the same weight.
6 In other words, my displacement is the same so in
7 the concept of displacement, it takes a bigger
8 volume of oil to equal the same weight.

9 So, I take the water out and put the oil in
10 and it rises up above the level of the surface
11 outside because it's a bigger volume for the same
12 weight. Now, take the bottom away and what
13 happens? Nothing.

14 See, the concept, -- people are under the
15 misconception that an oil spill is like the
16 bottom falling out of the bucket, that the bottom
17 gets a hole in it and the oil gushes out. That
18 doesn't happen. It doesn't empty. It will go
19 down to level for which the water displaced is
20 equal to the weight of the oil in the tank and it
21 stays there. Now, there may be some seepage and
22 some washing back and forth in this case, but
23 basically the level is established.

24 Q Well, what happens then if you have more oil
25 -- the weight of the oil in your tank is greater

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

than the weight of the water displaced?

A Well, I take "0" here -- "0" represents -- this is "0" oil. This is zero. Take zero, zero level is this equilibrium level. The amount of oil for which I can take the bottom away and nothing happens. So, I put my oil in that and take the bottom away, and the oil runs out -- will go out the bottom until it reaches the level "0" for which the system is an equilibrium and then it stops.

Q Well, what happens then if oil is below?

A All right, you put less in than "0", which is equilibrium, take the bottom away. Water comes in the tank. Water will come in under the oil and float the oil up to a level so that the total weight of the water plus the oil is again equal to the weight of the displaced volume of water. So, in this case, the oil won't go quite back up to level "0".

Q Now, does it make a difference that in your hypothetical that it's an open atmosphere? What would happen if you had it closed? Oh, you've got another couple there. What are these?

A It demonstrates the same thing. Again, level "0" is the level for which there is no bottom.

1 Oil is above the level of the water in an
2 equilibrium. Now imagine the tide falling. If
3 the tide falls a certain amount, the level of the
4 water, the level outside, drops and oil runs out.
5 But, oil runs out only until it reaches a new
6 level above the new waterline and then it stops.
7 On the other hand, the ship is sinking, so that
8 the waterline is rising relative to the tank.
9 The waterline has come up. This is the
10 equilibrium level and no bottom.

11 If the waterline rises, which could either be
12 a rising tide or a sinking ship, then again,
13 water would come back underneath the oil and
14 float the system up until we reached a new
15 equilibrium level of oil above the original one
16 and above the new waterline.

17 Q Now, what happens when we put a top on?

18 A All right, now if you go to the equilibrium
19 case, and the bottom is in overfill, "O" is
20 equilibrium so that if I take the bottom out, it
21 stays put; nothing happens. Put the bottom back
22 on, put some more oil in, but put a top on, and
23 assume that the top is airtight, then take the
24 bottom away. Now, before when we took the bottom
25 away with no top, the oil ran out, but the top is

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

airtight. What happens? Nothing.

There may be a little bit of movement because of just the compressibility of air, but generally in order for oil to flow out of the bottom, it has to be replaced by an equal volume of air in the top and that if air can't get into the top, there's no way oil can run out of the bottom. The system is locked, it's got a vent lock. It tends to draw a vacuum. The weight of this oil is hanging on the air and it's creating a vacuum in the air.

(430)

It's what we started calling the "soda straw system for oil spill controls". Just vent lock the tops of the tanks, and oil can't go out the bottoms. You know, a soda straw, you fill a soda straw with liquid and put your thumb over the top and it doesn't go anywhere. Well, that's what this is.

On the other hand, if you cut a small hole in the top, and overfill it with oil above "0", take the bottom away, now you let air come into the top of the tank so that oil can go out the bottom, but slowly. At this point, we haven't considered how fast any of these things occur,

1 but it takes time for this to happen and the
2 smaller this hole, the longer it takes for the
3 oil to leak out and reach the level "O". It will
4 ultimately get there. The smaller the hole, the
5 longer the time will be required for the level to
6 drop, the oil to leak out the bottom and to
7 achieve the level of equilibrium state.

8 Last point, go to the same case, but now
9 instead of removing the bottom entirely, only
10 take part of the bottom out, but such that the
11 area removed in the bottom is still much greater
12 than the area of the little hole in the top. If
13 that's the case, these two situations are
14 essentially the same. That the rate, in terms of
15 rate, they're certainly the same -- they're both
16 going to reach the same level, but the rate at
17 which it reaches the equilibrium level is
18 controlled by the small hole.

19 In this particular case, as we'll see, this is
20 what I wanted I to do to go on to explain the
21 analysis that we've done of the Valdez, that
22 that's the case. That we've got holes both in
23 the tops and in the bottoms of the tanks, but the
24 holes in the tops are much smaller than the holes
25 in the bottoms and in fact, the spill is

1 controlled from above and not from below.

2 Q So, what you're saying is it's not the size of
3 the damage that's done to the bottom that
4 controls the oil loss or water gain, but rather
5 the size of the openings up above?

6 A It's the vents in the top. It's important the
7 tanks have to be holed, but the sizes of the
8 holes in the bottom almost no matter how big they
9 are, in this particular case, are a lot bigger
10 than the vents in the tops.

11 Q Did you develop a computer program to
12 demonstrate this?

13 A Yes.

14 Q How did you do that?

15 A Well, we used something called the Darcy
16 Equation. It's like pressure in a pipe. You've
17 got pressures at two ends of a pipe and if
18 they're different, there's a flow that occurs
19 through the pipe. Well, this whole thing could
20 viewed simplistically as a big pipe all the way
21 from the ends of these vents, the inert gas
22 system and the vents and the ballast tanks from
23 where they're exposed to atmosphere, to the
24 bottoms of the tanks where they're exposed to the
25 water pressure due to surface elevation. It can

1 be looked at as one big pipe and you just
2 calculate -- can predict the flow rates through
3 that system.

4 Q Do you have a way of predicting the loss of
5 the oil that would have occurred on this vessel
6 when it was on the reef?

7 A That was the first part of the program -- was
8 a flow model to fix the attitude of the ship and
9 fix the contents in terms of oil and water at
10 some initial state and then start time and
11 predict the flow rates out of an into the oil
12 tanks and the flow of water into the ballast
13 tanks with time.

14 Q And, have you done a graph to show that?

15 MR. CHALOS: Your Honor, can we take a break
16 at some point? We're coming up to about quarter after
17 12:00.

18 THE COURT: Yeah, I think that's a good idea.
19 We'll take our break, too, ladies and gentlemen. Don't
20 discuss the matter among yourselves or form or express
21 any opinions. We'll take about 10 or 15 minutes.

22 THE CLERK: Please rise. This court stands in
23 recess subject to call.

24 (631)

25 (Off record - 12:11 p.m.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(On record - 12:36 p.m.)

THE CLERK: This court now resumes its session.

THE CLERK: Resume now, Mr. Cole.

Q (Mr. Vorus by Mr. Cole:) Professor Vorus, when we took our break, we were talking about the computer program that you used to predict the oil loss. The Exhibit that's right there, Plaintiff's Exhibit 166, is that a graph that you designed to help explain the oil loss and rate of loss?

A It's a graph of the output of the computer program that was written to predict the oil loss, water gain versus time. This was a program that was developed -- you have to specify the attitude of the vessel. Here, that was specified as a departure condition. The departure draft...

Q Which was about 56 point...

A 56.3 feet. Essentially zero list or heel, which is the rotation and I think a slight trim by the stern and this is a plot versus time. This lower scale here is the time in minutes after grounding. "T" equals zero is the time that the tanks are opened up. The ship is now fixed on the reef and one of these curves is the

1 rate of oil loss predicted by that program and
2 barrels per hour.

3 Now, you need to multiply -- if you want to
4 use this as the loss rate barrels per hour, you
5 have to multiply this number by one million. So,
6 it starts off at the initial time losing oil at a
7 rate -- this is the total tanks, losing oil at a
8 rate of about 1/2 million barrels per hour. A
9 barrel being 42 gallons.

10 The second curve is the cumulative oil loss.
11 This is the oil loss versus time after the holes
12 are open with the vessel fixed in position as if
13 it were on the reef, as existed approximately at
14 the time. The numbers here is predicting about
15 one and a half -- here, for the cumulative oil
16 loss, this scale has to be multiplied by 100,000,
17 so it indicates two things. One, that the spill
18 is over, at least initially, in about 18 to 20
19 minutes. That those tanks are all or about 80
20 feet -- 75 to 80 feet of oil. It was 85% loaded
21 and they're equilibrium position, the point zero
22 that I talked about is about 10% above the draft
23 of the vessel. So, 62 feet.

24 So, the tanks come down from 75 to 80 feet,
25 depending on the tank, down to around to 62 feet

1 and then they stop and that takes about less than
2 20 minutes according to this calculation. The
3 prediction is at that time, that we've lost about
4 160,000 barrels. Now, Mr. Kunkel's testimony
5 cited numbers like 140,000 losing 10 to 15 feet
6 of oil. This maybe is a little higher, but
7 certainly in the same range.

8 At this time, we've lost about 12% of the
9 cargo and no doubt the rest of it, the 25%
10 totally, the other half, most of that occurred
11 when the tide went out. The tide went out, you
12 drop another 12 feet, the goes down, the oil runs
13 out and that constitutes the bulk of the spill.
14 After the first low tide, no doubt there was
15 seepage back and forth, but not major change in
16 oil.

17 Q So, any bubbling that people would have seen
18 as they came up, would have been like, say, 3:30,
19 4:00, 5:00 in the morning, that would have been
20 as a consequence of the low tide and the water
21 level going down and at the same time, the oil
22 level correspondingly going down and the vessel
23 losing more oil?

24 A Yeah, following with the tide.

25 Q Well, what happens next, then? Well, you've

1 lost oil, what else is happening at the same
2 time?

3 A All right, this is the graph after 30 minutes.
4 This one is to 18 minutes. This is the same,
5 essentially the same graph in that this is the
6 cumulative oil loss as on the preceding graph and
7 now instead of barrels, we're in tons. This is
8 thousands of tons of oil lost versus time after
9 30 minutes. You can again see that the oil loss
10 is stabilized at about, you know, 16 to 17
11 minutes.

12 The other curve is the rate of water gained.
13 We've got the fore peak tank, which was initially
14 empty. The two ballast tanks on the starboard
15 side were initially empty. So, this represents
16 essentially water, the net water coming into
17 those tanks versus time. Again, after 30
18 minutes, the oil is stabilized, but the water is
19 continuing to increase and the reason the rates
20 are different is because of the size of the small
21 hole on the tops of the tanks.

22 Q Well, now what you've been referring to is
23 Plaintiff's Exhibit 165, is that correct?

24 A Yes.

25 Q Now, do you have a diagram there that will

1 help explain the difference between the oil loss
2 and the water gain?

3 A All right, this is Exhibit 168. What you are
4 looking at here is again the same plan view of
5 the main deck that we've had up here on several
6 occasions for different reason. "BT" represents
7 ballast tank vent system. Now the ballast tanks
8 on this ship are the fore peak tank. It's the
9 tank right in the front just forward of the
10 forward bulk head. Then, number 2 starboard is a
11 ballast tank that was initially empty and number
12 4 starboard is a ballast tank that was initially
13 empty.

14 All right, now all three of these tanks were
15 holed during the accident. You'll notice the
16 vents here. The fore peak tank has to 10 inch
17 vents and one 2 1/2 and that's a good bit of
18 area. As a result of that, the fore peak tank
19 stays up pretty well with the waterline. There
20 is some lag. In other words, there is some time
21 required for the changes to occur as controlled
22 by these vents, but the fore peak tank is
23 relatively open. In other words, the openings in
24 the top are relatively large. So, things occur
25 more quickly there.

1 Q Wait a minute, when you say "things occur",
2 does that mean water is coming in from...

3 A Water fill occurs more rapidly in the fore
4 peak tank than it does in these two ballast tanks
5 because the vents in the top are larger. They
6 can pass more air more rapidly.

7 Q Which way is the air going, in or out?

8 A The air is coming out of the vents as water
9 comes in the bottom. This is really the reverse
10 situation from the one I demonstrated. Oil will
11 go out. This is the system down here that
12 controls the oil loss. Oil goes out, air has to
13 come in through this system here. As water comes
14 in, air has to come out. It's pushed out through
15 these vents.

16 Q So, would it be fair to say that the water
17 gained in the fore peak is relatively quick?

18 A The fore peak gains water fasts. Relatively
19 fast compared to tanks 2 and 4. These have only
20 one six inch and one four inch vent in each tank.
21 It's the same with both of them. All right and
22 these -- the four and the six vents in these
23 tanks are much more constricted, in fact, than
24 the vents associated with the cargo tanks.

25 Q Now, before you get into that, you're assuming

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

now that each one of these tanks is like a separate little container, is that correct?

A Yes.

Q Well, like, when you were talking about how the water and the oil comes in in your previous example, where instead of having one tank, we're talking about 15 or 16 tanks?

A That's right. They're all gaining water or losing oil at the same time depending on the constriction on the top, which allows the flow to occur and then the curves I've just shown, with cumulative amounts of oil and water are lost or gained with time.

Q Now, would you explain to the jury why it is that oil is lost faster -- what type of vents do we have on the cargo holding tanks?

A All right, this is the inert gas system that you've heard about that keeps the inert atmosphere on the tanks to avoid explosion.

There's a 24 inch diameter of main that comes out of the engine room. This is flue gas. The exhaust gas out of the boiler that's washed and it's pushed through this 24 inch diameter pipe.

On that 24 inch diameter line are pressure

1 vacuum relief valves that they lift if the
2 pressure exceeds about 3 psi to relieve the gas.
3 And they open the vacuum if the gauge pressure,
4 the pressure below atmosphere, is about 1 pound
5 per square inch.

6 All right. In addition, there's what's called
7 the liquor breaker, which is basically a "U" pipe
8 with liquid in it, that allows for a high volume
9 of flow of air or gas. This is protection of the
10 system and protection of the tanks.

11 The liquid can be either blown out or sucked
12 out. And, when it is, by vacuum or by over
13 pressure, at essentially those same settings,
14 when that occurs, the system is open to the
15 atmosphere.

16 The mechanical pressure vacuum relief valves
17 will reseal. The pressure drops within those
18 limits of minus 1 to plus 2 3/4, they will
19 reseal. But the liquid breaker won't. It has to
20 be recharged with a water-glycol solution in
21 order to secure the system.

22 All right. Then, off the 24 inch main, we
23 have these branch pipes of the 12 inch lines
24 going to each of the cargo tanks.

25 At each of the tank access openings, there's

1 an additional pressure vacuum valve, mechanically
2 actuated on a 4 inch line.

3 All right. The valves in this system, there
4 are valves here at just up stream in the branches
5 at the cargo access hatches. But the venting for
6 this system then is the mechanical pressure
7 vacuum relief valves, this is the cargo tanks, to
8 let air in on 4 inch lines at the tank accesses.
9 That's the first level.

10 Then we've got the pressure vacuum relief
11 valves on the 24 inch main, as well as the liquid
12 breaker. And onto the vacuums created by that
13 bottom opening, all of these valves will open
14 very quickly after the spill; after the opening
15 occurred.

16 Q When the opening occurred and the oil started
17 to leave the vessel, how fast is this air coming
18 in to these tanks?

19 A Well, up here, because of the high
20 constriction in the vents on the ballast tanks,
21 it's a choke flow. I mean, it's sonic velocity
22 in the throats or on the balls. No matter what
23 the pressure difference across these vents is
24 initially, it's a sonic flow; speed of sound of
25 the air...

1 Q And would it be making noise?

2 A ...through those vents. I'm sure it would be
3 making a screaming.

4 Q Based on this, you were able to reach the
5 diagram of the flow rates of water in and out, is
6 that correct?

7 A That's right. These really control the rate
8 at which oil goes out these two systems. It
9 really doesn't matter what's happened to the
10 bottom.

11 I mean, the holes, they are so much larger
12 than, you know, the equivalence of 4 and 6 inch
13 pipes in the constrictions of this system, that
14 these two control, absolutely control, the rate
15 at which water comes in and oil goes out almost
16 independently of the size of the holes in the
17 bottom.

18 Q And your program was designed to take that
19 into consideration, is that correct?

20 A That's right. These systems are both modeled
21 in that program.

22 Q You had a second part of your computer
23 program.

24 A All right, this...

25 Q Go ahead.

1 A This program was then coupled, what you see to
2 this point is that the ship's attitude is fixed.
3 The tanks are opened and the flows are allowed to
4 occur. And we predict what happens in time.

5 We then took that program and coupled it to a
6 ship hydrostatics program. In that program, for
7 a given loading of the tanks, will predict its
8 attitude. This program predicts the loading in
9 the tanks at any time.

10 The other program predicts the change and
11 attitude of the ship with the change in loading.

12 All right. So this previous program changes
13 the loading. That goes into the hydrostatics
14 program, which changes the attitude of the ship.
15 The attitude of the ship then comes back to this
16 program and that predicts new flow rates and
17 changes in loading.

18 That goes back to the hydrostatics program to
19 predict the new attitude of the ship and those
20 two are flip-flopped, sequentially followed in
21 time together, to predict what would have
22 happened had the ship then come free of the reef
23 after some starting time.

24 Q Now, let's take an example. Did you run one
25 when the vessel had come off ten minutes after it

1 initially hit the reef?

2 And, before I ask you that, it could have
3 been, it just refloated off by its own. Is that
4 correct?

5 A We ran, once we got these programs written and
6 working, we ran a number of different scenarios.
7 As to when it comes off is important because that
8 becomes the initial condition for which a ship
9 attitude starts changing, which in turn changes
10 the rates at which water comes on and oil goes
11 off.

12 But we did one for which the ship is holed,
13 but never stopped. And then we did it for
14 different starting times on the reef. In other
15 words, used the preceding curves and went to a
16 particular time on those. This is ten minutes.
17 And that became the initial condition then at
18 which the ship is refloated at that time.

19 And then the flow and the vessel attitude
20 change is allowed to progress in time out to
21 either a new equilibrium condition or...

22 Q An equilibrium condition, meaning what?

23 A Equilibrium condition meaning that the ship
24 remains floating and up-rights. Or the
25 consequence, the alternative, is capsizing or

1 sinking or both.

2 Q And, if the vessel refloats after ten minutes
3 after the grounding, what would have happened?

4 MR. CHALOS: Objection, Your Honor.
5 Speculation. It's not probative. Irrelevant.

6 THE COURT: Well, consistent with my earlier
7 ruling, I'm going to overrule the objection. We'll
8 take this matter up at a later time. You may answer
9 the question.

10 A Well, this assumes the vessel came off the
11 reef. It was allowed to lose oil and gain water
12 for ten minutes, according to the preceding
13 curves. And at ten minutes it was kicked off or
14 set adrift, and coupled with the other program,
15 allowing for attitude changes, this is the oil
16 loss continuing out to 75 minutes.

17 Now, at this point, as it comes off, and I
18 think it may be appropriate at this point to put
19 up the other...

20 Q Now, you're referring to plaintiff's Exhibit
21 169.

22 A What this is, this is a profile view of the
23 ship showing the transverse bulkheads which
24 separate the tanks. This is the bow fore peak.
25 This is along the center line.

1 This view is right down the center of the
2 ship. So, all you're seeing, you're seeing the
3 fore peak tank then all the center oil tanks.

4 See, oil is red. The water's blue.

5 Then the figures down below are sections.
6 This section goes with this tank. In other
7 words, looking in from the end, so that this
8 level is the level right in the middle. And then
9 this is a port tank, which is full. And then
10 this is a starboard tank corresponding to number
11 5.

12 (1509)

13 All right. This section, likewise, is 4.
14 This is a section through 3. The section through
15 2. The section 1. The section through the fore
16 peak.

17 All right. With red being oil at this
18 particular time and blue being water, now, this
19 time is ten minutes. In other words, it's been
20 sitting on the reef for ten minutes, and this is
21 the configuration that it's reached.

22 You'll note that there's water indicated under
23 number 4 center tank. Now, that's because that
24 tank was loaded to about 60 feet initially at
25 departure at the terminal.

1 The equilibrium of point zero for the tank is
2 about 62 feet. So this predicts that, when that
3 tank was open, rather than oil going out, water
4 came in in a small amount.

5 Now, I should say that the precise position of
6 the ship on the reef is somewhat indeterminate.
7 I assumed that it was fixed on the reef at the
8 departure draft. It could have raised slightly
9 on the starboard side. I don't think it makes
10 significant difference to the outcome of this
11 exercise.

12 All right. So this is after ten minutes;
13 showing the levels of oil in the tanks. It's
14 been freed and the first movement is a slight --
15 here it changes draft. It comes up. It rises up
16 slightly because it's lost weight, net, and it
17 heels, rotates slightly to port. You can see
18 it's rotated in this direction, opposite to the
19 direction of the ground as it came off.

20 Q Why did it heel to port?

21 A Because it had lost more weight on the
22 starboard side than it gained.

23 Q The weight of the oil that it had lost had not
24 been replaced...

25 A That exceeded the water that had been lost.

1 Q Okay.

2 A All right. That's this point. And you can
3 see that, as it went over to port, it dropped a
4 little more oil. The oil rate went back up and
5 then stabilized again in less than 30 minutes.

6 At that point it's lost 17% of the cargo.
7 Now, the total spill we know is 25. About 12%
8 was lost during the going out tide as it stay on
9 the reef.

10 This says that, had it come free, we would
11 have come free, the oil would have restabilized
12 at 17% cargo loss.

13 Now, some people have -- I've heard rumor that
14 some have claimed that the best thing that could
15 have been done here was to free the ship. Float
16 the ship and to minimize the spill, because then
17 it doesn't have to face that going out tide on
18 the reef. And that's true. Seventeen percent
19 versus 25% had it stayed afloat.

20 But the prediction here is that, after 75
21 minutes, it capsizes. Turns over. And the
22 displacement at that time is up around 260,000
23 tons.

24 Q Now, do you have the next time period?

25 A The remainder of these charts are the attitude

1 of the ship every 15 minutes from the ten minute
2 start out to capsize.

3 Q What's the next one?

4 A All right. This is...

5 Q And that has been identified as 170.

6 A This is at 15 minutes. The times here are
7 different. This is 15 minutes from ten. So this
8 is at 25. This picture corresponds to 25
9 minutes.

10 The oil has restabilized. There's no more oil
11 spilling.

12 About all that's happened to the attitude of
13 the ship during this time is a rotation to
14 starboard. There's very little change in draft.
15 There's very little trim change.

16 (1730)

17 But in that first 15 minutes after freedom,
18 our program predicts that we get a roll back over
19 to starboard. Went to port first and then back
20 to starboard. It's at three and a half degrees.

21 Q Why does it go to starboard?

22 A It goes to starboard now because the oil has
23 stopped and we're now picking up water in the
24 ballast tanks. We've ceased losing oil on the
25 starboard side. We're taking on water in the

1 starboard side ballast tanks and she begins to go
2 over toward the starboard side.

3 You can see the fore peak tank is staying
4 pretty much with the attitude of the vessel.
5 It's full.

6 The ballast tanks are lagging way behind
7 because of the constrictions in the vents on the
8 deck. In other words, they would tend to come up
9 to water line because that's water. But because
10 of a lag in the system associated with the
11 constriction of the vents, the ballast tanks stay
12 somewhat behind the motion.

13 Q Now, 30 minutes after. Do you have one for
14 that?

15 A At 30 minutes the heel line goes up to 11
16 degrees. It's now going down by the stern. It's
17 down by the bow. The bow is dropping down. It's
18 at almost one degree. The draft has increased to
19 60 feet. It's taken a very noticeable heel angle
20 to starboard. Water is now coming back under all
21 the oil tanks.

22 You see, as the vessel drops, both to
23 starboard and down by the bow, that creates a
24 higher draft. You know? The ship is sinking.
25 So that makes oil come back -- water come back

1 under the oil and add more weight. And more
2 weight makes it sink further. And the deeper
3 draft makes more water come aboard. And it's
4 happening throughout, now, the ruptured tanks.

5 But the effect is to make the bow go down and
6 the ship list to starboard.

7 Q How does that affect the ship's stability as
8 you get the greater weight on the starboard side?

9 A Well, if you remember the lesson; the center
10 of gravity now is moving further and further
11 outside of the versicle line to the center of
12 buoyancy, which is tending toward a capsizing
13 situation. And instability.

14 Q That was Exhibit 171?

15 A Yeah. That's 30 minutes, which is actually 40
16 minutes on this graph.

17 Q And then at, is it, 45?

18 A This is at 50 minutes.

19 Q Fifty minutes. This is plaintiff's Exhibit
20 172.

21 A The aforedeck is now awash.

22 Q When you say awash, what...

23 A Well, there's water over the deck edge.

24 The trim is one and a half degrees bow down.

25 The heel is now almost 20 degrees. You couldn't

1 walk on the decks in this condition.

2 You can see the ballast tanks on the starboard
3 side are filling up, as well as all the oil
4 tanks, with a combination of oil and water.
5 These will ultimately fill completely up with the
6 tank volume being oil on top floating on water on
7 the bottom. The draft is up to almost sixty-six
8 and a half feet.

9 And then the ultimate event here, which occurs
10 at 65 minutes relative to start, 75 relative to
11 grounding, shows that, you know, you got water
12 half way across the deck.

13 If the water-tight doors in the engine room
14 are not shut, the engine room's taking on water.

15 She, at this position, has become unstable.
16 Heavy water on board. And the process from this
17 point would be a slow roll on to her back. Then
18 flooding in the engine room area and no doubt
19 sinking, if the water was deep enough.

20 (1917)

21 Q And this is plaintiff's Exhibit 173. Is that
22 correct?

23 A Yes.

24 Q Now, did you run any of the scenarios like you
25 just did if this vessel had never been grounded

1 and it just suffered the damage and stayed
2 afloat?

3
4 A Yes.

5 Q Would you explain to the jury what happened
6 then?

7 A We started running at different times. The
8 plan was to go on out and start it at
9 successively greater and greater times. But it
10 became obvious very quickly that, the longer it
11 stayed on the reef, the more quickly it sank
12 after it came off.

13 And that's because there's more space. The
14 longer it stays on the reef, the more oil is
15 lost. The more space you had for water. And
16 water is what sinks the ship. Not the oil. It
17 capsizes the ship. Not the oil.

18 So, we're looking at a conservative situation
19 here by starting freeing the vessel quickly from
20 the reef.

21 Q What about when you assumed that it came off
22 without being grounded at all? What would
23 happen?

24 A The time of the sinking was about 10 minutes
25 longer than after 10 minutes.

1 (2040)

2 Q And did you run any scenarios at all that this
3 vessel would have reached equilibrium?

4 A There's been some testimony about slider
5 valves, I believe. They're the valves on the
6 inert gas system which are at the hatch openings.
7 You'll remember the...

8 Q I think it's right up on the -- I'll get it.

9 (2080)

10 A The valves here on the branch lines on the
11 inert gas system are butterfly valves which could
12 be shut. Now, if those valves are shut, then the
13 pressure relief, the vacuum relief provided by
14 the PV and liquid breakers on the main, is
15 eliminated. So, the only vacuum relief then, if
16 these valves are shut, are through the pressure
17 vacuum breakers on the 4 inch lines right at the
18 cargo access hatches.

19 All right. So, if those valves are shut, that
20 provides a greater constriction to air flow into
21 the tanks on the cargo tanks and slows down the
22 rate of oil loss.

23 All right. So, that, when it comes off the
24 reef, then there's not as much space aboard for
25 water because the oil loss has been slowed down.

1 And we ran it starting at zero. Assuming the
2 tanks were holed and it passed over the reef and
3 free floated. And, in fact, with the slider
4 valve shut, in that case, it does not capsize by
5 this prediction. It comes back to equilibrium.
6 It's at a high heel angle and at a high trim, but
7 it continues floating.

8 That's also the case at five minutes.

9 (2135)

10 At ten minutes, however, which was the case
11 run here with the slider shut, enough oil has
12 still been lost then so that capsizing is
13 predicted.

14 And then for any later time it would predict
15 capsizing whether the valves were shut or not.

16 Q When you were asked to do this, you were asked
17 to assume the damage that was done to this
18 particular vessel, the Exxon Valdez, as you saw
19 it. Is that right?

20 A Yes.

21 Q And the scenario then, just to go over one
22 more thing, if the vessel had not grounded
23 whatsoever and the slider valves had not been
24 closed, what was your prediction as to when the
25 vessel would have capsized?

1 A Well, I don't have the numbers right in front
2 of me. But I think it was -- this was 75 minutes
3 after -- after 10 minutes on the reef, I think it
4 added about another 10 minutes to that time, as I
5 recall.

6 Q And any time after that it just speeded it up?

7 A That's right.

8 Q And when was the most critical time period for
9 this vessel in terms of the danger that was posed
10 to it by the tides?

11 (2230)

12 A Well, I think it had to be on the going out
13 tide.

14 The vessel was pivoted. The fulcrum there
15 between cargo tanks two and three -- and as the
16 tide went out, that became a hard support near
17 the center of the vessel -- a hogging
18 configuration with the ends of the ship hanging
19 over tending to bend the vessel about that point.
20 So as the tide drops, more and more of the
21 support of vessel is from the rock, and less and
22 less from buoyancy of the ship, producing a
23 situation where it's propped up in the middle.

24 And I think if you do that stress calculation,
25 you'll find that unless the structure relieves

1 that point support, that the vessel is
2 overstressed. The thing I think that saved it
3 was that the structure did crush -- it crushed
4 and relieved the magnitude of that concentrated
5 load at the rock and let more of the load be
6 taken by buoyancy distributed over its length.

7 Q Now there was no damage done to the port side
8 in the initial grounding, is that correct?

9 (2320)

10 A That's correct.

11 Q If the port side had been one of the, let's
12 say cargo tank number 5, were hold for some
13 reason, what would happen then.

14 MR. CHALOS: Objection, Your honor. Sheer
15 speculation, again.

16 THE COURT: Objection overruled.

17 Q With the amount of -- let's say, for
18 instance...

19 MR. CHALOS: There is no question pending. And
20 the witness said, I'm not -- I'm...

21 THE COURT: Let him ask the question, Mr. Cole.
22 You'll have to be satisfied with the question and the
23 answer. Can you answer the question?

24 A Cargo tank number 5 would have lost oil at a
25 rate similar to what we've shown here for the

1 other tanks, and ultimately water would have
2 begun to come back in to the ruptured port side
3 tank.

4 Q And, would it have been under the same theory
5 that it depends on the assumption that the hole
6 is greater -- that's caused by the rock, would be
7 greater than the aperture above the tank.

8 MR. CHALOS: Objection, Your Honor. Now we're
9 really speculating.

10 THE COURT: Mr. Cole, you're going pretty far
11 off track. I'm going to sustain that objection, you'll
12 have to get back on track.

13 MR. COLE: I have nothing further.

14 (2380)

15 CROSS EXAMINATION OF MR. VORUS

16 BY MR. CHALOS:

17 Q Good afternoon, Dr. Vorus -- Professor Vorus,
18 I'm sorry.

19 You say your initial contract was for
20 \$25,000.00?

21 A Yes. That's my company, now, that's not -- I
22 had to hire three people to do this job. But,
23 yes, it was for twenty-five thousand.

24 Q Are you, is there a contract now that's
25 greater than twenty-five thousand?

1 A There's been an amendment to the contract to
2 allow for the extra time that I've spent here in
3 Alaska.

4 Q How much is your contract presently?

5 A Forty thousand.

6 Q How much have you billed the state so far?

7 A About twelve thousand.

8 Q And how much do you anticipate billing them
9 before it's over?

10 A Well forty thousand is conservative; that's
11 certainly adequate.

12 Q It could be greater?

13 A No, be no greater than that.

14 Q How much is this \$40,000.00, that's what
15 you've billed, how much does that represent of
16 Vorus and Associates' annual income?

17 A Not a large amount -- I have a contract with a
18 propeller manufacturer that pays Vorus and
19 Associates \$4,000.00 month.

20 I have a contract with BP Oil on one of their
21 Alaska trade tankers, which is forty thousand
22 dollars. It's not the only thing we're doing.

23 Q I understand that, but, based on the numbers
24 you just gave us, it's about a third of your
25 annual salary?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A Well, I don't have enough. I mean that's the backlog at the moment. I mean there's work coming and going all the time.

Q I take it that you don't hold any Coast Guard issued licenses?

A No.

Q You're not a Master?

A No.

Q Chief Mate?

A No.

Q Chief Engineer?

A I own a fifty-two foot yacht that...

Q Have you ever been aground?

A No.

Q You've never sailed as a crew member on a merchant ship, have you?

A No, but I've spent many hours on merchant ships.

Q In your work at Newport News?

A Yes, and since.

Q Now, you've never sailed as a crew member on a tanker, have you?

A No.

Q Let's talk a little bit about your background and your experience. You spent some time down in

1 Newport News, I think you said twelve years?

2 (2520)

3 A Ten, total; seven in residence; three on
4 educational leave.

5 Q And you've written a number papers over the
6 years?

7 A Yes.

8 Q Is it fair to say that your expertise lies in
9 main propulsion rather than construction of
10 vessels?

11 A You mean construction of main propulsion
12 plants, rather than construction of vessels?

13 Q Yes.

14 A You'll have to clarify that.

15 Q As I read your resume, it seemed to me, and
16 you can let me know if I'm wrong, that your
17 experience lies in the construction of main
18 propulsion equipment, and the effects on vessels
19 with main propulsion equipment.

20 A That was my job at Newport News by definition.
21 I was a manager of machinery engineering. We got
22 involved in many aspects of vessel design that
23 involved interfaces with the machinery and many
24 that didn't.

25 Q But your area was the main propulsion. The

1 interfacing you're talking about is putting a
2 propeller or shaft or an engine into a vessel
3 that's been constructed?

4 A By definition of the job, it was machinery.

5 Q Could you tell the jury what we mean by
6 machinery main propulsion equipment?

7 (2640)

8 A The main propulsion machinery is -- Newport
9 News never produced diesel ships, so at that time
10 it was everything from boilers, turbines,
11 condenser, main shaft, and propellers.

12 It included auxiliaries, a diesel, diesel
13 generators, stain drilling generators. It
14 included steering gear, rudders, deck machinery,
15 which would be windlasses. On military ships, it
16 was weapons elevators. Simply the machinery
17 aboard the ship.

18 Q And you would consider that to be your primary
19 area of expertise?

20 A No, I don't. That was the job that I had at
21 Newport News between the years of 1963 and 1973.

22 Q As I read your resume since 1973, you've been
23 at the University of Michigan?

24 A Yes.

25 Q So your practical experience, your field

1 experience, if you will, ended at that point?

2 A It did not. I've had a great deal of field
3 experience since being at Michigan.

4 Q In what way?

5 A Activities such as this, involved with, not so
6 much trials, but with shipping companies, with
7 shipyards, problem identification, diagnosis,
8 rectification, that's what Vorus and Associates
9 does. Vorus and Associates is not a research
10 company. I do my research through the
11 University of Michigan. Vorus and Associates is
12 an engineering company, and the engineering it
13 does is by and large on ships -- ship problems.

14 Q Do you go out there yourself, or do you send
15 your associates?

16 A I go.

17 Q Yourself?

18 A Yes.

19 Q I take it that you, yourself, have never been
20 aground? You said you haven't been aground on
21 your boat, but I take it you've never been
22 aground on another ship?

23 (2724)

24 A Oh, I've been aground on my boat.

25 Q You have?

1 A Yes.

2 Q I thought you said you haven't?

3 A I'm sorry, I misunderstood your question.

4 Q How often would you say you've run aground in
5 your boat?

6 A Well, it's a fifty-two foot boat. I don't
7 want to be defensive. I run aground several
8 times.

9 Q This is a sailboat?

10 A Yes.

11 Q Have a motor on it?

12 A Yes.

13 Q How'd you get it off?

14 A Well, there's only one way to get a sailboat
15 over an obstacle.

16 Q How's that?

17 A That's to back up.

18 Q When you ran aground with your little
19 sailboat, I take it you didn't have your computer
20 with you?

21 (2770)

22 A No.

23 Q You didn't sit there and say, my center of
24 buoyancy, my center of gravity, my KG, my --
25 this, that is x,y,z, I've got to figure out how

1 I'm going to get out of here?
2 A I'm sure those concepts have to go through
3 ones head, if you're familiar with them.
4 Q But you didn't do calculations in your head?
5 A No.
6 Q You've never been aground on a tanker, have
7 you?
8 A No.
9 Q And I take it, you've never had the experience
10 of seeing a crew that's just run aground try and
11 figure out what the best course of action is?
12 A No.
13 Q You mentioned that you've testified before in
14 some arbitrations and some court cases?
15 A Yes.
16 Q None of those cases involved groundings, did
17 they?
18 A No.
19 Q And none of them involved the type of
20 structural problems that we're talking about
21 here?
22 A Well, yes, in some level. I mean, structure
23 is structure, and it behaves the same in
24 different circumstances. I mean, the
25 considerations are the same.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q Well, what I'm really talking about is the cases that you were involved with, did not involve a ship capsizing, or possibly capsizing and sinking?

A No, none of the arbitrations I was involved with had to do with capsizing and sinking.

Q Now, I take it that your main expertise, or the expertise that you had on propulsion, dealt with steam engines?

A Yes.

Q Have you had any experience with slow speed diesel engines?

A Some, since then.

Q Since you left Newport News?

A Yes.

Q You familiar with the power curves of the slow speed diesel engine?

A Yes.

Q Do you know what the maximum horsepower of this vessel was?

A It's about 30,000, I think I've heard the number 31,600.

Q Do you know what the horsepower was at 55 rpms?

A Well, it's a constant torque machine The

1 power should vary roughly with the cube of the
2 rpm.
3 Q Have you done any calculations to figure out
4 what the horsepower was at 55 rpm?
5 A No.
6 Q You don't feel it necessary for your purposes?
7 A I wasn't asked to do that.
8 Q Did you discuss it with any of the other
9 experts in this case? Discuss the available
10 horsepower at 55 rpms?
11 A Initially as the contract was defined, I was
12 to look into some of those issues, but in view of
13 the time frame, we had to pick the things that I
14 thought were most important, most relevant.
15 Q Did someone tell you not to bother with
16 figuring up what the power curves for this vessel
17 were?
18 A No, that was part of the original scope of
19 work, but there simply wasn't time to do it.
20 Q Is it very, very difficult to figure out the
21 power curves for this vessel?
22 A No, all you've got to have is the propeller
23 open water curve, and the power curve for the
24 engine is extremely simple, it's a straight line.
25 Q That's easy enough to get, if you wanted to

1 find out what kind of power this vessel would
2 generate at 55 rpms?

3 A Well you would have to have the propeller open
4 water curve, including the effect of the
5 duct, because you're certainly -- you're not
6 going to develop full 86 rpm at (indiscernible -
7 unclear), but let's assume that you could develop
8 55.

9 Q Well, the point I'm trying to make here, is if
10 you wanted that information, it was easy enough
11 to get?

12 A I suppose -- I suppose it was.

13 Q Now, talking about what the state ask you to
14 do, you mention the state provided you with
15 certain information, is that correct?

16 A Yes.

17 Q And, on the basis of the information they
18 provided you, you came to certain conclusions.
19 You did some studies on certain conclusions.

20 A Well, it was a typical way I operate with all
21 my clients. I have to have the input information
22 to do anything. My client at this time was the
23 state of Alaska.

24 Q Did you do any independent analysis yourself?
25 In other words, did you try and gather

1 information from other sources besides the state
2 of Alaska?

3 A Well, I have my own sources.

4 Q Such as?

5 A Well, my experience, my knowledge that's been
6 gained through 27 years of experience; my
7 library.

8 I did seek some information on IG system
9 operation, which I provided independently of the
10 state.

11 Q But other than what you just mentioned,
12 everything else came to you from the state?

13 A The state and my own observations of the ship.

14 Q So, if the state didn't want you to know
15 something, they could have withheld it from you
16 for all you know?

17 (3100)

18 A I'm confident that they didn't. I had the
19 complete information that I needed in order to do
20 what was defined.

21 Q Did anyone write to you from the state, from
22 the DA's office telling you what kind of
23 conclusions they wanted to reach in this case.

24 A No, they did not.

25 Q Did they write you a letter telling you what

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

they wanted you to do?

A No. The only definition on paper is what is written in the contract that I have with the state.

Q The one that was originally for twenty-five thousand?

A It's the same contract. The wording is the same, there's been an extension which ups the maximum.

THE COURT: It's 1:30, Mr. Chalos.

MR. CHALOS: I can finish him up tomorrow, Your Honor, in about a half hour to forty-five minutes.
(3168)

THE COURT: Ladies and gentlemen, we will recess for the day, and I'll see you back at 8:15 A.M. tomorrow morning. I think we'll get a prompt start at 8:30 tomorrow morning. I'm going to do my best. Don't discuss the case among yourselves, with anybody else, don't form or express any opinions and avoid the media sources regarding this case. I'll see you back tomorrow. You may step down.

(3240)

(Jury not present)

THE COURT: Mr. Cole, when this witness is finished, how many do you have left in the state's

1 case.

2 MR. COLE: Two.

3 THE COURT: And do you anticipate about a day
4 for both of them?

5 MR. COLE: No.

6 THE COURT: You anticipated an hour for this
7 witness, and I'm going to multiply it by a factor of
8 two or three, whatever you say, so..

9 MR. COLE: I think that one witness, I think
10 we'll be done tomorrow.

11 THE COURT: Okay, I dug up the court's
12 sua sponte order, and the state's response to the
13 court's sua sponte order. If you folks don't have a
14 copy of that -- Mr. Cole, you indicated the phrase
15 "property of another" as used for the purpose of the
16 indictment. It includes the fisheries, wildlife,
17 vegetation, shoreline, and other aspects of Prince
18 William Sound. It does not include the Exxon Valdez
19 itself.

20 So, I've been going on the assumption, we're
21 dealing with that as damage to another. Is there
22 anything else we can do today before recess? Let's
23 have counsel in court tomorrow at 8:15, and we'll get a
24 prompt start.

25 THE CLERK: Please rise. This court stands

1 recessed.

2 (Off record - 1:31 p.m.)

3 ***CONTINUED***
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661