

SPEC
COLL
GC
1552
P75
H39
1990
v. 33

IN THE TRIAL COURTS FOR THE STATE OF ALASKA
THIRD JUDICIAL DISTRICT
AT ANCHORAGE

STATE OF ALASKA,

Plaintiff,

vs

JOSEPH HAZELWOOD,

Defendant.

No. 3AN 89-7217; 3AN 89-7218

TRIAL BY JURY
MARCH 6, 1990
PAGES 6088 THROUGH 6263

VOLUME 33

Original

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

BEFORE THE HONORABLE KARL JOHNSTONE
Superior Court Judge

Anchorage, Alaska
March 6, 1989
8:43 a.m.

APPEARANCES:

For Plaintiff:

DISTRICT ATTORNEY'S OFFICE
BRENT COLE, ESQ.
MARY ANNE HENRY, ESQ.
1031 West 4th Avenue, Suite 520
Anchorage, AK 99501

For Defendant:

CHALOS ENGLISH & BROWN
MICHAEL CHALOS, ESQ.
THOMAS RUSSO, ESQ.
300 East 42nd Street, Third Floor
New York City, New York 10017

DICK L. MADSON, ESQ.
712 8th Avenue
Fairbanks, AK 99701

3 3755 000 23382 5

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

TABLE OF CONTENTS

WITNESS INDEX

DIRECT CROSS REDIRECT RECROSS VOIR DIRE

FOR PLAINTIFF:

VORUS, WILLIAM (CONTINUED)

Mr. Chalos	6092		6041
Mr. Cole		6131/6143	

STOGSDILL, JAMES

Ms. Henry	6146		
Mr. Madson		6168	

PROUTY, RICHARD

Mr. Cole	6173		
----------	------	--	--

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

EXHIBIT INDEX

<u>EXHIBIT</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
AO	IG System - blow-up	6099
AP	Blow-up	6099
AQ	Blow-up	6099
159	Diagram - shell of hull	6130
168	Diagram - inert gas system	6130
166	Computer graph results	6130
165	Diagram - predicted oil loss	6130
167	Computer graph results	6131
117	Cassette tape - inbound	6168
120	Cassette tape	6168
121	Cassette tape	6168

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

1 PROCEEDINGS

2 MARCH 6, 1990

3 (Tape: C-3654)

4 (003)

5 (Jury present)

6 THE COURT: Resume the cross examination.
7 You're still under oath, sir.

8 MR. CHALOS: Thank you, Your Honor. Good
9 Morning, ladies and gentlemen.

10 (0027)

11 WILLIAM VORUS

12 recalled as a witness, having previously been sworn,
13 upon oath, testified as follows:

14 CROSS EXAMINATION OF DR. VORUS, CONTINUED

15 BY MR. CHALOS:

16 Q Good morning, Professor Vorus.

17 A Good morning.

18 Q I'd like to speak about your trip to San
19 Diego. I think you said that in San Diego you
20 met with Mr. Greiner.

21 A Yes.

22 Q With Mr. Cole and with Mr. Adams.

23 A Yes.

24 Q Anybody else present?

25 A And Mr. Ackroyd (ph), the photographer.

1 Q Besides him, anyone else?

2 A There was an Exxon attorney present. So,
3 that's my recollection.

4 Q Okay. Now, you viewed the damages on the
5 bottom of the vessel, did you not?

6 A Yes.

7 Q Is it fair to say that the damage that you saw
8 was the type that you would expect in a grounding
9 on a rock bottom?

10 A Yes.

11 Q And most of the damage that you -- or, I'd say
12 the majority of the damage that you saw was in
13 the fore and aft direction.

14 A Yes.

15 Q You mentioned that you saw some evidence of
16 transverse damage, toward ship damage, is that
17 right?

18 A Yes, very subtle.

19 Q Which you say you attribute to the vessel
20 pivoting on the rock at around frame 23?

21 A Yes.

22 Q Now, you use the word "subtle." What do you
23 mean by subtle?

24 A Well, the marks on the plating were subtle.
25 Much of the plating was missing. They were

1 transverse marks on some of the plating that was
2 still in tact. I think the damage to the
3 longitudinals was less subtle, displaying
4 transversely, which I could also -- would think
5 would be caused by that type of motion.

6 Q Well, you mentioned in yesterday's testimony
7 that you could see this damage if we looked at
8 the pictures. I have now put before you what's
9 been marked into evidence as Exhibits 125 through
10 150. Can you take a look quickly and let us know
11 where and what pictures you see that kind of
12 damages that you're talking about?

13 A To the longitudinals?

14 Q Well, to the longitudinals, and also to the
15 subtle scratches that you talked about?

16 A Is this a complete set of photographs?

17 Q Well, those are the photographs that Mr. Cole
18 put into evidence. I assume they're complete.

19 (Pause.)

20 A Okay. All of these show the type of damage to
21 longitudinals.

22 Q All right. For the record, let's identify
23 what you're referring to. You're referring to
24 Exhibits 142, 143, 144, 145, 146 and 147. Then
25 you this shows the damage to the longitudinals,

1 is that correct?

2 A Yes.

3 Q Do you see in any of these pictures the subtle
4 scratches that you...

5 A I don't know whether I do or not.

6 Q Well, just take your time; take a look.

7 (Pause.)

8 A I believe they're here; 146.

9 Q Your Honor, may we have Professor Vorus step
10 up to the jury and show them what he's talking
11 about?

12 THE COURT: All right.

13 Q You have to take the mike with you. Would you
14 point out to the jury what you're talking about
15 in terms of subtle scratches?

16 A Photographs can be deceiving and this is not
17 complete. They can't photograph the entire
18 bottom. But I think in this region, as I
19 interpret that photograph, you see marks that are
20 other than longitudinal. There's a slight slant
21 to them, which would indicate a possible rotation
22 of the vessel about a point in this region.

23 Q At what frame is that picture taken, can you
24 tell?

25 A I'd say it's just forward of bulkhead 23,

1 around 18 or 19.

2 Q And what you're talking about, so the jury can
3 tell, are these marks right here?

4 A In here, yes.

5 Q These very faint marks?

6 A Right.

7 Q Okay. There's no damage it looks like to that
8 part of the shell, the bottom plate.

9 A Well, you can only see them on shell plating.
10 It was...

11 Q Still in tact.

12 A ...essentially undamaged.

13 Q Okay. That's fine. And that's the only
14 photograph, out of the 25 that I showed you, that
15 you see those subtle scratches.

16 A Well, a photograph set is not complete. It
17 was very little plating that was undamaged that
18 would show, you know, distinctly marks of that
19 type. I saw more in person, viewing the bottom,
20 than I can see from these photographs.

21 Q Well, it's true, is it not, Professor, that
22 just by seeing scratches, these subtle scratches
23 as you call them, in the toward ship direction,
24 you can't tell what the source of those scratches
25 are, can you?

1 A You mean rotation?

2 Q Well, you called them rotating, but you can't
3 tell what caused the vessel to rotate and would
4 result in these scratches themselves.

5 A No.

6 Q It could have been tide. It could have been
7 the refloating attempt. It could've been the
8 initial turn of the vessel after she ran aground.

9 A Could've been.

10 Q So, there's no way to tell this jury that that
11 rotation was caused by someone using the rudder.

12 A No.

13 Q Now, there was no indication, was there, that
14 these subtle scratches caused any further leakage
15 from the vessel, was there?

16 A The subtle scratches themselves certainly
17 didn't; otherwise, they wouldn't have been subtle
18 scratches.

19 Q And, certainly, those scratches that you saw
20 didn't effect the strength of the vessel in any
21 way.

22 A No. The only evidence of a transverse
23 movement.

24 Q Okay. We spoke a little bit yesterday about
25 the controlling factor for the flow rig, do you

1 recall?

2 A Yes.

3 Q And you mentioned it would be the size of the
4 smallest orifice on deck.

5 A Yes.

6 Q And in the case of the oil tanks, the smallest
7 orifice was a four inch pressure vacuum valve.

8 A Only if deck butterfly slider valves were
9 shut.

10 Q Okay. If the butterfly valves were open, then
11 it would be a combination of the four inch and 10
12 inch valves?

13 A Well, four inch, yes. Ten inch, plus the
14 liquid breaker on the main.

15 Q In any event, the point that I'm driving at is
16 you could have a hole in the bottom that's a 100
17 feet wide and 100 feet long, and the flow rate of
18 the oil coming on would still be controlled by
19 the either a four inch or a 10 inch orifice on
20 top.

21 A Yes.

22 Q Let's talk about the slider valves for a
23 second. Let me show you what I've marked for
24 identification as Defendant's Exhibit AO, AQ and
25 AP, which are three different pictures of the

1 same device and ask you: is that the slider
2 valves that you saw on the ship?

3 A Those are the slider valves.

4 (0500)

5 MR. CHALOS: You're Honor, at this time I
6 offer Exhibits AO, AQ and AP into evidence.

7 MR. COLE: No objection.

8 THE COURT: They're admitted.

9 EXHIBIT AO, AP and AQ ADMITTED

10 Q (Professor Vorus by Mr. Chalos:) Professor,
11 would you hold up the picture that best depicts
12 the operation of the slider valve, and show the
13 jury how the slider valve is opened and closed.

14 A Shall I get up?

15 Q If you'd like.

16 A This is the cargo tank access hatch.

17 Q That closes, by the way, with this lid here,
18 right?

19 (590)

20 A There's a lid that shuts that and locks it so
21 that it's air tight. This is the 12 inch feeder
22 line for IG coming from the 24 inch main. And
23 the 24 inch main runs down the center of main
24 deck.

25 This is a pipe that comes in to the tank

1 access hatch, and supplies the inert gas to the
2 tank.

3 This is a valve that's actuated by this hand
4 wheel that slides back and forth as a plate, and
5 you can see the plate from the other side. The
6 plate has a hole in it. All right. Now, in this
7 position, that valve is closed. And if the plate
8 is roughly rectangular such that when it's in the
9 retracted position this hole is opened to the
10 pipe and allows flow through. That's the normal
11 position.

12 When the valve is shut, this rectangular plate
13 passes through this slot, slides through that
14 slot, such that the portion of the plate that's
15 solid, then blanks over the 12 inch pipe.

16 Q And all you have to do is turn this fly wheel
17 to close the valve, is that correct?

18 A Right. The valve is actuated by this hand
19 wheel.

20 Q Hand wheel. Okay. That's a fairly simple
21 process, isn't it, to turn the wheel?

22 A I should think so.

23 Q Yesterday you drew -- okay. You remember this
24 drawing here where you drew a ridge?

25 A Yes.

1 Q And you drew the course of the vessel? What
2 did you base this information on?

3 A Directly on the soundings that were done on
4 March 24, the day after the grounding -- or,
5 actually, the day of the grounding. As produced
6 by Exxon and used in connection with the salvage
7 operation.

8 Q You're talking about what we marked as Exhibit
9 AK? Are you talking about these soundings?

10 A No. That's part of it. This was a package of
11 information I got as a letter from Paoli (ph) to
12 McCall, which instructed the Coast Guard as to
13 the plan for the salvage of the vessel.

14 Q I don't know if I've seen that letter, but let
15 me ask you this...

16 MR. COLE: Judge, I object to that.

17 MR. CHALOS: May we approach the bench?

18 THE COURT: Yes.

19 (0740)

20 (Whispered bench conference as follows:)

21 MR. COLE: It's about the third time Mr.
22 Chalos told the court we have not provided him with
23 stuff, without any basis (indiscernible - whispering)
24 to the jury (indiscernible - whispering).

25 MR. CHALOS: (Indiscernible - whispering)

1 THE COURT: The fact of the matter is, you
2 haven't been saying that I haven't seen that yet,
3 things like that. That's not a questions; that's a
4 statement. You should ask questions, Mr. Chalos. And
5 the next time you do it, I'm going to admonish you in
6 front of the jury.

7 MR. COLE: I've been waiting for something to
8 occur on this.

9 THE COURT: You don't need to do those things.
10 Just ask questions.

11 (End of whispered bench conference)

12 (0500)

13 Q (Dr. Vorus by Mr. Chalos:) Professor, with
14 respect to the information relating to the
15 course, where did you obtain that?

16 A I just told you.

17 Q From that document that you just referred to?

18 A Yes.

19 Q This ridge that you drew in, do you recall
20 what the soundings were for this ridge?

21 A It's around six fathoms.

22 Q Right at this point?

23 A Between six and eight. That ridge is also
24 identifiable approximately from the chart of the
25 sound.

1 Q Well, that's what I wanted to ask you about.
2 Did you do any calculations with respect to the
3 speed and the position of the vessel at any
4 particular time; let's say, from midnight until
5 the time of the grounding.
6 A No.
7 Q Did you take the course speed and position of
8 the vessel and overlay it on the chart?
9 A I've seen that -- seen the overlay. There was
10 an overlay done by -- in the CAORS simulation.
11 Q The CAORS report from Kings Point.
12 A Yes.
13 Q And you've looked at that and come to the
14 conclusions that you came to here.
15 A Well, I used specifically the soundings in
16 connection with salvage it would take in the day
17 of the accident. But I think that's consistent
18 with the chart of the reef and the course line
19 that was dictated by the CAORS simulation.
20 Q But you didn't actually plot it on the chart
21 to see if your theory holds up.
22 A I think if you look at the chart and the CAORS
23 simulation, you'll see that this is essentially
24 what they show.
25 Q Now, is it your testimony that the striking of

1 the reef as you've drawn it was about the time
2 that the vessel was on heading 245 or 250?

3 A 247.

4 Q And that's because you see sort of a hitch in
5 the course recorder?

6 A I think it's consistent. I can't say
7 definitely that the two are correlated, but that
8 has been attributed. That's one explanation of
9 that slight course change, was that that was the
10 first contact with the bottom on 247.

11 Q Well, you'll agree, then, that there could be
12 other explanations, besides the fact that the
13 vessel might have hit at that point?

14 A I haven't seen any of it. I don't know what
15 another logical explanation would be, but it's
16 not been proved that that's the case.

17 Q How about the helmsman putting on some counter
18 rudder at that point?

19 A Anything is possible.

20 Q Let me give you a hypothetical. If the
21 vessel, at the time that this hitch appeared in
22 the course recorder, in water that was about --
23 anywhere between 180 feet and 240 feet, would you
24 agree that that wouldn't be indicative of a
25 vessel striking the bottom?

1 A Yes.

2 Q You would agree.

3 A It is in very deep water, then the course
4 change certainly would not be indicative of the
5 vessel striking the bottom.

6 Q Let me show you what we've marked as
7 identification as Exhibit AN, which is a sounding
8 chart for Bligh Reef. And ask you, would you
9 look at this sounding chart?

10 A No, I've never seen it before.

11 Q And I take it you didn't plot any of the
12 vessels courses or speed on a chart of this type?

13 A I've never seen this chart.

14 Q Before I move on to another subject, let me
15 show you what's been marked for identification as
16 Exhibit AR. Have you seen this drawing before?

17 A Yes.

18 Q It represents a schematic of the pressure
19 vacuum valves on this vessel, does it not?

20 A Yes.

21 Q Have you looked at this document in your
22 deliberation?

23 A Yes.

24 MR. CHALOS: Your Honor, I offer Exhibit AR
25 into evidence at this time.

1 MR. COLE: No objection.

2 THE COURT: Admitted.

3 Q (Dr. Vorus by Mr. Chalos:) Professor Vorus,
4 without getting up, would you point to the jury
5 where the top of this valve is that would lift in
6 the event that the pressure was exceeded? Let's
7 hold it up, so you can see.

8 A Yes, I was looking at it. The extreme south,
9 it looks like a bullet point connected to a
10 shaft, which goes down to a disk which seats
11 about mid-valve. At high pressure -- this
12 responds to a high pressure from either the four
13 or the 10 inch line, which lifts the disk off the
14 seat -- it lifts this bullet and the air escapes
15 around the bullet in the top as it opens.

16 Q At what pressure would the valve lift up?

17 A Plus 2.75 psi.

18 Q That's pounds per square inch?

19 A Yes.

20 Q So long as the pressure is below 2.75 psi, the
21 valve stays shut, does it not?

22 A Yes. Well, no. If it's below minus one
23 gauge, then the vacuum element is open.

24 Q Well, I'm talking only in the relief sense.

25 A Yes.

1 Q 2.75, it stays shut?

2 A I think 2.75, I believe, is the upper setting
3 on both the 4 and the 10.

4 Q Have you done any calculations, professor, as
5 to what pressure was used in the tanks to refloat
6 this vessel?

7 A Same documents that specify that pressure?

8 Q Do you recall what that pressure was?

9 A I was not to exceed 5 psi.

10 Q Do you know what the actual pressure was in
11 the tanks when the vessel was refloated?

12 MR. COLE: Objection. Relevance.

13 MR. CHALOS: Your Honor, this goes to his
14 calculations -- the calculations that he did for the
15 vessel would have capsized or sank after it came off
16 the reef.

17 THE COURT: Was that contained in the
18 information provided to you?

19 A The Exxon Salvage Plan specified those.

20 Q (Dr. Vorus by Mr Chalos:) Do you know what
21 the actual pressure was in the tanks when the
22 vessel was refloated?

23 A I know that the plan specified that they not
24 exceed 5 psi. And to use pressure needed to
25 achieve the ship attitude.

1 Q But you didn't do any calculations that would
2 have indicated what kind of pressure was in the
3 tanks when the vessel was refloated?
4 A I didn't deal with the refloating issue. I do
5 know, however, that these valves were blank.
6 Q At the time of refloating?
7 A Yes.
8 Q Now, let's talk a little bit about your
9 calculations. I believe you testified that all
10 your calculations were made on the computer?
11 A Yes.
12 Q And this was a program that you've written?
13 A That we wrote specifically for this job.
14 Q And the figures you obtained, both in terms of
15 flow rates and in terms of the vessel's stability
16 after she came off the reef, were all run through
17 this computer and through this program, were they
18 not?
19 A Yes.
20 Q How long did it take you to run the various
21 scenarios that you spoke about yesterday?
22 A Do you mean computer time or real time?
23 Q Well, the time from putting in the
24 information, letting it run through the computer
25 and then getting back the results?

1 A I think the execution time on our IBM
2 mainframe was 10 second -- we ran in 30 second
3 intervals.

4 Q No. What I'm talking about is, you have to
5 gather certain information. You had to input it
6 into the computer. The computer had to do
7 whatever it did on the basis of the program that
8 you had, and then it would give you some results,
9 right?

10 A Yes.

11 Q How long did that...

12 A That's a very hard question to answer. We
13 developed this program and we assembled
14 information as we went along. I mean, the
15 initial input was developed early. It was
16 refined, as we looked at it and started the
17 problem. I can't give you an answer there.

18 Q Well, would you say that the process that you
19 just described took well over nine months?

20 A No.

21 Q I'm talking about gathering information,
22 refining it, tailoring it, doing whatever you had
23 to do.

24 A We didn't have the information until the
25 middle of January.

1 Q And when did you write up your report?

2 A I haven't written a report.

3 Q Is there a particular reason why you haven't
4 written a report?

5 A I've written some brief memoranda indicating
6 the bottom line of our findings. There's been no
7 report written, because we are still developing
8 these results at a rather late stage.

9 Q Is it your usual practice to prepare a report
10 when you are asked for your expert advice?

11 MR. COLE: Judge, I object. May we approach
12 the bench?

13 THE COURT: No. Objection is sustained as to
14 relevance.

15 (1235)

16 Q Professor, you wouldn't expect a captain who
17 has just run aground with a ship spewing oil, to
18 be able to take the information that you gathered
19 over a period of time and ran it through your
20 computer and do the calculations that you did in
21 his head, would you?

22 A Well, that's a question very much like the one
23 you asked me, as to whether or not I thought
24 about center of buoyancy and center of gravity
25 when I ran my sailboat aground. I'm familiar

1 with those things, and, yeah, I mean, that kind
2 of information goes through ones head. I think
3 if you are familiar with the considerations
4 involved, you go through quick computational
5 processes like that.

6 Q But the information that you spoke about, the
7 detailed information as to flow rates, as to
8 stability. Those aren't the kind of things that
9 one could run through his head in a moment of
10 grounding and not come up with specific numbers?

11 A Not to do precise calculations, but -- I mean,
12 that's all we're doing here with this kind of
13 analysis is, we're using it to help us make
14 judgments. I mean, engineering is a science of
15 successful approximations, and that's all this is
16 being used for.

17 Q Well, you are using it to make judgments after
18 the fact, isn't that right?

19 A I'm using it after the fact to make judgments,
20 yes.

21 Q In other words, you were given a task, and the
22 task you were given was, if this vessel came off
23 the reef in the worst scenario, tell us what
24 would happen?

25 A That was the analysis that I've done, yes.

1 Q And the analysis that you did was limited to
2 certain scenarios -- five scenarios I think you
3 said.

4 A Well, I did enough that I felt that I was able
5 to make some rather broad judgments about what
6 the consequences of that extraction from the reef
7 would be. You can't run every case, just like
8 you can't process every case mentally. But I
9 think I did enough so that the conclusions are
10 generally valid.

11 Q Valid for those particular scenarios that you
12 ran?

13 A Well, those particular scenarios showed me
14 enough to allow me to make some generalizations
15 beyond those specific cases.

16 Q And would you call your conclusions
17 generalizations at this point?

18 A They are generalizations with some
19 constraints.

20 Q We'll get into that. Let me ask you this: I
21 notice in your calculations that you use a draft
22 of 56 feet coming off the reef?

23 A Yes.

24 Q The evidence in this case is that the draft at
25 the time of the grounding -- shortly after the

1 grounding, was 50 feet?

2 A What evidence?

3 MR. COLE: Objection, Your Honor. That's not
4 what the evidence is.

5 MR. CHALOS: It certainly is, Mr. Cole.

6 MR. COLE: That's what Mr. Kunkel put in as an
7 estimate, and that's all it was.

8 MR. CHALOS: All right. Let me rephrase the
9 question.

10 Q (Dr. Vorus by Mr. Chalos:) Have you done any
11 calculations using the estimate of 50 feet as a
12 draft?

13 A No. The evidence was contradictory. Mr.
14 Kunkel's testimony said the vessel was at even
15 keel. Which means it had no list. Obviously,
16 it's grounded on the starboard side. If the
17 starboard side is at 50 feet, the vessel is not
18 at even trim. And I just didn't feel that there
19 was consistent input available to assume a
20 departure attitude. Draft, heel and trim was
21 conservative from the standpoint of predicting
22 whether or not the ship would survive.

23 Q Well, if the draft was less than 56 feet, how
24 would that affect your results?

25 A The oil would flow out faster. Such that,

1 when it came off it would sink quicker.

2 Q But you didn't do any calculations to prove
3 that?

4 A That's the generalization I'm talking about.

5 Q Now, in doing your flow rates, did you
6 consider the check valve in the IG system is a
7 non-return check valve in the IG system?

8 A You mean the deck seal?

9 Q No, I'm talking about the non-return check
10 valve.

11 A I'm not sure. We may be having trouble with
12 semantics here. There's a shut-off valve in the
13 engine room.

14 Q No, I'm not talking about that.

15 A There's the deck seal.

16 Q Did you consider that?

17 A The deck seal is assumed to allow no flow in
18 either direction.

19 Q In your calculations?

20 A Yes.

21 Q I notice that in your calculations you assume
22 that all of the tanks -- the tank walls, the
23 bulkheads -- remained in tact, is that correct?

24 A Yes.

25 Q You know from the evidence here that there was

1 -- and from what you saw down in San Diego, that
2 there was damage to the bulkheads themselves, was
3 there not?

4 A Yes.

5 Q And in the situation of the ballast tanks on
6 the starboard side, you had a mixture of oil and
7 water at the time of the grounding, did you not?

8 A Excuse me. Repeat the question.

9 Q In other words, when the bulkheads were
10 damaged, oil and water got into the ballast tanks
11 from the number two center tank?

12 A Yes.

13 Q Did you consider that in your calculation?

14 A No, I did not.

15 Q Is there a particular reason why not?

16 A It's very hard to quantify. It's hard to
17 believe that there was much oil floating on top
18 of the water in the ballast tanks, because it was
19 the lower extremity of the bulkheads that were
20 damaged -- initially you get some oil flow into
21 the tank, but the tank bottom is also open, so
22 water is entering at the same time. And at some
23 point the flow of oil into the tank will be
24 blocked by the level of water in the tank.

25 Q But the fact of the matter is, you didn't do

1 any calculations to see how that would affect
2 your stability calculations?

3 A My judgement was, this analysis is not exact.
4 My judgment was that it's not a very important
5 factor.

6 Q Now, have you done any calculations to
7 determine how much of the ship was resting at the
8 bottom after the grounding?

9 A No.

10 Q Have you done any calculations to show the
11 tons aground after the grounding?

12 A No.

13 Q In your scenarios -- the four or five
14 scenarios that you spoke about, the vessel has
15 come off the reef at various intervals?

16 A Yes.

17 Q How did the vessel come off the reef?

18 A I haven't addressed how it came off. It was
19 assumed to -- at time zero, at the initial
20 instant, it was freed from the reef, period, and
21 allowed to free-float.

22 Q You've spoken with Mr. Milwee, and I take it
23 you studied some of the information that he
24 supplied there?

25 A Yes.

1 Q Do you agree with his assessment that it was
2 impossible for this vessel to come off in the
3 condition that she was in? That is, impaled on a
4 rock?

5 A Well, obviously it didn't. It didn't come
6 off. And I think that's as good a proof as any
7 that it wouldn't come off.

8 Q You agreed, from the damage that you saw in
9 San Diego, that this vessel was impaled on the
10 reef?

11 A It was in the claws of the rocks, there's no
12 question about that.

13 Q Now, your calculations, therefore -- your
14 scenarios are just sheer speculation, are they
15 not, if the vessel couldn't come off the reef?

16 A Yeah, but you know, again, referring to the
17 soundings, it looks like if the turn had been a
18 little less gradual, for example, the momentum
19 might not have been dissipated as quickly. It
20 might have hung up a little closer to the stand,
21 and it very well might have come off.

22 Q Okay. But that's speculation as well because
23 we know that she was impaled and she didn't come
24 off.

25 A She didn't come off.

1 Q Let's take a first scenario. You say this
2 vessel came off at 10 minutes after the
3 grounding, is that correct?
4 A Well, the first scenario was that it holed,
5 but never grounded.
6 Q In other words, she holed and she went right
7 over the reef and kept going?
8 A The momentum carried her over the second reef
9 just like it did the first one.
10 Q Well, of course, that didn't happen in this
11 case?
12 A What didn't happen.
13 Q The ship didn't hit and continue on?
14 A No, it didn't.
15 Q It didn't?
16 A Did not.
17 Q Let's take your second scenario. She came off
18 the reef 10 minutes after the grounding?
19 A Five minutes.
20 Q Oh, five minutes. Okay. Assume for the
21 moment that the vessel grounded at 12:10. That
22 would have been at 12:15?
23 A Yes.
24 Q 12:15 in the morning. Well, that didn't
25 happen?

1 A No.

2 Q Okay. Let's take your third scenario. That
3 was, what? Ten minutes after the grounding?

4 A Yes.

5 Q If the grounding occurred at 12:10, that would
6 be at 12:20, right?

7 A Yes.

8 Q That didn't happen either?

9 A No.

10 Q What is your third scenario or fourth
11 scenario?

12 A Fifteen.

13 Q That would be 12:25 by my calculations?

14 A Yes.

15 Q That didn't happen either?

16 A No.

17 Q And what was your fourth one or fifth one?

18 A Well, at that point I stood back and looked at
19 it and it was obvious that, to go on with this,
20 we're not learning anything new, because adding
21 the time from floating to sinking to the time on
22 the reef, those times were getting less and less.
23 And, in fact, the longer it stayed on the reef
24 before refloating, the quicker it sank. And it
25 was obvious that that trend would continue.

1 Q Okay. But, of course, that didn't happen
2 either? In other words, it didn't come off at
3 some later time. An hour, or two, or three hours
4 later?

5 A No, it did not.

6 Q Now, the scenarios that you spoke about, the
7 four or five scenarios, they make certain
8 assumptions, do they not, once the vessel comes
9 off the reef?

10 A Well, I mean, any analysis of that type makes
11 assumptions.

12 Q Well, but I want to get into the assumption.
13 The major assumption that you make is, the vessel
14 comes off the reef and no further action is taken
15 by the crew to go in, is that right?

16 A Well, there was a parallel set of scenarios at
17 the same times with the slider valves assumed to
18 be shut immediately upon extraction.

19 Q Let me ask you about that. You said that you
20 did some calculations for that scenario?

21 A Yes.

22 Q And that scenario indicated that if they shut
23 the IG valves at the same time that they came
24 off, the vessel would have stayed afloat?

25 A For starting times of zero, no grounding, five

1 minutes for the first two. It indicated that the
2 vessel would reach equilibrium. That all the
3 flow interchanges would stop and it would still
4 be floating.

5 Q Now, you didn't make any drawings or present
6 us with any calculations in that regard, did you,
7 for that scenario?

8 A You have the results of those calculations.

9 Q The one where the vessel floats?

10 A Yes, with the slider valves closed.

11 Q You didn't make any drawings in that respect,
12 did you, showing the vessel floating?

13 A No.

14 Q Did you, as part of your scenario, consider
15 the possibility -- let me take that back and ask
16 you -- in your scenario, as I see it, the vessel
17 comes off the reef and starts to get heavy on the
18 starboard side?

19 A Yes.

20 Q Because the oil is coming out and the water is
21 coming in. Ultimately she starts to roll to the
22 starboard side and she takes on more water on
23 that side. And as she rolls a little bit
24 further, she takes on additional water, and
25 finally she capsizes.

1 A Well, this trend is trending down by the
2 valves.

3 Q By the head and then flipping over.

4 A That's correct.

5 Q That's your scenario, right?

6 A Well, that's my result. That's my prediction.

7 Q And it's also your four scenarios?

8 A Yeah. In all four cases the basic mode is
9 what we predict.

10 Q Did you, when you were doing these
11 calculations -- did you make any calculation for
12 the possibility that the vessel's crew would
13 ballast down the starboard side before the vessel
14 started to go to starboard?

15 A Ballast the starboard side?

16 Q The port side, right.

17 (1978)

18 A Well, I thought of that and I just can't
19 imagine that one would take on more water in that
20 circumstance. It's the water that's going to
21 sink the ship ultimately, and it seems that that
22 would be a last resort, to start opening sea
23 valves on the port side to try to balance the
24 heel.

25 Q Well, how about in conjunction with some other

1 action, for instance? Like, closing the IG
2 valves, getting buoyancy and then balancing
3 down -- prevent the vessel from going to
4 starboard. Did you consider that?

5 A Well, I considered it.

6 Q But you didn't do any calculations?

7 A There was very little time -- I think that the
8 first 30 minutes, it's not obvious that there is
9 even a problem. There's a subtle roll back from
10 port to starboard. I don't see anybody getting
11 too alarmed. But it's like felling a tree. It's
12 like a lumberjack cutting down a tree. It starts
13 very slowly and then accelerates. And I think
14 after 30 minutes people got to worry about things
15 other than what they are going to do to save the
16 ship.

17 Q That's all very well...

18 A There's no time -- there's really very little
19 time here.

20 Q Well, the fact of the matter is, the answer to
21 my question is, you didn't do any calculations?

22 A Well, I did some of these mental calculations
23 you keep referring to.

24 Q But you didn't run them through your computed
25 to see if the vessel would stabilize and stay

1 afloat?

2 A No.

3 Q I take it, then, you also didn't consider the
4 possibility of control flooding the number four
5 starboard?

6 A By air?

7 Q Water? Air? Did you consider air?

8 A Control -- I don't understand your question.

9 Q Well, that's because you confused it. Did you
10 consider controlling the water coming into number
11 four starboard by using the vessel's pumps?

12 A No.

13 Q Did you consider the possibility that the crew
14 may have pumped air into the number two starboard
15 or into the number four starboard?

16 A I don't think -- I mean, they did that in
17 salvage. But you've got to blank the vents.
18 There is no way to shut the vents off.

19 Q You mean the PV valves?

20 A No, I mean the vents in the ballast tanks. I
21 think you are referring to the number two ballast
22 tank.

23 Q Right. How big are the vents of the number
24 two ballast?

25 A There is one four inch and one six inch vent.

1 And when they salvaged the ship -- I mean, they
2 refloated the ship largely by pumping up the
3 forepeak and the ballast tanks with air, but
4 their was some amount of time required to prepare
5 the ship to do that. I think a matter of days to
6 build blanks for all those vents to make them
7 airtight.

8 Q How about just taking a piece of wood and
9 taping it down in a hurry? How about just
10 stuffing a shoe in there? How about somebody's
11 coat? Did you ever consider that?

12 A I believe the pressure is -- I don't believe
13 you could airtight those vents that way.

14 Q But you didn't do any calculations to figure
15 out whether you could?

16 A Well, I just don't see that as a viable
17 possibility.

18 Q And you didn't consider this being a viable
19 possibility?

20 A I'm not going to do calculations on things
21 that I think are unreasonable. I don't have
22 enough time for that.

23 Q It's true, is it not, that the scenarios that
24 you took were specifically designed to show the
25 ship capsizing in all modes?

1 A No, it is not.

2 Q The one that you say about the IG valves being
3 closed?

4 A I would like to take a minute here. I really
5 object.

6 Q No, no. You have to answer the question.

7 A I did not contrive the calculations to show
8 that the ship would sink.

9 Q But you didn't do any other calculations, such
10 as ballast calculations. You didn't do any
11 calculations where air may have been pumped in?

12 A There is no indication the crew is doing
13 anything of the type that you indicated.

14 Q And there is also no indication that the
15 vessel was coming off the reef either, but yet
16 you did the calculations.

17 A And slightly different conditions of that
18 grounding, the vessel could have come off under
19 those actions. There were no steps that I could
20 see that were being taken to do any of the things
21 that you refer to.

22 Q Well, of course you weren't there, so you
23 don't know what was in people's minds?

24 A I've read the testimony.

25 Q Well, since your situation is a hypothetical,

1 sheer speculation, I would like to now, if you
2 will, take this hypothetical. Suppose the crew
3 did ballast down. Would that affect the rate at
4 which the ship capsized or didn't capsize?

5 A It will affect the capsizing situation. You
6 are very likely, then, just going to flounder the
7 ship. You know, it doesn't capsize, it simply
8 sinks by having too much water aboard.

9 Q But you didn't do any calculations for that
10 either?

11 A No.

12 Q Sir, did you consider the possibility that if
13 the vessel came off and the crew saw that it was
14 starting to become a dangerous situation, that
15 they would run right back on to the reef?

16 A The situation that I considered was the ship
17 coming off the reef, as she was resting on the
18 reef, and evaluating what would have happened in
19 time.

20 Q But, again, with no particular idea in mind
21 how the vessel would have come off, or the fact
22 that she might not have come off at all. In
23 fact, wouldn't have come off, according to the
24 testimony?

25 A That's -- I explained the case...

1 Q Let's talk about the strength calculations.
2 You've done some strength calculations?
3 A Yes.
4 Q And you found the vessel to be sufficiently
5 strong as you came off the reef, right?
6 A I found that the stresses were not excessive.
7 We evaluated stresses at each of these time steps
8 a half a minute apart. And the danger was in
9 capsizing and sinking and not breaking up.
10 Q Okay. Now, did you do any calculations as to
11 the vessel's strength at the first low tide?
12 A We had to calculate the section degradation --
13 the section of the beam that bends. That section
14 is degraded by the damage. We had to do that
15 calculation to use later in the stresses. It was
16 consistent with calculations made by others in
17 connection with the salvage operation.
18 Q And at the time of the first low tide, you
19 found the ship to have sufficient strength to
20 withstand breaking?
21 A Yes, because of the midships section crushed,
22 therefore relieving the bending.
23 Q It's true, is it not, that there was nothing
24 done after the grounding that in any way
25 appreciably affected the strength of this vessel?

1 A Well, I think the movements on the reef,
2 displaying of the longitudinals, that quantified
3 it. That certainly do the strength any good, and
4 I think, in fact, for the degrader.

5 Q But you didn't do any calculation to find out
6 if it affected it in one way or the other, the
7 overall strength?

8 A I think the affect of that is in the...

9 Q The answer is either, yes, or, no. Did you do
10 any calculations?

11 A Yes.

12 Q You did calculations?

13 A I did calculations of the degradation and
14 section of the beam after the grounding.
15 Included in there were any rotational motions
16 which further reduced the effectiveness of the
17 longitudinals.

18 Q And, again, the rotational motion, you can't
19 say whether it was from the vessel turning after
20 the initial grounding, or from the vessel resting
21 down on the rock, or anything thing?

22 A We know that the vessel was rotated. I don't
23 know, there may have been other rotations
24 occurring for different reasons. I'm not aware
25 of any others.

1 Q Now, it's true, is it not, if the vessel --
2 the fact that the vessel didn't come off the reef
3 -- let me withdraw that.

4 MR. COLE: Your Honor, before I get started,
5 I'm going to move for the admission of plaintiff's
6 Exhibit 159.

7 MR. CHALOS: No objection.

8 THE COURT: Pardon me?

9 MR. CHALOS: No objection, Your Honor.

10 THE COURT: 159 is admitted.

11 EXHIBIT 159 ADMITTED

12 MR. COLE: 168.

13 MR. CHALOS: No objection.

14 THE COURT: 168 is admitted.

15 EXHIBIT 168 ADMITTED.

16 MR. COLE: 166.

17 MR. CHALOS: No objection.

18 THE COURT: 166 is admitted.

19 EXHIBIT 166 ADMITTED

20 MR. COLE: 165.

21 MR. CHALOS: No objection.

22 THE COURT: It's admitted.

23 EXHIBIT 165 ADMITTED

24 MR. COLE: 167.

25 MR. CHALOS: No objection.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: It's admitted.

EXHIBIT 167 ADMITTED

MR. COLE: 169 through 173.

MR. CHALOS: I object.

Your Honor, those are scenarios that didn't occur in this situation. They are all hypothetical, sheer speculation, and I think that given the evidence in this case, that this vessel would not have come off the reef. They will only confuse the jury.

THE COURT: Counsel approach.

(2357)

(Whispered bench conference as follows:)

THE COURT: (Indiscernible - whispering).

(2365)

THE COURT: Court reserves ruling on the last exhibits. We'll take that up at a later time.

REDIRECT EXAMINATION OF DR. VORUS

BY MR. COLE:

Q Mr. Chalos showed you what has been identified as defendant's Exhibit AR. And that was a PV valve, is that correct?

A Yes.

Q That's on the Exxon Valdez.

And where would that have been located on the deck of the Exxon Valdez?

1 A Well, the small -- on a four inch valve
2 located on a line on each one of the cargo access
3 hatches, and then there are two larger ones --
4 those are four inches. There are two 10 inch
5 sizes, covers two and half to 10 inches. It's
6 the same valve and different sizes. But there
7 are two located on 10 inch lines off the 24 inch
8 main.

9 Q Now, Mr. Chalos talked to you about one of the
10 purposes, which is to lift. What is the other
11 purpose of these valves?

12 A The other purpose is to respond to vacuum. To
13 similarly lift if the pressure falls below one --
14 if the tanks access hatches is minus one pound
15 per square inch vacuum. And on the main, I
16 believe it's minus one-half pound per square inch
17 vacuum.

18 Q So when a vacuum is created within the tank
19 below the minus one, which could be caused by oil
20 rushing out, that automatically opens to allow
21 air to come in there, is that correct?

22 A Yes.

23 Q How do you shut that off to stop that from
24 happening?

25 A There's no shut off mechanism on this valve.

1 That's because this valve is considered to be
2 protection for the system and they want it to be
3 fail safe. There's no shut off.

4 Q So you can't go up there, like on the slider
5 valves, and just turn a wheel?

6 A No.

7 Q Now, Mr. Chalos asked you about the slider
8 valves. These are valves that are over the
9 ballast tank, is that correct?

10 A No. They are over the cargo tanks.

11 Q And where are they located?

12 A They are indicated by this little "x" figure
13 in the feeder lines off the main, going to each
14 of the cargo tanks.

15 Q And if you wanted to close all the slider
16 valves, under your scenario, where the vessel
17 floated, would you have to close every one of
18 these tanks?

19 A The number four -- please repeat the question.

20 Q When you ran your scenario where the vessel
21 refloated, were all the slider valves closed?

22 A And that would have had to occur either at the
23 time of the grounding or within 10 minutes of the
24 grounding?

25 A The assumption was that the valves were open

1 during the time that the ship was on the reef,
2 and at the time that they came off they were all
3 closed.

4 Q Mr. Chalos asked you about what results you
5 were asked to reach in this case. Were you asked
6 to reach any particular results?

7 A Nah. I object to the accusations being put
8 forward here.

9 MR. CHALOS: Your Honor, I move to strike.
10 That's not responsive. The witness is making a speech
11 here.

12 THE COURT: Is there anything other than the
13 non-responsiveness that's objectionable?

14 MR. CHALOS: Yes, Your Honor. I think the
15 speech that he's making is prejudicial. He was asked
16 whether he had been asked by the D.A. to reach a
17 certain opinion. He said, no. And then he wants to
18 expound on it.

19 THE COURT: Well, unless there is a
20 substantive objection you can make. Non-responsiveness
21 is an objection only that the person making the
22 inquiring could make, since there is no real objection
23 to it, the objection is overruled.

24 You may continue.

25 A I think the state conducted itself most

1 properly in this case -- in my case. I can't
2 speak for the other experts. But Mr. Adams
3 was...

4 THE COURT: Excuse me just a second. Will
5 counsel approach the bench, please. Excuse me for the
6 interruption.

7 (2785)

8 (Whispered bench conference as follows:)

9 THE COURT: We're not going to get into this,
10 whether the state acted improperly (indiscernible -
11 whispering). And let's make it clear. Now, when you
12 say "I object. It's non-responsive." That's not a
13 real objection. That's Mr. Cole's objection. He could
14 control his witness. Now, if you have a real objection
15 such as relevance or hearsay, I would otherwise sustain
16 the objection.

17 But where he's at now, what he thinks the
18 state's actions are not relevant. I'm not going to --
19 I'm going to take some control under this circumstance
20 and not let the jury hear that.

21 (End of whispered bench conference)

22 (2815)

23 Q (Dr. Vorus by Mr. Cole:) When you were asked
24 to do this project, did you know at that time
25 whether this vessel would have floated upon --

1 would have capsized or reach equilibrium upon
2 refloating?

3 A I did not, and I told you that.

4 Q One of the results that you ran is that the
5 vessel never became ground but just sustained the
6 damage that it did, is that correct?

7 A Yes.

8 Q And what was the results?

9 A That it had capsized.

10 Q Within what time, do you remember?

11 A I believe it was 85 minutes.

12 Q Now, you used the word "splaying" in
13 discussing how the longitudinals running down the
14 length of the vessel looked to you. What do you
15 mean by "splaying"?

16 A A distortion across the ship -- crossways.

17 Q Could you use those diagrams to demonstrate to
18 the jury what you're talking about? You could
19 step forward if you like. Before you do that,
20 you're referring to plaintiff's Exhibit 145, is
21 that correct?

22 A You're looking at the bottom, so the bottom is
23 here. You see that these longitudinals are bent
24 across the vessel, which would come from forces
25 applied across the bottom, which could be due to

1 the rough contour of the reef rocks catching on
2 the lower -- the edges of the longitudinals as
3 the ship moves transversely due to rotation.

4 Q Now, you said that you observed crushing
5 damage in the area of bulkhead 23, is that right?

6 A Yes.

7 Q Could you reconstruct what damage had occurred
8 to that area before the crushing during the
9 tides?

10 A During the low tide?

11 Q Before the low tide. Is it possible to
12 reconstruct what damage occurred before the first
13 low tide?

14 A No.

15 Q And why is that?

16 A The damage pattern that existed before the
17 tide went out was obliterated by the crushing of
18 the structure in that area.

19 Q Does that mean that there was not damage done
20 by that twisting motion?

21 MR. CHALOS: Objection, Your Honor. This is
22 sheer speculation, and leading, as well.

23 THE COURT: How could this witness answer that
24 when he said there is no way to tell?

25 MR. COLE: He said that there's no evidence,

1 but he could testify as to what his opinion is as to
2 what damage would have occurred from this.

3 THE COURT: Objection sustained.
4

5 Q Did you have any conversations with anyone
6 about the slider valves being open or closed at
7 this time, when the vessel became grounded?

8 A Yes.

9 Q Who was that with?

10 A It was with both Mr. Lites and Mr. Kunkel.

11 Q And do you know when the slider valves were
12 closed?

13 A No. I know they were not closed at the time
14 of the grounding.

15 Q Mr. Chalos asked you a question about whether
16 or not a captain would know the information that
17 you calculated from your computer. Would you
18 just briefly describe for the jury, what causes
19 this vessel that's like this -- how do the
20 ballast tanks and the ballasts of this vessel
21 keep it afloat?

22 A Well...

23 Q Where are the valve sections in this thing?

24 A The ballast tanks, those with the paper on
25 top. This is he bow, so this is the number two

1 tanks. The center region is oil, but the two
2 outside tanks are ballast, and they were dry at
3 departure. The forepeak was also dry, which is
4 up in the extreme bow. The number four tanks are
5 also ballast tanks on the outside. They were dry
6 at departure. Otherwise, the tanks were
7 collectively about 85% full of oil.

8 Q Is the engine room a ballast section on this
9 vessel?

10 A Yeah, the engine room is also ballast, after
11 the bulkhead on the number five tank. That's
12 buoyance -- the forepeak is buoyant. Generally
13 the mid region of the vessel is not buoyant, butt
14 he ballast tanks spaced at these intervals
15 provide buoyancy to help support the load -- the
16 cargo load throughout the mid body.

17 Q And if a vessel was traveling at about 11.25
18 or 45, or 75 knots and it struck a reef head-on,
19 and the captain was told that he had water in his
20 forepeak, and his starboard tanks -- starboard
21 valves number two and number four show water or
22 some fluid coming in. What would that tell him
23 about how much buoyancy he had left?

24 MR. CHALOS: Objection, Your Honor. I'm going
25 to object on foundation. Without more facts, I don't

1 think this witness can answer that. And, secondly,
2 this man is not a captain. How could he speculate what
3 a captain would know, with that information.

4 THE COURT: You've gone beyond the scope of
5 this witness' expertise with that question. Objection
6 sustained.

7 Q If you were told that amount of information,
8 how much -- how many other tanks support -- would
9 be in tact to support this vessel stabilitywise?

10 MR. CHALOS: Objection, again, Your Honor. No
11 foundation.

12 THE COURT: Objection overruled.

13 A The number two and number four ballast tanks
14 on the port side, in this scenario, would still
15 be buoyant, as well as with the engine room --
16 from the forward engine room bulkhead to the
17 stern.

18 Q Does the fact that oil may have mixed with the
19 water that was coming in to the ballast tanks on
20 the starboard side, two and four, change your
21 conclusions that you have reached in this case?

22 A As I explained, I don't think it was very much
23 oil, considering the physics of the processes
24 that were occurring, and it had no significant
25 affect on my conclusion.

1 Q And as in Mr. Chalos' hypothetical, you
2 ballast down the port sides. What happens to the
3 vessel then?

4 A Well, water on the port side would -- we're
5 approaching a situation of this type of attitude,
6 with a bow slightly down. But it's taking a very
7 extreme heel angles. Pumping water -- opening
8 the sea valves on the port side would tend to
9 reduce the heel angle. But adding water forward
10 would tend to increase the trim down in the bow,
11 and increase the displacement of the vessel. So
12 that if the danger of capsizing is reduced, the
13 danger of foundering, which is just sinking, down
14 by the bow is increase.

15 Q I have nothing further. Thank you.

16 RE CROSS EXAMINATION OF DR. VORUS

17 BY MR. CHALOS:

18 Q Just a few questions, professor.

19 Again, all your hypotheticals omit any action
20 by the crew whatsoever, is that correct? Except
21 the one where the valves close?

22 A Yes.

23 Q And, again, you didn't do any calculations
24 with respect to the ballasting down partially, or
25 fully, on the port side?

1 A I started to, but I just didn't see that that
2 was -- I couldn't get excited about it. I didn't
3 think it could do anything for me.

4 Q It wasn't because, if you did the
5 calculations, you found that the vessel stayed
6 afloat, was it?

7 A I've already tried to explain that.

8 Q Now, in your scenarios again, you didn't
9 consider the possibility of the crew pumping out
10 the number four and the number two starboard
11 tanks -- ballast tanks, did you?

12 A No.

13 Q Is there any particular reason why you didn't
14 do that calculation?

15 A I think the rate at which the crew would have
16 had to act at the time and the rate at which
17 water was coming in, he wouldn't have had time to
18 do any good.

19 Q How about just in the hypothetical, that the
20 crew acted quickly and did what they had to do to
21 pump it out. Did you run that kind of
22 calculation?

23 A There are other scenarios that could be run.

24 Q Yes. But you didn't run those?

25 A No.

1 Q Now, you talk about the splaying, which you
2 said could have been caused by the vessel coming
3 over a ridge, or rock. You took the splaying of
4 the various longitudinals into consideration when
5 you did the strength calculations?

6 A Yes.

7 Q And the vessel was strong enough. That wasn't
8 a problem. The strength wasn't the problem?

9 A It was strong enough after -- in the scenarios
10 that we ran, in calm water, free floating.
11 Again, it has sits buoyancy back. Things are
12 uniformly distributed, yes. That even with that
13 degraded section it still had adequate strength.

14 Q And that includes that section around --
15 section 23?

16 A Yes.

17 Q So that's sufficient strength?

18 A For that case. Calm water, ungrounded.

19 Q Well, that's what you had in that area; calm
20 water, right?

21 A Yes.

22 Q No further questions.

23 REDIRECT EXAMINATION OF DR. VORUS

24 BY MR. COLE:

25 Q Is that what you would have when you lost,

1 say, 12 feet tide at the next low tide?

2 MR. CHALOS: Objection, Your Honor, to the
3 form of the question. Is that what you would have with
4 calm water, do you mean?

5 Q Is that the calm water scenario, the same as
6 having a 12 foot drop in tide and being set on a
7 pinnacle.

8 A It's a different loading, but it has some
9 similarities, in that, as we explained yesterday,
10 the effective ways, is to change the support of
11 the vessel. To change its buoyancy distribution,
12 and that's what a hard grounding is also doing.
13 It's concentrating the support locally.

14 Q And in your scenario that you ran, at some
15 point, let's say -- let's take the 10 minute one.
16 At some point, before the vessel capsized, is it
17 because the angle of the vessel is -- when does
18 it become unmanageable? I mean, where you can't
19 walk on it.

20 A Well, you've gotten to 20 degrees after 30
21 minutes after the -- it's been removed from
22 ground. I think at that far at the decks would
23 become almost impossible to work on.

24 MR. COLE: I have nothing further.f

25 THE COURT: You're excused.

1 (Witness excused)
2 THE COURT: We'll take a recess, ladies and
3 gentlemen, for about 10 or 15 minutes. Don't discuss
4 the case among yourselves or with any other persons.
5 Don't form or express any opinions.
6 THE CLERK: Please rise. This court stands in
7 recess subject to call.
8 (Off record - 10:05 a.m.)
9 (On record - 10:20 a.m.)
10 THE COURT: Call your next witness, Mr. Cole.
11 MS. HENRY: The state will call Captain
12 Stogsdill.
13 (Oath administered)
14 A Yes.
15 JAMES STOGSDILL
16 called as a witness in behalf of the plaintiff, being
17 first duly sworn upon oath, testified as follows:
18 THE CLERK: Would you please state your full
19 name and spell your last name?
20 A James Stogsdill, S-T-O-G-S-D-I-L-L.
21 THE CLERK: Your correct business mailing
22 address?
23 A 325 Kalifonski Beach Road, Soldotna.
24 THE CLERK: And your occupation?
25 A Alaska State Trooper.

1 DIRECT EXAMINATION OF TROOPER STOGSDILL

2 BY MS. HENRY:

3 Q Sir, how long have you been a member of the
4 Alaska State Troopers?

5 A Eighteen years about.

6 Q And during that period of time, were you ever
7 assigned to what's been called the CIB unit?

8 A Criminal Investigation Bureau, yes.

9 Q How long were you assigned to that unit?

10 A From 1981 until 1984, I think.

11 Q What happened in 1984?

12 A It was generally disbanded and the
13 investigators were spread apart.

14 Q When it originally was a unit prior to 1984,
15 where were you assigned?

16 A Homicide.

17 Q And was that assignment in Anchorage?

18 A Yes.

19 Q But the homicide unit was to cover the entire
20 state, is that correct?

21 A Yes, it was.

22 Q Once the unit disbanded, the investigators
23 were sent to different areas of the state?

24 A Correct.

25 Q And where were you sent?

1 A Soldotna.

2 Q And that's where you have been ever since?

3 A Right.

4 Q Are you still an investigator for the State
5 Troopers?

6 A Yes.

7 Q How did you become involved in the
8 investigation of the grounding of the Exxon
9 Valdez?

10 A Actually, I think it was a month or so after
11 the grounding. I think I had spoken to you on
12 the phone about another matter, and at that time
13 they needed another investigator to work on the
14 case, and I became involved at that point.

15 Q Now, do you know approximately when that would
16 have been that you started becoming involved in
17 this case?

18 A It would have been the end of April -- 20,
19 21st, somewhere in there.

20 Q Of last year?

21 A '89.

22 Q And you've been involved in the investigation
23 ever since, is that correct?

24 A Yes, ma'am.

25 Q Now, there's been some testimony by Professor

1 Vorus that he did not get certain information
2 that he needed until mid-January of this year,
3 and therefore could not run all the tests that he
4 was requested to do. Do you know why that was?

5 MR. MADSON: Your Honor, I'll object. I don't
6 see the relevancy in why he did it in January as
7 opposed to some earlier date. He did it and he
8 testified about it.

9 THE COURT: Objection overruled.

10 Q Do you know why that was, sir?

11 A Well, basically, pending the outcome of some
12 legal issues there were a number of documents and
13 statements and tapes and those kinds of things
14 that were withheld in the prosecution in this
15 case, including myself.

16 And I think that those issues weren't
17 resolved, then, until -- well, sometime pre-
18 January, but all those documents, as a matter of
19 course, were made available to us in, I think,
20 January 19 or 20, somewhere in there. And then
21 from that point on, then, what was made available
22 was sent on to the experts who needed it, that
23 sort of thing.

24 Q Is that the same reason that Mr. Milwee also
25 did not receive the bulk of the reports until

1 approximately six weeks ago?

2 A Yeah. Nobody could get it until we had it to
3 give to them.

4 Q There has also been an exhibit that was
5 introduced in this case, an oil spill report that
6 Mr. Chalos pointed out, had a portion blocked
7 out. What was the reason for that?

8 MR. MADSON: Excuse me. Could we find out
9 what we're talking about here? Is it an exhibit, or
10 what?

11 MS. HENRY: It's the oil spill report, Your
12 Honor.

13 THE COURT: Do you have an exhibit number to
14 identify it?

15 MS. HENRY: Your Honor, I believe it's Exhibit
16 104, the Oil Record Book.

17 THE COURT: What's it entitled?

18 MS. HENRY: Oil Record Book.

19 (Tape: C-3655)
20 (000)

21 THE COURT: All right. Exhibit 105 [sic]?
22 When you find that, Mr. Cole, let Mr. Madson look at
23 it.

24 MS. HENRY: I'm sorry, Mr. Cole, it's Exhibit
25 85.

1 THE COURT: Did you get that, Mr. Cole?

2 MR. COLE: I have 105.

3 THE COURT: No, it's 85. She just corrected
4 it. (Pause) My records reflect that both 85 and 105
5 have been admitted.

6 MR. MADSON: That's correct, Your Honor. I
7 guess I'm just wondering why there are two of the same
8 thing.

9 THE COURT: You may proceed.

10 Q (Trooper Stogsdill by Ms. Henry:) Do you know
11 the reason that portions of Exhibit A-5 are
12 blocked out in the Oil Record Book?

13 A Well, my assumption is, without knowing
14 exactly what is blocked out there, that it's
15 under the same rule as the other documents and
16 tapes and so forth that I mentioned earlier, in
17 that information -- that particular portion of
18 that information was not made available to the
19 state and to the outcome of the legal issues.

20 Q So in some cases we didn't receive any
21 documents at all on a topic, and in some cases we
22 received documents with portions blocked out?

23 A Correct.

24 Q When was the first time we received the
25 interview of Captain Hazelwood by Trooper Fox and

1 Mark Delozier?

2 A I think that came with the bulk of the
3 material in mid-January -- the 19th or 20th, I'm
4 not sure. It was somewhere in there.

5 Q And when was the first time we received the
6 entire Coast Guard tape, the transmissions
7 between the Exxon Valdez and the Coast Guard, the
8 night of the grounding?

9 A I don't recall the exact date, but that didn't
10 occur until after this trial had begun.

11 Q Until after the trial began?

12 A Yes.

13 Q When was the first time we knew what Greg
14 Cousins was going to say, or had said in the
15 past?

16 A The first indication we had of Greg Cousins'
17 statements came -- would have been mid-January,
18 then, with the NTSB transcripts. And that was
19 the first time we had gotten those.

20 Q Sir, were you in town last spring, 1989, the
21 day that Captain Hazelwood made his first court
22 appearance?

23 A Yes.

24 Q Did you have any opportunities to talk to
25 Captain Hazelwood that day?

1 MR. MADSON: Your Honor, I'm going to object
2 tot his, and I think it might take a little more time
3 than we could do just with a bench conference. I
4 apologize, but I think it will take some argument and
5 some voir dire.

6 THE COURT: All right. I'll accept that
7 representation to rule. We will do this outside the
8 presence of the jury. Don't speculate on what we are
9 doing here. I don't know what we are going to do
10 either. And don't form or express any opinion, or
11 don't discuss the case in any fashion. We will call
12 you back as soon as we can.

13 (Jury not present)

14 MR. MADSON: Your Honor, Ms. Henry, yesterday
15 or the day before, told me in a little memo, the
16 essence of what to expect this witness to testify
17 about, and I appreciate that, and that allows me to
18 make my argument, perhaps a little more clear. She
19 expects this witness to answer that, yes, he had a
20 conversation with Captain Hazelwood when he was
21 providing security for him at his initial arraignment.
22 He's an investigator and yet he's assigned as the
23 security in case there was something that happened to
24 Captain Hazelwood.

25 But, anyway, while he was at the airport,

1 there was a conversation when just he and Captain
2 Hazelwood were present and his attorneys were not.

3 One of the attorneys told the investigators
4 not to discuss any of the events that occurred with
5 Captain Hazelwood. We expect that the testimony would
6 show that Trooper Stogsdill did, in fact, ask
7 questions, and fairly innocuous, it may seem, as to who
8 do you know in Valdez. We expect that's what he would
9 testify to, that Captain Hazelwood indicated that there
10 was yet a friend in Valdez.

11 The first part of the objection goes to the
12 fact that this was a client who was represented by
13 counsel. The investigator had no business talking to
14 him about events that even remotely had anything to do
15 with the grounding of the events afterwards. And at
16 the time, probably Trooper Stogsdill did not think this
17 was even important, but somehow in the course of events
18 the prosecution does. Which leads me to my next
19 objection, which is just plain relevance.

20 The fact that Captain Hazelwood may say, "I
21 had a friend in Valdez." -- and apparently we don't
22 know who that is, nor does this witness -- what
23 relevance does that have to anything. I just don't see
24 how that is going to aid this jury in determining
25 anything at all with regard to this case and any issues

1 involved here.

2 Your Honor, I don't believe the testimony will
3 be that Sergeant Stogsdill was necessarily alone with
4 the defendant. I don't believe he remembers exactly if
5 the attorneys were still there or not. The
6 conversation was simply small talk during breaks and
7 proceedings, and while they are waiting for the plane.
8 It's my understanding that Captain Hazelwood
9 volunteered this. It was not an interrogation or a
10 question fashioned by Sergeant Stogsdill, and therefore
11 it is properly admissible.

12 As to the relevancy objection, Your Honor, it
13 became relevant during the cross examination of Jamie
14 Delozier in an attempt to impeach her, that, in fact,
15 she did not see Captain Hazelwood at the Pipeline Club
16 drinking with someone else, since the only other person
17 who made claims he was drinking was Mr. Glowacki, who
18 was not in the Pipeline Club at the time. The
19 relevancy of this, Your Honor, is that, in fact,
20 Captain Hazelwood does have a friend in Valdez that he
21 would see when he was in town, and the reasonable
22 inference from that is that that is the person that
23 Jamie Delozier saw with Captain Hazelwood in the
24 Pipeline Club from 1:45 to 2:45, as opposed to Mr.
25 Glowacki.

1 Ms. Delozier did say that the person did not
2 have an accent. Mr. Glowacki clearly does have an
3 accent, and I think we should be permitted to put this
4 evidence on and argue the inference from it.

5 MR. MADSON: Your Honor, what will happen if
6 this does come out is the conversation will be somewhat
7 along the lines that Ms. Henry just indicated.

8 However, I think this witness will also acknowledge,
9 and we expect him to, that the conversation was not
10 about a friend that he had in Valdez, but that since
11 the incident all kinds of people were claiming to be
12 his friend, and that a newspaper article, in fact, came
13 out that Captain Hazelwood mentioned, about a guy by
14 the name of Strickland in Valdez. And this article
15 from Long Island indicated that he was apparently
16 interviewed; and he was such a good friend of Captain
17 Hazelwood; he was up at his house all the time
18 visiting, et cetera, et cetera.

19 So the conversation dealt with a non-existent
20 friend or friend only in the mind of somebody in
21 Valdez.

22 But even if that weren't the case, we have a
23 non-existent person who may or may not have been in the
24 Pipeline Club at that time. There has been no effort
25 made to make this person's identity known. If there is

1 an effort, I have no I don't know who it is. I think
2 the witness would simply say, yeah, I tried to find out
3 who it was and I have no idea if the friend really
4 exists, or when this friend, with this conversation,
5 occurred.

6 Was it a friend five years ago? Has he since
7 moved? It's all sheer speculation. It has absolutely
8 no relevance.

9 THE COURT: Why don't you go an inquire of the
10 witness now and we'll get a record made of what
11 actually he will say.

12 MS. HENRY: Thank you, Your Honor.

13 Q (Trooper Stogsdill by Ms. Henry:) Sir, on the
14 day that Captain Hazelwood arrived in Anchorage
15 to be initially arraigned on the charges, did you
16 have occasion to talk to him during that day?

17 A Yes. It was May 3, I think. And I was with
18 Captain Hazelwood, generally, the whole day.

19 Q All right. And that included picking him up
20 at the airport and bringing him to the
21 courthouse?

22 A Correct.

23 Q During breaks in the court proceedings?

24 A I think the court proceeding was quick. The
25 rest of the time I was somewhere in his company.

1 Q Did it also include transporting him to the
2 jail for the technical booking procedures?
3 A Yes, it did.
4 Q And how long did that take?
5 A A long time.
6 Q There was some hang-up on the...
7 A There was some hang-up on the bail posting, or
8 something, and it seemed like we were there a
9 couple hours or something.
10 Q And did it also include the time going to the
11 airport and waiting for the plane to take off?
12 A Right.
13 Q During that time, do you remember specifically
14 what time of the day it was that you had the
15 conversation with Captain Hazelwood?
16 A No, I don't. We talked the whole day about
17 many things. And somewhere in that period of
18 time, my memory is that he mentioned having a
19 friend. And I think he even told me his first
20 name, but I don't remember what.
21 Q Pardon?
22 A I think he even...
23 THE COURT: Trooper Stogsdill, you are
24 answering questions that haven't been asked yet. The
25 question is, what time of day it was. And I want to

1 get a foundation here. Who was present? Where, if you
2 can recall, and things of that nature, before we get
3 into the substance of the conversation.

4 A Okay.

5
6 MS. HENRY: Do you want me to continue?

7 THE COURT: Go ahead. Yes, I would like you
8 to.

9 Q Do you remember when it was that the specific
10 conversation about his friend occurred, i.e., on
11 the way from the airport? On the way to the
12 airport? During court sometime? During the
13 booking procedure? Do you remember?

14 A No.

15 Q You remember it was sometime that day?

16 A Yes.

17 Q Do you remember if anyone else was present or
18 in the area?

19 A I don't recall.

20 Q All right. Do you remember whether or not his
21 attorneys were present during the booking
22 procedures?

23 A Mr. Madson was there.

24 Q Do you remember if his attorneys were present
25 during the transportation to and from the airport

1 and to the hotel?

2 A There was -- yes. Mr. Madson, I think, was
3 with us both coming and going.

4 Q Were there some times when Mr. Madson was not
5 with you or close to you?

6 A There were times when I was alone with Captain
7 Hazelwood.

8 Q And this particular conversation that we're
9 talking about, you don't recall if someone was
10 with you or not?

11 A I don't.

12 Q Can you tell us how it happened? How the
13 conversation began?

14 A No.

15 Q Did you ask him any specific questions?

16 A No.

17 Q Do you remember...

18 A I'm sorry, do you mean about whether or not he
19 had friends in Valdez?

20 A Yes.

21 Q No, I don't. I asked him a lot of questions
22 about a lot of things, but I don't recall
23 specifically asking him if he had any friends in
24 Valdez, although I might have. I don't recall
25 how it came out.

1 Q Why would you ask that question?

2 A Well, I can't think of a reason why I would
3 have, at the time.

4 Q Were you trying to make small talk with
5 Captain Hazelwood?

6 A That's all we were doing all day.

7 Q What did Captain Hazelwood say about a friend
8 in Valdez?

9 A My recollection is, is that he had a friend in
10 Valdez who he oftentimes visited and occasionally
11 had dinner with when he was in town.

12 Q Did he give you any names?

13 A I seem to recall him giving me the guy's first
14 name, but I don't remember what it is.

15 MS. HENRY: Your Honor, that's all the
16 questions I have.

17 THE COURT: It was a guy, is that what you're
18 saying?

19 A Yes, sir.

20 THE COURT: Okay. Let me make sure I
21 understand your testimony. You don't recall whether
22 you asked him, or he volunteered this statement, is
23 that correct?

24 A No, sir, I don't recall.

25 THE COURT: And you can't tell us now how the

1 conversation began? What was said before it and what
2 was said after it?

3 A No.

4 THE COURT: And you don't recall if there was
5 anybody else present, is that your testimony?

6 A No. There could have been. I mean, we talked
7 all day, when people were there and when they
8 weren't, and I just don't recall at what period
9 of the day that little piece of information came
10 out.

11 THE COURT: Besides the attorneys involved,
12 who else was present with you in the presence of
13 Captain Hazelwood?

14 A Sergeant Stewart, from the Troopers, was with
15 me all day.

16 THE COURT: All right. I'll hear further
17 argument at this time if there is any need to.

18 MS. HENRY: No, Your Honor.

19 MR. MADSON: No, Your Honor. I don't think
20 so.

21 MS. HENRY: Not unless the court has some
22 questions.

23 THE COURT: I have no questions. Objection
24 sustained. I don't think there is a proper foundation
25 for it. I think the probative value is very marginal,

1 if any at all, and it's outweighed by, I think,
2 confusing the issues to the jury. The inferences that
3 could be raised from that, I think, are probably unfair
4 inferences.

5 And finally this witness doesn't recall
6 whether or not he asked the question or not, and
7 Captain Hazelwood is represented by counsel, and I
8 think any interrogation of anything by this witness of
9 Captain Hazelwood is not small talk. Whenever it can
10 result in any kind of inculpatory statement, it's
11 improper to interrogate the defendant.

12 So it will be prevented from two points of
13 view; relevance, and I think the defendant was
14 represented by counsel and it was improper to
15 interrogate him.

16 And I am going to draw the inference that,
17 since the witness doesn't recall, that the witness did
18 ask the question. The burden is on the state to show
19 that it was voluntary. The state hasn't sustained that
20 burden.

21 Are we ready for the jury now?

22 MR. COLE: Yes, Your Honor.

23 (Jury present)

24 (665)

25 Q (Trooper Stogsdill by Ms. Henry:) Sergeant

1 Stogsdill, from the time of the defendant's first
2 court appearance over the next several months and
3 up to, and perhaps even including trial, did you
4 have several occasions to talk to Captain
5 Hazelwood?

6 A Sure.

7 Q Would you say it was quite often?

8 A Occasionally.

9 Q And was most of this just small talk?

10 A Yes.

11 Q Did you also have an opportunity to listen to
12 tapes of Captain Hazelwood's voice?

13 A Yes, I did.

14 Q I mean, tapes that were specifically
15 identified as Captain Hazelwood's voice?

16 A Yes, I did.

17 Q What kind of tapes were those?

18 A Well, I listened to the interview of Captain
19 Hazelwood by Mark Delozier and Trooper Fox. I've
20 listened to conversations with Captain Hazelwood
21 and the vessel traffic center. One where he
22 identifies himself. In fact, I think I guess I
23 listened to several vessel traffic center tapes
24 that Captain Hazelwood's voice appears on.

25 Q And based upon your personal conversations

1 with Captain Hazelwood and your listening to some
2 of these tapes, do you feel that you could
3 recognize Captain Hazelwood's voice?

4 A I think so.

5 Q Sir, showing you what has been marked as
6 plaintiff's 117 for identification. Could you
7 please identify that?

8 A It's a tape that contains the inbound report
9 from the Exxon Valdez to the Vessel Traffic
10 Center.

11 Q That would have been on March 22?

12 A Yes.

13 Q Sir, showing you what has been marked as
14 Plaintiff's Exhibit 120 for identification. Can
15 you identify that?

16 A This looks like a tape that the Coast Guard
17 made at our request, which contains a
18 conversation between the Exxon Valdez and the
19 Vessel Traffic Center.

20 Q And do you know the date of that conversation?

21 A This would have been the 24th of March.

22 Q Does that tape also reflect specific times in
23 the conversation?

24 A I think so.

25 Q I'm sorry, what?

1 A I think so, yes.

2 Q Showing you what has been marked as
3 plaintiff's Exhibit 21 for identification, could
4 you please identify that?

5 A This is another tape the Coast Guard provided
6 at our request, which also contains a
7 conversation between the Exxon Valdez and the
8 Vessel Traffic Center.

9 Q You were aware that a subpoena was issued
10 during the trial to a Mr. Byers to fly to Valdez
11 and actually make those copies?

12 A I'm aware of that, yes.

13 Q Have you listened to all three of those tapes?

14 A Yes, I have.

15 Q Do you recognize anyone's voice on those
16 tapes?

17 A Captain Hazelwood's voice appears on all three
18 of these tapes.

19 Q All right. Thank you, sir. At this time the
20 state would move for admission of 117, 120 and
21 121.

22 MR. MADSON: Your Honor, I'm objecting if
23 there is anything on these tapes to be -- in other
24 words, if there is an offer of proof or there is some
25 relevance, other than the fact that his voice appears

1 on the tape. If that's the case, I don't have any
2 objection. If it's to be used for any other purpose,
3 then I do.

4 THE COURT: Why don't you come on up.
5 (836)

6 (Whispered bench conference as follows:)

7 THE COURT: Are any of these already in
8 evidence at all?

9 MS. HENRY: Pardon me?

10 THE COURT: None of these are in evidence at
11 this time?

12 MS. HENRY: Yes. I've never moved them until
13 today.

14 THE COURT: Okay. But we've heard them.

15 MS. HENRY: Yes. Mr. Byers, from the Coast
16 Guard, as well as the VTC fellow, Shepherd.

17 THE COURT: Have they been played to the jury?

18 MS. HENRY: No.

19 THE COURT: Okay. None of them have been
20 played to the jury. (Indiscernible - whispering).

21 MS. HENRY: That's correct, Your Honor. 117
22 was flown out on the 22nd. These are nine hours -- one
23 of them is nine hours after the grounding, and then
24 (indiscernible - whispering). So the purpose is the
25 difference in voice.

1 MR. MADSON: Your Honor, we have reason to
2 believe -- a good reason to believe that the inbound
3 tape is at the wrong speed. This is the one where the
4 copy was made from a copy.

5 THE COURT: We've already discussed that.
6 Okay. Any other objection other than that?

7 MR. MADSON: Well, I think this (indiscernible
8 - whispering) foundational problems for any inference
9 that can be drawn (indiscernible - whispering).

10 THE COURT: Okay. I'm going to admit it at
11 this time. Before they go to the jury if you come up
12 with a legitimate dispute. So far you've made an
13 assertion, but there's been no evidence that there is
14 anything relevant. So at this time they will be
15 admitted provisionally. The inbound tape -- the other
16 two will be admitted without provision. The inbound
17 tape is (indiscernible - whispering) to claim some wort
18 of problem, but will be admitted provisionally.

19 And that will be, 117 is admitted
20 provisionally. 121 and 120 is admitted without
21 reservation at this time.

22 (905)

23 (Whispered bench conference as follows:)

24 THE COURT: And, Mr. Madson, it will be your
25 burden to call that to the court's attention at such

1 time that you deem it proper.

2 They're admitted.

3 EXHIBITS 117, 120, 121 ADMITTED

4 Q (Trooper Stogsdill by Ms. Henry:) Sir, what
5 is Captain Hazelwood's height?

6 A His height?

7 Q Yes.

8 A I think it's recorded as six feet.

9 Q And what is his weight?

10 A 170.

11 Q Finally, counsel for the defendant have, on
12 occasion in this trial, claimed that they have
13 not seen certain documents. Are you aware of the
14 procedures in our office to provide copies of all
15 documents to defense counsel, even those that we
16 never got?

17 A Well, I think your...

18 Q Or, we never saw?

19 A I think your policy has been, "we give
20 everything".

21 Q And to your knowledge, has that occurred in
22 this case?

23 A As far as I know.

24 CROSS EXAMINATION OF TROOPER STOGSDILL

25 BY MR. MADSON:

1 Q Well, sir, you had been working on this case
2 since April, right?

3 A Yes.

4 Q Now, first of all, Ms. Henry asked you a
5 number of questions about documents that you did
6 not see or did not get until January some time,
7 right?

8 A That's right.

9 Q You said that there was some court proceedings
10 which prevented you from getting those documents?

11 A Well, I think what I said was that there was a
12 legal issue which we were pending the outcome,
13 and then there was a procedure set up that
14 prevented us from getting those documents until
15 the court decision took place.

16 Q When you say "we", you're talking about
17 yourself, Ms. Henry and Mr. Cole.

18 A And Mr. Adams.

19 Q And Mr. Adams.
20 You know, however, that the District
21 Attorney's office had this material all along?

22 A Yeah. One person in the District Attorney's
23 Office had it all.

24 Q Right. And he could have given it to you any
25 time he wanted to if he chose to do that. But

1 for his reasons and his particular concerns in
2 the case, he did not, isn't that right?

3 A Well, I think he was involved in the initial
4 set-up of the procedure. I think he was going to
5 stick to that.

6 Q Yes. He made a decision as to what he wanted
7 to do and how he should go about it, right?

8 A Correct.

9 Q But he could have made a different decision,
10 then, wouldn't he? And if he made a different
11 decision, you would have got the material a lot
12 sooner?

13 A I suppose he could have made any decision he
14 wanted, but he's got to -- the original...

15 Q Okay. What I'm getting at, Sergeant, is that
16 the defense in this case certainly didn't keep
17 anybody from getting any documents, did they?

18 A Oh, no, we weren't directly responsible for
19 that, it was -- we were awaiting the outcome of
20 the legal issue.

21 Q Now, you said you listened to the tapes,
22 including one, I believe if, 117 -- Exhibit 117,
23 the inbound tape.

24 A The inbound tape, yes.

25 Q How much of tape did you indicate on there

1 what is Captain Hazelwood's voice, compared to
2 anybody else?

3 A Oh, there's other persons on the tape.
4 There's the Vessel Traffic Center -- I think Mr.
5 Shepherd is there. There is another officer on
6 the Exxon Valdez speaking and Captain Hazelwood.

7 Q What do you recall Captain Hazelwood saying,
8 anything in particular?

9 A Basically, yes. The Vessel Traffic Center had
10 gotten the initial report from the Exxon Valdez;
11 told them to stand by and then relayed some
12 berthing information to the Exxon Valdez, to
13 which Captain Hazelwood acknowledge that and
14 indicated that they would make those arrangements
15 when it got closer up or something.

16 Q Roughly, how long a conversation would you say
17 this was?

18 A Seconds -- 20 seconds; 15 seconds.

19 Q Ten to 20 seconds, would that be fair?

20 A Somewhere in there.

21 Q Lastly, you said he was six feet an 170
22 pounds. Did you weigh Captain Hazelwood, or how
23 do you know this?

24 A I think that was the information that he
25 provided to the jailer after the arraignment

1 booking.

2 Q That was basic booking information is what it
3 was?

4 A Yes.

5 Q And you've made a note of that in your notes?

6 A Actually it just came right off of their
7 document.

8 Q What about his age?

9 A Oh, I think he was born in '46. I don't
10 recall the day -- September something, '46, I
11 think.

12 Q Which would make him 43 or 44?

13 A Yeah.

14 Q And certainly not in his 50s?

15 A In his 50s?

16 Q Fifties?

17 A No.

18 Q I don't have any other questions. Thank you.

19 MS. HENRY: No questions.

20 THE COURT: You may step down.

21 (Witness excused)

22 MR. COLE: The state would call Mr. Richard
23 Prouty.

24 (Oath administered)

25 A I do.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

RICHARD W. PROUTY

called as a witness in behalf of the plaintiff, being first duly sworn upon oath, testified as follows:

THE CLERK: Please be seated. Sir, would you please state your full name, and then spell your last name?

A Richard W. Prouty, P-r-o-u-t-y.

THE CLERK: And your current mailing address, that is, your business?

A 5600 East Waterloo Road, in Edmond, Oklahoma.

THE CLERK: And your current occupation?

A I'm currently employed as the Chief Forensic Toxicologist with the Office of the Chief Medial Examiner for the State of Oklahoma.

DIRECT EXAMINATION OF MR. PROUTY

BY MR. COLE:

Q Mr. Prouty, why have you been asked to testify in this matter?

A I was asked by the District Attorney's Office if I would review certain information and documents associated with the grounding of the Exxon Valdez, to evaluate the role of alcohol, if any, that may have been associated or contributed to that grounding.

Q Would you please define what toxicology means?

1 A Surely. Toxicology most simply described is
2 the study of poisons. More specifically it's the
3 study of the adverse or the undesirable affects
4 of drugs and other chemical agents upon the human
5 body. These studies encompass a knowledge of the
6 effects of drugs and other chemical substance on
7 the human body, as well as the methods that are
8 used in the laboratory for the isolation and
9 identification and measurement of the presence of
10 these drugs, and interpretation of the
11 significance of the analytical findings.

12 Q What is a forensic toxicologist?

13 A Well "forensic" simply means to debate. More
14 specifically, those of us that are employed in
15 this profession are doing toxicology in which the
16 findings are used in a court of law, or in some
17 other arbitration or legal proceeding.

18 Q What are your duties presently as a chief
19 forensic toxicologist?

20 A As the chief toxicologist with the medical
21 examiner's office my responsibilities include the
22 direction of the laboratory investigation of
23 deaths that occur throughout the state of
24 Oklahoma. The medical examiner's office in
25 Oklahoma is a state agency. And we are

1 statutorily required by law to investigate
2 certain classes of deaths, or certain types of
3 deaths that occur within the state. This
4 includes all accidental deaths, all homicides,
5 all suicides, all deaths that occur in
6 institutions of incarceration, in jail or prison.
7 And as part of these investigations, most
8 frequently laboratory studies are done in which
9 specimens are collected at autopsy from the body
10 and these specimens are sent to my laboratory for
11 toxicological evaluation.

12 Q I would like to talk a little bit about your
13 educational background? When did you attend
14 college?

15 A My undergraduate training was from 1949 to
16 1953.

17 Q What did you major in at that time?

18 A In chemistry.

19 Q And where was that at?

20 A That was at Auburn University in Auburn,
21 Alabama.

22 Q And after that did you attend any graduate
23 schools?

24 A Yes, I did.

25 Q Where did you attend graduate school?

1 A I took two courses in pharmacology and
2 physiology at Auburn, University. But I, also,
3 later -- subsequently attended graduate school at
4 the University of Maryland in Baltimore at the
5 professional schools.

6 Q And why did you attend that school?

7 A At the University of Maryland?

8 Q Yes.

9 A I was enrolled in a Ph.D. program in
10 toxicology that was offered at that university.

11 Q Did you receive your degree there?

12 A No, I did not.

13 Q Would you explain why?

14 A Yes. I was there from 1958 through 1961,
15 during which time I completed all the didactic
16 requirements for my Ph.D., excluding -- that is
17 all the formal course work -- excluding
18 completion of my research and thesis.

19 In late August, early September of 1961 I was
20 offered an opportunity of employment in North
21 Dakota with the North Dakota State University.
22 And with that I was also given the opportunity to
23 complete my research and thesis, and I accepted
24 that position. However, I never did find time to
25 complete my analytical work and write the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

dissertation.

Q Well, let's talk for a minute, then, about your training experience in the field of forensic toxicology. When did that begin?

A Actually, it began around November of 1951. I was employed on a part time basis as an analyst in the laboratories of the State of Alabama, Department of Toxicology and Criminal Investigation. The home office being located there on the campus at Auburn University.

I was there in that capacity on a half time basis while completing my graduate studies from November of '51 to June of 1953.

Q What were you doing then?

A I was doing routine analysis for some of the more common drugs, including alcohol in the investigation of deaths.

Q And after working in that, where did you go to work -- where were you employed after that?

A In the early summer of that year of '53 I accepted full time employment with the State of Alabama, Department of Toxicology and Criminal Investigation as an associate toxicologist. And in July of that same year I was appointed as director of a regional laboratory of that agency,

1 which was at Montgomery, Alabama. I opened that
2 laboratory. And I was there from approximately
3 July or August of 1953 through October 1954.
4 During which time I was responsible for the
5 laboratory investigation of deaths that occurred
6 within a 14 county division of that agency.

7 Q After that did you end up going into the
8 military?

9 A Yes, I did.

10 Q What did you do when you were in the military?

11 A I went on active duty as a commissioned
12 officer in the medical service for the United
13 States Army in October of '54. And after a brief
14 officer's orientation course at Fort Sam Houston,
15 Texas, I was assigned as director of the
16 toxicology laboratories of the 406 Medical
17 General Laboratory in Tokyo, Japan. This was in
18 December of 1954. I remained in that capacity
19 through July of 1958.

20 My duties there were to direct the laboratory
21 investigation of deaths that occurred among
22 military personnel and their dependents
23 throughout the Far East command, which included
24 at that time Japan, Korea, Okinawa, and some of
25 the outlying islands.

1 Q And did your responsibilities require testing
2 of samples?

3 A Yes, it did.

4 Q And it would have been also for alcohol and
5 other drugs?

6 A Alcohol and other drugs, yes.

7 Q What rank did you achieve then in the
8 military?

9 A On active duty I achieved the rank of first
10 lieutenant. After I returned from Japan I was
11 honorably discharged from the active service, but
12 I remained in the active reserves of the United
13 States Army for some 26, 28 years, and I achieved
14 the final rank of full colonel. I am currently
15 in the retired reserves of the Army.

16 Q After leaving the military in 1958, what did
17 you do then?

18 A That's in October of 1958 when I enrolled in
19 the graduate training program in toxicology at
20 the University of Maryland in Baltimore. And I
21 was there for some three years as a full time
22 student and research associate.

23 Q What were your responsibilities as a full time
24 student and research assistant?

25 A Well, my responsibility as a full time student

1 was achieved in the graduate education in
2 forensic toxicology. Taking, basically, the
3 basic sciences courses within the medical school,
4 supported with additional graduate studies in
5 chemistry and pharmacology, in the College of
6 Pharmacy. Some of those courses were there.
7 Some of them were in the College of Dentistry.
8 And some were on the main campus of the
9 University of Maryland at College Park.

10 My duties as a research associate primarily
11 was that in conducting a research project which
12 was going to be used for my Ph.D. dissertation.
13 But I also had occasion to participate as a
14 research associate with the Department of
15 Pharmacology at the medical school two summers.

16 Q I think you testified that in 1961 you went to
17 North Dakota. What position did you accept in
18 North Dakota?

19 A It was a joint position. I was hired
20 primarily as the state toxicologist for the state
21 of North Dakota. This appointment carried with
22 it a joint appointment as an associate professor
23 of toxicology within the College of Pharmacy at
24 North Dakota State University in Fargo.

25 Q What were your responsibilities in that

1 position?

2 A My responsibilities as the state toxicologist
3 was to establish a laboratory system within the
4 state of North Dakota to provide toxicological
5 investigation of deaths and other accidents and
6 injuries that occurred throughout the state, to
7 establish this laboratory equipment and provide
8 the service for both law enforcement and for the
9 medical treatment facilities, the hospitals
10 throughout the state.

11 A large part of my activity in North Dakota
12 was associated with alcohol in that I was also
13 charged by state law to establish approved
14 methods for determining blood alcohol content as
15 used in law enforcement of the state statutes,
16 driving under the influence of alcohol.

17 I was also responsible for approving various
18 testing devices, instruments that were used in
19 the state by law enforcement for that purpose.
20 And I was also charged with the responsibility of
21 training and certification of individuals that
22 were performing chemical tests for intoxication
23 in the state, including law enforcement officers.

24 Q Now, would those last three responsibilities
25 that you just mentioned, would they have fallen

1 under your duties as the state toxicologist, or
2 as a director of the North Dakota Alcohol Traffic
3 Safety Program?

4 A Well, primarily as the state toxicologist,
5 because by state law of North Dakota the state
6 toxicologist is charged with those
7 responsibilities and is also required to train
8 and certify testing methods and individuals
9 within the state.

10 Q At some point did you leave North Dakota then?

11 A Yes, sir, I did.

12 Q And where did you go from there?

13 A To Oklahoma.

14 Q And that's where you have your present
15 position as chief forensic toxicologist?

16 A Yes, sir. That was in September 1972.

17 Q And how many people do you have working
18 underneath you in your present position?

19 A I have five forensic chemists, a laboratory
20 aide, an evidence technician, an associate
21 toxicologist, who is a Ph.D., as my first
22 assistant, and a secretary.

23 Q Now, during the last forty years that you've
24 been involved in toxicology, have you received
25 any academic appointments during that time?

1 A Yes, sir, I have.

2 Q Would you tell the jury what those -- what
3 have those been?

4 A Well, my first academic appointments were as
5 an associate professor of toxicology at North
6 Dakota State University. That was in October
7 1961. In 1965 I was promoted to the rank of full
8 professor at that same university and held that
9 tenured position at the time I went to Oklahoma.

10 I currently hold an adjunct professorship at
11 the University of Oklahoma Health sciences center
12 in Oklahoma City within the College of Pharmacy
13 as an adjunct professor of Toxicology.

14 I also hold an appointment as an adjunct
15 professor in the forensic sciences programs at
16 Central State University, which is in Edmond
17 Oklahoma, just outside of Oklahoma City.

18 Q And as an associate professor and a professor
19 of toxicology back at North Dakota State, what
20 type of courses were you teaching?

21 A I was charged primarily with the teaching of a
22 required course in general toxicology for all
23 pharmacy students in their undergraduate program.
24 The pharmacy that NDSU has -- at most
25 universities there is a five year program, and

1 that was taught either in the fourth or fifth
2 year.

3 I also was director of the graduate training
4 program within the College of Pharmacy. That was
5 funded by the United States Public Health
6 Service. A graduate program that supported
7 students in pursuit of a master's degree in
8 toxicology. The program being under my
9 direction. That was for approximately six or
10 seven years.

11 Q I would like to talk about some of your other
12 professional activities in the area of forensic
13 toxicology. We mentioned briefly that the
14 position of being the director of North Dakota
15 Alcohol and Traffic Safety Program. When was
16 that?

17 (1920)

18 A It went with the inception of my position
19 there of '61.

20 Q And what were your responsibilities as the
21 director of that program?

22 A Well, in addition to being responsible for the
23 selection and approval of testing methods, my
24 laboratory -- that is, the state toxicology
25 laboratory in Fargo, under my direction,

1 performed all of the blood alcohol analysis
2 associated with driving while intoxicated
3 violations within the state.

4 I say all of them -- I'd say 99.5% of them
5 were done in my laboratory. There was one other
6 laboratory, a private laboratory in the state
7 that was approved by my position to conduct
8 similar analysis.

9 Q And have you been asked to be a consultant
10 toxicologist at certain period of stages of your
11 career?

12 A Yes, sir, I have.

13 Q Would you explain when those were?

14 A Well, during my tenure at North Dakota State
15 University, or my time in Fargo, I was consultant
16 to the Veteran's Administration Hospital on
17 matters of toxicology, which was located there in
18 Fargo. I was also a consultant to the Poison
19 Information and Control Center for the state of
20 North Dakota at that time.

21 In times past, while during my tenure in North
22 Dakota, for several years I served as a
23 toxicology consultant with the National Highway
24 Traffic Safety Administration in Washington,
25 D. C., which is a division or sub unit of the

1 Department of Transportation.

2 I also was a consultant with the National
3 Bureau of Standards in Washington on matters of
4 alcohol and alcohol testing. I currently am a
5 consultant with the National Institutes of Drug
6 Abuse of the United States government in two
7 capacities. One as -- I am a certified
8 laboratory inspector that is involved in the
9 inspection and evaluation of toxicology
10 laboratories throughout the country that are
11 applying for certification for doing drug testing
12 and urine with the federal program of drugs in
13 the work place.

14 Q Now, we had some testimony earlier that Dr.
15 Pete's laboratory was one of these. Are you the
16 person that actually certifies these type of
17 labs?

18 A It should be clearly understood that the
19 inspector doesn't do the certification. The
20 inspection process is a very important part of
21 the certification program. The program consists
22 of -- the laboratory must first establish its
23 proficiency -- analytical proficiency by
24 satisfactorily performing analysis on a battery
25 of specimens that are sent to them.

1 After they had successfully completed the
2 analytical phase, then the laboratory -- there is
3 a site visit made of the laboratory by three
4 inspectors. The inspection team consists of
5 three toxicologists that have been certified
6 through the NIDA Program. And a detailed
7 inspection is made of the laboratory, not only of
8 their analytical results, but their records,
9 their protocols, their methods of analysis, their
10 personnel files, their data files. And then the
11 inspector makes a report to the certifying agency
12 -- in this case, the National Institute of Drug
13 Abuse, concerning result of his instruction.

14 The certification is done by the federal
15 government, not by the individual inspector.

16 Q Now, are you also a member of the national
17 guidelines committee on forensic toxicology?

18 A Yes, sir, I am.

19 Q What are the responsibilities that you have
20 there?

21 A This as an ad hoc committee of 10
22 toxicologists throughout the United States that
23 had been mandated a task to recommend operational
24 guidelines for forensic toxicology laboratories
25 throughout the United States in two areas. Those

1 laboratories that are performing post mortem
2 forensic toxicology, that is, in death
3 investigation such as my laboratory. And then
4 another group of laboratories that we call human
5 performance laboratories. And more specifically,
6 these are the police laboratories or crime
7 laboratories throughout the United States that
8 are doing analytical testing for alcohol and
9 other drugs.

10 We are not involved -- these guidelines do not
11 encompass recommendations for urine testing.
12 This has already been very well addressed and in
13 great depth through federal guidelines that are
14 actually mandated requirements today.

15 But these guidelines encompass our
16 recommendations. The staffing of such
17 laboratories. That is, the training and
18 experience of laboratory directors, and/or the
19 bench people. The security that should be kept
20 on such laboratories. All of them being forensic
21 in nature. The results, ultimately, will be used
22 in various arbitrations and proceedings. The
23 analytical methods that are used as to quality
24 control and quality assurance and the degree of
25 proof that is exercised within the laboratory in

1 reaching their conclusions. And methods of
2 reporting. How are these reports generated and
3 utilized, as well as interpretation.

4 These are recommended guidelines that have
5 just been completed incidentally by this
6 committee. I might add that the genesis of this
7 activity was -- the committee was formed at the
8 direction of the toxicology section of the
9 American Academy of Forensic Sciences, and the --
10 another national organization, the Society of
11 Forensic Toxicologists.

12 Q And you're a member of both of those
13 organizations?

14 A Yes, I am.

15 Q Are you a member of any other professional
16 organizations?

17 A Yes, I am.

18 Q Would you tell the jury what those are?

19 A I am a member of a National Safety Council
20 Committee on alcohol and other drugs. I am a
21 member of the Canadian Society of Forensic
22 Sciences. I'm a member of the International
23 Association of Forensic Toxicologists. I'm a
24 member of the Southwestern Association of
25 Toxicologists. I'm also a member of the American

1 Crime Laboratories -- Association of Crime
2 Laboratories Directors. It's a national
3 organization.

4 And as previously mentioned, of the toxicology
5 section of the American Academy of Forensic
6 Sciences, and the Society of Forensic
7 Toxicologists.

8 Q Have you held any chairs, offices of
9 distinction in any of those?

10 A Yes, I have.

11 Q Could you explain that?

12 A With the American Academy of Forensic
13 Sciences, I served as secretary and as chairman
14 of the toxicology section in years past, it was
15 some time ago. I think I was chairman in 1971,
16 '72. I'm a fellow of the academy in the
17 toxicology section. I have served on numerous
18 chairs with the Society of Forensic
19 Toxicologists, first as a member of the Board of
20 Directors for a number of years, then vice
21 president, and I also served as president of that
22 organization.

23 With the Southwestern Association of
24 Toxicologists, I was a charter member and the
25 first president of that association, and I've

1 been on the board of directors several times
2 since then.

3 The National Safety Council, Committee on
4 Alcohol and Other Drugs. I've been a member of
5 that group for more than 25 years --
6 approximately 27 years, I think. I have been on
7 the executive board of that committee for some 20
8 plus years. I've also served as vice chairman
9 and chairman of that committee. I'm currently
10 still on the executive committee of the NSC,
11 Committee on Alcohol and Drugs.

12 Q What are the activities and functions of the
13 NSC committee?

14 A The National Safety Council, Committee on
15 Alcohol and Drugs, is a group of people from
16 around the United States and Canada that direct
17 their activities towards making recommendations
18 of the state of the art, if you please, as far as
19 legislation concerning alcohol and traffic
20 safety, or alcohol in the work place. Upon
21 testing methods better to be used. Although
22 these are general guidelines, the committee is
23 not in a position to endorse any specific
24 commercial product, but on programs that --
25 recommending programs to be used at municipal,

1 county, and state, and federal level, in
2 addressing the problems of alcohol and safety.

3 The committee is composed of certainly not
4 just toxicologists, there are also lawyers,
5 behavioral scientists, law enforcement people,
6 people in alcohol rehabilitation at the national
7 level. It's a rather homogenous group.

8 Q Now, what does national board certification
9 mean?

10 A Well, it means you've been certified by a
11 national board, and not to be facetious, but
12 within my field, we do have the American Board of
13 Forensic Toxicology, and this board's sole duty
14 is to recognize -- well, to do peer review of
15 those people that are involved within the
16 profession, to set requirements as to training
17 and experience within the field that must be met
18 for board certification, and to periodically
19 monitor the continued professional, as well as
20 ethical performance of those within the field.

21 Q Have you been asked to testify in the past in
22 civil and criminal trials?

23 A Yes, sir, I have.

24 Q Would you give the jury an idea of how many
25 times you've been asked to testify in the past?

1 A That would be a very rough estimate, I don't
2 keep track. But somewhere near a thousand times,
3 possibly; 800, 1,000.

4 Q Have you been qualified as an expert in the
5 field of forensic toxicology at those trials?

6 A Yes, I have.

7 Q In how many states around the country have you
8 been qualified as an expert in this field?

9 A I don't know that I can tell you all of them,
10 but those that come to mind. In South Dakota; in
11 North Dakota; Minnesota; New Jersey; Alabama; in
12 Texas; certainly Oklahoma; in Kansas; Colorado;
13 California. I recall those. There may be
14 others.

15 Q Have you had to testify in federal cases at
16 all?

17 A Yes, I had.

18 Q Have you been qualified as an expert in
19 federal court?

20 A Yes, I have.

21 Q Can you give the jury an idea of how many of
22 those?

23 A Federal court activity is less than that
24 within state courts, both civil and criminal, but
25 I would say several dozen times -- 50 times

1 possibly, I don't know.

2 Q Had you ever not been qualified to testify as
3 an expert in the field of forensic toxicology?

4 A No, I haven't.

5 Q Now, when you're called to testify as an
6 expert, are you always called by the state or the
7 government as their expert?

8 A No.

9 Q Would you give the jury an idea on how often
10 during your career you have been called to
11 testify on behalf of the defense?

12 A Well, again, it would be an estimate. I would
13 say at least within the last 20 years to 25
14 years, possibly an equal amount for the
15 prosecution or defense. And I'm encompassing
16 both civil and criminal cases.

17 Q Now, have you written publications in the
18 field of forensic toxicology?

19 A Yes, I have.

20 Q And have those been published -- have you
21 published those?

22 A Yes.

23 Q Would you give the jury an idea of the types
24 of articles that you've written in the past, as
25 related to forensic toxicology?

1 A I have published in the area of analytical
2 methods for identification of drugs and breakdown
3 products of drugs in the scientific literature.
4 I have published studies associated with the
5 evaluation of certain types of devices or
6 instruments used for breath testing as correlated
7 to blood testing.

8 I have published on the significance of blood
9 concentrations of alcohol and other drugs in post
10 mortem tissues. I contributed a chapter in a
11 book that was published just last year on that
12 particular topic.

13 I have also published on the significance of
14 post mortem blood alcohol results in cadavers, in
15 dead people. Bloods collected from different
16 compartments of the body.

17 I have just recently published a very intense
18 piece of work on the post mortem redistribution
19 of drugs, other than alcohol in human tissues.
20 That's a period of this month in the general
21 forensic sciences.

22 Q How do you upkeep your knowledge in the field
23 of forensic toxicology?

24 A I do this by reading, of course, the
25 scientific literature that is applicable to my

1 field. I do this by attending workshops and
2 seminars that are conducted throughout the United
3 States in the area of toxicology. As well as
4 attending both regional and national scientific
5 meetings addressing my particular area.

6 Q Have you attended any symposiums in, say, the
7 last month, or workshops?

8 A Yes.

9 Q Would you explain what that was?

10 A Well, I was in Cincinnati a couple weeks ago
11 -- I think I went there the 17th of February --
12 in anticipation of participating at several
13 levels. Number one was on the 21st of February
14 was the annual meetings of the American Academy
15 of Forensic Sciences that I had intended to
16 participate and attend then. But prior to those
17 meetings it was necessary that I be there to
18 fulfill several other obligations.

19 One, was a meeting of this guidelines
20 committee -- the National Guidelines Committee.
21 We met on Saturday and Sunday, the 17th and 18th
22 of February for our final wrap-up of the
23 guidelines.

24 Also, on Monday and Tuesday of that same month
25 -- this would have been the 20th or 21st, I

1 think, of February. I had to attend the board of
2 directors meeting of the Society of Forensic
3 Toxicologists to make the committee report.

4 I also attended the executive committee
5 meetings of the National Safety Council Committee
6 on Alcohol and Drugs that was held on Monday the
7 20th or 19th.

8 I also attended the full membership meeting of
9 the National Safety Council Committee on Alcohol
10 and Drugs the following morning. I also had to
11 attend the American Boards of Forensic Toxicology
12 Director's meeting. I'm also a director of that
13 board. I was elected in that position last year.

14 So, those meetings I attended, and then it was
15 necessary for me to leave Cincinnati and come to
16 Anchorage; on Wednesday the 21st I came out here.

17 Q Now, have you yourself done studies of the
18 affects of alcohol on the human body?

19 A Yes, sir, I have.

20 Q Would you explain that study that you did?

21 A Rather than address it as a simple study, I'd
22 rather look at it as an experience in that, as
23 part of the training activity that I was mandated
24 to do within North Dakota -- that is, training of
25 the law enforcement people that were to perform

1 breath tests within the state.

2 Part of this training program was to -- we had
3 what we called controlled drinking experiments.
4 That is to say that adult male and female
5 subjects were given predetermined amounts of
6 alcoholic beverages in the common forms that we
7 all know them, as beer, wine, bourbon or gin, or
8 whatever -- a choice. But we're gathering
9 measured amounts of these beverages over measured
10 periods of time after having recorded body
11 weights, and after having noted and recorded what
12 these people had to eat.

13 These drinks were dispensed in a social
14 setting. At the same time it was a controlled
15 experiment, in that we knew exactly what each
16 individual consumed; when they received the
17 drink; what the mix was; when the drink was
18 finished; when the next drink was administered,
19 et cetera.

20 The drinking phase of these studies normally
21 took place between an hour and a half to
22 sometimes as long as three hours. That is, the
23 drinking experiment.

24 After or even during the drinking experiment
25 these people were observed by myself and other

1 monitors of the training, as well as after the
2 drinking was finished. They were subjected to
3 various tests as to monitor or evaluate the
4 effects, if any outlook they had to drink. And
5 then subsequently they were subjected to a
6 battery of analytical testing to measure their
7 blood alcohol content. Blood as well as urine.
8 Urine specimen was collected. And indirect
9 testing was also done by breath testing. And the
10 end result of such experiments was to evaluate
11 the data obtained. That is, that a person of a
12 given size had to drink over what drinking
13 course, what effects the alcohol had as
14 correlated to their blood alcohol content.

15 Q Now, would you give the jury an idea of what
16 type of tests you administered besides the ones
17 that were designed to determine the level of
18 blood alcohol level? Did you ask them to
19 perform, like, field sobriety tests or other
20 tests?

21 A Yes. There were field sobriety tests
22 performed, which means -- the classical tests
23 that are used today include toe to heel walking
24 of a straight line on the floor with abrupt
25 turning. Standing in an erect position and

1 closing one's eyes and doing what we call the
2 finger to nose test. And also the subjects were
3 -- at the time that I did these studies, those
4 were the two physical sobriety tests that were
5 done.

6 They were also subjected to a variety of other
7 tests. A number of these people would be tested
8 prior to their drinking experience with the
9 drinking experiment by putting them on driving
10 simulators in which they were put through a
11 course of operation of a driving simulator, which
12 simulates being at the wheel of an automobile and
13 you're challenged on a video screen with certain
14 driving tasks.

15 Then after drinking they were subjected to the
16 same tests and monitored to see whether there was
17 any detriment or degradation in their performance
18 and the control versus after drinking.

19 They were also subjected to a test to monitor
20 their reaction time. That is to say that they
21 were given a challenge first programmed to where
22 they would be told when they were to be
23 challenged. That is, they were prepared for the
24 reaction time test. And then also on
25 unchallenged -- I mean, unannounced experiments,

1 where during the course of a conversation they
2 may be given an unanticipated challenge or test,
3 in which then their reactions are recorded -- or,
4 noted and recorded.

5 The reading tests were done with some of these
6 individuals, as far as reading comprehension as
7 to the number of errors that may be made, as well
8 as speed of reading.

9 Q How many people were involved in your study?

10 A Over a period of some 15 years, I would say in
11 the neighborhood of 300 to 400 or more.

12 Q And during the course of analyzing your
13 evidence that you received, the results of all
14 these tests, did you find any relationship as to
15 elimination rates of alcohol in the body?

16 A Yes, I did.

17 Q In these studies did you have an opportunity
18 to observe how well people who were drinking
19 could tell you how many drinks they had had
20 during the day?

21 A Yes. This was something that was routinely
22 done with the subjects, even though they were --
23 this was a voluntary program. These were not
24 human guinea pigs in a sense. But it was a
25 voluntary program. In fact, the subjects were

1 even asked prior to the experiment what beverage
2 of choice they wished to have, as, also, how they
3 wished to have it administered, that is, mix.

4 And they were also asked, as far as their own
5 drinking experience, what did they feel would be
6 a reasonable amount of alcohol that they may wish
7 to consume over this drinking course.

8 Needless to say, there were many occasions
9 where we didn't give the people what they might
10 have wanted to have, but they were, of course,
11 totally aware throughout the drinking experiment,
12 when they got their drink and how much it was.

13 Yet, as a matter of routine, at the end of
14 these drinking experiments we would ask the
15 people what, in fact, they had consumed during
16 the drinking experiment, and as to the number of
17 drinks. And then even this would be followed up
18 the next day. That is, to ask them how many
19 drinks did they have during their drinking
20 experiment the day previously.

21 Q And how often were they accurate?

22 MR. MADSON: I'll object to the broadness of
23 that question. Maybe if we had the results to study
24 here, but it's an awful broad answer. How often are
25 they accurate? In what sense?

1 THE COURT: Objection overruled.

2 Q In your experience, how often were they

3 accurate?

4 A Far less than half.

5 Q And was it a tendency to over estimate or

6 under estimate?

7 A The general tendency is to under estimate.

8 Q Now, could you tell the jury what alcohol is?

9 A Sure. Alcohol is a generic term that

10 describes a specific chemical group of compounds.

11 Theoretically, any organic molecule that has an

12 OH group or a hydroxy group is an alcohol.

13 Alcohol, as the term itself is used today without

14 further qualifications specifically means ethyl

15 alcohol. And ethyl alcohol is a specific organic

16 molecule, one of a very large family of alcohols.

17 There's methyl alcohol, which is very closely

18 related to ethyl alcohol. And isopropyl alcohol,

19 which is rubbing alcohol. So there are many

20 different alcohols that are used industrially and

21 chemically. But ethyl alcohol is the primary

22 ingredient, the single entity within intoxicating

23 beverages.

24 Q Is ethyl alcohol considered a toxin of a

25 poison?

1 A I think it can be considered as such, yes.

2 Q As both?

3 A As both. Because toxic means to have an
4 undesirable affect, or to produce an undesirable
5 affect upon a given subject -- a human subject.
6 And any compound -- practically any compound, if
7 taken in sufficient amounts, can produce a toxic
8 response. Water can be toxic if one drinks too
9 much.

10 Q How is the amount of ethyl alcohol, or just
11 for convenience sake, alcohol measured -- the
12 amount of it measured in a particular type of
13 drink, or a bottle?

14 A As far as in the alcoholic beverages that are
15 so licensed alcoholic beverages?

16 Q Uh-huh (affirmative).

17 A There are two ways that are used within this
18 country, within the United States, of reporting
19 alcoholic content in licensed beverages. In
20 beers and in wines the alcohol content is
21 normally expressed in percent by volume. Whereas
22 in the distilled spirits such as the hard
23 liquors, gins, vodkas, bourbons, scotches, it's
24 -- the normal nomenclature for reporting the
25 alcohol content is U. S. proof. U. S. proof is

1 twice the percent by volume. In other words, 100
2 proof bourbon would be 50% ethyl alcohol and 50%
3 something else. I mean, primarily water and
4 other congeners.

5 Q What about vodka? What is the percentage of
6 alcohol in vodka?

7 (3887)

8 A Well, it varies with the manufacturer or the
9 brand. Vodkas can be in excess of 100 proof. In
10 this country most of them are less than 100
11 proof; some are 100 proof. Which is, 100 proof,
12 again, being 50% by volume.

13 Q Can you give us an example of vodkas at 100%
14 proof?

15 A I think Absolute is one of the popular brands
16 of vodka. I believe that that's 100 proof.
17 There are a number of the imported vodkas from
18 russia that are over 100 proof. Most of the
19 vodkas are less than 100. Most of them are --
20 well, many of them you can get either way, as a
21 matter of fact. Smirnoff is a popular brand of
22 vodka, and that could be gotten in 100 proof, or
23 it can be gotten in 80 to 90 proof. The same
24 brand but in different concentrations.

25 Some of your bourbons are that way. I recall

1 -- Wild Turkey, which is a popular brand in
2 Oklahoma. It can be obtained as 100 proof versus
3 86 proof. Some of the other bourbons are the
4 same.

5 Q If a alcoholic beverage read that it was less
6 than .5% alcohol by volume, what would that mean?

7 A Well, it means that it has very little alcohol
8 in it. If the label says less than 0.5%, it's
9 obviously less than 1%, and it doesn't really
10 tell you how much is there. It tells you it's
11 not to exceed that concentration.

12 Q What would a beer be?

13 A A standard beer within this country?

14 Q Uh-huh (affirmative).

15 A There are three general concentrations of
16 beers within the United States. In Oklahoma, for
17 instance, the state laws are such that your clubs
18 and establishments, bars, cannot serve anything
19 other than -- I take that back. Your grocery
20 stores cannot sell anything higher than 3.2%
21 beer.

22 Q Is that alcohol by volume?

23 A That's right. 3.2% by volume, right.

24 And whereas in the liquor stores in Oklahoma
25 you can buy what we call "strong beer", which may

1 be as high as 6%. Some of the malt liquors and
2 ales may be slightly above 6%. But strong beer
3 throughout the United States without further
4 qualification, normally refers to beer that's
5 between 4% and 5%.

6 Q Would you explain what happens when a person
7 drinks an alcoholic beverage? How this affect
8 him? How does the alcohol go through the body?

9 A Could I have a glass of water.

10 THE COURT: Why don't we take a break, too.
11 This is as good a time as any.

12 Don't discuss the matter, ladies and
13 gentlemen. Don't form or express any opinions.

14 THE CLERK: Please rise. This court stands in
15 recess subject to call.

16 (Off record - 11:50 a.m.)

17 (On record - 12:13 p.m.)

18 (4200)

19 (Tape: C-3656)

20 (Jury present)

21 Q (Mr. Prouty by Mr Cole:) Mr. Prouty, when we
22 -- when we left off you were talking about what
23 happens when a person consumes alcoholic
24 beverages.

25 How does this effect a person?

1 A Well, alcohol is known as a central nervous
2 system depressant. That is to say that it
3 depresses the various functional areas of the
4 brain, which is the heart of the central nervous
5 system, depending upon the concentration.

6 Now, in order for alcohol to have its effects,
7 of course, it must get to the brain. May -- do
8 you wish for me to...

9 Q How does that happen? How is it distributed
10 through the body?

11 A All right. Alcohol is normally taken into the
12 body, of course, orally. That is, by drinking.
13 And alcohol, different from a lot of other drugs
14 or chemicals, some of it does, in fact, pass
15 directly through the wall of the stomach into the
16 blood vessels that surround the wall of the
17 stomach and get into the blood stream in that
18 fashion.

19 Q However, the majority of the alcohol that we
20 consume be -- in order for it to get into the
21 blood it must first pass from the stomach into
22 the small intestine, the upper portion of the
23 small intestine, immediately below the stomach.

24 This area of the GI tract is very vascular.
25 That is to say it has a very rich blood supply.

1 And alcohol passes through the wall of the gut,
2 or the small intestine, gets into the blood. And
3 this, then, the blood, of course, is not stagnant
4 in any part of the body. The blood is being
5 moved about the body by pumping action of the
6 heart. And, so, the blood, now containing
7 alcohol, will be distributed, delivered to all
8 parts of the body. That is to say wherever the
9 blood goes, if there's alcohol dissolved in the
10 blood, the alcohol, itself, will go to these
11 various tissues or organs in the body.

12 And, of course, the blood bathes the brain,
13 which is essential to life, to provide oxygen and
14 the nutrients that are needed for normal
15 function. And if alcohol is dissolved in the
16 blood, this alcohol now will be delivered to the
17 various functional areas of the brain.

18 Alcohol is known as a progressive central
19 nervous system depressant. That is to say that it
20 effects various functional areas of the brain in
21 a rather progressive, predictable manner.

22 It first effects those functional areas that
23 are located in what are called the higher centers
24 of the brain. Not higher in the sense further
25 from the floor, but the more refined functional

1 areas of the brain that are located in the
2 cerebral cortex, which is the outer layer of the
3 brain. That is to say that the functional areas
4 that are located in the cerebral cortex are more
5 sensitive to alcohol. And at lower
6 concentrations in the blood there will be
7 predictable and demonstrable effects as a result
8 of depressing these functional centers located in
9 the cortex.

10 Q What type of -- what does this -- the cerebral
11 cortex -- what part -- what does it have to do
12 with how we function?

13 A Well, as I said before it's -- it's known as
14 the -- the more refined, or the higher center in
15 the evolutionary cycle of development of man as
16 we know it today. This is one of the more
17 refined, or the last development area.

18 And in the cortex are the functional centers
19 that are associated, or control our inhibitions.
20 Inhibitions may be thought of as your moral, or
21 your social breaks. And if your inhibitions are
22 depressed, that is, you are no longer as
23 inhibited as what you were before you had the
24 alcohol, then you'll respond in a different
25 manner socially and behavior wise than what you

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

might have done had you not been drinking.

Also, in the cerebral cortex are located the functional areas that are associated with reasoning and judgment. And associated with reasoning and judgment is decision making. That is to say that at lower blood alcohol concen -- quite low blood alcohol concentrations, one's reasoning and judgment will be predictably impaired. That is to say that you may not reason out a given situation with the same precision and make the same logical decision as a result of that reasoning as what you would do had you not been drinking.

Then, as the blood alcohol concentration increases, then there are other areas of the brain that are less sensitive to alcohol, but now they may be effected, including one's vision can be effected at certain concentrations -- sharpness of vision, that is visual acuity. One's speech can be frequently effected by alcohol. Many people have more difficulty in speaking articulately and clearly when alcohol is present than when not present.

The motor movements, that is muscular coordination is impaired later by alcohol. That

1 is to say that we do not move as well. This
2 interplays in one's staggering gait, or one -- if
3 a given deliberate movement is made, it's made in
4 a more uncoordinated fashion than what it would
5 be if alcohol were absent.

6 As the blood alcohol concentration increases,
7 that's bathing the brain, then more serious
8 effects, serious as far as life threatening come
9 into play. And these have to do with one's state
10 of consciousness.

11 In lay terms we think of people becoming
12 sleepy, or sedated by alcohol. And, in fact,
13 what is happening, the alcohol is depressing the
14 functional area of the brain associated with
15 consciousness and you are not as awake. And if
16 sufficient alcohol is there, then one goes to
17 sleep.

18 The alcohol can continue to effect even more
19 vital -- what we call vegetative centers of the
20 brain, those that are essential to life. And
21 these are located in what we call the mid-brain.
22 And the functional areas more profoundly effect
23 -- or critically effected is one's respiration,
24 that is one's breathing is slowed. And if
25 sufficient alcohol is there, one stops breathing.

1 And of course, respiration is essential to life,
2 so alcohol can kill by knocking out the
3 functional area that controls breathing.

4 Closely associated that is our heart rates, or
5 our heartbeats. It's also controlled from a
6 functional area in the mid-brain. And, of
7 course, this will be knocked out about the same
8 time that respiration would. One is a
9 consequence of the other.

10 It should be understood that in thinking of
11 this, understanding this as a progressive central
12 nervous system depressant, this doesn't mean that
13 there's more alcohol in the cerebral cortex than
14 there is in the mid-brain. It means that the
15 functional areas that are located in the cortex
16 are more sensitive to alcohol.

17 The brain can sort of be looked at as a
18 complex electronic device that's made up of many
19 different circuit boards, one circuit board
20 controlling inhibitions, reasoning and judgment,
21 vision, speech, muscular coordination,
22 respiration and heartbeat. Those latter two are
23 much tougher. That is to say, they don't get
24 knocked out by alcohol, or adequately depressed,
25 except at very high concentrations. Whereas

1 those circuit boards in the cerebral cortex may
2 be very sensitive to alcohol, and at even very
3 low concentrations they may malfunction, or may
4 result in impairment.

5 Q Have scientific research linked the
6 relationship between the use of alcohol and its
7 effect on the higher, or refined areas of the
8 brain?

9 A Yes.

10 Q We've talked -- just for a minute -- we talked
11 about the distribution of alcohol throughout the
12 body. Now would you explain how alcohol is
13 eliminated from the body?

14 A Yes. The distribution -- I failed to say one
15 thing. It's distributed to these various tissues
16 and compartments depending upon the water
17 content. There is no -- some drugs have a
18 specific authenticity [sic] -- affinity for a given
19 organ, such as iodine for the thyroid gland, and
20 that's why you can give radio-active iodine and
21 it will cure disorders of the thyroid.

22 But, alcohol is distributed not uniquely to
23 any one organ other than the water content of
24 that organ.

25 But, the alcohol that comes into the body, of

1 course, ultimately, sometime will leave the body.
2 and this -- we call this elimination. And this
3 elimination takes place through a number of
4 routes. There are various mechanisms by which
5 the body gets rid of the alcohol.

6 It will leave the body as a result of
7 respiration. Just breathing. That as the blood
8 that is bathing the little air sacs in the lung,
9 as that blood passes through the capillary beds
10 in the lungs, some of the alcohol will move from
11 the blood into those tiny air sacs, which then
12 are deflated, and we blow it out in our breath.
13 This was the basis of breath testing for blood
14 alcohol content.

15 A very small amount of alcohol leaves the body
16 in that fashion. Alcohol also can be eliminated,
17 and is eliminated through the pores of the skin,
18 through perspiration. And, again, this accounts
19 for a very small amount of it.

20 Alcohol is also eliminated through the body by
21 excretion into the kidneys. As the blood,
22 containing alcohol, passes through the kidneys
23 some of that alcohol is filtered out -- is
24 filtered out of the blood and appears in this
25 clear filtrate, which is urine that then passes

1 into the bladder and subsequently is voided from
2 the body. So, we sort of think of the kidneys as
3 being a pretty sophisticated filter plant.

4 All of these previous routes that I've just
5 mentioned -- means of elimination account for
6 less than 10 percent of the alcohol that we
7 eliminate.

8 (578)

9 More than 90 percent, it's estimated
10 approximately 95 percent, of the alcohol that we
11 consume is eliminated by the liver. The liver
12 -- whereas the kidney is thought of as a -- as a
13 filtration plant, the liver may be looked at as a
14 very sophisticated garbage disposal unit.

15 The major role of the liver is to detoxify, or
16 to break down, or to chew up foreign materials
17 that are brought in the body. And alcohol is
18 handled by the liver in that it chemically
19 converts the ethyl alcohol to carbon dioxide and
20 water. And the -- we breathe the carbon dioxide
21 out through our lungs and the water, some of it's
22 eliminated through your skin, some through your
23 lungs and some of it, of course, through your gut
24 or through your kidneys.

25 So, the liver is primarily responsible for

1 getting rid of the alcohol that we consume.

2 Q I'd like to ask you about the odor of alcohol.
3 When people say they smell alcohol, what are they
4 actually smelling?

5 A Well, it depends on -- under what
6 circumstances they're doing this.

7 Ethyl alcohol does a characteristic odor.
8 Contrary to some chemical tests -- I have
9 actually seen in some chemistry books that
10 alcohol is listed as a colorless, odorless
11 liquid. But, ethyl alcohol does have a very
12 characteristic odor. It's a sweet, fruity odor.

13 But, when one describes the odor of alcohol on
14 people that have been drinking, what one most
15 normally is noting on a person's breath, in
16 addition to small amounts of alcohol, will be the
17 presence of other volatile materials that are in
18 the alcoholic beverage they have been consuming,
19 such as oh, we call these congeners, or -- these
20 are additives that are present in the beer, or
21 that are result from the fermentation process,
22 are in the cognac as a result of the fermentation
23 and subsequent distillation, or in the bourbons
24 from blending of various mash whiskeys. Each
25 have their own characteristic color and odor and

1 taste. And I guess that's why some people prefer
2 scotches over gins, versus bourbons.

3 But, these congeners are -- when we drink,
4 we're drinking those along with the alcohol. And
5 they, too, are absorbed into the blood stream.
6 And they, too, will pass -- by the pumping action
7 of the heart, this blood will pass through our
8 lungs, and some of those are quite volatile and
9 have very strong odors. And as a consequence
10 when we exhale, or breathe out, this imparts a
11 odor, a characteristic odor to one's breath.

12 Q Do some alcoholic beverages give off more
13 odors than others?

14 A Oh, definitely so. Yeah.

15 Q Would you explain that?

16 A You mean, into the breath of a person that's
17 been drinking?

18 Q Yes.

19 A Yes. Well, again, it depends on what's
20 present. Bourbons, American bourbons are
21 characteristically heavier and darker, and
22 contain more congeners than do some of the light,
23 blended whiskeys, such as Canadian rye whiskeys.
24 Scotches, particularly -- not malt liquor scotch
25 but blended scotches, many of them are very

1 heavy, or -- scotch drinkers talk about they have
2 a smoky taste, or a smoky odor. This is due to
3 the presence of the congeners that are there.
4 And as a consequence of drinking these different
5 beverages it will result in imparting a different
6 odor, different type of odor, and a different
7 strength.

8 Q Where does vodka stand on the -- as far as
9 giving off an odor?

10 A Vodka's general considered to impart less odor
11 to a drinker's breath than some of the other
12 heavier blended whiskeys. The reason for this,
13 that vodka, which is actually -- true vodkas are
14 made from fermentation of potatoes that are then
15 distilled. And vodkas are clear, colorless
16 liquids. They have less congeners than to
17 bourbons and heavy scotches. So, it would impart
18 less odor to one's breath than some of the
19 others, as would gins.

20 Q I'd like to focus for a minute on current
21 methods of analysis of blood alcohol content.

22 Are you familiar with the current forensic
23 methods of analyzing blood alcohol content in
24 blood and urine specimens?

25 A Yes, sir. I am.

1 Q Would you explain to the jury what those are?

2 A By far the most popular and most frequently
3 used method for doing blood alcohol analysis
4 today incorporates an analytical instrumentation
5 known as gas chromatography.

6 The -- both qualitatively and quantitatively
7 this system is used for the identification and
8 measurement of the alcohol content.

9 There are other -- what we call wet chemical
10 methods still used in many parts of this country,
11 and particularly in Europe, where the alcohol is
12 distilled from the blood and that distillate is
13 then subject to what we call a wet chemical
14 analysis.

15 And their other general class of analyses for
16 alcohol involve what we call enzymatic methods of
17 determination, where an enzyme is used to measure
18 the quantity of alcohol in a specimen.

19 In forensic laboratories, the -- the latter
20 method is not used that commonly. It is still
21 frequently used in clinical laboratories.

22 Q Would you explain to the jury how gas
23 chromatography works to determine the amount of
24 alcohol in blood and urine?

25 A Yes. The -- there are two general approaches

1 -- well, first, gas chromatography, or a gas
2 chromatograph is an instrument, an analytical
3 instrument, that consists of -- basically, of
4 three functional components.

5 It consists of an inlet through which a sample
6 is administered.

7 It consists of a column that is packed with a
8 material that has the capability of separating
9 very closely related mol -- organic molecules.
10 That is to say that if you were to introduce a
11 mixture of closely related organic molecules,
12 such as methyl alcohol, ethyl alcohol, amylpropyl
13 isopropyl alcohols, if you introduced them as a
14 mixture onto the column, they will be separated
15 into their individual components as their vapors
16 pass through this column. They will be separated
17 into their individual components where they exit
18 from the exit from the end of the column as
19 separate substances. So, you put on a mixture of
20 four or five compounds and they come off
21 individually as separate components.

22 And the third component of that system is a
23 detector to sense the presence of that molecule,
24 or that compound as it exits the column.

25 And there are a number of different types of

1 detectors that are used in gas chromatographic
2 methods, but the most frequently used for blood
3 alcohol determination is what was call a flame
4 ionization detector.

5 This is nothing more than, as it sounds, a
6 tiny flame at the end of the column that is
7 composed of air and hydrogen. And under zeroing,
8 or balancing conditions of the instrument the
9 carrier gas that is used to purge, or carry these
10 materials through the column, the carrier gas
11 and/or anything else that may be present in the
12 system when it exits through this hydrogen flame
13 it is ionized, it's burned, broken into ion
14 particles.

15 And there are two little electrodes that
16 collect these ions and measure those -- the --
17 the change in the electric fields, which gives a
18 signal to a recorder and cause a deviation of a
19 pin on a strip chart that indicates the presence
20 of a compound coming off the column at a given
21 moment in time from the time it was injected.

22 (998)

23 Under control, or blank conditions this
24 recorder pin will trace on the paper as the paper
25 moves on what we call the zero line. That is to

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

say it is balanced to the control conditions of the analysis.

Now, a specimen is introduced onto the column, and if ethyl is present it will pass from the end of this column at a predictable time, depending on the operating parameters of that system. And when the alcohol appears in this flame an electrical field is created which goes through an amplifier. And as the paper moves the pin moves up the paper. And, then, as it starts to decrease, as it's being dissipated in the flame, that is, all of it's coming off, the pin returns to the zero line.

So, the point at which that compound exits the column is characteristic of a given molecule. And the size of the peak -- the area underneath that line is characteristic of how much alcohol was present in that sample.

So, in the application to blood alcohol analysis...

THE COURT: Excuse me a second. Counsel, approach the bench, please.

(1064)

(Whispered bench conference as follows:)

THE COURT: Let's move this witness along.

1 These long lectures are in my opinion taking more time
2 than is doing good. I'm going to cite some authority
3 under evidence rule 611, Mr. Cole, and have you start
4 getting to the point on this witness.

5 (End of whispered bench conference.)

6 (1085)

7 Q (Mr. Prouty by Mr. Cole:) Are you familiar
8 with the method that was used to collect the
9 blood and urine samples in this matter?

10 A Yes. I am.

11 Q And would you explain that to the jury?

12 A It's my understanding that the urine specimen
13 was passed into a container that was designed for
14 the collection of urine for alcohol and drug
15 analysis, and that the urine was collected while
16 observed, and was subsequently labeled and
17 sealed. And that the blood was collected by the
18 application of an antiseptic agent to the
19 injection site and blood was withdrawn and placed
20 into a similar kit designed for such forensic
21 purposes and was labeled and sealed.

22 Q If this package was set on a window and the
23 window was open, and it was about 35 degrees near
24 the window for part of the day, would that effect
25 the samples inside the package?

1 A No. It would not.

2 Q And if that sample was placed in a galley
3 refrigerator for that evening, and then picked up
4 the next morning, would that effect the reli --
5 the substance inside?

6 A No. It wouldn't.

7 Q And if that was then taken to Anchorage on the
8 25th and placed in a locked refrigerator until
9 the 27th, would that effect the substance
10 contained in that?

11 A No.

12 Q And have you reviewed the documents produced
13 by Compu-Chem about the receipt of these samples?

14 A Yes. I have.

15 Q Are you familiar with Chem West and Compu-
16 Chem Laboratories?

17 A Yes. I am.

18 Q Why are you familiar with that lab?

19 A Well, for a number of reasons. One, I have
20 been professionally associated with the director
21 of that laboratory for some five to seven years,
22 Dr. Peat. I'm aware of the work product of that
23 laboratory as being one of the NIDA (ph).

24 They are a derivative of Compu-Chem. They're
25 called Compu-Chem West. They are actually a

1 derivative of Compu-Chem laboratories, which is a
2 large analytical toxicology laboratory located in
3 North Carolina. And I've been familiar with that
4 laboratory and its activities for a number of
5 years.

6 Q And are you familiar with their standard
7 method of analysis used during the year of 1989
8 for blood an urine analysis?

9 A For alcohol content?

10 Q Yes.

11 A Yes. I am.

12 Q Explain this, please.

13 A Well, I've had occasion to review the various
14 documents that were generated by Chem West, or
15 Compu-Chem associated with the receipt and
16 analysis and reporting of the specimens.

17 This occasion arose -- specifically, frankly,
18 at my request that if I were to issue an opinion
19 -- if I were to be asked to issue an opinion
20 concerning the competence of the laboratory, I
21 would like to have an opportunity to review
22 firsthand how it was done and how it was handled.

23 Q And have you had a chance to review the
24 policies?

25 A Yes. I have.

1 Q And, specifically, how the samples were tested
2 in this particular case?

3 A Yes. I have.

4 Q And would you explain why the director signs
5 off instead of performing the analysis, himself?
6 Is that a common procedure in your field?

7 A Yes, sir. It is.

8 Q Why is this?

9 A Well, couple very obvious reasons. The
10 workload of such a laboratory absolutely
11 precludes any one person doing all of the
12 analytical work that's done there.

13 And Compu-Chem, I have personal knowledge, is
14 a very high volume laboratory, as is mine. And,
15 also, of course, it's physically impossible --
16 other than being physically impossible to do all
17 of those analyses, it is good analytical protocol
18 to have independent review of the results that
19 are generated by the initial analyst. This is a
20 double check on the system, if you please.

21 I employ such procedures in my laboratory.

22 Q Have you had an opportunity to evaluate the
23 way the samples were handled once they reached
24 Compu-Chem laboratories until the time they were
25 tested?

1 A Yes, sir. I have.

2 Q And do you have an opinion as to accuracy of
3 the results that were reached?

4 A Yes, sir. I do.

5 Q Do you have an opinion as to the concentration
6 of ethyl alcohol in the blood and urine of
7 Captain Joseph Hazelwood at 10:30 a.m. on March
8 24th, 1989?

9 A Yes, sir. I do.

10 Q What is that opinion?

11 A That the blood alcohol concentration was 0.6,
12 or 0.61, I think, specifically. And that the
13 urine was 0.94.

14 Q What...

15 A And let me -- excuse me. That's 0.061 and
16 0.094.

17 Q What is the significance of .09 blood con --
18 alcohol content in the urine?

19 A The .09 in the urine...

20 Q In the urine sample, yes.

21 A As it relates to the blood? Is that your
22 question?

23 Q Yes.

24 A Well, as I testified earlier the alcohol that
25 is in the blood, some of this alcohol passes from

1 the blood into the urine as the blood passes
2 through the kidney.

3 And, also, I testified that alcohol is
4 distributed in the body based upon water content
5 of the tissue. Urine contains more water than
6 does blood. Therefore, urine will have at
7 equilibrium -- will have a higher concentration
8 than blood does at any one moment in time.

9 By the same analogy bone may have some
10 alcohol, but it would have infinitely small
11 amount as compared to the blood.

12 Q Does the presence of alcohol in the urine
13 confirm the presence of alcohol in Joseph Haz --
14 Captain Hazelwood's system?

15 A Yes. It certainly corroborates it, yeah.

16 Q And does that fact that there is a difference
17 between the amount of alcohol found in the blood
18 and the amount of alcohol in the urine have
19 significance?

20 A No. Not in this case. Not of any real
21 significance.

22 Q Why is that?

23 A Because the -- in my opinion the urine alcohol
24 concentration in this case corroborates the
25 concentration of the alcohol in the blood.

1 Urine alcohols are not normally used
2 forensically, a single urine sample, are not
3 normally used as definitive evidence of an
4 absolute blood alcohol concentration.

5 The reason for this is that the urine alcohol
6 results, as I said, from the filtering of the
7 blood into the bladder. And if one starts
8 drinking and has -- and takes a significant
9 amount of alcohol in over a relatively short
10 period of time, and if there is already urine in
11 the bladder that is alcohol free, then, as this
12 new urine is formed, containing alcohol, and it
13 now passes into the bladder that has urine --
14 alcohol free urine -- then, the subsequent mixed
15 concentration of that urine would be less than
16 what it was at the time that the urine was formed
17 when it left the kidney.

18 So, in such an instance in early phases of
19 drinking, if one were to try to apply a fixed
20 equilibrium ratio of urine to blood, one would
21 tend to underestimate the concentration of
22 alcohol in the blood.

23 On the other hand, if a person had an empty
24 bladder, and then drank a considerable amount of
25 an alcoholic beverage, and then did not void,

1 that is to say did not empty their bladder for a
2 protracted period of time, since alcohol is being
3 eliminated at a relatively regular rate from the
4 blood, the blood may decrease to a practically
5 insignificant concentration. Yet, the urine,
6 which hasn't left the bladder, could have a very
7 significant concentration. And such and
8 instance, such as someone drinking heavily this
9 evening, going to bed, not urinating, getting up
10 the next morning, it's conceivable that their
11 blood alcohol could be quite low, yet the urine
12 alcohol might be quite high.

13 So, those are the two extremes in which a
14 single urine sample may give misleading results.

15 However, at equilibrium there is a ratio that
16 may be used to estimate the blood alcohol
17 concentration from a urine concentration and
18 although the recommended protocol, if one is to
19 use urine to obtain a definitive value for blood,
20 that the procedure is to have the individual
21 empty their bladder, and then, after a waiting
22 period of 30 minutes to an hour now collect that
23 urine and record the time and one could make a
24 more precise estimate of the blood concentration.

25 The relationship that we observe in this case

1 of .094 of the urine concentration and .061 for
2 the blood concentration, if one were to use the
3 equilibrium ratio that is commonly accepted in
4 the refereed literature of the urine being
5 approximately 1.33 times as concentrated as the
6 blood, because it has more water. If you apply
7 that -- if you divide the .094 by 1.33, you
8 achieve a number of 0.07.

9 If one were to use the factor that I most
10 frequently use on a single urine void, that is to
11 where you don't enter the bladder, wait a fixed
12 period of time, and then collect the urine, the
13 average ratio that I use is 1.5. That is, that
14 the urine is 1.5 higher than the blood.

15 And if you divide your .094 by 1.5 factor, you
16 get a .06.

17 Both of these numbers are quite close to the
18 measured concentration -- in this instance, are
19 quite close to the measured blood alcohol
20 concentration of .061.

21 Q Based on your own studies, and the knowledge
22 of the scientific literature, can one associate
23 certain blood alcohol concentrations with various
24 levels of intoxication?

25 A Well, yes.

1 Q Would you explain why that is?

2 A Well, it's just -- the reason this is, is that
3 there's been a tremendously large number of
4 studies over the last 50 to 75 years measuring
5 just that. That is to say dosing subjects with
6 alcohol, having them perform various tasks, and
7 most assuredly the greatest area of testing has
8 been in motor vehicle operation. That is in
9 operating of automobiles -- and measuring their
10 impairment. Measuring their mistakes, measuring
11 their errors, and associating that with the
12 measured BAC.

13 And the -- well, one should understand that in
14 applying a given blood alcohol concentration to
15 intoxication, or impairment, one has to consider
16 the task. That is to say, one may have a very
17 significant blood alcohol concentration and if
18 their only task is to watch a home video for
19 pleasure, that may not be as consequential as if
20 they were required to make some very precise
21 visual observations on which a technical decision
22 has to be made.

23 So, the blood alcohol concentration at those
24 two task requirements can be considerably
25 different for acceptable performance.

1 Q And does that equally apply to a blood alcohol
2 concentration of .061?

3 A Well, certainly. Yes.

4 Q So, for some -- some activities it's not
5 significant. And for other activities, it would
6 be significant?

7 A In my opinion, yes.

8 Q Over the years have there been blood alcohol
9 concentrations associated with legal impairment
10 established by municipal and state and federal
11 bodies?

12 A Yes.

13 Q And have those blood alcohol concentrations
14 varied according -- accordingly?

15 A Yes. They have. Depending upon when these -
16 --when these pronouncements were made and what was
17 available at that time as far as scientific
18 research and interpretation of those.

19 Q Would it be fair to say that there are in --
20 in the operation of motor vehicles there are
21 different blood alcohol concentrations that are
22 associated with legal impairment?

23 A Yes, sir.

24 Q Would you give the jury an idea of the
25 variances?

1 MR. MADSON: Your Honor, excuse me, but I
2 think we're in Alaska. And I think there's only one
3 figure that's really important. And what Oklahoma or
4 North Dakota might say on the subject I think is
5 totally irrelevant.

6 THE COURT: Mr. Cole?

7 MR. COLE: Your Honor, I think I believe it's
8 relevant to show what people in other areas have done
9 as far as what is legal impairment. We have two
10 standards that are gonna be discussed in this case, the
11 Coast Guard one and the Alaska one. And I believe that
12 he should be able to testify as to different levels of
13 activity and the various levels of impairment that are
14 associated with that.

15 THE COURT: Objection overruled.

16 Q (Mr. Prouty by Mr. Cole:) Would you give
17 examples of this?

18 A Yes. Currently, today, to my knowledge, there
19 is not a state in the United States that has
20 statutory limits for impairment as far as motor
21 vehicle operation in excess of .10 in the blood.
22 Canada, nationwide, has the legal limit set at
23 .08. There are several states -- Utah, I'm quite
24 sure is one -- that had even lower limits of .05.
25 There are -- other than state law there are other

1 federal regulations that apply to alcohol versus
2 legal intoxication by some agencies.

3 MR. COLE: Your Honor, I would ask the court
4 to take judicial notice...

5 THE COURT: Before you do that, Mr. Cole,
6 approach the bench don't ask in the presence of the
7 jury about these things first.

8 (Whispered bench conference as follows:)

9 THE COURT: Mr. Cole.

10 MR. COLE: Well, first of all, Your Honor,
11 obviously this is a Coast Guard regulation that deals
12 with administrative problems that just links it through
13 the vehicle (indiscernible - whispering). That's what
14 it's for.

15 (Indiscernible - whispering) it necessarily
16 involve the penalties of a DWI.

17 Secondly, it's totally irrelevant. The
18 question here DWI has to be (indiscernible -
19 whispering) because that's (indiscernible - whispering)
20 relevant...

21 THE COURT: No, sir. He's been charged with
22 being under the influence. He hasn't been charged with
23 operating while (indiscernible - whispering).

24 MR. MADSON: Well, he might have
25 (indiscernible - whispering).

1 THE COURT: Well...

2 MR. MADSON: Certainly the .04 under state law
3 is not in evidence. It's a difference you weren't
4 intoxicated.

5 THE COURT: Mr. Cole, under state law
6 operating with an .05 or less it's a good assumption
7 that the person is not under the influence liquor. Are
8 you aware of that?

9 MR. COLE: Yes.

10 THE COURT: Okay. And you're charging this
11 person with a state law violation, Mr. Cole?

12 MR. COLE: Yes.

13 MR. MADSON: Under which theory?

14 MR. COLE: Well, I think we can argue both
15 theories. I don't think Captain Hazelwood
16 (indiscernible - whispering) charged with
17 (indiscernible - whispering).

18 THE COURT: Is the charge to be (indiscernible
19 - whispering) driving while under the influence of
20 intoxicating liquor. That's been the charge. Not by
21 operating at .010, because there was no test taken.

22 MR. COLE: Yes, there was. And that's why
23 he's gonna talk about that coming up. And e was over
24 .10 and that was (indiscernible - whispering).

25 (End of whispered bench conference.)

1 (2120)

2 THE COURT: Step back. Court will not be
3 taking judicial notice as requested on the grounds of
4 relevance, also for inadequate foundation.

5 Q (Mr. Prouty by Mr. Cole:) Now, Mr. Prouty, we
6 talked about the significance of a .061. In your
7 studies when you were working and doing these
8 studies, your own personal studies, did you
9 notice a degree of impairment at levels below,
10 say, for instance a .08?

11 A Well, certainly I did. In many people.

12 Q Would you explain that?

13 A Yes. The alcohol, again, is a progressive
14 central nervous system depressant and my studies
15 have disclosed that when people are tested using
16 very refined methods, such as using divided
17 attention tasks, where you -- instead of giving
18 the individual -- challenging the individual with
19 one task, you challenge them with two tasks
20 simultaneously. That they frequently will
21 demonstrate impairment, that is, make mistakes
22 and take longer to take the action that they
23 decide to take at blood alcohol concentrations
24 far below 1.0 [sic], or far below .08.

25 This work has been clearly demonstrated in --

1 and reported in the scientific literature by
2 others that blood -- alcohol never makes a person
3 perform better as far as a motor vehicle
4 operator.

5 Many people may not manifest any outward overt
6 signs of intoxication until they reach levels of
7 around 03, 04, 05. But that doesn't mean,
8 necessarily, they are not impaired as motor
9 vehicle operators. It's only on refined testing
10 that one may be able to demonstrate impairment at
11 those levels.

12 But, some people, it's been the results of my
13 studies, as well it's been documented by many
14 others that some people are more sensitive to
15 alcohol to others. That is, the individual will
16 demonstrate overt signs of intoxication, frank
17 signs of intoxication at blood alcohol
18 concentrations of .04 or .05, whereas other seem
19 to have the acquired ability of masking the
20 clinical manifestations at that same blood
21 alcohol concentration, and may not appear to be
22 intoxicated until they reach higher blood levels.
23 But, based upon the testing that I have done,
24 which, as again, has been corroborated by many,
25 many other investigators, that in my opinion all

1 people are markedly impaired as motor vehicle
2 operators at a .08. Some people very definitely
3 are impaired at concentrations far below that.

4 Q In your opinion does the extent of impairment
5 relate at all to the complexity of the task at
6 hand?

7 A Well, certainly it does. This has been the
8 reason why committees such as ours, that is the
9 National Safety Council Committee on Alcohol and
10 Drugs, have over the past years have made varying
11 recommendations depending upon the 1, is the
12 state of the art, that is the state of the
13 research. And 2, is upon the magnitude of the
14 problem, I mean, as to what the task is that's
15 being performed.

16 Q Now, turning to the area of the elimination
17 rate of alcohol of the body, is alcohol removed
18 from the body immediately, or over a period of
19 time?

20 A For all the alcohol is to be eliminated it
21 takes a considerable amount of time. But it
22 should be understood that as soon as the alcohol
23 gets into the blood the body initiates the steps
24 of getting rid of it. In other words, it doesn't
25 wait until all of the alcohol is in and now it

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

says, let's get rid of the alcohol.

Elimination is really taking place as soon as it's absorbed, but it takes time is the big factor to get rid of it.

Q Have there been studies made and reported in the scientific literature as to the rate of this elimination of alcohol from the body?

A Yes. There have.

Q Have you performed studies on the rate of elimination from the body?

A Yes, sir. I've made these observations myself.

Q And are these the studies that we've talked about earlier that you were involved in?

A Yes. These were done during the course of the drinking experiments. And the individuals were tested after the drinking experiment was completed. Blood and breath samples were collected in many of these cases for periods as long as six to eight hours after drinking had ceased, and measured frequently over this time course to monitor the rate of decrease of alcohol from their blood.

Q Now, do all people eliminate alcohol at the same rate?

1 A No. They don't.

2 Q What have your studies shown as far as the
3 elimination rates of alcohol among people that
4 you've tested?

5 A Well, the divulged a number of things. One is
6 I had determined an average rate and a lower and
7 upper limit for this. But, I've also observed
8 that this rate of elimination can even vary
9 within the same individual under different
10 circumstances...

11 Q Would you...

12 A ...as well as vary from one person to the
13 other person.

14 Q What is the average that you observed in the
15 studies that you conducted, elimination rate?
16 What is the average elimination rate?

17 A The average rate of elimination from my
18 studies is 0.0018 percent per hour.

19 Q Is that 0018, or 018?

20 A Well, it's 0 -- it's 0.018 percent per hour.
21 That is the average.

22 Q You said your average was .018, is that
23 correct?

24 A Percent per hour, yes.

25 Q Percent per hour. We'll just assume that.

1 What was the high that you found?

2 A In the group of people I studied that the high
3 was 0.03. That's the very upper limit.

4 Q And what was the low?

5 A The low in my studies was 0.01.

6 Q Have you been called upon to testify in the
7 past concerning that calculation, or retrograde
8 extrapolation?

9 A Yes. I have.

10 Q And when you testify, what elimination rate do
11 you use?

12 A What I do as a matter of practice based upon
13 the variants that I do see among individuals, and
14 possibly within the same individual is
15 recognizing that 95 percent of a given population
16 of people will fall within this range, that is
17 .01 to .03, that I will use for my calculations a
18 value even 20 percent below that of the .01. In
19 other words, I use an elimination rate of .008.

20 Q Well, if 90 percent falls outside of .008, why
21 do you use a .008?

22 A Well, actually, no. Make that clear, that 95
23 percent of them will fall within the .01 to .03.

24 The reason I use a value even lower
25 than this lower rate is to give every

1 reasonable benefit possible -- it's a 20
2 percent cut, if you please, on the low value.
3 So, 20 percent of .01 is .002. So, I subtract
4 that from .01 to get my value of point .008.

5 The reason for this is that any error that
6 would be made in using this to make such an
7 estimate of a blood alcohol concentration at some
8 earlier time will grossly -- it'll tend to
9 grossly underestimate that value.

10 Q Now, what percentage of the people that you
11 tested fell under the average rate?

12 A On statistical calculations you have a normal
13 bell shaped distribution curve. And 66-2/3
14 percent would fall into that .018 and the other
15 remaining would fall into the two extreme areas.

16 Keeping in mind that 95 out of 100 of them
17 will be between the 01 and the 03.

18 Q Now, the results that you've talked about, are
19 they consistent with the medical information
20 that's available in the scientific community?

21 A Yes, sir. It is.

22 Q Can you as a forensic toxicologist, based on
23 your training and experience, your personal
24 studies, and your knowledge of the scientific
25 literature, with the knowledge of a given blood

1 alcohol content at a given time estimate an
2 individual's blood alcohol content at some time
3 earlier?

4 A Yes. If certain information is provided as a
5 predicate for such an estimate.

6 Q What are the limitations on such a BAC
7 calculations?

8 A Well, I don't know if you look at it as a
9 limitation, but possibly that's correct. It's a
10 constraint that the primary concern must be that
11 the individual must be in the -- what we call the
12 elimination phase of his blood alcohol curve.

13 I could best demonstrate that, I think,
14 graphically, Your Honor, if...

15 Q Sure. Maybe you could just do it right here
16 on the corner of this. Here's a pen. You might
17 even be able to...

18 A If one could visualize graphically what
19 happens when a person drinks (pause) that at zero
20 time, when a person has not consumed any alcohol,
21 obviously, the BAC would be zero.

22 And, then, as alcohol comes into the body at a
23 rate that exceeds the body's ability to get rid
24 of it, keeping in mind that some of this alcohol
25 has immediately started to be eliminated as soon

1 as it comes in, but if it comes in at a rate that
2 exceeds the body's rate of getting rid of it,
3 then alcohol will accumulate in the blood and you
4 develop a blood alcohol concentration.

5 So, with time that blood alcohol concentration
6 will rise until now, no more alcohol is coming in
7 and now that blood alcohol concentration at some
8 point in time out here, once again, reaches zero.
9 That is to say it goes up, it peaks, and then it
10 comes down, because anywhere on this side of this
11 curve the body is -- there's no more alcohol
12 coming in, or if it's coming in, it's coming in
13 at a rate that is far less than what the body's
14 ability is to get rid of it. In other words, its
15 presence is insignificant.

16 So, if one were to have a point in time, here,
17 where the blood alcohol concentration is
18 determined, or measured, or blood is collected
19 and subsequently analyzed, and if one wishes to
20 estimate what the blood alcohol concentration was
21 at some time previous, that is, to go back in
22 time, one would go up this curve to the point in
23 time and make an estimate that this was the blood
24 alcohol concentration at some time earlier.

25 Now, it should be emphasized that in order to

1 do such a back calculation it is very important
2 to be assured that all of the alcohol that has
3 been consumed is now in the body and is
4 circulating in the blood throughout the body.
5 And no more alcohol is coming in. And that puts
6 you on what we call the elimination phase of the
7 curve.

8 So, this is the major consideration that one
9 has to keep in mind before one can make a back
10 calculation.

11 Q You can resume your seat there. Mr. Prouty,
12 you indicated that you can estimate a person's
13 blood alcohol content at an earlier time if you
14 had certain information and facts. What type of
15 information and facts do you need?

16 A Well, again, as I have emphasized, the most
17 important fact is to be assured that no more
18 alcohol is coming in. And in order to reach that
19 assurance it's desirability to know what the
20 individual's body size, body weight/height is.
21 It's important to consider what the person was
22 drinking, that is when they started drinking,
23 what type beverages they were drinking, and when
24 they stopped drinking.

25 And, it's also useful under some circumstances

1 to know what the person had been eating
2 immediately prior to, or at the time that the
3 alcohol was consumed.

4 Q Assuming that Captain Hazelwood weighs
5 approximately 170 pounds and stands about six
6 foot, now, if he stopped drinking at 8 p.m. --
7 well, stopped drinking at 8 p.m. and his blood
8 alcohol concentration was .06 at 10:30 the next
9 morning, what would his blood alcohol concen-
10 tration have been at 12:05 a.m. that morning?

11 A It would have been approximately a 0.14
12 percent weight volume. That's using the 20
13 percent factor, if you please on the .01. That's
14 using an elimination rate of 0.08 percent per
15 hour.

16 Q Now, that would have been under your analysis
17 at what -- what would it have been, again?

18 A At 12:05? Approximately 0.14.

19 Q Point...

20 A 0.14.

21 Q And under your analysis, what would the
22 average person that you saw in your studies...

23 A Using the 0.018 would be approximately 0.25.

24 Q And the low?

25 A Using the 0.01 percent per hour elimination

1 rate it would be approximately 0.17.

2 Q And the high?

3 A At the high value of .03 percent per hour
4 elimination would be 0.37, or 38.

5 Q And at what time would this level have been
6 above a .10?

7 A Well, it would depend on which elimination
8 rate you were using.

9 Q Okay. At the -- at your personal rate, the
10 rate that you use?

11 A At -- using the rate of elimination of 0.08
12 percent per hour the BAC at approximately 4:30
13 a.m. would be just in excess of a .10. The
14 calculated value I have is 0.104.

15 Q And at the low?

16 A At the low -- that's -- the 01?

17 Q Uh-huh (affirmative).

18 A It would be at approximately 5:30.

19 Q And the average?

20 A The 018 it would be approximately 8 a.m.

21 Q And the high?

22 A Of using the rate of elimination of 0.03 it
23 would be at approximately 9 a.m.

24 Q Now, why do you cite the different levels of
25 elimination rate among people?

1 A Because there's no way of -- with great
2 certainty of predicting what any one individual's
3 rate of elimination will be precisely at any one
4 given time.

5 As I've testified earlier, we do observe
6 different rates of elimination in different
7 peoples, and even some differences in rates of
8 elimination of the same person at different times
9 with their drinking experience.

10 So, for this reason one must to be with
11 integrity use a range, rather than make an
12 absolute prediction of an absolute number in a
13 given person.

14 Q Well, if the levels are as you have set them
15 out here, would you expect to see other people
16 observing physical manifestations consistent with
17 these levels of intoxication?

18 A You mean at the -- at 12 o'clock.

19 Q At 12 o'clock.

20 A At 12 o'clock?

21 The physical observation that one most
22 frequently associates with intoxication is what
23 are frequently referred to as the clinical
24 manifestations. I guess it's all in the eyes of
25 the beholder. It depends on how carefully one

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

observes someone as to how precisely you may make a reasonable judgment as to whether or not the person's under the influence.

But, it must be remember that physical observation, or visual observation is a very crude means of predicting ethyl alcohol intoxication. That's the reason we have chemical tests. That some people, as I stated earlier, I have seen in my studies, people that can't hit the hat -- hit the floor with their hat at a .06 or 07 blood alcohol. That is to say they are very frankly intoxicated to the most casual observation.

On the other hand, I have had people in my studies -- adults, healthy males, that had blood alcohol concentrations in excess of .20 that did not exhibit clinical manifestations of intoxication. And it was only under the most careful observation and scrutiny that someone would say, yes. I do think he's intoxicated.

Q If these manifestations that you've talked about -- the clinical manifestations were not as observable, would that mean that a person wasn't impaired, or intoxicated?

A Well, certainly not.

1 Q Why do you say that?

2 A Well, the alcohol impairment is not based upon
3 what one physically observes, but is what's --
4 one can scientifically predict as to what the
5 effect of the alcohol will be based upon
6 literally many, many, many, many thousands of
7 studies.

8 THE COURT: Mr. Cole, we're a little past
9 1:30. I don't think you're gonna be finished in the
10 next five minutes, are you?

11 MR. COLE: Actually, I am gonna finish in the
12 next five minutes.

13 THE COURT: Okay. I think we'll just stick
14 around for another five minutes, then and we'll come
15 back for cross examination.

16 Q (Mr. Prouty by Mr. Cole:) Are there signs of
17 alcohol impairment that are not as observable as
18 these clinical manifestations that you've
19 discussed?

20 A Well, yes.

21 Q Would you explain what those are in
22 relationship to a person's decision making
23 process?

24 A Well, in progressively alcohol as it's
25 associated with impairment, particularly in

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

association with motor vehicle operation may be looked at as being in four phases.

One, the first phase would -- as far as motor vehicle operation one has to perceive, or see, in essence a given situation that must be addressed. That is, perception is the first phase.

The second phase is recognition. That is to say you see it as one thing, but if you now mentally recognize this as a task that must be addressed, that is the second phase, recognition of the problem.

And the third phase can be looked at deciding what to do. Or, that is the decision phase.

And the last phase is accomplishing that task. That is to say the fourth phase.

Now, in the first two phases, or even in the first three phases there can be tasks that are presented that demand attention that 1, may not be seen or perceived. And, so, that cannot be evaluated by visual observation of a bystander.

The second phase is the sensory phase, or recognition of this problem, and here, again, you can't look at this person and determine that they now have recognized a problem.

And the third phase is that of decision

1 making. It can, or can not be observed by the
2 -- the person making the observation, depending
3 on what that decision is. If it's a decision
4 that requires a motor movement, or a task, then
5 it may become obvious.

6 And, of course, the final phase, if it does
7 involve motor movement, or whatever, and they
8 don't accomplish that task, then it's observable.

9 Q Finally, this decision making process, could
10 that also be equated with good and bad judgment?

11 A Well, certainly.

12 Q And would that be a good indication of whether
13 or not a person was impaired by alcohol?

14 A It is, yes.

15 Q Assuming a person has a blood alcohol level of
16 1.14 or greater, do you have an opinion on
17 whether their reasoning, judgment and decision
18 making would be impaired by alcohol at that time?

19 A Yes. I do.

20 Q What is that opinion?

21 A It's my opinion that they definitely -- these
22 would be impaired.

23 Q Thank you. I have nothing further.

24 THE COURT: All right. We'll recess for the
25 day, ladies and gentlemen.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Come back tomorrow at the same time.

Don't discuss the case with anybody, including among yourselves. Don't form or express any opinions, and please remember my instructions regarding media exposure.

We'll see you back tomorrow morning, and be safe.

You may step down.

A Thank you, Your Honor.

(Tape: 3657)

(000)

(Jury not present)

THE COURT: You could get comfortable if you want.

Mr. Cole, you filed an application for a protective order regarding this witness, it sounds like. This is as good a time as any to handle it. Have you got a copy of it, Mr. Madson?

MR. MADSON: Yes, I do.

MR. COLE: I don't have anything further.

MR. MADSON: Well, Your Honor, to me, I don't know as it really needs much in the way of dressing. The state is saying that I cannot ask this witness about certain assumptions he makes and has up there at the board to arrive at those figures. He said the most

1 important thing is the assumption that no alcohol was
2 ingested, or that the rate of elimination is in the
3 declining phase, rather than absorbing. I certainly
4 think I could cross examine him on his assumptions and
5 what, if anything, would change his calculations.
6 That's certainly, I think, in the proper realm of cross
7 examination. To ask him what his assumptions are based
8 on, and what the results are, and why they assume
9 certain things, and what happens if those assumptions
10 are incorrect.

11 THE COURT: Mr. Cole.

12 MR. COLE: Well, he definitely can go into his
13 assumptions. There's no doubt about that, and we're
14 not contesting that. But to throw hypotheticals of,
15 well, what if somebody had a drink at 1 o'clock, or at
16 1:00 a.m. in the morning, and what if someone had a
17 drink at 3 o'clock in the morning, and what if someone
18 had a drink at 5 o'clock in the morning, are not
19 supported by the facts and do not add, and go merely to
20 confusing the jury.

21 I believe that's what the purpose of the
22 language in Evidence Rule 703 talks about.

23 I think that it's got to be some type of
24 evidence that is within the realm of possibility, and
25 to do otherwise just confuses the issues in this

1 matter.

2 THE COURT: Okay. I think it is within the
3 realm of possibility. There has been evidence that
4 Captain Hazelwood showed no signs of impairment at the
5 time or right near the time of the grounding. It
6 wasn't until sometime after that that people smelled
7 alcohol on his breath.

8 I think inferences from that could be argued
9 that he was not under the influence at that time, and
10 perhaps didn't start drinking until afterwards. And I
11 make that statement, to remind you, I say "inference".
12 It's an argument to be made.

13 Your case you cite was a civil case, not a
14 criminal case, and I think it would unduly restrict
15 cross examination to prevent the defendant from asking
16 the witness hypothetical questions based upon
17 possibilities in this case. So your motion for
18 protective order is denied.

19 Is there anything else we can do before we...

20 MS. HENRY: Your Honor, there are a couple
21 stipulations regarding exhibits, but there is going to
22 be argument as to relevancy, I believe, of some of
23 those exhibits. And that should be taken up at some
24 point. There is also a request by an attorney for a
25 witness that that be taken up in-camera. If you would

1 like me to approach the bench with counsel, I could
2 explain that in a little more detail.

3 THE COURT: A witness wishes to have this
4 taken up in-camera?

5 MS. HENRY: Yes.

6 THE COURT: All right.

7 (Whispered bench conference as follows:)

8 MS. HENRY: One of the documents we are trying
9 to get in is Kagan's personal file.

10 THE COURT: Not quite so loud.

11 MS. HENRY: Mr. Kagan's local attorney is Lee
12 Hollen.

13 THE COURT: Who is it?

14 MS. HENRY: Lee H-O-L-L-E-N. She requested
15 that that portion of the argument be taken up in-
16 camera. I told her I would advise you of that.

17 THE COURT: Did she give any reason why?

18 MS. HENRY: Because of the nature of the
19 files, and she doesn't want the press, I guess, to know
20 what's in the file.

21 THE COURT: If that's the reason for the in-
22 camera request, and the state's not making the request,
23 it's...

24 MS. HENRY: I told her I would advise you of
25 her request.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: Okay.

MS. HENRY: And she said she would be available to do that at 8:15 tomorrow.

THE COURT: Now, this is for the purpose of laying a foundation to admit these records. What is the purpose...

MS. HENRY: The foundation has been stipulated that they are business records. The issue is whether or not they are relevant, and, also, on behalf of Mr. Kagan, she is opposing them being released in the public record.

THE COURT: Okay. And you have copies of them, also? Okay. Did she give any reasons why? Is there something contained in there that is -- perhaps you should give me a copy of the records and I could make a better determination if an in-camera proceeding would be appropriate.

Why don't I just take a look at them between now and tomorrow morning. I think I won't take a look at all of them, it's just too voluminous for me to get through. Can you notify her and have her come down tomorrow morning and we could take this up at 8:15?

MS. HENRY: All right.

THE COURT: And we'll decide then whether it needs to be in-camera or not. Would there be any

1 objection if she came into my chambers and presented to
2 me the reasons why she wanted all this in camera. Any
3 objection?

4 MR. MADSON: No objection.

5 THE COURT: Okay. Would you ask her to come
6 down to my office at 8:15 tomorrow.

7 (End of whispered bench conference)

8 THE COURT: Then there's going to be, I
9 understand, a dispute on the relevance of these
10 documents.

11 MR. MADSON: Very definitely.

12 THE COURT: Are there any other documents that
13 are going to be offered that we can resolve now without
14 the incamera question?

15 MS. HENRY: There's (indiscernible - away from
16 mike) that I stipulated as business records. I don't
17 know if there's going to be an objection to them, other
18 than that.

19 THE COURT: I take it, Mr. Cole, you only have
20 one other witness and this is it?

21 MR. COLE: That's it.

22 THE COURT: As soon as this witness is
23 finished you're closing.

24 Mr. Madson, here is another one. Why don't
25 you take a look at those at your convenience.

1 MR. MADSON: This one I can be real quick on.
2 We don't ahve any objection to this. I think
3 stipulated that in.

4 THE COURT: That's Exhibit 32. It will
5 admitted. You can offer it in fron tof the jury when
6 the time comes. If you want to take a look at those
7 you can and let me know tomorrow morning.

8 Is there anything else we need to do now, or
9 we can do that will save time? I expect, Mr. Madson,
10 you will be taking some time with this witness on
11 cross?

12 MR. MADSON: Maybe a half an hour, Your Honor.
13 I'm not going to prolong it.

14 THE COURT: What's the defense's pleasure. I
15 was hoping we would get done with this witness, as
16 suggested we might be able to so we wouldn't have to
17 call the jury in, just to let them go a half hour
18 later. I'm a little concerned about that.

19 MR. MADSON: It's very likely that might
20 happen. I don't know about redirect, but let's say an
21 hour at the outside, and the state's last witness.

22 THE COURT: You will be prepared with your
23 motions?

24 MR. MADSON: Yes.

25 THE COURT: What do you figure, a couple hours

1 for motions?

2 MR. MADSON: At the most, Your Honor. It's
3 been a long case, but I think the issues are still
4 pretty straight forward.

5 THE COURT: And then would you be able to --
6 assuming it was necessary to call your first witness on
7 Thursday morning?

8 MR. MADSON: Yes.

9 THE COURT: Would you like the remainder of
10 the day off then, tomorrow, to get prepared?

11 MR. MADSON: It's conceivable we could start
12 tomorrow. We'd like to go...

13 THE COURT: Okay. I was doing this to
14 accomodate you at your request earlier. If you don't
15 need it, I'm ready to go, too.

16 MR. MADSON: We've got people that are coming
17 up from Valdez in anticipation tomorrow, so we need to
18 get them on.

19 THE COURT: We'll just hold the jury in the
20 jury room during the motions, and depending on the
21 outcome, bring the jury back in. Anything else we can
22 do?

23 MR. MADSON: No.

24 THE COURT: WE'll stand in recess.

25 THE CLERK: Please rise. This court stands in

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

recess subject to call.

(332)

(Off record - 1:45 p.m.)

CONTINUED