

SPEC  
COLL  
EC  
1552  
.P75  
H39  
1990  
v.35

IN THE TRIAL COURTS FOR THE STATE OF ALASKA  
THIRD JUDICIAL DISTRICT  
AT ANCHORAGE

STATE OF ALASKA,

Plaintiff,

vs

JOSEPH HAZELWOOD,

Defendant.

---

No. 3AN 89-7217; 3AN 89-7218

TRIAL BY JURY  
MARCH 8, 1990  
PAGES 6449 THROUGH 6638

VOLUME 35

*Original*

---

H & M Court Reporting  
510 "L" Street, Suite 350  
Anchorage, Alaska 99501  
(907) 274-5661

**ARLIS**  
Alaska Resources  
Library & Information Services  
Anchorage Alaska

BEFORE THE HONORABLE KARL JOHNSTONE  
Superior Court Judge

Anchorage, Alaska  
March 8, 1990

APPEARANCES:

For Plaintiff:

DISTRICT ATTORNEY'S OFFICE  
BRENT COLE, ESQ.  
MARY ANNE HENRY, ESQ.  
1031 West 4th Avenue, Suite 520  
Anchorage, AK 99501

For Defendant:

CHALOS ENGLISH & BROWN  
MICHAEL CHALOS, ESQ.  
THOMAS RUSSO, ESQ.  
300 East 42nd Street, Third Floor  
New York City, New York 10017

DICK L. MADSON, ESQ.  
712 8th Avenue  
Fairbanks, AK 99701

3 3755 000 23394 0

H & M Court Reporting  
510 "L" Street, Suite 350  
Anchorage, Alaska 99501  
(907) 274-5661

**ARLIS**  
Alaska Resources  
Library & Information Service  
Anchorage Alaska

TABLE OF CONTENTS

WITNESS INDEX

DIRECT   CROSS   REDIRECT   RECROSS   VOIR DIRE

FOR DEFENDANT:

HOFFMAN, EDWARD

Mr. Chalos            6449

Mr. Cole                            6487

SHIZUME, PETER

Mr. Chalos            6524/6547            6592/6597

Mr. Cole                            6572                            6597                            6546

WINER, JOSEPH

Mr. Chalos            6599

---

H & M Court Reporting  
510 "L" Street, Suite 350  
Anchorage, Alaska 99501  
(907) 274-5661

EXHIBIT INDEX

<u>EXHIBIT</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
BA	Chart	6474
AZ	Graph	6475
BD	Track line	6549
BE	Chart	6557
BF	Chart	6563
BG	Overlay	6563
BH	Overlay	6563
BI	Overlay	6563
BJ	Overlay 10 degrees	6563
BK	Overlay 20 degree	6563
BL	Overlay	6563
BM	Large diagram	6570
BN	Overlay	6570
BO	Overlay 3 degree	6570
BP	Overlay 4 degree	6570
BQ	Overlay 5 degree	6570
BR	Overly 10 degree	6570
BS	Overlay 20 degree	6570
AW	Blow-up of photo rudder angle gauge	6617
AX	Blow-up of photo gauge rate of turn	6617
BW	Blow-up of photo	6617
AN	Chart	6621

---

H & M Court Reporting  
510 "L" Street, Suite 350  
Anchorage, Alaska 99501  
(907) 274-5661

<u>EXHIBIT</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
BU	Grounding chart	6621
BR	Overlay 10 degree	6630
BT	Course recorder chart	6634

---

H & M Court Reporting  
510 "L" Street, Suite 350  
Anchorage, Alaska 99501  
(907) 274-5661

1 PROCEEDINGS

2 MARCH 8, 1990

3 (Tape: C-3662)

4 (0003)

5 (Jury present)

6 THE COURT: Okay, we're on record now. What  
7 can I do for you?

8 MR. RUSSO: Your Honor, an issue has come up  
9 which is not directly related to the criminal action,  
10 but because it's occurring in your courtroom, I thought  
11 that you should be advised of it; perhaps you can be of  
12 some assistance to us in resolving it.

13 A process server is present in the courtroom  
14 this morning, and he was waiting for us when we  
15 arrived, and he has a shopping bag full of complaints  
16 and summonses, in which he alleges that Captain  
17 Hazelwood is a defendant, and he intends to serve  
18 process on Captain Hazelwood in this courtroom this  
19 morning on those suits. How many suits are there?

20 PROCESS SERVER: There are 66 civil summonses  
21 and complaints and a Cordova suit.

22 MR. RUSSO: 66 summonses and complaints and a  
23 Cordova suit. Your Honor, I think that first of all  
24 it's inappropriate for any process server to come into  
25 any court of law and serve personal service on any

1 defendant under any circumstances.

2 But secondly, according to the Alaska  
3 Statutes, specifically section 12.70.230, Captain  
4 Hazelwood is immune from personal service while he's in  
5 this state appearing on this case. Captain Hazelwood  
6 came into this state voluntarily as a result of waiving  
7 extradition in New York, and as such under that statute  
8 he is absolutely immune from any service at this time.

9 And accordingly, I think because this process  
10 server happens to be in this courtroom, that the court  
11 should instruct him to leave the courtroom, and  
12 furthermore take notice of the fact that Captain  
13 Hazelwood, while he's appearing in this action, is  
14 immune from personal service.

15 There may be other ways that Captain Hazelwood  
16 can be legitimately served, and certainly we have  
17 answered some summons and complaints on these civil  
18 actions when we believed he was personally served  
19 properly, pursuant to the law. But this is not the way  
20 to do it, and I don't think this is an appropriate time  
21 and place to do it.

22 THE COURT: Okay. Why did you decide to come  
23 in the court to serve Captain Hazelwood when you could  
24 have served him outside the court or downstairs at any  
25 time when he comes through the building?

1                   PROCESS SERVER: Your Honor, I wasn't sure  
2 what doors the Captain would be able to use into the  
3 courtroom, whether the doors would be locked, etcetera.  
4 I wanted to effect service as quickly as possible under  
5 instructions from my client (indiscernible - away from  
6 mike) Valdez, I want to do so without attendant  
7 publicity and without the jury being present and before  
8 any proceedings start here. That's why I kind of got  
9 here early.

10                   THE COURT: Well, you didn't achieve your goal  
11 if you tried to do it without publicity by coming into  
12 court here in front of cameras and everybody else, and  
13 trying to serve the Captain, so you can step outside  
14 and serve him when he leaves. I won't permit you to  
15 serve him during the proceedings. This is part of the  
16 criminal proceedings and you'll have to serve him when  
17 he leaves. He'll leave out that door out there.

18                   As far as the validity of the service, that is  
19 not up to this court to decide, that'll be up to  
20 another court to decide. I make no determination about  
21 the validity of the service. But you can serve him  
22 outside when he takes his break or when we recess for  
23 the day, and I expect to recess around 1:30 today.

24                   And that's the normal entrance, right over  
25 there, the entrance you came through, and he normally



1 comes up the elevator, I believe, and he comes through  
2 the lobby downstairs, and you're free to serve him down  
3 there, but I don't want you to be serving him in this  
4 court during this criminal proceeding.

5 PROCESS SERVER: I understand, Your Honor.

6 THE COURT: Okay.

7 PROCESS SERVER: Thank you very much.

8 THE COURT: You're welcome. Ready with the  
9 jury now?

10 (Indiscernible - away from mike)

11 THE COURT: You got your next witness lined  
12 up?

13 MR. CHALOS: We do, Your Honor.

14 THE COURT: Why don't one of you step out  
15 there ...

16 (Pause)

17 THE COURT: Good morning. We're ready to  
18 proceed again, you may call your next witness.

19 MR. CHALOS: Good morning, Your Honor.  
20 Defense calls Edward Hoffman to the stand.

21 (Oath administered)

22 A I do.

23 EDWARD F. HOFFMAN,  
24 called as a witness in behalf of the Defendant, being  
25 first duly sworn upon oath, testified as follows:

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

THE CLERK: Please be seated. Sir, would you please state your full name, and then spell your last name?

A My name is Edward Francis Hoffman, H-o-f-f-m-a-n.

THE CLERK: And your current mailing address?

A 318 South Merrick Avenue, Merrick, New York.

THE CLERK: And your current occupation?

A I'm the president of Pronaris & Hoffman, Incorporated.

(0220)

DIRECT EXAMINATION OF MR. HOFFMAN

BY MR. CHALOS:

Q Thank you. Mr. Hoffman, what is the business of Pronaris & Hoffman?

A The business of Pronaris & Hoffman, Incorporated, is we're a group of naval architects and marine engineers involved in new ship construction, building ships in various parts of the world.

Q What were you asked to do in this particular case?

A In this particular case I was asked to visit San Diego, look at the Exxon Valdez as she stood -- as she sat on the waves in San Diego in Nasco

1 (ph.) Shipyard. I was asked to write a report  
2 based upon my observations, take some pictures.  
3 I was asked to review some underwater tapes taken  
4 of the Exxon Valdez as she was in Prince William  
5 Sound. I was asked to develop some speed/power  
6 calculations based upon the performance of the  
7 engine and the sea trial of the Exxon Valdez, and  
8 I was also asked to be here.

9 Q Were you asked to listen to the testimony of  
10 the State's experts and comment on ...

11 A Yes, I was.

12 Q ... on that testimony?

13 A Yes, I was.

14 Q And did you do that?

15 A Yes, I did.

16 Q Okay. Could you tell us a little bit about  
17 your educational background?

18 A I graduated from the United States Merchant  
19 Marine Academy in 1969 ...

20 Q That's at Kings Point?

21 A That's at Kings Point, New York, it's an  
22 academy for merchant officers. I also graduated  
23 from Stevens Institute of Technology with a  
24 degree in ocean engineering in 1976.

25 Q Did you receive a degree from Kings Point?

1 A Yes, I graduated in '69 with a Bachelor of  
2 Science in marine engineering.  
3 Q Did you obtain a license at that time, a Coast  
4 Guard license?  
5 A And I also received a license as a third  
6 assistant engineer.  
7 Q What type of degree did you receive from  
8 Stevens?  
9 A A Master's of Science in ocean engineering.  
10 Q Now, how long have you been a naval architect?  
11 A I've worked as a naval architect since 1973,  
12 with the former company of U.A. Pronaris,  
13 Incorporated.  
14 Q How long have you been president of Pronaris &  
15 Hoffman?  
16 A Pronaris & Hoffman started in 1982, so eight  
17 years.  
18 Q Now, in your job at Pronaris & Hoffman, you  
19 say you design new vessels?  
20 A Yes.  
21 Q Design and build new vessels?  
22 A Yes.  
23 Q How many vessels have you designed and built?  
24 A Since '73 I've participated in the design,  
25 construction, specifications, plan approval,

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 piping diagrams, stability and trim calculations  
2 of approximately 55 vessels.

3 Q Were any of these vessels tankers?

4 A Yes. They were.

5 Q How many tankers have you designed or  
6 participated in ...

7 A Between 10 and 15 tankers.

8 Q Are they single-skin tankers, single-hull?

9 A Single-skin tankers similar to the Exxon  
10 Valdez.

11 Q What other type of vessels have you designed  
12 and built?

13 A Other type of vessels would be bulk carriers,  
14 which are basically a tank vessel with an engine  
15 room, and it carries dry cargo. Carry grain  
16 products, steel products, scrap iron, things like  
17 that.

18 Q What other type of vessels besides ...

19 A There was also product carriers. Product  
20 carrier is nothing more than a tanker, with coded  
21 tanks. And they carry refined products, refined  
22 product oils, such as gasoline, heating oil, and  
23 then the cyclohexanes, the tylenes (ph.),  
24 xylenes, things like that.

25 Q Are you familiar with the construction and

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

physical makeup of tankers like the Exxon Valdez?

A Yes, I am.

Q Have you ever inspected damage to vessels?

A Yes, I have.

Q By that I mean plate damage, hull damage?

A Yes. Yes, I have.

Q On how many occasions?

A Well, typically what you have in a shipyard, you have mistakes, and you have problems with the weather. For instance, in Japan we had a couple of typhoons, and the ship went against the pier and crunched a couple of times, we had blocks being damaged all the time, so those were instances of damage to my ship.

Also in a shipyard you have other ships that are there being under construction, and a couple of years ago, I think it was in '87, there was a Malaysian ship in Daewoo (ph.), Korea, right next to us, that was taken out during a typhoon, and she went aground on the rocks right in Okpo (ph.), in Pusan -- near Pusan.

Q And did you have occasion to view that damage?

A Yes, I did. Soon as she came back, they put her on the floating dock, and I went under and I looked at the damage that she sustained.

1 Q Was that damage similar to the damage  
2 sustained by the Exxon Valdez?

3 A The damage that she sustained was more of a  
4 crushing type of a damage on the plates, it  
5 wasn't an impact damage that I saw.

6 Q Now, have you been involved in sea trials?

7 A Yes, part of the operation of a ship is -- you  
8 have to have a full sea trial before the delivery  
9 of the vessel to its owners.

10 Q Would you tell the jury what a sea trial is?

11 A A sea trial is you take the ship out from --  
12 when it's almost completed, it's not fully  
13 completed, but it's almost completed, you take it  
14 out to sea and you go through a progressive sea  
15 trial, which means different speeds, different  
16 RPM's of the engine, and you develop a speed of  
17 that ship. You also have an endurance test  
18 that's required by the Classification Society to  
19 prove that the engine will develop the endurance  
20 at 100 percent MCR, maximum continuous rating of  
21 the engine.

22 You also have anchor tests, you also have  
23 turning gear tests, you also have steering gear  
24 tests, you also have maneuvering tests to make  
25 the turns, that's required by the U.S. Coast

1           Guard.

2           Q           In those instances where you attended sea  
3           trials, did they involve slow-speed diesel  
4           engines?

5           A           Yes, all the ships that I've built since '73  
6           have been slow-speed diesel engines.

7           Q           And on those occasions, did you have the  
8           opportunity to observe the engine power and  
9           thrust ...

10          A           Yes.

11          Q           ... characteristics?

12          A           At -- at all times.

13          Q           Now, have you ever testified before as an  
14          expert?

15          A           Yes, I have, on several occasions. If you ...

16          Q           In court or in arbitration?

17          A           Well, three times in court as a -- in personal  
18          injury cases, and two times in arbitration cases  
19          dealing with speed/power consumption testimony  
20          and also cargo handling systems.

21          Q           Were you qualified as an expert in those  
22          cases?

23          A           Yes, I was.

24          Q           Now, do you belong to any professional  
25          organizations?



1 A Yes, I belong to the Society of Naval  
2 Architects and Marine Engineers, and also the  
3 Society of Marine Port Engineers.

4 (0522)

5 Q Sir, you're appearing here as an expert, do  
6 you have a fee arrangement with the defense?

7 A Yes, my fee arrangement with Chalos, English &  
8 Brown is approximately \$60.00 an hour.

9 Q What do you mean, approximately \$60.00 an  
10 hour?

11 A Well, it's based upon \$500.00 a day, which is  
12 \$62.50 an hour.

13 Q Have you calculated how much time you spent in  
14 this particular case?

15 A Very quickly, no, I haven't. I can figure it  
16 out right here, but it hasn't been significant.  
17 The majority -- most of my time has been spent in  
18 the last two weeks here, when I was listening to  
19 the other witnesses.

20 Q Now, let's talk about your trip to San Diego.  
21 Tell us specifically what you did in San Diego.

22 A San Diego, I went out to the ship with Mr.  
23 Chalos, Mr. Joe Winer, another consultant, Mr.  
24 Mike Walker, he's a -- he's a captain, and Mr.  
25 Tom Russo, and Mr. Madson. And we inspected the

1 Valdez from the wheelhouse, looked at the  
2 wheelhouse, we took pictures, we looked at the  
3 arrangement of the wheelhouse, we looked at the  
4 Captain's -- Captain's stateroom, his dayroom, I  
5 went to the cargo control room, I looked at that,  
6 noticed that -- you know, the cargo control room  
7 is almost the exact same as I have on my vessel  
8 that I'm building in Korea now, I noticed that  
9 also the loading computer was gone, it was not  
10 there, we went to the engine room, the engine  
11 room spaces, looked around the engine room,  
12 looked at the engine, the engine is a Sulzer  
13 (ph.) engine, RTA engine, similar to engines I've  
14 put on my ships in the past, bigger, but similar.

15 We then went on the deck, and I inspected the  
16 main deck where they have all the pipes and the  
17 valves, the inert gas system, the cargo control  
18 system, the manifold, and the access hatches into  
19 the tanks, what else on the main deck? That was  
20 about it.

21 Then we went down below, where she was -- to  
22 inspect the damage. From there I took pictures  
23 of everything, and we saw damage from the  
24 forepeak, I'm sure you've seen it, the pictures  
25 and etcetera, but we -- I went through the

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

forepeak all the way back to number 5 ...

Q Before we get into what you saw at the bottom of the ship, I just want to ask you a question. Your Honor, may I approach the witness?

(Pause)

(0647)

Q I'd like to show you Exhibit 80, and ask you, when you inspected the accommodation spaces on this ship ...

A Uh-huh (affirmative).

Q ... did you have occasion to walk from the Captain's room down the hall and outside?

A Yes, from the Captain's dayroom.

Q Okay.

A The Captain's dayroom ...

Q Wait a minute, let's put this here so the jury can see.

A The Captain's bedroom is this area here, and his dayroom is here. So you walk down from the wheelhouse ...

Q Let's take ...

A Okay.

Q All right.

A So here's his bedroom, his stateroom, and his ...

1 Q Let's put it like this so the jury can see.

2 A The Captain's office. So you come down the  
3 ladder, the stairway, and you go into the  
4 Captain's office, and then you can go into the  
5 stateroom.

6 Q Now, is there a doorway leading out to the  
7 outside deck?

8 A Yeah, there's two, there's one right directly  
9 aft in this passageway and there's another one  
10 here.

11 Q So in other words, if someone wanted to come  
12 outside, or if the Captain wanted to come from  
13 his room outside, all he'd have to do is walk  
14 down this hall, would you show the jury?

15 A Yes, he'd walk out his door, it's basically on  
16 the centerline of the ship, make a left and a  
17 -- a right and he'd be out the door, maybe it's  
18 20 feet or so.

19 Q And he'd be outside at that point?

20 A He'd be outside, yes.

21 Q Okay. (Pause) Mr. Hoffman, can you tell us  
22 what it is that you saw when you went down into  
23 the dry dock?

24 A The dry dock -- I started again, I started at  
25 the forepeak, which is the -- the pointed end of

1 the ship, it -- and I went back to number 5.

2 Q Do you need the model? I don't ...

3 A Of the ship, yeah.

4 Q I'll get it. I'm handing to you now what's  
5 been marked as State's Exhibit 154.

6 A Uh-huh (affirmative).

7 Q Why don't you describe for the jury what you  
8 saw?

9 A The damage I -- I started at the forepeak of  
10 the bow area. And I went outside the ship  
11 initially to see what type of damage was there,  
12 and -- you know, obviously there's a tremendous  
13 amount of damage, there was a -- there was a big  
14 hole in number 3, there was a lot of plate  
15 missing, there was a lot of setups, which means  
16 that the -- the structure was just pushed up into  
17 the tanks, there was two rocks, one at frame 10,  
18 I believe, and frame 11, which is in this area  
19 here. The rocks were approximately about five or  
20 six feet in diameter, wedged and totally embedded  
21 in the steel hull.

22 In this area, between 2 and 3, there was a  
23 tremendous amount of plate missing, it was either  
24 sheared off, cut off, or knocked off on its way  
25 from Naked Island down to San Diego, or San

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Clemente, wherever she stopped.

There was a lot of damage in the aft part between, say, number 4 and 5 center tanks. Then I went inside the tanks and I looked at the damage on the top to get a good idea how the longitudinals and the frames and all this -- all the other material met together, and tried to make a determination on exactly what I felt and what I thought about the damage.

Q With respect to the damage that you saw, in what direction was it situated?

A The direction of the damage was continuously in an aft plane, there were deep scratches in some areas on the bottom where the plate was intact that indicated that the damage started from the bow and went aft and scratched the hull, that means -- indicates that it was -- it went right over a rock and scratched or scored deeply into the hull plating.

The indications of the bulkheads or the -- or the longitudinal bulkheads, so that they go transversely across the vessel, was that ...

Q What do you mean by transversely?

A Well, this vessel -- this is longitudinal, from fore to aft. Transverse is across the

1 vessel, abeam of the vessel. And there's  
2 bulkheads and there's web frames, web frames from  
3 here to there are 16 feet separation, and the  
4 longitudinal bulkheads separate the tanks from  
5 one to another.

6 (Indiscernible - away from mike)

7 A All right. So this would be a longitudinal  
8 bulkhead, this would be a longitudinal bulkhead,  
9 and it means it's intact, and there's no way any  
10 oil can pass from this to this. Unless they ...

11 Q In other words, they're solid bulkheads.

12 A Solid bulkheads.

13 Q What are the other bulkheads?

14 A These are just web frames, they're other  
15 stiffeners in the ship, and basically they have  
16 holes in them so that oil can pass through back  
17 and forth, and they give some rigidity to the  
18 hull structure.

19 Q How far apart are the web frames from each  
20 other?

21 A This is a web frame. This is 16 feet from one  
22 to the next, so that's -- that's what the ship is  
23 ...

24 Q Can you draw freehand, if you will, and tell  
25 the jury what a web frame looks like?

1 (Pause)

2 A What do I do with this?

3 Q Tuck it into your belt.

4 (Indiscernible - away from mike)

5 A All right.

6 Q Let me get that out of your way.

7 (Pause)

8 A Now, this is a wing tank, we call it. Port or  
9 starboard side. If you look at the ship in the  
10 transverse way, or -- you'd see this picture.  
11 And this is a center tank. And what a web frame  
12 is, is you would have steel, coming up like this,  
13 and other pieces -- this is a hole in the tank.  
14 And basically that's it. All this is steel. And  
15 the same in the center tank. This -- I'm not an  
16 artist. It's something like this. And this is  
17 all steel.

18 So you have holes in the -- in the web frame,  
19 again you'd have something like this over here,  
20 and all steel. So the oil can pass from one web  
21 frame to the other, for the entire length of the  
22 tank. And that's what a web frame is, and it  
23 runs transversely across the vessel.

24 So this would be, say, the starboard side, and  
25 this would be the port side, this would be a



1 center tank, and this would be a wing tank. This  
2 also would be a wing tank.

3 Q And of course the bulkhead separating the  
4 tanks from each other, that is, the number 1 tank  
5 from the number 2 tank, is a solid bulkhead.

6 A Yes, it would be all solid. All these holes  
7 would be solid plate.

8 Q Okay. Now, at the bottom -- you spoke about  
9 longitudinals. What do they look like?

10 A Well, a longitudinal would look like this,  
11 it's just -- if you look at the bottom of the  
12 -- of a ship, you'd have a T. And this  
13 approximately on the bottom of the Exxon Valdez  
14 is two feet, and this is about eight inches. And  
15 this would be the longitudinal on the outs --  
16 it's a -- it's a strength member that goes  
17 longitudinally from one tank to another, and it  
18 passes through each tank all the way back to the  
19 pump room.

20 Q In other words, the longitudinals are nothing  
21 more than I-beams.

22 A Yes.

23 Q What we would know as I-beams.

24 A Right. And in this case, the spacing between  
25 the I-beams all the way across is, I believe,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

three foot.

Q Okay. You can sit back down.

(1068)

(Pause)

Q Now, you were present, were you not, when Dr. Vorus testified?

A Yes, I was.

Q Or Professor Vorus.

A Yes, I was.

Q And you heard him speak about some bowing that he observed in the longitudinals? I think he called it splaying?

A Yes.

Q And you heard the opinion that he gave with respect to what might have caused that?

A Yes.

Q Do you agree or disagree with that opinion?

A Well, I disagree.

Q In what way?

A If I could go back to the chart, or just do it right here. The splaying that he was seeing is that this longitudinal went like this. And that longitudinal went like that. So she came apart. And that could have been caused by a few things. One, a rock forcing this plate up, pushing these

1 things, pushing the longitudinals aside.

2 Another thing could have been that, you know,  
3 the lowering of the tide just forced the  
4 longitudinals apart.

5 Q What does that mean, the lowering of the tide?

6 A Well, the rise and fall of the tide as she sat  
7 on the reef. It would just force the  
8 longitudinals apart and cause the splaying, as he  
9 called it.

10 Q Did you, when you looked at this area, did you  
11 see any evidence of rotational type damage?

12 A No. I didn't.

13 Q Do you recall Professor Vorus testifying that  
14 he saw some transverse damage, he described them  
15 as subtle scratches?

16 A Yes. I did.

17 Q When you looked at the ship, did you see any  
18 such damage?

19 A No, I didn't.

20 Q Let me show you Exhibit 146.

21 (Pause)

22 THE COURT: Mr. Chalos, they're all in the  
23 folder.

24 (Indiscernible - away from mike)

25 THE COURT: It's on top now, Mr. Chalos.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MR. CHALOS: Oh, sorry. Thank you.

Q (Mr. Hoffman by Mr. Chalos:) Professor Vorus identified Exhibit 146 as the picture on which he saw the subtle scratches that he described.

A Uh-huh (affirmative).

Q Will you take a look at Exhibit 146?

A Yes.

Q Do you see any subtle scratches of the type described by Professor Vorus?

A No.

Q What do you see in that picture?

A What I see is a weld seam.

Q One second. Your Honor, may I have the witness approach the jury to show?

THE COURT: Uh-huh (affirmative).

(Indiscernible - away from mike)

A This is the part of the vessel that Professor Vorus said that he saw the subtle scratch, and what he's talking about is this line right here. This is the transverse, it's going transversely across the vessel. What that is -- you see, this is a weld bead, when they put two plates together, and they have to weld it, they have to glue it together, and they weld it -- and they weld it, put the two plates together.

1           So this is in the longitudinal direction. You  
2           also have transverse weld seams. And what he's  
3           saying, if you could see the weld seam here, just  
4           follow it out, and what he's looking at is the  
5           weld seam, of the bead of the weld.

6           And when the rock -- you can see this  
7           longitudinal scratches -- the rock goes over --  
8           or the ship went over the rock, and it scraped  
9           the weld seam, to cause this problem here, this  
10          is what they call -- it'd be transverse, because  
11          the weld seam's below the plate, and you would  
12          see a transverse scratch.

13        Q           Did you see any evidence of damage caused by  
14           the vessel rotating in this area or any other  
15           area of the ship?

16        A           No.

17        Q           Okay, you may return to your seat. (Pause)  
18           Sir, after viewing the damage, do you have any  
19           opinion as to its cause?

20        A           It ...

21        Q           Yes or no?

22        A           Yes, I have an opinion, yes.

23        Q           Okay. So what is that opinion?

24        A           The opinion is that the initial impact, or the  
25           explosive impact with the ship and the reef,

1           caused the majority of the damage to the vessel.  
2           Subsequent to that, the rise and the fall of the  
3           tide caused more damage -- of the ship sitting on  
4           the reef. That's what ...

5           Q           Do you have any opinion as to whether any  
6                        further damage was caused to this vessel after  
7                        the grounding by the use of the rudder or  
8                        engines?

9           A           No, I don't.

10          Q           You don't have an opinion?

11          A           I don't have an -- I have an opinion that  
12                        there was no other damage caused.

13          Q           Were you present during the testimony of Mr.  
14                        Milwee (ph.)?

15          A           Yes, I was.

16          Q           Do you agree or disagree with his opinions  
17                        with respect to the tons aground?

18                       (1386)

19          A           No. I have no disagreement with that at all.

20          Q           In other words, you agree with it.

21          A           Yes, I do.

22          Q           Okay. Do you agree or disagree with the  
23                        calculations that he made as to the power of this  
24                        particular engine?

25          A           No, I agree with him.

1 Q Have you had occasion to look at the power  
2 curves of this engine?

3 A Yes, I have.

4 Q Tell us what you reviewed in that connection.

5 A The power curves have developed -- from the  
6 engine, they go through a shop test, in the shop  
7 test, you load it up to different RPM's, and you  
8 measure the power, and then ...

9 Q What is a shop test?

10 A Well, when the engine is complete, the main  
11 engine maker has to prove that this engine can  
12 develop so much power. So he does that in front  
13 of the supervisor that represents the owners in  
14 the vessel, and they have a shop test. And the  
15 shop test, they go through various positions on  
16 the engine, with the RPM, and they develop a  
17 power. And from that, they develop a speed/power  
18 curve. And that's used to determine the power,  
19 how she'll react with the hull when you put the  
20 propeller on.

21 The shop test is without a propeller, and it's  
22 -- they use a water break test, or a tension  
23 meter, to restrict the strength or the turning of  
24 the engine.

25 Q Did you have occasion to review those tests?

1 A Yes, I have.

2 Q And did you draw or prepare a chart on the  
3 basis of the test results?

4 A Yes, I have.

5 Q For the power of this engine?

6 A Yes, I have.

7 Q Let me show you ... (Pause) Okay, let me show  
8 you what we've marked for identification as  
9 Exhibit BA. Could you tell the jury what this  
10 is?

11 THE COURT: There's a pointer behind you, sir,  
12 in the corner.

13 A All right, this is the RPM of the engine.

14 Q What is an RPM?

15 A Revolutions per minute. She develops so many  
16 turns, and she'll develop so much power. Now,  
17 this is the main engine shop test line.

18 Q Is that the test results of the shop test?

19 A This is the test results of the shop test.  
20 And what you have on this -- on the Y axis, is  
21 the horsepower generated at different RPM. So  
22 what you do is you plot the revolutions per  
23 minute versus the horsepower to develop a curve  
24 of the main engine. And that's done at the shop  
25 test time.



1 Q What is the other line?

2 A The other line is the sea trial results. When  
3 the propeller is put on the shaft of the engine,  
4 it develops so much power. But what they do,  
5 typical engine builders and propulsion people, is  
6 they give it a margin. This is called a margin  
7 between the main engine shop test and the sea  
8 trial -- the propeller. In other words, this is  
9 with the propeller on the ship, this is the  
10 results of a water break test, or a tension  
11 meter, whatever else they're using on it.

12 This curve is developed from the sea trial  
13 results, after you -- if the ship's ready for  
14 delivery to her owners, they go through a series  
15 of progressive sea trials, 50 percent of the  
16 engine power, 75 percent, and so on and so forth.  
17 And typically, what this is is the margin between  
18 the main engine and the sea trials.

19 Q Why is there -- why does this margin exist?

20 A The margin exists because they're afraid of  
21 overloading the engine, so they make the  
22 propeller lighter, we call it, they change the  
23 pitch of the propeller and they make it lighter  
24 so it'll absorb less power for the same RPM.  
25 That's -- and what they do is they -- the margin

1 is used so they're afraid of the degradation of  
2 the hull, and they don't want the vessel to be  
3 operating above its limits at the same RPM.

4 Q So what would the crew be using, which of the  
5 data?

6 A They'd be using the data from the sea trial  
7 results.

8 Q Okay. Now, on the basis of the data you  
9 reviewed from both results, what is the maximum  
10 horsepower that this engine can generate?

11 A 31,600 horsepower.

12 Q And what is the horsepower that this engine  
13 can generate at 55 RPM?

14 A 55 RPM, 8,600 horsepower.

15 Q Why is there such a difference between the  
16 maximum and 55 RPM's?

17 A Well, as you see, this is a -- it's not a  
18 linear curve. If it was linear it'd be like  
19 this, but it's -- it's an exponential type of  
20 curve, it fits the -- fits the maintenance and  
21 shop test scores.

22 Q So the maximum horsepower that this engine  
23 could put out at 55 RPM's under the sea trial  
24 results is 8,600 ...

25 A 8,600.

1 MR. CHALOS: Your Honor, I offer Exhibit BA  
2 into evidence at this time.

3 MR. COLE: No objection.

4 THE COURT: Admitted.

5 EXHIBIT BA ADMITTED

6 Q (Mr. Hoffman by Mr. Chalos:) Now, we've also  
7 marked for identification Exhibit AZ. Could you  
8 tell us what this graph is? Did you draw this  
9 graph?

10 A Yes.

11 Q Okay, what is this graph?

12 A This is the speed/power and RPM curves, and to  
13 get an idea, the ship's officer, they get an idea  
14 how much power and speed he develops at a certain  
15 RPM, because most people talkin' revolutions per  
16 minute, "I want to go 55 revolutions, I want to  
17 go 60 revolutions." You can generate a curve  
18 that will tell you approximately how much speed  
19 you can develop and how much horsepower the  
20 engine is developing at the same time.

21 For instance, if you go to 61 RPM, then the  
22 RPM line, go down 61 RPM across, and you come  
23 down on this curve, and you can -- and you can  
24 see that it's about 12,000 horsepower, and your  
25 speed is about 11.9 knots. This curve was

1 developed, again, from the sea trial results.  
2 It's in the loaded condition.

3 Q At full RPM of 31,600, what is her top speed?  
4 A Top speed is 15.96. 31,600 is this line here,  
5 and you follow it down. The speed would be 15.96  
6 knots.

7 Q At 55 RPM's, what would her speed be?  
8 A 55 RPM, about -- oh, 10 and a half -- 10.5  
9 knots.

10 Q And her horsepower again at that ...  
11 A Would be 8,600.

12 MR. CHALOS: All right, Your Honor, at this  
13 time I offer Exhibit AZ into evidence.

14 MR. COLE: No objection.

15 THE COURT: Admitted.

16 EXHIBIT AZ ADMITTED

17 (1780)

18 Q (Mr. Hoffman by Mr. Chalos:) Mr. Hoffman, you  
19 were present during the testimony of Mr. Greiner?  
20 A Yes, I was.

21 Q Do you recall the testimony where he opined  
22 that this vessel hit twice before coming to --  
23 hit once and went over the rock and then hit a  
24 second time and stopped?  
25 A Yes.

1 Q Do you agree or disagree with that opinion?  
2 A I agree that it's probably a two-rock hit,  
3 yes.  
4 Q Do you have any opinion in that regard as to  
5 how the hitting occurred?  
6 A My opinion is most likely, the vessel hit --  
7 initial contact was somewhere between two and  
8 three. And ...  
9 Q Two and three, you mean tanks 2 and 3?  
10 A Cargo holds, yes. Cargo holds, yes.  
11 Q Okay.  
12 A And she came aft, and she came into the hull  
13 and went aft. Then the next hit was where she  
14 fetched up on the reef, and she destroyed the  
15 bow, and landed on number 2 hull -- number 2  
16 cargo tank, between number 2 and number 3.  
17 Because the ship had some momentum when she hit  
18 the initial, and then she would follow over and  
19 fetch up on the next reef.  
20 Q What do you base that opinion on?  
21 A The plans I've seen and the diagrams.  
22 Q Just on the plans and diagrams?  
23 A Yeah, and looking at the damage myself.  
24 Q At San Diego?  
25 A At San Diego.

1 Q Do you have an opinion as to what length of  
2 time it took between the first hitting and the  
3 second hitting?  
4 A No, I couldn't really give you -- I wouldn't  
5 know. I wouldn't really know.  
6 Q Now, you did some calculations with respect to  
7 the rise of the tide between the grounding and  
8 the next high tide, about 2:00?  
9 A Yes.  
10 Q How far did the tide rise between let's say  
11 midnight and two a.m.?  
12 A Between midnight and two a.m., the tide rose  
13 about three, three feet.  
14 Q And did you do any calculations to determine  
15 how far the tide rose between 1:40 a.m. when the  
16 engines were shut down and two a.m. when the tide  
17 was at its highest?  
18 A Yes, that's 17 minutes between 1:40, and I  
19 think it was -- it was one inch that the tide  
20 rose.  
21 Q Between 1:40 and 1:57?  
22 A Between 1:40 and 1:57.  
23 Q Now, you mentioned that you also reviewed  
24 tapes of the bottom damage that were taken at  
25 Bligh Reef and Naked Island?

1 A Yes.

2 Q Could you describe to us what you saw on those  
3 tapes?

4 A Well, again, the -- it was the video experts  
5 that were taking the tapes of the bottom, and  
6 there was a mass confusion in my opinion on how  
7 to take the pictures and the -- they were  
8 confusing weld seams and whatever, but basically  
9 what -- it showed a good detail of the damage  
10 done.

11 There was a tremendous amount of holing, and  
12 setups and defamation of the hull. There were  
13 also plates hanging down in the neighborhood of  
14 15 -- eight to 15 feet in some areas, just  
15 hanging down like a tongue out of the hull.

16 Q Were those plates hanging down sitting on the  
17 bottom?

18 A No.

19 Q Were they interfering with the bottom?

20 A No. At the time they were at Naked Island, so  
21 there's deep water there.

22 Q Okay.

23 A The time that I reviewed the tape from the  
24 -- at Bligh Reef, and you couldn't see the plates  
25 hanging down there, because the ship was on the

1 rocks at that time, and they didn't want to go  
2 under that far.

3 Q If the plates were hanging down at Bligh Reef  
4 and interfering with the bottom, would that  
5 create a situation where the vessel would be  
6 impaled?

7 A Yes, it would. The vessel would be impaled on  
8 Bligh Reef, because of the damage of the ship,  
9 yes.

10 (1976)

11 Q Now, do you agree or disagree with Mr.  
12 Milwee's (ph.) opinion that it would have been  
13 impossible for this vessel to move from the reef  
14 as she was grounded using her engines or her  
15 rudder?

16 A I agree this vessel was not moving at all.

17 Q It was impossible for it to ...

18 A It was impossible.

19 Q Now, you listened to Professor Vorus'  
20 testimony with respect to the four or five  
21 scenarios that he spoke about, had the vessel  
22 come off the reef?

23 A Yes.

24 Q Do you have an opinion with respect to the  
25 scenarios that Professor Vorus described?



1 A Yes, I do.

2 Q What is that opinion?

3 A Well, Professor Vorus went through his  
4 calculations with no consideration of what could  
5 have been done to protect the vessel from  
6 capsizing and sinking.

7 Q What do you mean, what could have been done?

8 A Well, there are certain things that can be  
9 done to the vessel to prevent it from capsizing  
10 and sinking. There's -- for instance, they could  
11 have done one of many things.

12 Q Who's "they"?

13 A The crew. The crew could have acted, and for  
14 instance, they could have added water to the  
15 portside ballast tank. I think Professor Vorus  
16 showed it -- the ship going to starboard, and  
17 then down, and then finally capsizing. The  
18 number 4 port tank is a ballast tank, which  
19 wasn't holed, so there was -- there's an area,  
20 you can fill up this tank, and what it does, if  
21 you look at it this way, the vessel's leaning  
22 this way, you fill up this tank here, obviously  
23 she's gonna come back a little bit. And that  
24 could possibly save the ship.

25 There's other things that could be done. The

1 aft peak is a tank that's in the extreme aft end  
2 of the ship, they could fill that, and that would  
3 prevent the ship from going down by the head.  
4 They also have wing engine room tanks that could  
5 be filled with ballast water, and again, it would  
6 be on the port side of the ship, could bring it  
7 back this way.

8 Q How would one go about ballasting number 4  
9 port or the after peak or the engine ballast  
10 tanks?

11 A Well, the engine room tanks have to be done in  
12 the engine room, by the engineers. There's a  
13 pump back there, and they can put the valves --  
14 put the pump on and pump the water in. The  
15 number 4 port wing tank is a -- it's a motorized  
16 valve that they just have to open. She has a  
17 hole, or a piece of pipe down here, and what  
18 happens, they can press a button in the cargo  
19 control room, and the valve will open, and it'll  
20 flood the tank.

21 So it's a press of the button, valve opens,  
22 the tank will start filling with water, up to the  
23 level of the depth of the water from the draft.

24 Q How long would that whole process take?

25 A Oh, it depends, I don't think it would take

1 too long. They also could use the ballast tank,  
2 I mean the ballast pump. The ballast pump is  
3 located in the pump room, and they could fill up  
4 the number 4 port with the ballast pump.

5 Q Well, when you say it wouldn't take too long,  
6 are we talking a matter of minutes?

7 A I didn't really do the flow regs on that, but  
8 I really don't think it would take that long to  
9 fill this up, because it's under pressure from  
10 the bottom of the ship, and there's a 20-inch  
11 pipe that is the flood pipe for this number 4  
12 starboard -- port tank. And also, you have the  
13 tank -- the ballast pump itself is 15,000 gallons  
14 per minute that could get pumped into this --  
15 into this port tank to relieve the heeling of the  
16 ship.

17 (2190)

18 Q And based on what Professor Vorus determined  
19 from his scenarios, in your opinion, if the  
20 number 4 port tank was filled up, and the other  
21 ballast tanks were filled as you described, in  
22 your opinion would the ship have floated at that  
23 point?

24 A I think so. In my calculations, we needed  
25 that -- the ship developed a list. A list is

1 this condition, or that condition. At a three-  
2 degree list, this ship is three to four feet down  
3 to the starboard side. You need 1,600 tons of  
4 ballast to fill this up. This tank is 10,000  
5 tons, so you need approximately 20 percent of the  
6 tank's capacity to fill this up to relieve a  
7 three-degree list.

8 If it's a five-degree list, means it's seven  
9 feet, or eight feet down, by the starboard side,  
10 and you need 4,000 tons on this side to relieve  
11 the list. So in my opinion that it could be done  
12 very quickly, the chief officer, chief mate was  
13 in the cargo control room, there's a clinometer,  
14 which gives you the angles that the ship is  
15 listing right there on the bulkhead, and you  
16 would see what was happening. There's also a  
17 clinometer up in the wheelhouse. The Captain  
18 could see what was happening, what was happening  
19 to the ship, and that could prevent the capsizing  
20 ...

21 Q What is the clinometer that you're speaking  
22 of?

23 A A clinometer is on the centerline of the ship,  
24 and it has -- basically it's just a pendulum, and  
25 it's a weight at the bottom, and it gives you the

1 degrees of list either to port or starboard.

2 Q When we say list, we mean ...

3 A Heel.

4 Q ... the angle that the ship is heeled over.

5 A Yes.

6 Q The options that you spoke about, were they  
7 viable options for the crew?

8 A Yes.

9 Q Now, was there anything else that the crew  
10 could have done, besides ballasting down to keep  
11 this vessel afloat?

12 A There's a few other things. I think you've  
13 heard that the ship has an inert gas system. The  
14 inert gas system could pump air into the tanks,  
15 the center tanks, the cargo tanks, and keep a  
16 pressure, a head, on those tanks to prevent water  
17 or oil from seeping in, because it -- Professor  
18 Vorus' calculation, he said 75 minutes, or 85  
19 minutes for the ship to finally -- you know,  
20 capsize.

21 It's a matter of minutes to turn on the inert  
22 gas system, it's a matter of minutes to turn on  
23 the ballast pumps, either in the engine room or  
24 in the pump room, so you're talking minutes and  
25 hours and I really don't think it would capsize.

1 Q In your opinion, were there sufficient viable  
2 options for this crew had the ship come off the  
3 reef to keep it afloat?

4 A Yes, there are.

5 Q Was there anything else the crew could have  
6 done besides the IG system and the ballasting?

7 A Well, they also could try their best to blank  
8 up the air vents that are controlling the flow of  
9 the oil or water in.

10 Q Is that from the pressure vacuum valves?

11 A Pressure vacuum valves, and also the air  
12 valves themselves at the ballast tanks. They  
13 also could use the ballast pump -- number 4  
14 starboard tank was mostly a seepage problem. It  
15 wasn't holed that much, and what they could have  
16 used is the ballast pump to control the flow of  
17 water into that tank, basically keep it dry, and  
18 pump the seepage of the water in that tank  
19 overboard.

20 Q What effect would that have had?

21 A Well, again, that -- you're seeing the ship  
22 -- she's heeled here, and the ballast pump was  
23 used to deduct the water out of the ship, and  
24 relieve this weight, and then she would come back  
25 up.

1 Q So under that scenario, under the scenario of  
2 using the inert gas system, and under the  
3 scenario of using the ballast tanks on the port  
4 side and in the after peak and engine room, it's  
5 your opinion that the vessel would have stayed  
6 afloat if she came off the reef?

7 A That's correct.

8 Q Professor Vorus used, I think, four or five  
9 scenarios, and in four of the five, the vessel  
10 sank. In your opinion, were there any number of  
11 other scenarios that could have been done?

12 A Yes, the -- just as I described, use the fore  
13 port, ballast it, deballast fore starboard, use  
14 the inert gas system, keep a pressure on the  
15 tanks, and the ultimate would be the Captain  
16 driving the ship back into the rocks, that would  
17 be the last recourse before she completely sank.

18 Q Sir, you prepared a report, did you not, of  
19 your visit to San Diego?

20 A Yes, I did.

21 Q And in this report you render your opinion of  
22 what you saw, and you've taken some pictures?

23 A Yes.

24 Q Is this the report that you've prepared?

25 A Yes.

1 Q Does that report constitute your findings at  
2 San Diego?

3 A Yes, it does.

4 (Indiscernible - side conversation)

5 MR. CHALOS: I've marked the report that the  
6 witness has just identified as Defendant's Exhibit BB,  
7 at this time I offer it into evidence, Your Honor.

8 MR. COLE: I object, it's hearsay and merely  
9 illustrative of his testimony.

10 THE COURT: Mr. Chalos?

11 MR. CHALOS: Your Honor, I don't believe that  
12 a report of an expert in which he sets forth his  
13 opinions, contains pictures of his visit to San Diego  
14 which are basically the same type of pictures that were  
15 taken by the other experts that Mr. Cole introduced  
16 here and were admitted into evidence, I think it's in  
17 the nature of the same type of document.

18 THE COURT: Objection sustained.

19 MR. CHALOS: I have no further questions of  
20 this witness at this time.

21 (2570)

22 CROSS EXAMINATION OF MR. HOFFMAN

23 BY MR. COLE:

24 Q Good morning, Mr. Hoffman, how are you?

25 A Good morning.



1 Q I'd like to talk a little bit about the extent  
2 of the damage that you observed during the course  
3 of this case. You were hired by Mr. Chalos when?  
4 A I think the initial contact, Mr. Chalos called  
5 me, I was in Korea in June, so I think it was in  
6 August. July or August, last year.  
7 Q And my understanding is that you reviewed --  
8 you went to San Diego to take a look at the  
9 damage some time in September.  
10 A Yes.  
11 Q Of last year.  
12 A Yes.  
13 Q You reviewed tapes done by divers.  
14 A Yes.  
15 Q And those were pretty much the essential areas  
16 of your observations of the Exxon Valdez. Plus  
17 you reviewed, I guess, some diagrams.  
18 A Yeah, plans, and -- plans I looked at, and  
19 power curves, and rain (ph.) engine shop tests,  
20 model tests, etcetera.  
21 Q You didn't visit the Exxon Valdez in Prince  
22 William Sound?  
23 A No, I didn't.  
24 Q You didn't visit while it was on Bligh Reef.  
25 A No, I didn't.

1 Q Or at Naked Island.  
2 A No.  
3 Q Okay. You didn't visit it when it was outside  
4 of San Diego, waiting to come into dry dock?  
5 A No, I didn't.  
6 Q In fact, the first time you actually saw the  
7 vessel was in San Diego, correct?  
8 A That's correct.  
9 Q And when you looked at the tapes of the divers  
10 that were taken while the vessel was at Bligh  
11 Reef, when were those tapes done?  
12 A I believe it was March 26, 27, something  
13 around there.  
14 Q The grounding happened on the 24th, so it  
15 would have been two, three days later?  
16 A It was after, yes.  
17 Q Several days after.  
18 A Yes.  
19 Q And there had been how many high and low tides  
20 during each day? After the grounding?  
21 A Two.  
22 Q So the vessel would have gone up and gone down  
23 on and sat, squatted on the rock, so to speak,  
24 four times between the time of the grounding and  
25 the time of the tapes that you saw.

1 A That's correct.

2 Q So the divers' tapes didn't show you the  
3 damage that was done by the initial grounding, it  
4 showed the damage that had been done by the  
5 initial grounding, and the squatting on the rocks  
6 for three to four days.

7 A That's correct.

8 Q And you don't have any way of knowing the  
9 damage that was done, exactly, by the grounding  
10 itself?

11 A Well, I do know that the rising and lowering  
12 tide wouldn't cause plates to be separated from  
13 the hull. The damage that you see that's caused  
14 by rising and lowering of the tide would be some  
15 defamiation setups.

16 Q That's crushing, basically, right?

17 A Into the -- crushing, right. The plates  
18 themselves would be -- were separated from the  
19 hull, and that wouldn't be caused by the rising  
20 and lowering of the tides.

21 Q Well, you must have some opinions that when  
22 this vessel went through the rocks, and became  
23 grounded that early morning of the 24th, it tore  
24 up a lot of the plates on the bottom of the Exxon  
25 Valdez, correct?

1 A Yes.

2 Q And I'm sure that those plates would have --

3 some of them would have actually been torn away

4 from the fabric of the hull.

5 A Yes.

6 Q And they would have been hanging down.

7 A That's correct.

8 Q And there would have been a lot of mangled

9 steel down there too.

10 A Yes.

11 Q And there also would have been contact with

12 the reef at that time, right?

13 A That's correct.

14 Q Because as you said, it's impaled, right?

15 A It's impaled, it's sitting on the reef.

16 Q Now, you know that there was a storm that hit

17 three days afterward, correct? After the ship

18 was in ...

19 A Yes, I read or I heard that the -- it happened

20 on Sunday, I think, that the storm kicked up and

21 she spread.

22 Q And that caused the vessel to twist, correct?

23 A I don't know.

24 Q Well, do you think the vessel stayed there, or

25 ...

1 A I think that -- I have no opinion on that, I  
2 have no reason to believe she twisted, no one  
3 -- I didn't see any documents to that fact.

4 Q And, now, you see damage of crushing. Is that  
5 correct?

6 A Yes.

7 Q And you don't know what damage existed before  
8 that crushing took place, right?

9 A Well, I do know the two rocks embedded in the  
10 steel were not caused by crushing. They were  
11 embedded in the steel.

12 Q That was up at the -- close to the fore peak,  
13 right?

14 A That was in number 1.

15 Q In number 1?

16 A Starboard. Uh-huh (affirmative).

17 Q But most of the crushing actually occurred in  
18 tanks 2 and 3.

19 A The crushing -- yeah. Yes. Exactly.

20 Q Okay, so you don't know what damage was done  
21 around tanks 2 and 3 before the crushing.

22 A No.

23 Q And if you've got metal hanging down from the  
24 bottom of a vessel, and if you've got that vessel  
25 making contact with the bottom, and if you've got

1 the vessel twisting, turning, maneuvering back  
2 and forth, you'd expect to see damage from that,  
3 wouldn't you?

4 (2896)

5 A No. Because the damage that I saw that wasn't  
6 caused by crushing were the transverse web  
7 frames. At the bottom connection, it was buckled  
8 aft, which means that to cause that to happen, it  
9 had to -- something had to push it aft. The  
10 rising and the lowering of the tides would not  
11 cause a buckling in the aft direction on the web  
12 frames. The same thing with the -- the  
13 transverse bulkheads. They were set up in the  
14 aft direction, means it was going backwards.

15 Q Do you recognize that?

16 A Yes, I do.

17 THE COURT: Are we referring to Exhibit 1?

18 MR. COLE: 103.

19 THE COURT: Thank you.

20 Q In Exhibit 103, in the area of -- where was  
21 the reef, or the vessel impaled?

22 A The reef was in this area. This is number 2,  
23 and that's number 3.

24 Q And you ...

25 A So in the area of longitudinal -- transverse

1 bulkhead 23, up to say 13.

2 Q And you're saying that in that area, the  
3 vessel was in contact, the ship was in contact  
4 with the ground.

5 A Yes. Various times, with the high and low  
6 tide, yes.

7 Q Well, after the grounding.

8 A After the grounding, yes.

9 Q And if you twisted the vessel, it wouldn't  
10 cause any damage, even though it's in contact  
11 with the ground.

12 A No, because the damage was already created,  
13 damage was already there.

14 Q It's not doing any more damage?

15 A I don't think so.

16 Q So the twisting motion doesn't cause anything  
17 to happen?

18 MR. CHALOS: Your Honor, it's been asked and  
19 answered, he asked it three times.

20 THE COURT: Think so, Mr. Cole.

21 Q Mr. Chalos asked you to make a report for this  
22 case?

23 A I think I asked him if I should make a report,  
24 and he said yes.

25 Q Now, you've never testified before, have you,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

on how damage has been caused to a vessel that's run aground on rocks?

A No, I haven't.

Q In fact, the times that you've testified, the three times in court you testified about a woman on a passenger ship that broke her arm, correct?

A Yes.

Q One of 'em. In another one, you testified about another woman on a ferry who broke her nose and lost some teeth, it was a personal injury case, correct?

A That's correct. Personal injury cases in court.

Q And the last one was a woman who had a door that hit her in the behind, or something like that?

A Uh-huh (affirmative).

Q In the arbitration cases, you testified once about a ship design having to do with a no-defect in cargo handling equipment, correct?

A Yes, they had a defect in the cargo handling equipment.

Q And the other arbitration case that you testified in as an expert was where you had determined the amount of fuel oil of a ship from



1 Nigeria to the Bahamas.

2 A Amount of fuel oil consumed by the main engine  
3 from Nigeria to the Bahamas. That's correct.

4 Q In fact, you've only seen one ship that's run  
5 aground, been actually, personally seen one ship  
6 out in the ocean that's run aground.

7 A That's correct.

8 Q And that was one that was blown off its  
9 moorings by a typhoon, correct?

10 A That's correct.

11 Q And you weren't asked to render any opinion in  
12 that case.

13 A No, I wasn't.

14 Q You just went out to look at it for your own  
15 personal ...

16 A That's correct.

17 Q ... gratification, correct?

18 A That's correct.

19 Q And you gave some opinions about Professor  
20 Vorus' calculations, is that correct?

21 A Uh-huh (affirmative).

22 Q Did you design a computer program to simulate  
23 what would happen?

24 A No, I didn't.

25 Q You didn't.

1 A No, I did not.

2 Q Actually, you testified about a number of the

3 things that the crew could have done, correct?

4 A Yes, I did.

5 Q But the fact is that you only have a third

6 engineer's license, correct?

7 A That's right.

8 Q And the last time you sailed on a ship was in

9 1971.

10 A No. I've sailed on ships every time we have

11 sea trials. I'm responsible for them, I'm

12 responsible for the entire operation of the sea

13 trials of vessels on diesel ships from 1973 on.

14 Q But you never sailed as a seaman since 1971.

15 A No. I haven't.

16 Q Those were just trials. Right?

17 A I'd like to say they're more than trials.

18 Q Well, that's all they are, they're just making

19 sure that the ship you build is up to the -- what

20 you've been asked to do, correct?

21 A That's correct.

22 Q There's generally -- you're not going from

23 port to port.

24 A No, you're going from point to point.

25 Q And you weren't even actually handling those

1 ships when you were on the trials, were you?

2 A I was responsible for the owner's side of the  
3 trials.

4 Q You were just responsible to be there, and  
5 watch and make sure that everything went  
6 according -- as it had been required.

7 MR. CHALOS: Your Honor, I think Mr. Cole is  
8 haranguing the witness.

9 THE COURT: Objection overruled.

10 Q You were just required to make sure that the  
11 owner's interests were fulfilled. Right?

12 (3223)

13 A I was required to make sure the ship performed  
14 up to its specifications, and to protect the  
15 owner's interest in the building of the ship.

16 Q But you weren't actually the one giving the  
17 instructions on how to maneuver the vessel.

18 A I was the one that was required to go through  
19 the sea trial plan. And the sea trial plan  
20 details everything that belongs -- that is  
21 required by the sea trials. Which means the  
22 steering gear test, the anchoring test, the  
23 endurance test required by classification  
24 societies, the speed trial, the winch pull test,  
25 and the cargo system to make sure she performed

1 up to its capability and specifications.

2 Q But there was a captain there that was running

3 the ship for you.

4 A The captain was hired by the shipyard, yes.

5 Q Now, you've never been involved in a salvage

6 operation either, have you?

7 A No, I haven't.

8 Q You don't know what it takes to salvage a

9 vessel.

10 A No. Not at all.

11 Q You've never been on a ship that's grounded.

12 A No, I haven't.

13 Q So you really don't have any first-hand

14 knowledge on what it takes to refloat a vessel.

15 A No. I don't.

16 Q You don't have any first-hand knowledge on

17 what it takes to keep a vessel floating after

18 it's been damaged.

19 A Well, there's -- well, after it's damaged,

20 there are certain things you can do to the

21 vessel. First-hand knowledge, no, but design

22 knowledge and -- I do have.

23 Q But no first-hand knowledge.

24 A No.

25 Q One thing, you indicated that if the captain

1 wanted to go outside to take a look, if he went  
2 down the hallway and turned right, he didn't go  
3 up the stairs but just kept going straight,  
4 right?

5 A No, he had to make a right turn, then -- no,  
6 he had to make a left turn, then a right turn, to  
7 go ...

8 Q Yeah, a left turn outside this door and then a  
9 right turn -- you go straight back.

10 A Right turn -- along the passageway, to  
11 straight -- to the exit, on the -- on his deck.

12 Q And that just shows him where -- that just  
13 looks at where the vessel's been, right? That  
14 looks aft. From that position.

15 A Yeah.

16 Q So he can't see where it's going, if he walks  
17 out.

18 A Of course. He can't see anything.

19 Q Now, you have worked for Mr. Chalos in the  
20 past, haven't you?

21 A Not for Mr. Chalos, for his firm, yes.

22 Q Mr. Chalos' firm does maritime work in New  
23 York, right?

24 A Yes, they do.

25 Q And you were paid by them in the past?

1 A Yes, I have been.

2 Q Was it the same fee schedule as in this case?

3 A I think it was a little less.

4 Q You're getting paid more for this case.

5 A In one instance, this was -- I guess it was  
6 '81, or -- no, '84 or '85, I was paid less, and  
7 in the second instance the exact same.

8 Q So you've worked for him twice in the past.

9 A Yes, I have.

10 Q Do you expect to continue doing consulting  
11 work in the future?

12 A Of course.

13 Q I didn't quite understand, how much have you  
14 billed Mr. Chalos up to this point?

15 A I haven't billed him -- nothing.

16 Q You've not billed anything.

17 A No. As I said before, the most time I spent  
18 has been up in -- up in Anchorage. The previous  
19 ...

20 Q That's been at \$500.00 a day?

21 A Well, sometimes I -- I came out here two weeks  
22 ago Wednesday. That's because I had a job to do,  
23 I had to inspect a ship in Portland, Oregon. So  
24 when I came up here, to Anchorage, I sat in on  
25 the testimony, but I also wrote a report for the

1 people back in New York that asked me to inspect  
2 the ship in Portland. So that has taken some of  
3 my time. You know, I didn't really calculate how  
4 much time I would bill to Mr. Chalos, nor the  
5 other people. Because the report, I would say,  
6 took possibly two days to write.

7 Q How much do you expect to bill Mr. Chalos?

8 A Well, if I've been here, let's say 15 days,  
9 that and including the San Diego trip, one or two  
10 days in October, November, December last year,  
11 maybe four or five days looking at those tapes,  
12 couple days preparing, I'd say it was -- I don't  
13 know, 20, 30 days. So that would be 20 -- 10 to  
14 \$15,000.00.

15 Q And then on top of that you get your expenses.

16 A Yes.

17 Q One point, I just want to clear up one point  
18 that maybe you misspoke or maybe I misheard. The  
19 longitudinals, they run lengthwise, right? Not  
20 this way.

21 A That's right.

22 Q Okay. I just wanted to make sure. (Pause)  
23 Let's see. I'd like to go back to this -- you're  
24 an engineer, is that right? Basically.

25 A Well, my initial training was as an engineer.

1 At Kings Point you take -- United States Merchant  
2 Marine Academy you also take, you know, cargo  
3 systems and officer curriculum.

4 Q You worked with engines in your job, in fact,  
5 you told the jury that you put engines in some of  
6 the vessels that you design, correct?

7 A I put engines in all the vessels I design.

8 Q Okay. You have a pretty good understanding of  
9 engines.

10 A Yes, I do.

11 Q Feel that you're an expert in them.

12 A Well, I ...

13 Q Engine design and what engines should go in  
14 certain types of vessels, how about that?

15 A Yeah, I would say I know basically what engine  
16 is recommended and what engine you could put in a  
17 ship like this, yes.

18 Q Are you familiar with car engines at all?

19 A That's a gasoline engine. Don't deal with  
20 them.

21 Q Don't deal with them at all.

22 A No.

23 Q You're from Merrimack?

24 A No. Merrick. M-e-r-r-i-c-k.

25 Q Is there snow in Merrick?

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661



1 A Snow?

2 Q Do you have snow at all?

3 A Oh yeah. It's part of New York State.

4 There's some snow.

5 Q Do you ever get stuck in your car? In the

6 snow?

7 A Haven't in a long time.

8 Q Well, let's say the last time you did, do you

9 remember when you got stuck?

10 A I really don't remember.

11 Q Well, let me give you a situation, let's say

12 you did get stuck.

13 A Okay, I got stuck.

14 Q If you wanted to get out, would you push the

15 accelerator full ahead? You know, right to the

16 floor, to get out?

17 (3735)

18 MR. CHALOS: Your Honor, I object to the

19 relevance of this.

20 MR. COLE: I can tell you the relevance very

21 simply.

22 THE COURT: No, I don't want to hear the

23 relevance. We're talking about a 900-foot tanker and

24 not a car, Mr. Cole, so there is no relevance in my

25 opinion. Go to the next question.

1 Q (Mr. Hoffman by Mr. Cole:) Well, what would  
2 the RPM be on the Exxon Valdez 24 minutes after  
3 you put it on load program up?  
4 A 24 minutes after load program up?  
5 Q Uh-huh (affirmative).  
6 A It takes approximately 45 minutes to reach  
7 full sea speed, so you're talking about 55 RPM up  
8 to 82 RPM, let's say it's 30 RPM, so that'd be  
9 about half, 15, it would be about 70 RPM.  
10 Q And when you talked about the available power,  
11 in this, right here, you compared the RPM on the  
12 power of what it would be at approximately, what,  
13 60, 61 RPM to what it would be at 82 RPM? Is  
14 that correct?  
15 A Well, you can say ...  
16 Q Actually it's 55.  
17 A You can say I just drew in 61 so you'd have an  
18 idea. At 55 RPM on sea trial results, you'll  
19 develop a power of approximately 8,600  
20 horsepower. At 61 RPM, revolutions per minute,  
21 come up on the curve, you go over, you'll develop  
22 about 12,000 horsepower. And the same at 82.6,  
23 she'll develop maximum at 31,600 horsepower.  
24 Q Would you explain to the jury what cavitating  
25 means?

1 A The power is designed for certain cavitations.  
2 A cavitation is nothing more than -- well,  
3 there's a lot of -- there's varying degrees of  
4 cavitation. Typically an engine or a ship like  
5 this would be designed for a back cavitation,  
6 which is a degradation of the back side of the  
7 blade, and you'd get bubbles and air back there,  
8 and that's cavitation. It'll reduce the power of  
9 the -- that the propeller is utilizing.

10 Q What happens when a tanker is hung up on  
11 rocks? Does that cause cavitation?

12 A It could cause cavitation.

13 Q Explain to the jury what that means.

14 A Well, the propeller wouldn't develop as much  
15 power.

16 Q So if it was hung up on the rocks, it wouldn't  
17 necessarily, if you put it to load program up, it  
18 wouldn't necessarily generate 31,600 horsepower.

19 A Well, it would reduce it slightly, but it  
20 wouldn't reduce it tremendously.

21 Q What happens to the engine of a tanker when  
22 it's sitting hung up on a rock, and you put it to  
23 load program up?

24 A Well, it would continue to go load program up  
25 until something happened.

1 Q It overheats, doesn't it?  
2 (3997)  
3 A It could happen.  
4 Q Have you read the testimony of any of the  
5 witnesses in this case?  
6 A Mr. Kunkel's.  
7 Q Did you happen to read Mr. Glowacki's  
8 testimony?  
9 A No, I didn't.  
10 Q Would it surprise you that Mr. Glowacki says  
11 that he noticed the engine overheating shortly  
12 after the vessel hit the rocks?  
13 A Well, I imagine the vessel was on the rocks,  
14 and the engine was still running after she hit.  
15 That could have contributed to it.  
16 Q And an overheating engine is not a good thing,  
17 is it?  
18 A Well, you have safeguards on the engine to  
19 protect the engine from overheating.  
20 Q But it can ruin an engine if you run it too  
21 long at a -- overheating situation, correct?  
22 MR. CHALOS: Your Honor, I'm going to object,  
23 Mr. Cole is mischaracterizing Mr. Glowacki's testimony.  
24 Mr. Glowacki didn't say the engine overheated, what he  
25 said was the lube oil alarm went off, showing that

1 there was a high temperature in the lube oil. That's  
2 not the same as overheating in the engine.

3 THE COURT: The objection to the last  
4 question?

5 MR. CHALOS: Yes, the premise ...

6 THE COURT: You asked him if it could ruin an  
7 engine if it overheated, I think is what his question  
8 was.

9 MR. CHALOS: But Your Honor, I wouldn't object  
10 to that generally, but I think that Mr. Cole has been  
11 mischaracterizing the Chief Engineer's testimony, or  
12 mischaracterizing, and that's what I'm objecting to.

13 THE COURT: Okay, that question's already been  
14 asked and answered, the objection overruled as to this  
15 last question, you may proceed.

16 (Tape: C-3663)

17 (0049)

18 Q (Mr. Hoffman by Mr. Cole:) ... either forward  
19 or backward. Correct?

20 A That's correct.

21 Q I would like you to -- let me get this out of  
22 your way.

23 A Sure.

24 Q Take this marker and explain to the jury your  
25 theory of how the damage occurred to the tanker.

1           How it hit the first rock. Second rock. Can you  
2           show it up on the -- with a diagram?

3           A           Yeah, I guess. (Pause) This -- and this ...  
4                       (Pause) All right, this is one, two, three,  
5                       four, five.

6                       What I think happened is that she was gonna  
7                       turn. And possibly a rock entered around here,  
8                       continued 'cause the vessel was swinging to  
9                       starboard, and exited there. She continued,  
10                      slightly, to the next rock or the next reef, and  
11                      it crushed the bow and landed in this area.

12          Q           Okay, thank you. You may sit down.

13                      So you didn't see evidence -- you sat through  
14                      the other witnesses' testimony, then, correct?

15          A           Yes.

16          Q           The experts that talked about the damage.

17          A           Yes.

18          Q           And you heard them say that they saw a tunnel  
19                      from about the fore peak, that ran through the  
20                      center tanks and all the way out the aft section  
21                      on the starboard side.

22          A           Yeah. I mean, this is just schematic. The  
23                      tunnel is -- that they were talking about was  
24                      this -- I assume that this caused the damage to  
25                      the fore peak, and number 1, and landed on number

1           2 and 3. And that embedded the rocks in number 1  
2           tank, the two big rocks. The initial hit -- it  
3           could have -- could have come here, or -- I  
4           believe it probably entered here some place  
5           around here.

6           Q           Well, if it entered right there, some place  
7           around there, the first one, did you do any  
8           calculations on the amount of turn that the ship  
9           was in?

10          A           No.

11          Q           Basically what you're saying is that this ship  
12          was making a turn about this point right in here,  
13          is that correct, somewhere ...

14          A           Well, you know, whether ...

15          Q           ... something like that, you'd have to be  
16          making a turn like that.

17          A           Well, whether it started here and continued  
18          -- or here and continued, I wouldn't know.

19          Q           But you didn't see a continuous line from the  
20          front all the way to the end.

21          A           I saw continuous damage. But to say that this  
22          was continuous, I really couldn't tell you.

23          Q           Now, finally you gave some opinions about  
24          Professor Vorus' computer-simulated, for lack of  
25          a better word, sinkings.

1 A Scenarios.

2 Q Scenarios. Okay?

3 A Uh-huh (affirmative).

4 Q But you didn't do any computer-simulated  
5 scenarios yourself.

6 A No, I didn't.

7 Q You didn't even -- did you call Professor  
8 Vorus and talk to him about how he arrived at  
9 these conclusions?

10 A No, I looked at his calculations.

11 Q Were there anything wrong with the  
12 calculations that you saw?

13 A The thing that was wrong with his calculations  
14 is that he didn't consider actions by the crew.  
15 I really sincerely doubt that the crew would sit  
16 there and watch the ship sink.

17 Q Well, let's talk about that. You said that  
18 what he could have done is -- one of the things  
19 he could have done was added water to the aft  
20 peak, is that correct?

21 A Yes.

22 Q So if he adds water to the aft peak, and he's  
23 got a full fore peak, and he's got two full  
24 starboard tanks, ballast tanks, what keeps this  
25 vessel floating?



1 A If you add water to the aft peak, it will  
2 release the trim of the vessel by the head  
3 slightly. This tank full is about 2,500 tons,  
4 the fore peak full is about 6,500 tons. So the  
5 net difference is about 4,000 tons forward. And  
6 that would be it.

7 Q It's true, isn't it, that the aft is -- the  
8 reason you have a ballast tank there is partially  
9 to provide flotation for the vessel, buoyancy?

10 A The aft peak tank?

11 Q Yes.

12 A It provides flotation to the vessel and also  
13 -- arrangement of the trim. In certain instances  
14 you want to be -- coming into port, for instance,  
15 you want to be on an even keel, and if you're  
16 trimmed by the head, you just trim the aft peak  
17 and the engine room tanks down a little bit, and  
18 you can get an even keel situation.

19 Q Well, under your theory, you could also flood  
20 the engine room, and that'd bring up the fore  
21 peak too, wouldn't it?

22 A Come on ...

23 Q Well, isn't that right, under your theory?

24 A That's not a logical conclusion, the crew  
25 would never flood the engine room to bring up the

1 fore peak.

2 Q The next thing is you indicated you could open  
3 up a pipe on the pump side, and allow water to  
4 come in, is that correct?

5 A No, I indicated that there's a -- it's a  
6 flood, a flood control valve. There's a valve in  
7 number 4 port, as well as number 4 starboard, and  
8 number 2 ballast tanks. That is a motorized  
9 control valve that you press in the cargo control  
10 room that opens up the butterfly valve, and the  
11 water from the bottom will flood the tank.

12 Q Okay. But it doesn't pump water into the  
13 vessel.

14 A No. There's two ways you can get water into  
15 the ballast tank ...

16 Q I'm just talking about that one scenario right  
17 there.

18 A Yeah, that one, yes, it would flood the tank  
19 up to the level of the draft of the ...

20 Q But water only comes in at a rate that air can  
21 go out, correct?

22 A Yeah.

23 Q So if there's restrictions on the -- up above,  
24 water can only come in as much as the air is  
25 allowed to go out, right?

1 A That's correct.

2 Q What's the volume of the port ballast tank?

3 A About 10,000 tons.

4 Q No, the volume.

5 A I don't remember, to tell you the truth.

6 Q So you don't know how long, then -- you said

7 that to pump water into -- the pumps pump about

8 15,000 gallons per minute, is that correct?

9 A Yeah. That's the ballast pump. That's in the

10 pump room.

11 Q You don't know, then, how long it would take

12 to pump up the port ballast tank.

13 A Well, if you open up the valve, the water

14 starts flooding in, and if you pump -- use the

15 ballast pump, 15,000 gallons per minute is

16 approximately 35,000 tons per hour.

17 Q And in your opinion, this would have floated,

18 if he had done that?

19 A Yes.

20 Q But you didn't run any calculations.

21 A I ran a calculation to determine how many tons

22 he needed at different list conditions.

23 Q But that only corrects for the list, is that

24 correct?

25 A It corrects for the list and it also -- since

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

number 4 is aft of amidships, it would correct the trimming.

Q But that doesn't stop the water from coming in, it just slows it down. Correct?

A Well, it would stop it up to the level of the draft forward. In other words, there'd be a -- if your ship is heeling, or trimming by the head and heeling to the starboard, and you put water in number 4, which is aft of amidships -- midship point, it will have a tendency to bring the ship up on the trim and over on the heel.

Q But you still are in a condition where it's only slowing down the intake of the water, correct?

A Slowing down the intake -- well, no, it would stop the intake of the water. The water will actually flow out of here, because you're lifting the ship up. Be less water in there.

Q Now, your assumption is that the crew would have been doing all this at the time that this was happening, correct?

A I know the crew would have done something, they wouldn't have watched the ship sink.

Q But there is no evidence that they did any of this stuff on this particular night, is there?

1 A Well, the ship was impaled on the rocks,  
2 wasn't going anywhere. Some other scenario.

3 Q I have no further questions.

4 MR. CHALOS: Well, I just have a few minutes,  
5 it's about 10 after 10, shall we take a break?

6 THE COURT: Yeah, let's take our break. Don't  
7 discuss this matter among yourselves or with any other  
8 person, or form or express any opinions.

9 (0464)

10 (Off record - 10:10 a.m.)

11 (On record - 10:30 a.m.)

12 (Jury not present.)

13 THE COURT: I understand you needed to take  
14 something up before the jury comes in, Mr. Cole?

15 MR. COLE: Yes. Your Honor, while we were out  
16 in the hallway, I was just accused of passing a threat  
17 along to a witness by an Exxon attorney. I want to  
18 bring something to the court's attention, I believe I  
19 have an ethical obligation to -- we had an interview  
20 with a tanker captain last night who works with Exxon  
21 in the presence of Mr. Chalos and Mr. Russo, talking  
22 about how he interpreted the rules of the Coast Guard,  
23 and they are different than the Coast Guard interprets  
24 those rules.

25 After the interview, we thought about it this

1 morning, just to make sure in our own mind that there's  
2 no problem arising out of that, we contacted the Coast  
3 Guard to ask what they would do if tanker captains  
4 testified that they were routinely doing things that  
5 were not within the rules.

6 THE COURT: Now, you say "we contacted," is it  
7 you personally, or ...

8 MR. COLE: That's Trooper Stogsdill, did it.

9 THE COURT: Okay.

10 MR. COLE: Sergeant Stogsdill. He's not in  
11 the courtroom right now, but my understanding is when  
12 he told that -- the Coast Guard had indicated that they  
13 had thought about that, and that they would or might  
14 look into it, was my understanding.

15 Our instructions all along have been, when  
16 dealing with Exxon officials, to talk with Exxon  
17 attorneys. And so I passed that along under an  
18 obligation that I felt I had under the case law ...

19 THE COURT: Passed what on?

20 MR. COLE: That information that I just gave  
21 you. To an Exxon attorney, I did not talk to the  
22 witness, haven't talked to the witness ...

23 THE COURT: You passed on information, what  
24 information did you pass on? I'm sorry, I don't  
25 understand.

1 MR. COLE: I just told the Exxon attorney that  
2 we had interviewed this person, that there was an  
3 indication that his practices might be different than  
4 what the Coast Guard regs, pilotage regs are, and that  
5 he should be aware of that. And that we had contacted  
6 the Coast Guard, and they indicated they might take  
7 action.

8 THE COURT: Is that what they said, they might  
9 take action?

10 MR. COLE: They might look into it. I'm  
11 sorry, they might look into it.

12 THE COURT: What did you tell the Exxon  
13 attorney, they might take action, or they might look  
14 into it?

15 MR. COLE: That they might look into it.

16 THE COURT: Okay.

17 MR. COLE: I just want to get that on the  
18 record. I mean, I felt that that was my obligation.

19 THE COURT: All right, it's on the record.

20 MR. MADSON: Your Honor, I want to put  
21 something else on the record. I don't know what the  
22 motivation of the State was in doing this. It may have  
23 been in good faith, and it may not have been. But I  
24 don't think that's necessarily the point. The point is  
25 that the Coast Guard, who are the Justice Department,

1 for their own reasons, may decide to use this as an  
2 intimidation tactic for witnesses that we intend to  
3 call. If that happens, if witnesses are afraid to  
4 testify because of action the Coast Guard might take,  
5 that prohibits us from getting a fair trial. Even  
6 though this is not the State's -- let's say the State  
7 isn't directly responsible for that, still, I think  
8 this court has an obligation to guarantee a fair trial  
9 for the defendant.

10 And if it's prohibited by some outside  
11 interference or force, I think we run the risk of a  
12 mistrial here, and I guess that's my concern, is that  
13 we're -- now, I don't know, I wasn't party within this  
14 conversation, but I'm just saying that that does arise,  
15 that just gives me my concern, and I wanted to let the  
16 court know what the potential problem as we see it is.

17 THE COURT: Do you suspect that you're going  
18 to call a witness and ask him a question and he's going  
19 to take the Fifth Amendment because of that question?

20 MR. MADSON: Well, until this happened, no,  
21 absolutely not, but we don't know what effect that  
22 might have to a witness now. This just occurred, just  
23 a short time ago. We have witnesses that we would  
24 expect to call to say, "Here's what we do as a matter  
25 of habit, that's what everybody does. Here's where the



1 pilot gets off. We have no pilotage. This is what's  
2 done."

3 THE COURT: Okay, so there's no application  
4 before the court, you just lodge a ...

5 MR. MADSON: Yeah, we just want to alert the  
6 court, I think, as to -- instead of being surprised,  
7 you know, the court at least knows that this is on the  
8 horizon.

9 THE COURT: Okay. Are we ready now with the  
10 jury? Okay ...

11 (Jury present.)

12 (Indiscernible - whispering)

13 THE COURT: Mr. Chalos?

14 MR. CHALOS: Your Honor, during the break I  
15 reviewed my notes, and I find I have no further ...

16 (Indiscernible - whispering)

17 MR. CHALOS: I have no further questions of  
18 this witness.

19 THE COURT: Counsel approach the bench.

20 (Whispered bench conference)

21 THE COURT: Sir, assuming this vessel is 166  
22 foot across at its widest part, how far would you  
23 estimate it to be across in the area immediately behind  
24 the superstructure, near that area of the deck ...

25 A Oh, you mean ...

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

THE COURT: Yeah, right behind that superstructure. Where the bridge is.

A You want to know the length or the width?

This is 166, I really -- I don't know if this is to scale. I assume it is ...

THE COURT: An estimate is what we're looking for.

A Okay, about 120 feet.

THE COURT: And the deck that is accessible from the Captain's quarters behind the superstructure, how wide is that deck?

A From his -- well, there's a -- as he comes out, there's a ladder. There's a ladder landing, I guess it's -- there's no deck behind it, it's just a landing, where you could go on the outside, as an outside ladder.

THE COURT: Well, on this model over here, maybe counsel can turn it around for the witness. It looks like there's little decks out there, I was wondering if ...

A Yeah, they're just landings for ladders. I believe -- see, the ladders -- there's only one ladder going from the bottom of the ship or the main deck up to the wheelhouse, so if one came out of any of those, the aft end of the deck,

1           there's a platform and a ladder going down.

2           THE COURT: And how wide is the platform,  
3 then, or landing as you want to call it?

4           A           I believe it's about three and a half, four  
5 feet.

6           THE COURT: And how far does it go from side  
7 to side?

8           A           It goes from side to side 40 feet.

9           THE COURT: And so, are there any railings  
10 around it?

11          A           Yes.

12          THE COURT: How high are the railings?

13          A           Three feet.

14          THE COURT: Could you estimate from the  
15 farthest side of the railing, side of that little  
16 landing, to the edge of the vessel, how far that would  
17 be?

18          A           I would say -- half length would be about --  
19 166 divided by two would be 83, and 20 over  
20 there, would be about 50 feet to the edge of the  
21 vessel.

22          THE COURT: Okay, thank you. That's all the  
23 questions I have.

24          MR. CHALOS: I have no further questions.

25          THE COURT: The witness is excused. Mr. Cole?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MR. COLE: Yes.

THE COURT: You're excused from further participation. Call your next witness. (Pause) You may call your next witness.

(0846)

MR. CHALOS: Yes, Your Honor, the defense calls Peter Shizume.

THE CLERK: Sir, if you would remain standing and attach the microphone -- the small one -- and once you do that, please raise your right hand.

(Oath administered)

A I do.

PETER SHIZUME,

called as a witness in behalf of the Defendant, being first duly sworn upon oath, testified as follows:

THE CLERK: Please be seated. And sir, would you please state your full name and then spell your last name?

A Peter Shizume. S-h-i-z-u-m-e.

THE CLERK: And your current mailing address, sir?

A 33 Kingston Avenue, Hicksville, New York,  
11801.

THE CLERK: And your current occupation?

A I'm presently retired, but I'm doing

1 consulting work.

2 THE CLERK: Thank you.

3 DIRECT EXAMINATION OF MR. SHIZUME

4 BY MR. CHALOS:

5 Q Good morning, Mr. Shizume.

6 A Good morning.

7 Q What type of consulting work do you do?

8 A Well, primarily marine simulation and  
9 algorithm development.

10 Q What is marine simulation and algorithm  
11 development?

12 A Well, marine simulation is the simulation of  
13 the position, velocity, and heading of the ship  
14 from information that you input -- for example,  
15 rudder and throttle information.

16 Q And this is all done on a computer?

17 A It's a computer-based system.

18 Q What have you been asked to do in this  
19 particular case?

20 A I was asked to run a simulation from Entrance  
21 Island down to the grounding site, and in  
22 addition, make other scenarios for different  
23 rudder angles at different points along the  
24 trajectory.

25 Q This is for the Exxon Valdez?

1 A That's right.

2 Q Now, can you tell us briefly what your  
3 educational background is?

4 A I have a Bachelor in physics from the  
5 University of Illinois, and a Master's in  
6 electrical engineering in the department of  
7 electrophysics from the Brooklyn Polytechnic  
8 Institute.

9 Q Can you give us a brief description of your  
10 employment background, please?

11 A Well, I started working with the Sperry  
12 Gyroscope Company in New York. I started as an  
13 associate engineer, doing work in radar systems,  
14 and by 1960, I was promoted to senior engineer,  
15 and continued work in electronic countermeasures,  
16 which is a means for countering radar that may be  
17 tracking the aircraft.

18 Q This is for the Department of -- as a  
19 subcontractor ...

20 A This was ...

21 Q ... for the Department of Defense?

22 A Right.

23 Q That was back in 1960, what have you done  
24 since 1960?

25 A Well, from 1960 to 1978, I was promoted to

1 research section head, and I was put into an  
2 analysis group working on confidential programs  
3 for the Navy. This was also a computer-based  
4 system.

5 Q This is for the Navy?

6 A For the Navy, yes.

7 Q When was your first involvement with computers  
8 and computer programs?

9 A Well, it started from the very beginning.

10 Q When was that?

11 A Around 1960, from 1960 on, all of my  
12 experience has been in computer-based systems.

13 Q Now, what did you do after 1978?

14 A I -- from 1978 to 1986, I was assigned to the  
15 Computer-Aided Operations Research facility at  
16 the National Maritime Research Center, which is  
17 at Kings Point, New York, on the campus of the  
18 Kings Point Merchant Marine Academy.

19 Q The computer-aided ...

20 A Operations Research.

21 Q It's commonly known as CAOR?

22 A Yes, right.

23 Q What was your assignment -- were you assigned  
24 by Sperry (ph.) or ...

25 A Yes, by Sperry -- Sperry while I was there was

1 merged with Burroughs, and has since been called  
2 Unisys.

3 Q What was your function, or what were your  
4 duties at CAOR?

5 A Well, I was responsible for making new  
6 capabilities to the simulator, the CAOR  
7 simulator.

8 Q There was a CAOR simulator in existence when  
9 you got there?

10 A Yes.

11 Q And what were you supposed to do?

12 A Make improvements to satisfy the requirements  
13 of research.

14 Q What type of improvements?

15 A Well, the -- they wanted to do some low-speed  
16 tug work, pushing these large crude carriers, so  
17 that I had to develop the low-speed algorithm.

18 Q When you say they wanted to do low-speed  
19 analysis, that was done on the computer in the  
20 simulated form?

21 A Yes, that's right.

22 Q And what did you do, you wrote a program for  
23 that?

24 A For the low-speed algorithm, yeah.

25 Q Anything else you did?



1 A Yeah, well, then also I developed the engine  
2 that's presently being used, it's a -- it's been  
3 written so that it can be changed from a low-  
4 speed diesel to a steam turbine engine.

5 Q Again, the engine that you're talking about is  
6 a computer-simulated engine?

7 A Computer-simulated engine, yes.

8 Q And you wrote the program for that?

9 A Yes.

10 Q Did you do anything else?

11 A Then there's -- the simulator has a tug panel  
12 that has up to six tugs, and the computer that  
13 draws -- I mean, the program that draws that is a  
14 tug program, which I designed and developed.

15 Q Anything else?

16 A The -- they wanted to do some maneuvering in  
17 the harbor, so that they needed a rudder that  
18 would display the kick effect, so that I  
19 redesigned the rudder so that it would accomplish  
20 this.

21 Q What is the kick effect?

22 A Well, this is a technique used in tight  
23 regions where you put the throttle forward for a  
24 short time, and at the same time turn the rudder,  
25 so that the ship does not get much weigh, and at

1 the same time it gets a tremendous kick from the  
2 rudder, that -- it can turn the stern of the  
3 ship.

4 Q And this is all done by computer simulation?

5 A Yes.

6 Q So what you're saying is, based on the  
7 computer simulation that you have done, you can  
8 predict how a vessel would react by the rudder  
9 being at a certain angle?

10 A Right. Well, the rudder and engine  
11 combination.

12 (1230)

13 Q And you've done -- you yourself wrote that  
14 program?

15 A Yes, I did.

16 Q Can you explain what the CAOR facility is all  
17 about, I mean, what is their purpose?

18 A Well, the CAOR facility is a real time  
19 simulator, by that I mean everything runs at the  
20 regular time, so that a helmsman and pilot can  
21 steer the ship. Now the -- a full-scale bridge  
22 of a heavy ship is built into the simulator, in a  
23 separate room, and the throttle, telegraph, and  
24 helm is input into the computer.

25 Q You mean you actually have a mock-up of a

1 bridge at the CAOR facilities?

2 A Right, yes, it includes the helm, the throttle  
3 and telegraph, radars, collision avoidance  
4 equipment, and -- it has just about everything  
5 that a regular tanker has.

6 Q Does the CAOR system have in its computers  
7 various areas around the world, simulates various  
8 ...

9 A Yes, well, we have database -- these have to  
10 be made up special for a particular area. We  
11 have a database that is the New York Harbor, we  
12 have a database that is the Panama Canal Gaylord  
13 (ph.) Cut region, and now I think we have a  
14 database of Valdez during the early years. And  
15 we have enough databases that -- it -- give you  
16 the picture of the Mississippi Outlet Canal.

17 Q So using the real time part of the CAOR  
18 facilities, what you would have is a pilot and a  
19 helmsman on this mock-up bridge, and ahead of  
20 them they would have this scene of the area  
21 that's being simulated?

22 A Right, the scene is computer-generated, and  
23 it's synchronized with the position of the ships  
24 so that as you go under a bridge or something,  
25 you see it go up over your head, and as you

1 approach Manhattan Island, you see the buildings  
2 on the shore.

3 Q So what you're saying, in effect, someone  
4 standing on this mock-up bridge would be seeing  
5 what he would see in a simulated form, would be  
6 seeing what he would be seeing if he were out in  
7 the harbor.

8 A Right. Yeah, uh-huh (affirmative).

9 Q And the simulator simulates the various  
10 maneuvers that the vessel can make.

11 A Uh-huh (affirmative). That's right.

12 Q Okay. Now, is there another part to the CAOR  
13 facilities?

14 A Well, there are radar generators that generate  
15 the radar picture, so that you can look in the  
16 radar and see, actually see the display of the  
17 land masses and so on.

18 Q What are these mock-up bridges and simulations  
19 used for?

20 A Well, they're -- while I was there, we were  
21 doing work for the National Maritime  
22 Administration, and for the Corps of Engineers,  
23 and the work was mainly involved in harbor  
24 development or canal development.

25 Q What do you mean by that?

1 A Well, they want to know how much they have to  
2 dredge to allow certain size ships to go in, and  
3 they want to minimize that dredging as much as  
4 they can, because it's a very expensive --  
5 project.

6 Q So in other words, the simulation would show  
7 you the depth of the water, and then you would  
8 simulate a ship going through ...

9 A Yeah, right.

10 Q ... to see if there was sufficient water.

11 A And you have to have the channels a certain  
12 width, so that it can handle two ships passing.

13 Q Are the real-time simulators used for any  
14 other purpose?

15 A Yeah, they're used for training, and -- for  
16 pilot training, or captain training. I mean, we  
17 have a tug program, where we have a tug lashed to  
18 a barge, these are used quite often on the East  
19 Coast to supply fuels to the ports from up around  
20 the East Coast.

21 Q You can simulate that particular scenario ...

22 A Right.

23 Q ... in the real-time simulation?

24 A And this was used extensively to train pilots  
25 -- I mean, not pilots, but captains, tug

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

captains.

Q Are the facilities used to also train the midshipmen at Kings Point?

A Yes. The -- we had a program while I was there, I'm not sure it's still in existence, but every evening, we'd shut the simulator down and change it over for these midshipmen, who would have courses during the night.

Q Now, is there another part of the program called the fast-time program?

A Yes, there's two fast-time programs, and these are -- well, in order to run a simulation on the real-time system, it might take more than two hours to complete the whole simulation. And ...

Q In other words, if you were simulating a situation where you're going from Point A to Point B, and it takes two hours, in the real-time simulation, it would take actually two hours.

A Yeah, right. Yeah. But then the fast-time simulator is -- it can do the same thing in a matter of a few seconds, so that you can make many runs, and -- but then, the ship is controlled by a track-line follower, which simulates the action of the helmsman, and the engine can be made to speed up when the ship is

1 in trouble, or else it can be made to speed up at  
2 specified times.

3 Q If you were using the fast-time simulation to  
4 simulate what would take, let's say, two hours,  
5 does the computer go through all of the functions  
6 that it would go through if you were doing it in  
7 real time?

8 A Yes, it's the same program, yes.

9 Q And it makes the same plots as it would in  
10 real time?

11 A Uh-huh (affirmative).

12 Q And whatever pressure would be on the ship in  
13 real time would be picked up by the fast-time  
14 simulation?

15 A That's right.

16 Q Now, were you the author of the computer  
17 programs that are being used now at CAOR?

18 (1574)

19 A Yes, I designed the two fast-time programs.  
20 One fast-time program is just a single ship, and  
21 the other one is two ships, which was used in  
22 canal development for the Panama Canal. And the  
23 point of this study was to determine how wide the  
24 Gaylord (ph.) Cut would have to be wide in order  
25 to get to a passage in the Gaylord Cut.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Presently they only allow one-way passage for the large Panamax (ph.) ships.

Q Are the computer simulations, whether they be real time or fast time, are used by the industry for various purposes?

A Yes, well, we've had contracts with shipping companies that wanted certain studies to be done, and we've done studies for the Corps of Engineers to determine what sort of dredging was required.

Q Have your simulations been used by the National Transportation Safety Board in accident reconstruction?

A Yes. We've done it for a number of accidents, but it was a policy at the company not to testify, because they had contracts with the ship owners as well as the Corps of Engineers and the Coast Guard.

Q Well, let me ask you about that, have you ever testified before?

A No, I never have.

Q Is that because of the policy that you just spoke of?

A Yes.

Q Have you published any papers on computer simulations?



1 A Yes, I've published two papers that were --  
2 one was for a national conference on simulation,  
3 and the other one was for the -- ship control in  
4 restricted waters for the Society of Naval  
5 Architects.

6 Q The paper that you delivered on simulation,  
7 are you talking about "Vessel Course, Speed, and  
8 Direction Simulations"?

9 A Yes, that's right.

10 Q In that paper, were the practices -- sorry,  
11 let me strike that and start again. Are the  
12 practices discussed in that paper that you  
13 delivered the type of practices that you used in  
14 simulating ...

15 A Yes.

16 Q ... the Exxon Valdez course?

17 A Well, not specifically the Exxon Valdez, but  
18 courses of any kind of research project that you  
19 would want to run.

20 Q What I'm talking about is the techniques, were  
21 the techniques that you discuss in those papers  
22 the same that you use in simulating the ...

23 A Yeah.

24 Q ... Exxon Valdez course?

25 A Right.

1 Q Now, you're appearing here as an expert at our  
2 request?  
3 A Yes.  
4 Q Do you have a fee arrangement with the  
5 defense?  
6 A Well, it's time, and computer time, and time  
7 -- it's on a time -- per hour basis for work done  
8 from my home, and then during witnessing, it's on  
9 a per day basis.  
10 Q Have you estimated what your charges will be  
11 in this matter?  
12 A I can only estimate at this point, but I think  
13 it's something between seven and 10,000.  
14 Q Dollars?  
15 A Dollars, yeah.  
16 Q Now, could you tell us what you did in this  
17 particular case to simulate the course, speed,  
18 and direction of the Exxon Valdez on the evening  
19 of March 23, going into the morning of March 24?  
20 A Well, I first had to develop a mathematical  
21 model for the Exxon Valdez.  
22 Q What do you mean by mathematical model?  
23 A Well, this is a -- equation of motion that  
24 relates the mass of the ship and the forces  
25 acting on the ship to its motion.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 Q How did you develop the mathematical model?

2 A Well, the basic model has been developed for  
3 some time, but then -- the model contains  
4 constants, or quasi-constant terms. And these  
5 constants relate the forces that are generated as  
6 the ship maneuvers. And in order to develop  
7 these, you can either start from a scale model  
8 ship, which is a kind of expensive way of doing  
9 it, and you tow the ship through a tow tank and  
10 measure forces acting on the ship.

11 Q Well, what type of forces are you referring  
12 to?

13 A Well, as the ship makes a turn, it doesn't  
14 make a turn like a car, it slides sideways. And  
15 that generates a force that causes the ship to  
16 turn. And also, as the rudder is extended, it  
17 produces more resistance to the flow of water,  
18 and so the ship starts to slow down. And these  
19 -- you have sets of equations for the XY  
20 direction and the yaw-moment (ph.) equation, and  
21 the fore and aft equation, which all are coupled,  
22 and they give you the dynamics of the moving  
23 ship.

24 Q And you say you have a model such as that in  
25 the library already?

1 A Right. But then we need these constants to  
2 make it simulate a specific type of ship.  
3 Q So what did you do to simulate the Exxon  
4 Valdez?  
5 A Well, I took a ship that presently is in the  
6 CAOR library of ships. This particular ship was  
7 a 220,000-ton tanker. And I reduced the length  
8 to 945 feet, which is the wetted length of the  
9 Exxon Valdez.  
10 Q What do you mean by "wetted length"?  
11 A Well, the overall length is 965 feet, but then  
12 you have an overhang from the bow and an overhang  
13 from the rear, which is not in the water, and the  
14 only thing that's important for the dynamics of  
15 the ship is the wetted length. So ...  
16 Q Meaning the length of ship in the water  
17 itself.  
18 A Right. And then I reduced the beam to 166  
19 feet, which is the width of the Exxon Valdez, and  
20 the draft, which is the depth from the water  
21 level to the bottom of the ship, I used 56.3  
22 feet, which was the draft of the Exxon Valdez at  
23 the time of the grounding. Then I changed ...  
24 Q You mean prior to the grounding?  
25 A Prior to the grounding. Then I changed the

1 mass of the ship to correspond to this reduced  
2 draft, and the moment of inertia, which is a term  
3 that's similar to mass, only it's for rotation.

4 Q And this is all being done on the computers?

5 A These are all in -- prepared in the file that  
6 the computer will use.

7 Q In other words, you're inputting into the  
8 computer certain values.

9 A Right. Yeah.

10 Q You've taken them out, only you've reduced it  
11 to match the Exxon Valdez values.

12 A And there's one other thing that's ...

13 Q Is that right?

14 A That's right.

15 Q What else did you do?

16 A There's one other thing that you have to do in  
17 order for the ship to act correctly, is to scale  
18 the constants that determine the force acting on  
19 the hull. And these are -- can be done in an  
20 empirical fashion, using literature -- papers  
21 that are in the literature of ships. It's -- so  
22 if you don't do this correctly, then the ship  
23 won't yaw correctly as it makes the turn.

24 Q Did you review the literature that you're  
25 talking about to make the corrections?

1 A Yeah. Yes, this ...  
2 Q What specifically did you review?  
3 A The name of the paper?  
4 Q Yes.  
5 A It was a Japanese paper by Ugamo (ph.) -- I  
6 don't recall all the names.  
7 Q Okay. In other words, you took whatever  
8 values are discussed in that particular paper,  
9 and incorporated them?  
10 A Well, they did a -- using ship models, they  
11 did a parametric study where you could just about  
12 -- and they did it for three classes of ships,  
13 the bulk carrier, oil crude carrier, and the bulk  
14 carrier, and they -- container carrier. And  
15 these are -- the crude carriers are the more  
16 blunt ships, so that you could -- and they did it  
17 parametrically for different size ships, so that  
18 you can pick off your value based on your shape  
19 factor and your length and ...  
20 Q Did you do that?  
21 A Yes.  
22 Q And you incorporated it into your simulation.  
23 A And I incorporated it into the file, input  
24 file.  
25 Q What did you do then?

1 A Then I made a rough track line using the Exxon  
2 Valdez course recorder and the engine bell  
3 ringer, and ...

4 Q The data logger, you mean?

5 A Bell logger, yeah.

6 (2100)

7 Q Okay.

8 A And then I ran the ship down this track line,  
9 and you get the actual velocity. The velocity  
10 along the chart line does not remain constant,  
11 it's continually changing, so once I know how it  
12 changed, I can adjust the track line so that I  
13 get the exact proper lengths for the track line.

14 Q Well, what causes these changes in velocity?

15 A Well, as the ship turned -- it -- first of  
16 all, the throttle may be reduced, like it was  
17 when they allowed the pilot to disembark from the  
18 ship, and also -- and then there's a speed-up,  
19 engine build-up time, and there's gradual build-  
20 up of the speed. Then also as the ship turns,  
21 the resistance of the ship increases, and so it  
22 starts to slow down.

23 Q You took all those factors into account?

24 A I took into account the actual velocity that  
25 was involved, so that I could determine the

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

correct length for the legs of the trajectory.

Q And what was the conclusion of that exercise?

A Well, after adjusting things as best I could, I used four fixed points that was taken from the VTS radar and from aboard the ship, and laid those along their coordinates, and tried to get the ship to follow not only the course recorder output, but the position fix output, to generate a course that's similar to the -- or very close to the Exxon Valdez course.

Q And this is part of the exercise to simulate the movement of the ship?

A This is to verify that the ship does indeed act like the Exxon Valdez, because if it doesn't, then I can't ever get these things to match.

Q All right. After you went through the exercise that you just described, what did you find?

A Well, I found that I could match 'em very closely, and the heading, simulated heading was very close, you could over -- just about overlay it on the Exxon Valdez course recorder.

Q Did you prepare a chart that indicates the results of that particular exercise that you're talking about?



1 A I ...

2 Q Let me show you. (Pause) Let me show you  
3 what's been marked as Defendant's Exhibit BC for  
4 identification, and ask you, is this a chart you  
5 prepared indicating how close the simulation came  
6 to the actual?

7 A Yes. This is the recorded position ...

8 THE COURT: There's a pointer off to your  
9 right side.

10 A Oh. This is the recorded value, that was  
11 taken from the VTS radar, and this is the  
12 simulated value.

13 Q How close are they?

14 A Well, this is exactly the same, and the  
15 position is very slightly different. Then there  
16 is an error in the actual route position fix,  
17 similarity -- this is for the position fix at  
18 053.

19 Q Is that 10:53 p.m.?

20 A Yeah.

21 Q Okay.

22 A And this is the recorded value, and this is  
23 the simulated value.

24 Q Again, they're very very close?

25 A There's only a tenth of a degree different.

1 Q Okay. What's the third point you took?  
2 A This is at 11:39.  
3 Q P.m.?  
4 A P.m. The recorded value and the simulated  
5 value are identical here. The position is just  
6 slightly different.  
7 Q Okay, and then you took a position at 11:55  
8 p.m. on the 23rd?  
9 A Right. And this was taken by the third mate.  
10 And it's 80.5, they're identical as far as the  
11 heading goes, and the position -- longitude  
12 position is only like one minute off.  
13 Q Okay. After having done this exercise, were  
14 you satisfied that your simulated model was the  
15 same as the actual Exxon Valdez?  
16 A Yes, I think that shows the accuracy, I think  
17 it was very similar.  
18 Q Now, having satisfied yourself that your  
19 model, your computer model acted in the same way  
20 that the vessel would have acted in similar  
21 circumstances, what did you do next?  
22 A Well, then I ran the whole transit from  
23 Entrance Island down to the point where the turn  
24 was -- just before the turn was initiated to  
25 avoid Bligh Reef.

1 Q All right, let me mark -- Your Honor, at this  
2 time, before I introduce Exhibit BD, I would  
3 offer Defendant's Exhibit BC into evidence.

4 MR. COLE: My only objection, if I could just  
5 voir dire this briefly ...

6 (2430)

7 VOIR DIRE EXAMINATION OF MR. SHIZUME

8 BY MR. COLE:

9 Q Mr. Shizume, the point at 11:55 that you have  
10 recorded, see that point at 11:55? That's 180.5,  
11 correct? That's the heading at that time?

12 A Yes, that's right.

13 Q And that's based on the assumption that the  
14 course -- the vessel was .9 nautical miles off  
15 Busby Island, correct?

16 A The heading is independent of where it is.

17 Q That heading right there was used -- it goes  
18 through a .9 nautical mile mark, right?

19 A Yeah, okay.

20 Q And if the third mate testified that it was  
21 1.1 miles off Busby, then that wouldn't be  
22 correct right there, that wouldn't be the third  
23 mate's testimony.

24 A Well, there is a difference in the mat and maw  
25 (ph.), accounts for that.

1 Q My only objection is to, Your Honor, is to the  
2 two stars that indicates that's the third mate's  
3 testimony, that was not the third mate's  
4 testimony. The third mate's testimony was that  
5 they were 1.1 miles off Busby Island, not .9 ...  
6 MR. CHALOS: No, but he shows that in the  
7 latitude and longitude, is what he said.  
8 MR. COLE: That's not my understanding of the  
9 graph.  
10 MR. CHALOS: I can ask the witness, Your  
11 Honor.  
12 THE COURT: Go ahead.  
13 DIRECT EXAMINATION OF MR SHIZUME, CONTINUED  
14 BY MR. CHALOS:  
15 Q Mr. Shizume, the latitude and longitude that  
16 you plotted for -- on this chart of the vessel's  
17 position as reported by the third mate, it  
18 differs from the one that the simulation shows,  
19 does it not?  
20 A Yes.  
21 Q And that would account for the testimony of  
22 the third mate saying he was about 1.1 miles off,  
23 and your simulation showing that the vessel was  
24 .9 off.  
25 A Right. Yeah.

1 Q So the numbers that you have here, then, are  
2 correct, are they not?  
3 A Yes. It's about a tenth of a mile different.  
4 (Indiscernible - whispering)  
5 Q You mentioned that you drew a track line from  
6 Entrance Island down to the point of the  
7 initiation of the turn, is that right?  
8 A That's right.  
9 Q I'll show you what's been marked as Exhibit  
10 BD, and ask you, is this the track line you're  
11 referring to?  
12 A Yes.  
13 Q What does this represent?  
14 A This represents the passage of the ship from  
15 Entrance Island, point where -- the pilot  
16 disembarked, and then the -- goes down to ...  
17 Q Can you use a pointer?  
18 A Off Busby Island, and then they continue down  
19 to this point before any rudder was initiated.  
20 Q When you say "rudder was initiated," you're  
21 talking at what time?  
22 A This is 12:01.5.  
23 Q A.m.  
24 A A.m., yes.  
25 Q One and a half minutes after midnight.

1 A Right.

2 Q Okay. Is this the simulated track line?

3 A This is the simulated track line.

4 Q And you compared it to the actual track line?

5 A Well, we don't have an actual track line --

6 but I compared it to the course ...

7 Q Recorder?

8 A ... Exxon Valdez course recorder.

9 Q And how was the match?

10 A They matched right on top of each other.

11 Q Okay. Your Honor, at this time I offer

12 Exhibit BD into evidence.

13 THE COURT: Admitted.

14 EXHIBIT BD ADMITTED

15 Q All right. So you made this simulated track

16 line, and what did you do next?

17 A Well, then I ran down the track line and --

18 well, first of all, I looked at an expanded view

19 of the course recorder in the time between 11

20 -- I mean, 12:01.5 minutes down to -- down to the

21 12:10. And I have an expanded view of that, do

22 you have that here?

23 Q No. What was the purpose of looking at the

24 expanded view?

25 A Well, that was to determine what sort of

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

rudder was used.

Q Are you talking about this one?

A No, that's the course recorder. Then there was one with the triangular points and the circular points ...

Q Oh ...

A Oh yeah.

Q Are you talking about this document?

A That's right.

Q Let me have it and mark it. (Pause) Let me show you what's been marked for identification as Defendant's Exhibit BE. What does this document purport, or this draft purport to show?

(2730)

A Well, these triangular points are points taken from the Exxon Valdez course recorder. And we notice that there's a sudden change in slope at this point ...

Q What point is that, in time?

A Well, that's around six -- 12:06.5, up through 12:07.5.

Q So the actual course recorder shows a change in slope ...

A Right.

Q ... between those two periods of time.

1 A Right.

2 Q Six and a half minutes after midnight to seven  
3 and a half minutes after midnight.

4 A Yeah. And then it falls off like this. This  
5 is -- this trace here is for a constant four-  
6 degrees rudder, and ...

7 Q May we move it closer to the jury to see?

8 THE COURT: All right. That cord is plenty  
9 long, so ...

10 A Okay. This curve here is for a constant four-  
11 degrees rudder, it shows how they -- the course  
12 changes as a function of time.

13 Q Well, before we go on, let's explain to the  
14 jury what you have here. Starting over on the  
15 right-hand side, what do these numbers represent?

16 A This is -- represents a course, 180 degrees,  
17 200, 220, 240, 260, and 280 degrees. I mean, the  
18 direction that the ship is pointing.

19 Q And I notice that you start the various  
20 changes at course 180.

21 A Right. 0.5.

22 Q Okay. And what time did you start the  
23 changes?

24 A 12:01.5.

25 Q That corresponds with the time that the ship's



1 headings started to change.

2 A Yeah, right.

3 Q Okay. And what does this represent, with the  
4 triangles again?

5 A That's -- these are points, triangular -- the  
6 triangular points are points taken from the ...

7 Q Actual course ...

8 A ... actual course recorder.

9 Q Okay. What does this line here that's marked  
10 "four degrees" represent?

11 A That's the course change as a function of  
12 time, when a four-degrees constant rudder is  
13 applied.

14 Q Okay. In other words, a four-degrees is what  
15 you're using to compare the actual course?

16 A Right.

17 Q Okay.

18 A Then this is the same thing for a 10-degree  
19 swerve.

20 Q So in other words, if 10 degrees right rudder  
21 was applied at one and a half minutes after  
22 midnight, by 12:07, the ship's heading would have  
23 been what?

24 A 200 -- 290. No. Yes -- yeah, 290.

25 Q 290. And by plotting the actual ...

1 A The circular points?

2 Q No, plotting the actual course recorded ...

3 A Uh-huh (affirmative).

4 Q ... course, what course was this ship on at

5 the same time, at 12:07?

6 A It was 108.5 degrees.

7 Q No, at 12:07.

8 A Oh, 12:07. It was about 250 degrees.

9 Q Okay. So in other words there would have been

10 a difference of course change had 10 degrees

11 right rudder been applied at the same time, of

12 something like 40 degrees?

13 A (No audible response)

14 Q Okay. What does this dotted line represent?

15 A Well, this is my attempt at simulating the

16 course recorder output during this time, and in

17 order to get this, I had to use a left rudder of

18 six degrees to make it flat mount, and even at

19 that I didn't really get it as flat as shown

20 there.

21 Q In other words, for you to simulate what the

22 actual course recorder showed, at the point

23 around 250 degrees, you had to use six degrees of

24 left rudder to get the flatten?

25 A Right, yeah.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Q Is that what they call counter-rudder?

A Counter-rudder, yeah.

Q Do you have an opinion as to whether a similar counter-rudder was used in the actual maneuvering of the vessel to get this flattening of the course?

A Well, if you looked on the trajectory plots of the turns, you -- in this region, you're still in deep water, about 20 to 30 fathoms, so that the only way you could get such a change in course would be to apply reverse rudder.

Q Or counter-rudder.

A Counter-rudder, yeah.

(3048)

Q Now, what is the net rudder that was used starting at 12:01 and a half till about 12:10 on the basis of the actual and your simulated course recording?

A What was the ...

Q Net rudder used.

A I -- by net, you mean the average rudder, or ...

Q The average, right.

A Well, it was something like four degrees, but then, it occurred at different times, it was

1 stretched out.

2 Q Okay. What do you mean by average rudder?

3 A Well, you -- there's a number of averages, but  
4 then the average width might be to these squares,  
5 that -- to the four-degree line.

6 Q Well, what you're depicting here is the rudder  
7 has started a total one and a half, the actual  
8 heading change right on through to the time of  
9 the grounding.

10 A Yeah, right.

11 Q And what you're saying is that the average  
12 rudder during that whole period of time was about  
13 four degrees?

14 A Well, yeah, but then the fact that four  
15 degrees didn't stretch out to 12:10 means that  
16 the rudder in this -- during this region eased  
17 off.

18 Q Maybe it would have been even less.

19 A Yeah, right.

20 Q Now, when we say "average of four degrees," it  
21 doesn't mean that the helmsman only put four  
22 degrees right rudder on the whole time, does it?

23 A No. I -- in fact, I think he was doing a lot  
24 of things here, because I couldn't match it with  
25 a simple ...

1 Q Well, what is your opinion as to what he was  
2 doing on the basis of the simulation?

3 A He might have been trying to come to some  
4 heading, it looks like he was swinging the rudder  
5 back and forth.

6 Q In other words, put five degrees on, take it  
7 off, put ten degrees on, take it off?

8 A That's what it looked like.

9 Q Okay. Let me return to your ...

10 A But then, you know, there's many ways you can  
11 get the same trace.

12 Q Now, having analyzed the various rudder angles  
13 that may have been used between 12:01 and a half  
14 and the grounding, what did you do next?

15 A Well, then I used -- I initiated a turn on  
16 four degrees, and then at the course recorder  
17 simulation, and at 10 degrees to see just how  
18 close I was to the grounding point.

19 Q All right, let me -- before we leave this  
20 subject, Your Honor, I would offer Exhibit BE  
21 into evidence.

22 MR. COLE: No objection.

23 THE COURT: Admitted.

24 EXHIBIT BE ADMITTED

25 MR. CHALOS: I think I've already offered BD

1 into evidence, Your Honor, but I can't remember. It's  
2 there? Okay.

3 (Pause)

4 Q (Mr. Shizume by Mr. Chalos:) Okay. Let me  
5 mark the base of this exhibit as Defendant's  
6 Exhibit BF. Now, sir, did you prepare this  
7 particular exhibit?

8 A No, Joe Winer did.

9 Q Mr. Winer prepared it?

10 A Yeah, he used data that I logged out for him.

11 Q In other words the data that he used here is  
12 the data that you provided to him?

13 A Yes.

14 Q Have you had an opportunity to review this  
15 work here?

16 A Yes, I have.

17 Q And does this data accurately reflect what you  
18 gave to him?

19 A Yes.

20 Q Okay. Now, Exhibit BF is what?

21 A Well, these are very -- turns of various  
22 magnitude ...

23 Q Well, starting here. That's just ...

24 A ... initiated at six and a half ...

25 Q Wait a minute. BF is just a blown-up version

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

...

A Oh yeah, right.

Q ... of the nautical chart in the area of Bligh Reef and Busby Island, right?

A That's right. And the mark of the grounding site.

Q The mark's the grounding site here?

A Uh-huh (affirmative).

Q Okay. Let me show you what we've marked as Exhibit BG. What does Exhibit BG represent?

A Well, this is the course recorder simulation that I showed on the other chart, and it shows that it crosses the shallow region and then heads very close to the grounding site.

Q This is the simulation as done by the computer.

A That's right.

Q Okay, so Exhibit BG is the simulated course of this vessel.

A That's right.

Q To the grounding site.

A Uh-huh (affirmative).

Q Okay. Now, showing you Exhibit BH, what does that represent?

A I think that's -- that's a constant four-

1 degree rudder applied to the ship at six and a  
2 half minutes after passing Busby Island.

3 Q Okay. All the simulations that you've done in  
4 this particular exhibit, or the exhibits that  
5 we're marking, start the initiation of the rudder  
6 at a minute and a half after?

7 A Right.

8 Q Okay. And using the constant four-degree  
9 right rudder, what does that do?

10 A Well, it looks like the -- passes a little bit  
11 above where the previous one.

12 Q Looks a little below.

13 A Below, right.

14 Q Right. So in other words, it would bring you  
15 to the same grounding site.

16 A Yeah, right.

17 Q So does this verify, this particular exhibit,  
18 that -- BF, I'm sorry, BE?

19 A BE?

20 Q Right. In other words, using a four-degree  
21 right rudder ...

22 A Yeah.

23 Q Brings you to the grounding site?

24 A Uh-huh (affirmative).

25 Q And that verifies that the average rudder used



1 was about four degrees?

2 A Is like four degrees, yes.

3 Q Okay. Showing you now what I'm marking as  
4 Exhibit BI, what is that?

5 A I think this is five degrees. Yeah, this is a  
6 five-degree turn, which looks like it would pass  
7 without grounding.

8 Q You mean if ...

9 A A difference of one degree seems like it makes  
10 the difference of whether you ground or not.

11 Q Well, let's stop there. You're saying if five  
12 degrees of right rudder was used starting at one  
13 and a half minutes after midnight, in your  
14 opinion, the vessel would not have grounded?

15 A Well, that's what it appears from this data.

16 Q Okay, let me show you what I'm marking as  
17 Exhibit BJ, it's another overlay. What does this  
18 represent?

19 A This is a 10-degree constant rudder, so that  
20 this clears the grounding site by -- by a little  
21 more than a half mile.

22 Q So is it your opinion that if 10 degrees right  
23 rudder was used, commencing at a minute and a  
24 half after midnight, the vessel would have missed  
25 Bligh Reef by a half a mile?

1 A Yeah.

2 Q That's your opinion?

3 A Yes.

4 (3756)

5 Q Okay, let me just get a couple more stickers.  
6 Showing you now what I'm marking for  
7 identification as Exhibit BL -- BK, rather, can  
8 you tell me what this overlay represents?

9 A Well, this is a 20-degree rudder, and it  
10 clears the grounding site by a large margin.

11 Q In other words, if 20 degrees right rudder was  
12 used at a minute and a half after midnight, it  
13 would have cleared Bligh Reef by a margin larger  
14 than using 10 degrees right.

15 A Yeah. Almost three quarters of a mile.

16 Q Okay. And I show you now what I'm marking as  
17 Exhibit BL. Can you tell me what this overlay  
18 represents?

19 A Well, this overlay is when a rudder of 10  
20 degrees was held for five minutes, and then a  
21 rudder of 20 degrees was held for two minutes,  
22 and then the rudder was hard over to 35 degrees.

23 Q Okay. Where did you get that information, 10  
24 degrees ...

25 A Well, that was ...

1 Q ... for five minutes, 20 degrees for two  
2 minutes, and then hard right?

3 A Well, that was the testimony of Mr. Cousins.

4 Q And what result did you get from that?

5 A Well, it's not much better than a constant 10  
6 degrees, although the ship starts to turn quite  
7 fast up here.

8 Q Now, we're showing in an exaggerated way what  
9 the ship would have done if it had continued in  
10 its turn, right?

11 A Yeah.

12 Q That's not necessarily how the ship would have  
13 gone in this particular situation.

14 A No, probably as soon as he hits 90 degrees, it  
15 -- veer off to ...

16 Q Okay. In other words, when he gets abeam of  
17 Bligh Reef, as this course would take him, he  
18 could straighten his course out to take him back  
19 into the ...

20 A Yeah.

21 Q ... into the VTS. All right, so in this  
22 particular simulation, it's your opinion then  
23 that if as little as five degrees right rudder  
24 was used at 12:01 and a half, the vessel would  
25 have probably cleared Bligh Reef.

1 A Probably, yes.

2 Q And if 10 degrees right rudder was used at  
3 12:01 and a half, she most definitely would have  
4 cleared.

5 A Yes, right. That'd be an ample margin.

6 Q Speak up, please.

7 A If 10 degrees was used, that'd be an ample  
8 margin of clearance from the grounding site.

9 Q Okay. Did you do any other simulations?

10 A Well, I did a group of simulations when -- if  
11 the rudder was initiated at the Busby Island  
12 light ...

13 Q Okay, before we get into that, Your Honor, at  
14 this time I would offer Exhibits BF through BL  
15 into evidence.

16 MR. COLE: No objection.

17 THE COURT: Admitted.

18 EXHIBITS BF-BL ADMITTED

19 Q I'll take this now. (Pause) Let me get some  
20 more identification stickers. (Pause)  
21 Again, did you prepare this particular ...

22 A Yes, I did.

23 Q Well, you didn't prepare this particular  
24 exhibit itself.

25 A Oh, Joe Winer did the actual ...

1 Q Again, was it based on information that you  
2 supplied to him?  
3 A Yes.  
4 Q And have you had an opportunity to review what  
5 Mr. Winer did?  
6 A Yes, I did.  
7 Q And is it an accurate representation of the  
8 information you provided to him?  
9 A Right, it looks correct.  
10 Q Okay. Let me mark the base chart as  
11 Defendant's Exhibit BM for identification.  
12 Again, that shows the grounding site, on an  
13 expanded version of the nautical chart, am I  
14 correct?  
15 A Uh-huh (affirmative). That's right.  
16 Q Okay. Let me mark as Exhibit BN for  
17 identification the first overlay. Can you tell  
18 us what that represents?  
19 A It's the course recorder simulation, which we  
20 did before in the previous -- and it's initiated  
21 at 12:01.  
22 Q Okay, this is similar to the previous exhibit  
23 that was marked?  
24 A Yeah, right. Same one.  
25 Q Incidentally, at what time does your

1 simulation show this vessel going aground?

2 A It looks like it's 12:10.

3 Q About 12:10?

4 A Yeah.

5 Q Okay. Now, let me mark as the next exhibit  
6 for identification Exhibit B0, the next overlay,  
7 and ask you what does this represent?

8 A I think this is at least a three-degree right  
9 turn.

10 Q Started when?

11 A Starting at Busby Island ...

12 Q At 23:55?

13 A 23:55. And it clears the grounding site by  
14 more than a mile.

15 Q Well, let me see if I understand you. In this  
16 particular overlay, you simulated just a three-  
17 degree rudder being used, starting at 23:55?

18 A Yeah.

19 Q And how far did it clear Bligh Island by?  
20 Bligh Reef?

21 A By about -- more than a mile.

22 Q So what you're saying is, if the helmsman just  
23 put three degrees of right rudder starting at  
24 23:55, the vessel would have cleared Bligh Reef  
25 by over a mile?

1 A Yes.

2 Q Let me show you the next overlay, which I'll  
3 mark as Exhibit BP, and ask you what does this  
4 represent?

5 A Well, this is when a constant rudder was  
6 applied at Busby Island light of four degrees, so  
7 ...

8 Q Okay, if the helmsman applied just four  
9 degrees of right rudder, starting at 23:55, abeam  
10 of Busby, that would have also cleared Bligh  
11 Reef.

12 A Right.

13 Q By what distance, do you estimate?

14 A Oh, I don't know.

15 Q Do you have a scale here?

16 A It's a big -- it's about a mile and a third.

17 Q 1.33 miles?

18 A Yeah.

19 (Tape: C-3664)  
20 (0003)

21 Q Okay. Let me show you the next overlay, which  
22 I'll mark as Defendant's Exhibit BQ, and ask you  
23 what does this represent?

24 A Well, this is when a constant five degrees  
25 rudder was applied at 23:55.

1 Q And what does that show?  
2 A Well, it shows that it misses the ...  
3 Q Grounding site.  
4 A ... grounding site by ...  
5 Q An even greater distance?  
6 A Even greater, yes. Almost -- mile and a half,  
7 or more.  
8 Q And that's just five degrees of right.  
9 A Right.  
10 Q Okay, what does the next overlay represent,  
11 which I'll mark as Exhibit BR?  
12 A This is the trajectory if 10 degrees of a  
13 constant right rudder was applied at 23:55. And  
14 of course they wouldn't -- he wouldn't hold the  
15 rudder that long, it'd probably come off this  
16 way.  
17 Q Uh-huh (affirmative). This exaggerated  
18 depiction just shows what would have happened if  
19 the rudder was held ...  
20 A Yeah.  
21 Q ... all the way through, it would ...  
22 A Right.  
23 Q ... make a complete circle, in other words.  
24 A Uh-huh (affirmative).  
25 Q Okay. Using 10 degrees of right rudder --



1 strike that. If 10 degrees of right rudder were  
2 used at 23:55, how much would this vessel have  
3 missed the grounding site by?

4 A Just about two miles.

5 Q And the last overlay, which I'll mark as BS,  
6 represents what?

7 A This is for when a 20-degree right rudder was  
8 initiated at 23:55.

9 Q In other words, BS is an overlay indicating  
10 what would have happened if 20 degrees right  
11 rudder was used.

12 A Right, yeah.

13 Q Starting at 23:55. And that would have missed  
14 the grounding site by an even further distance.

15 A Right. More than two miles, yeah.

16 Q Okay. Now, I want you to assume for the  
17 moment that the turns that you're talking about  
18 here were not initiated until 23:56, or one  
19 minute after 23:55. Would that have made a  
20 significant difference to the distance by which  
21 this vessel would have missed Bligh Reef?

22 A Well, it's just essentially moving it down one  
23 minute, which would move the lower curve down  
24 here somewhere.

25 Q How much of a distance are we talking about?

1           A couple tenths of a mile?

2           A           Well, one minute is about ...

3           Q           Point ...

4           A           ... 1,000 feet.

5           Q           Just about a ship's length?

6           A           Yeah, right.

7           Q           So in your opinion, if they had started the

8                   turn at 23:55 -- or 23:56, that wouldn't

9                   significantly alter your opinion as to how far

10                  the vessel would have missed Bligh Reef.

11          A           Probably just move everything down by about

12                  1,000 feet.

13          Q           Okay. If the turns were started in this area

14                  here, the 55/38 fathom mark, would that

15                  significantly alter your opinion as to how far

16                  the vessel would miss Bligh Reef?

17          A           I think it'd still miss by quite a bit.

18          Q           Over a mile if 10 degrees right rudder was

19                  used?

20          A           Oh, yeah, 10 degrees. But then even this

21                  three degrees.

22          Q           Three degrees being Exhibit BN, sorry?

23          A           No ...

24          Q           BO?

25          A           Yeah, right.

1 Q Even three degrees would have missed it?  
2 A If it had moved down to here?  
3 Q Yes.  
4 A (Indiscernible - unclear) It'd miss it by  
5 quarter of a mile -- half a mile.  
6 Q Okay. Your Honor, at this time I offer  
7 Exhibits BM through Exhibits BS into evidence.  
8 MR. COLE: No objection.  
9 THE COURT: Admitted.  
10 EXHIBITS BM-BS ADMITTED  
11 Q Sir, do you have an opinion as to the cause of  
12 this grounding?  
13 MR. COLE: Objection. Lack of qualification.  
14 Speculation.  
15 Q I'll rephrase it, Your Honor. On the basis of  
16 the simulations that you've made, do you have an  
17 opinion as to the reason for this vessel going  
18 aground?  
19 A Yeah, I think the problem was that rudder was  
20 initiated late, and also it wasn't -- there  
21 wasn't enough rudder, was used.  
22 Q Is that in combination?  
23 A Yes, in combination.  
24 Q When you say "initiated late," do you mean at  
25 one and a half minutes after midnight?

1 A Right.

2 Q And when you say "not enough rudder was used,"

3 what do you mean?

4 A Something greater than four should have been

5 used. Something on the average of greater than

6 four.

7 Q And it was your opinion that if five degrees

8 right rudder was used at a minute and a half

9 after midnight, she would have missed the reef

10 -- or the grounding site?

11 A It looks like it would miss the reef, yes.

12 MR. CHALOS: I have no further questions, Your

13 Honor.

14 THE COURT: Mr. Cole?

15 MR. COLE: I just would like to get to ...

16 (Pause)

17 THE COURT: While you're getting those

18 exhibits, I think we'll just take a break. Ladies and

19 gentlemen, we'll break for about 10 or 15 minutes.

20 Don't discuss the case among yourselves, or with

21 anybody else, don't form or express any opinions.

22 (0251)

23 (Off record - 11:45 a.m.)

24 (On record - 12:04 p.m.)

25 THE COURT: Mr. Cole?

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 CROSS EXAMINATION OF MR. SHIZUME

2 BY MR. COLE:

3 Q Thank you, Your Honor. Good morning, Mr.  
4 Shizume.

5 A Good morning.

6 Q You were instrumental in designing some  
7 programs at CAOR that basically simulate the  
8 track line of vessels through the water, is that  
9 correct?

10 A That's usually a hand process. I don't have a  
11 program that does that.

12 Q But the fast-track simulators at CAOR can do  
13 that. Is that correct?

14 A No.

15 Q Develop a track line.

16 A Develop a track line?

17 Q Of a vessel. Based on information that's  
18 given to them.

19 A If you have rudder information, yes.

20 Q And the reason that we have simulators to do  
21 that is because tankers and other ships don't  
22 have little black boxes like are in airplanes,  
23 right?

24 A Right.

25 Q And those black boxes in airplanes save a lot

1 of valuable information that people can use to  
2 reconstruct the flight patterns of airplanes,  
3 correct?

4 A That's correct.

5 Q And basically, on a tanker, all you have is  
6 just the maneuvering characteristics and the  
7 course recorder and the bell logger. Correct?

8 A Yeah, but the course recorder and bell logger  
9 tells you a lot.

10 Q Sure. Now, it would always be better to have  
11 a demonstration with the real vessel, but that's  
12 not very practical in a lot of cases, right?

13 A Right.

14 Q And so what they've done at CAOR is design  
15 smaller models of these vessels in their computer  
16 programs, their library, and use those to  
17 simulate track lines. Correct?

18 A Why do you say smaller? They're full size.

19 Q Full size. Okay, full size. But they use 'em  
20 to simulate track lines of vessels, right?

21 A They don't -- the main purpose of the math  
22 model is to simulate the dynamics of the ship,  
23 not the track line.

24 Q Now, the people at CAOR did this simulation.

25 A Yes.

1 Q Right?

2 A Yes.

3 Q And they did a report, and it came out in  
4 December 1989, correct?

5 A Uh-huh (affirmative).

6 Q And they plugged in all the numbers that you  
7 talked about to simulate the dynamics of the  
8 Exxon Valdez, correct?

9 A That's true.

10 Q And they did that back in July of last year,  
11 correct?

12 A I don't know whether it was in July, but yeah.

13 Q It was some time ago. Correct?

14 A What?

15 Q It was some time ago, correct?

16 A Yes.

17 Q And so what you did is that you went to the  
18 CAOR computer and you just verified the  
19 information that they did, that they inputted?

20 A Yes. Well, I made sure that there was no  
21 errors.

22 Q Did you make any changes? In what they had  
23 inputted?

24 A No, I couldn't.

25 Q So basically your simulation is that you put

1 in the same information that they had put in to  
2 the computer, and you put it into their same  
3 computer, and you came out with the same results  
4 that they had come out with.

5 A No. We did different scenarios.

6 Q Oh, that's right. You did a couple more  
7 scenarios if the vessel had turned at a certain  
8 point, correct?

9 A And also we simulated the course recorder.

10 Q Okay. Now, the CAOR input -- it assumed a  
11 vessel of 220 tons, correct?

12 A No. It was derived from a vessel of 220 tons.

13 Q Okay, it was derived.

14 A 220,000 tons.

15 Q 220,000 tons. The Exxon Valdez is a vessel of  
16 209,000 tons. Correct?

17 A Uh-huh (affirmative). Yes.

18 Q And the CAOR report used certain turning  
19 characteristics, or did tests of the simulator,  
20 of the simulated vessel, and got certain  
21 measurements as to the turning characteristics,  
22 correct?

23 A That's right.

24 Q And they compared those to the turning  
25 characteristics of the Exxon Valdez, correct?



1 A Well, they used the chart in the bridge from  
2 the Exxon Valdez, to tune up the rudder.

3 Q And the difference between the post -- there  
4 was some difference between the posted turning  
5 characteristics of the Exxon Valdez and the  
6 simulated characteristics, as were designed by  
7 CAOR, correct?

8 (0429)

9 A Yeah, but they were within the measurement  
10 error of the trial data.

11 Q Well, the measurement error was -- for  
12 instance, in a turn ...

13 A It's in nautical miles. One tenth of a  
14 nautical mile was the accuracy.

15 Q Within one tenth, but the time was different  
16 in what it took to turn a vessel, correct?

17 A It was a small difference, yes.

18 Q Well, at full sea speed, with a 35-degree  
19 rudder, fully laden, it took 168 minutes for the  
20 Exxon Valdez to make a starboard turn, and it  
21 took 151 for the simulated, correct? Does that  
22 sound about right?

23 A That sounds about right.

24 Q So there was a difference of about 10 percent.

25 A With that particular turn, yes.

1 Q And at full speed, with a 35-degree rudder, to  
2 do a 90-degree turn, the posted on the Exxon  
3 Valdez was 336 seconds, while the simulated was  
4 305 seconds, correct?  
5 A Yes.  
6 Q So that was again another difference of about  
7 10 percent.  
8 A Right.  
9 Q And in each case, the Exxon Valdez turned  
10 slower than the simulator, according to the  
11 posted measurements. Correct?  
12 A Yeah.  
13 Q Now, the CAOR project also made some  
14 assumptions in this case when they were inputting  
15 data, correct?  
16 A What assumptions?  
17 Q Well, they assumed ...  
18 THE COURT: Excuse me just a minute, would you  
19 take that microphone off and put it on your right-hand  
20 lapel, up a little higher? Because it's difficult to  
21 hear you. Thank you.  
22 Q Well, number one, they assumed that the  
23 vessel, the simulated vessel was fully laden,  
24 correct?  
25 A No, they did not.

1 Q They did not?

2 A No. The simulated vessel mass was reduced,  
3 and the draft was reduced.

4 Q Oh, I see. Well, let me rephrase that. They  
5 assumed that the vessel maneuvered at the same as  
6 a fully laden tanker. Correct?

7 A Well, that's from experience. But we know  
8 that the turning radius of the ship is largely  
9 dependent on the length, and not the loading.

10 Q But it is a little bit dependent on the  
11 length.

12 A No.

13 Q Not at all?

14 A You can't detect it.

15 Q You can't detect it at all. Okay, so it  
16 wouldn't make any difference whether it was half  
17 laden or fully laden as far as the turning  
18 characteristics.

19 A Yes. Well, the acceleration would change.

20 Q The acceleration would change. Well, that  
21 would affect -- it would be slower if it was  
22 fully laden. Is that correct?

23 A If it accelerates slower, yes.

24 Q And that wasn't taken into consideration.

25 A It was.

1 Q It was in there?

2 A Because we changed the mass.

3 Q Okay. Now, the CAOR report also didn't

4 constrain themselves to the bell logger

5 notations, did they?

6 A No, they varied from that. And I think mainly

7 because they were using a track follower, which

8 is much better than a pilot, because it updates

9 every half second. So the track is very

10 straight.

11 Q But they assumed that the RPM's had to go over

12 55 RPM's during the course of the transit,

13 correct?

14 A Yeah.

15 Q But that would mean that it would have had to

16 go up to sea speed, because 55 RPM's is just full

17 maneuvering speed, correct?

18 A Yeah.

19 Q And they also disregarded a number of the --

20 they disregarded several of the fixes that were

21 on the map.

22 A Because they were inconsistent with other

23 measurements.

24 Q Now, when you said that you used the 180.5

25 track ...

1 A Yes.

2 Q Were you assuming that the vessel's speed was  
3 11.74 or that it was 12.3?

4 A I'd have to look at the -- do you have that?

5 Q I'll give you this.

6 A No, I don't think it's in there.

7 Q How about the CAOR report?

8 A No. I need the transit log.

9 Q Oh, okay.

10 (Pause)

11 A What was the question?

12 Q When the vessel was traveling -- when you were  
13 making your calculations as to the rate of turn,  
14 five-degree, 10-degree, 20-degree, were you  
15 assuming that the vessel was traveling at 11.74  
16 or 12.3 knots?

17 A From Busby Island or from ...

18 Q Yes. From Busby.

19 A Well, it started at 19.7 feet per second.  
20 What is that?

21 Q You don't remember right offhand whether it  
22 was 11.74 or 12.3?

23 A Knots? I would have to change that into  
24 knots.

25 Q Okay. Well, we can go beyond -- we have other

1 things. Now, you said that you took into  
2 consideration the 12:55 plot, or 11:55 plot?  
3 That was one of the four fixes that you used?  
4 A Yes.  
5 Q If I showed you a copy of the 11:55 plot, do  
6 you recognize that at all?  
7 A Well ...  
8 Q How about that? If I told you that that is  
9 the plot that the third mate made ...  
10 A Yeah, right.  
11 Q ... at 23:55, does that seem consistent with  
12 how you understand the evidence to go?  
13 A Yeah.  
14 Q Okay. Now, at 11:55, which -- this is your  
15 simulated track line, right?  
16 A Yes.  
17 Q At 11:55, your blue line runs to the right of  
18 the 84/72 mark, right?  
19 A Uh-huh (affirmative).  
20 Q Mr. Cousins' 23/55 runs to the left of that  
21 mark, doesn't it?  
22 A Yes. Well, he estimated 1.1, that's why.  
23 Q But that, the simulated model, is .9.  
24 A Right.  
25 Q Now, if a vessel -- if you move the track line

1 over to here, is the turn required to get from  
2 here to here to here greater or lesser than the  
3 turn required here?

4 (0836)

5 MR. CHALOS: Your Honor, I object, unless Mr.  
6 Cole can put a distance between the two lines. Are we  
7 talking about a mile and a half, two tenths, or what?

8 Q Two tenths.

9 A Two tenths of a mile. That's 600 feet.

10 Q 600 feet.

11 A Well, I mean, I don't think it'd look that  
12 much different. I mean, you got a -- you don't  
13 have it -- at most, you're right at the 90-degree  
14 point, and there's not gonna be much difference  
15 with this one at 90-degree point.

16 Q Well, let's assume that my drawing's a little  
17 bit off. But let's assume that there's two miles  
18 difference.

19 A Oh, then there will be a ...

20 Q Does it take a greater turn to get from here  
21 to here than say from here to there?

22 A Well, if you were two miles off, you'd start  
23 coming off.

24 Q Right, but let's assume you end up in the same  
25 spot right there.

1 A Then you're not using a constant rudder.

2 Q It would really take a shorter turn to get

3 from here to there than it would to get from here

4 to there, wouldn't it?

5 A A shorter turn or less rudder or ...

6 Q More rudder. To get from there to there than

7 from there to there.

8 A No, I don't think it ...

9 Q Wouldn't change it at all.

10 A The difference would be like a few feet.

11 Because you're at the tangent point of the curve.

12 Q Wouldn't change it at all?

13 A It would change it by a few feet.

14 Q Well, would it be a greater rudder that you

15 would need to get there, or less rudder?

16 A You'd need less rudder.

17 Q Now, the other thing that the CAOR didn't take

18 into consideration is the shoal water.

19 A That's right.

20 Q Correct? And that makes a vessel turn less

21 also, correct?

22 A Right.

23 Q And your calculations show the simulated track

24 going over shoal water for a period of time.

25 A Yes, but we didn't know that until quite some



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

time later.

Q But it would have made it more accurate if it had used ...

A Right.

Q ... the shoal water for that period of time.

A Yeah, right. But we'd need a good survey map to verify that.

Q Now, Mr. Chalos asked you to use simulations, assuming that the turn began at 12:01 and a half, correct?

A 12:01 and a half, yes.

Q Did he ...

A Well, no. That's what the transit indicated.

Q Right. But then he asked you to run simulations of different turns.

A Oh, yeah, right.

Q At 12:01, right.

A Uh-huh (affirmative).

Q Correct?

A Right.

Q Did he ask you to do any simulations of, say, if the vessel had turned at 12:03? Or 12:04?

A No.

Q What would have happened if this vessel had not turned until 12:03? Where would it have ...

1 A It'd probably hit the grounding site.  
2 Q If it had not turned until a minute or two  
3 later ...  
4 A Then it might miss the grounding site  
5 altogether.  
6 Q Okay, but the depths got shallower down here,  
7 right?  
8 A Yeah, right. Uh-huh (affirmative).  
9 Q So if they had waited until 12:02 or 12:03,  
10 there wouldn't have been any turn that would have  
11 allowed them to miss Bligh Reef, would they?  
12 A Right.  
13 Q And that's the same all the way down here,  
14 correct?  
15 A Yes, pretty shallow.  
16 Q Now, Mr. Chalos asked you to do a number of  
17 simulations with the turn at Busby, correct?  
18 A Uh-huh (affirmative).  
19 Q And that would have been three degrees, was  
20 that the smallest?  
21 A Three -- four.  
22 Q Three degrees?  
23 A Five and 10 and 20.  
24 Q Four degrees, five degrees, 10 degrees ...  
25 A And 20 degrees.

1 Q ... and 20 degrees. Is that right?  
2 A Uh-huh (affirmative).  
3 Q Okay. (Pause) So let's call that a half.  
4 Now I think you testified that if the turn at  
5 Busby had begun at 11:55, and it was a constant  
6 three-degree turn, this would have missed Bligh  
7 Reef.  
8 A Yeah.  
9 Q So if Captain Hazelwood had been on the bridge  
10 at 11:55, and made sure that a three-degree  
11 rudder angle order was executed, he would have  
12 missed Bligh Reef. Right?  
13 (1100)  
14 MR. CHALOS: Objection, Your Honor. It's  
15 independent whether Captain Hazelwood was on there or a  
16 chimpanzee.  
17 THE COURT: It's argumentative, Mr. Cole.  
18 Objection sustained.  
19 Q And at four degrees it would have missed it  
20 also. Correct?  
21 A Yeah.  
22 Q And five degrees it would have missed it,  
23 right?  
24 A Uh-huh (affirmative).  
25 Q And 10 degrees it would have missed it?

1 A Right.

2 Q And 20 degrees it would have missed it, right?

3 A Yes.

4 Q Now, 20 degrees at 12:02, way down here ...

5 A 12:02?

6 Q Way down here, at 20 degrees it would have

7 missed it.

8 A Yeah. Right.

9 Q So we can assume that it would have missed it

10 all the way up from here to here, right?

11 A Yeah, right.

12 Q At 10 degrees, would it have missed it, if he

13 had turned at 12:02?

14 A Yeah.

15 Q So we can assume he would have missed it all

16 here too, right?

17 A Uh-huh (affirmative).

18 Q How about at five degrees, would it have

19 missed it?

20 A It looks like it would miss.

21 Q Can we assume that it wouldn't have hit at all

22 there?

23 A Yeah.

24 Q Would have missed at all the rest? How about

25 at four degrees?

1 A Well, it hit.

2 Q It hit at four. And we can assume it would  
3 have hit at three also, right?

4 A Right.

5 Q You indicated that at a four-degree turn at  
6 11:56, it would have been okay, right?

7 A Uh-huh (affirmative).

8 Q And a three-degree turn? It would have been  
9 okay?

10 A Yeah, I think so.

11 Q Now, these last ones, are you sure about any  
12 of those, or should we just put question marks?

13 A Well, I'd have to move the -- with a pair of  
14 dividers, move it down.

15 Q Okay. Well, would you feel more comfortable  
16 just putting question marks there? Or do you  
17 think it would have made it at 11:57, at three  
18 and four degrees?

19 A 11:57 is -- it probably would make it.

20 Q Okay. We'll put P for probably. And four?

21 A Yeah.

22 Q Made it.

23 A Made it.

24 Q 11:58?

25 A I think four would make it but not ...

1 Q Three?

2 A Three.

3 Q And question marks here?

4 A Yeah.

5 Q So under all those scenarios where there's a  
6 Y, if the ship had kept on that course, it would  
7 have made it by Bligh Reef. Is that what you're  
8 saying?

9 A Yeah.

10 Q Now, I'd like you to take a look at this  
11 diagram. And if I told you that this green part  
12 represented ice, can you see that?

13 A Yeah.

14 Q And that is right around the 84 and the 72  
15 fathom mark, you see that?

16 A Uh-huh (affirmative).

17 Q And that's represented by that 84 and that 72,  
18 right?

19 A Yeah, I guess so.

20 Q All these turns that you've talked about in  
21 your drawing right here, unless corrected, would  
22 have ended up in the ice, wouldn't they?

23 A Well, if that's where the ice was, yes.

24 Q So in all your scenarios, the vessel would  
25 have missed the reef, but it still might have hit

1 the ice.

2 A Yes.

3 Q So basically, you started your simulation at  
4 berth 5, off of the Port of Valdez, correct?

5 A At what?

6 Q Berth 5, at the 720 or the 920 ...

7 A Yeah.

8 Q ... position mark?

9 A Uh-huh (affirmative).

10 Q And you ended up on Bligh Reef, is that  
11 correct?

12 A Yes.

13 Q And basically, what your scenarios have shown  
14 is that had someone responsible been on the  
15 bridge, this wouldn't have happened.

16 MR. CHALOS: I object, Your Honor.  
17 Argumentative.

18 THE COURT: That's right, Mr. Cole.

19 Q Well, you in fact were fairly surprised when  
20 you charted this and saw that this vessel had not  
21 turned until 12:01, correct?

22 A Well, I ...

23 MR. CHALOS: I object, Your Honor. I object,  
24 there's no foundation for that question.

25 MR. COLE: I have -- I'll lay the foundation.

1 THE COURT: If the objection's foundation, go  
2 ahead and lay a foundation.

3 Q (Mr. Shizume by Mr. Cole:) When you charted  
4 this, and you saw that this vessel had not turned  
5 until 12:01, you were surprised, weren't you?

6 A I was surprised because I knew a four-degree  
7 rudder was be -- gonna be attempted. But that  
8 was my surprise, because I knew that the next run  
9 would be a four-degree rudder run. And I knew it  
10 was going to hit.

11 Q Well, you were also surprised about the fact  
12 that it had taken so long to respond, six and a  
13 half minutes, in a very obviously dangerous area,  
14 without any rudder.

15 MR. CHALOS: Objection, Your Honor, it's  
16 argumentative, there's no foundation, and I think it's  
17 irrelevant whether this witness might have been  
18 surprised.

19 THE COURT: Objection as to relevance  
20 sustained, Mr. Cole.

21 Q No turn happened until six and a half minutes  
22 after the vessel went by Busby.

23 A Right.

24 Q Correct?

25 A Right.



1 Q Thank you. I have nothing further.

2 (1425)

3 REDIRECT EXAMINATION OF MR. SHIZUME

4 BY MR. CHALOS:

5 Q Would you like some water, Mr. Shizume? Sir,  
6 with respect to the CAOR information, before you  
7 started to do your simulation, did you verify the  
8 CAOR information?

9 A Yes, I did.

10 Q Did you assure yourself that the information  
11 was correct?

12 A Yes.

13 Q Did you run and verify the information  
14 yourself on the computer?

15 A I did.

16 Q Now, Mr. Cole asked you if the model that you  
17 used was a 220,000 dead weight ton tanker?

18 A It was scaled from a 220 one.

19 Q In other words, you brought it down to 209,000  
20 tons to represent the Exxon Valdez?

21 A Right.

22 Q Now, Mr. Cole asked you some questions about  
23 the maneuvering characteristics that were  
24 simulated, as opposed to what was posted ...

25 A Uh-huh (affirmative).

1 Q ... and he brought up some differences. How  
2 would you characterize the differences?  
3 A Well, I mean, those were extreme rudder  
4 conditions ...  
5 Q Meaning hard right or hard left?  
6 A Right.  
7 Q And your simulations, except for one, doesn't  
8 take hard right or hard left.  
9 MR. COLE: Objection, leading.  
10 Q Go ahead.  
11 A That's right.  
12 THE COURT: Objection overruled.  
13 Q Okay. Were the differences that were noted in  
14 the hard right or hard left condition within the  
15 acceptable error ranges?  
16 A Yes.  
17 Q Did they in any way, did those differences in  
18 any way affect your simulations?  
19 A I don't believe so.  
20 Q Now, Mr. Cole asked you a question about the  
21 full sea speed. Did your simulation take into  
22 account the actual revolutions per minute that  
23 the engine was being run at at any particular  
24 time?  
25 A Yeah.

1 Q So if you looked, let's say at 11:30, whatever  
2 RPM's the engine was doing at that time, that's  
3 what your simulation would show?  
4 A Yes.  
5 Q Would take into account?  
6 A Yes.  
7 Q Just picking 11:30 as a point in time, and if  
8 you looked, let's say at 12:05 a.m., your  
9 simulation would take into account whatever RPM's  
10 were being used at that time.  
11 A Well, yeah, okay. But the actual RPM that was  
12 written on the bell logger ...  
13 Q Right.  
14 A ... there was no indication at what time that  
15 was taken.  
16 Q But your computer is geared to take into  
17 account the fact that the engine is loading up  
18 ...  
19 A Yes.  
20 Q ... the program's loading up?  
21 A Uh-huh (affirmative).  
22 Q Now, Mr. Cole asked you about the difference  
23 in the fix between what Mr. Cousins showed on the  
24 chart of being 1.1 miles off Busby ...  
25 A Uh-huh (affirmative).

1 Q ... and your simulation being .9. Sir, would  
2 the difference of two tenths of a mile affect in  
3 any way your simulation?  
4 A It would just move everything over by two  
5 tenths of a mile, which since it's ...  
6 Q You mean over laterally?  
7 A Yeah, right. And since it's flat, I mean it's  
8 at 90 degrees, it probably wouldn't make it much  
9 different at all.  
10 Q It certainly wouldn't affect the distance by  
11 which Bligh Reef would have been missed in any  
12 one of these scenarios, would it?  
13 A No. I don't believe so.  
14 Q Now, Mr. Cole asked you about the shoal water  
15 or shallow water not being taken into account by  
16 CAOR when they ran the program initially.  
17 A Yeah.  
18 Q What we're talking about is the last minute  
19 before this vessel ran aground, are we not?  
20 A Yeah, right.  
21 Q So if there was any error, it would have been  
22 in that very last minute.  
23 A Yeah.  
24 Q Do you have an opinion as to whether any ...  
25 A Well, I don't think the ship will respond to

1 something that occurs only for a minute. It's  
2 got so much momentum that you probably won't see  
3 the difference in its track.

4 Q Well, my question is, would it have made any  
5 difference in any of the simulations that missed  
6 Bligh Reef?

7 A Well, those simulations that missed it were in  
8 deep water.

9 Q So they wouldn't have been affected at all.

10 A Right.

11 Q We're only talking then about those  
12 simulations that show the vessel going aground  
13 ...

14 A Right.

15 Q ... and we're only talking about the very last  
16 minute.

17 A Yeah.

18 Q Now, Mr. Cole asked you hypothetically as to  
19 the turn starting at 12:03 or 12:04, do you  
20 remember that?

21 A Yeah.

22 Q And he asked if you did any simulations for  
23 that. In fact, the course recorder indicates  
24 that the vessel started turning at a minute and a  
25 half after midnight, does it not?

1 A Right. Uh-huh (affirmative).

2 Q Mr. Cole also asked you about what the mate  
3 may have been seeing in terms of ice that  
4 particular night. You don't have any first-hand  
5 knowledge of that, do you?

6 A No, I don't.

7 MR. CHALOS: I have no further questions.

8 (1660)

9 RECROSS EXAMINATION OF MR. SHIZUME

10 BY MR. COLE:

11 Q Essentially, Mr. Shizume, this is your best  
12 attempt at trying to simulate the Exxon Valdez  
13 track line that evening, the 23rd. 24th.  
14 Correct?

15 A Uh-huh (affirmative).

16 Q And it's not perfect and it's not totally  
17 accurate.

18 A Well, there's a small error for everything.

19 Q If the vessel didn't start turning -- no, I'll  
20 withdraw. I have nothing further.

21 MR. CHALOS: Just one quick question, Your  
22 Honor.

23 REDIRECT EXAMINATION OF MR. SHIZUME

24 BY MR. CHALOS:

25 Q Mr. Shizume, when you say there's a small

1 error for everything, are you talking about  
2 everything in life?

3 A Everything that requires a measurement,  
4 there's an error. There's an error in the  
5 position fixes, there's an error, small error in  
6 the ship because it's -- but then you won't see  
7 it in a two-hour scenario, probably have to let  
8 it run 12 hours to see the difference.

9 Q Well, in any event, whatever error might be  
10 involved here, were they within the accepted  
11 tolerance accepted by your community?

12 A Yeah, right. So, in fact, it's very -- very,  
13 very much better than what we normally get.

14 MR. CHALOS: No further questions.

15 THE COURT: You're excused, sir. You may call  
16 your next witness.

17 MR. CHALOS: At this time, Your Honor, the  
18 defense calls Mr. Joseph Winer.

19 (1778)

20 THE CLERK: Sir, if you'd please remain  
21 standing and attach that microphone. And raise your  
22 right hand.

23 (Oath administered)

24 A Yes, I do.

25 JOSEPH WINER,

1 called as a witness in behalf of the Defendant, being  
2 first duly sworn upon oath, testified as follows:

3 THE CLERK: Please be seated, sir.

4 A Thank you.

5 THE CLERK: Will you please state your full  
6 name and spell your last name?

7 A Sir, my name is Joseph Winer, W-i-n-e-r.

8 THE CLERK: And your current mailing address,  
9 sir?

10 A Kingsland Road, Boonton, B-o-o-n-t-o-n, New  
11 Jersey, 07005.

12 THE CLERK: And your current occupation?

13 A I'm a consultant, a marine surveyor, and I  
14 also perform at times as an arbitrator.

15 DIRECT EXAMINATION OF MR. WINER

16 BY MR. CHALOS:

17 Q Good afternoon, Mr. Winer. What does a marine  
18 surveyor and consultant do?

19 A A marine surveyor and consultant performs for  
20 owners, charterers, underwriters, law firms, on  
21 behalf of the owner or the charterer, P & I  
22 Clubs, insurance companies, with respect to  
23 vessel condition, with respect to casualties,  
24 with respect to purchase and sale of ships, as  
25 well as operation of ships.



1 Q What do you mean by "owner and charterer"?

2 A Well, the owner being the person that actually  
3 owns the ship, who at times charters it out to  
4 some business person who charters the ship for  
5 his use, for his company, or to subcharterers.

6 Q And what's a P & I Club?

7 A P & I Club is that type of insurance which is  
8 called protection and indemnity, which covers  
9 risks outside of the ordinary hull and machinery  
10 policy, such as third-party injury, accident,  
11 pollution, damage, to structures. I do a  
12 substantial amount of work for that type of club.

13 Q Now, what were you asked to do in this  
14 particular case?

15 A There were three facets to my assignment. The  
16 first was to attend a survey of the vessel on dry  
17 dock at San Diego, to make an overall examination  
18 of the ship, which I did, and I did that in the  
19 company of the attorneys and some representatives  
20 of the owner.

21 We examined the decks, forecastle, the  
22 quarters, the wheelhouse, the engine room, the  
23 auxiliary machinery spaces, the outside of the  
24 ship including the propeller, the rudder, and  
25 then we got to the bottom damage portion, where I

1 was assigned to examine and develop an opinion as  
2 to the cause and sequence of that bottom damage.  
3 Along with that, I was asked to take photographs,  
4 which I did. That was my first assignment.

5 The second assignment was to take into account  
6 some material supplied by Mr. Peter Shizume with  
7 respect to his simulation, and to prepare  
8 exhibits based on the data in that simulation to  
9 show the course of the ship, the track of the  
10 ship, along with an over -- overlays to show  
11 precisely when and where the ship was at  
12 different times.

13 The third part of my assignment was to make a  
14 basic review of the machinery on that ship to  
15 determine what thrust could be provided at  
16 various propeller speeds, in the interest of  
17 could it or couldn't it get off the reef, based  
18 on how much thrust could be provided by the  
19 ship's machinery.

20 Q Would you tell us a little bit about your  
21 educational background, please?

22 A Certainly. I did my undergraduate work at  
23 Stevens Institute in Hoboken, New Jersey, and I  
24 graduated there 1944 with a degree of mechanical  
25 engineer. My subsequent education, also at

1 Stevens, was to receive a certificate in nuclear  
2 engineering in 1957, and then ...

3 Q What was that for?

4 A That was in preparation for the company for  
5 whom I then was employed, the American President  
6 Lines (ph.), we were looking forward to operating  
7 and managing the nuclear ship Savannah, and I was  
8 part of the nuclear team for APL.

9 After that, I attended night school at Stevens  
10 Institute during the years 1957 through '63, and  
11 received my Master of Science in nautical  
12 engineering, also at Stevens, in that year.

13 Q Do you hold any Coast Guard-issued licenses?

14 A Yes, I do.

15 Q What do you hold?

16 A The license I hold is Chief Engineer Steam  
17 Unlimited Horsepower. That license was issued to  
18 me originally in 1947, and the issue number, 912,  
19 is currently valid and expires, subject to  
20 renewal, of course, in 1992. It's valid.

21 Q Did you hold any other licenses before you got  
22 your chief engineer's license?

23 A Yes. After college, I sailed in the  
24 unlicensed crew of various tanker companies. One  
25 was the Hilcone (ph.) Steamship Company, and then

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I sailed for Gulf Oil Company. I sailed as wiper, fireman, oiler ...

Q Well, what's a wiper?

A A wiper is sort of like a -- a little below a janitor. You cleaned up, you emptied wastebaskets, you made beds, you wirebrushed the floor plates, you emptied the garbage in the engine room. Then you worked your way up to fireman, where you took care of firing the boilers, and oiler, where you took care of oiling machinery, and I worked my way up to pump man, again on tankers for Gulf Oil, where you took care of the pump room and the cargo handling machinery.

I then sat for my original third assistant's license, which I received in 1945, subsequent to which I received my second assistant's license, first assistant's license, and I got my chief engineer's license in 1947.

Q You sailed as a chief engineer?

A Yes, I did, I sailed for American President Lines on their round-the-world liner series, on one of their new post-war ships, what they refer to as a C-4 steamship, and we were on the round-the-world service. I sailed for them as chief

1 engineer, subsequent to which I sailed for a  
2 company which was called Luckenback (ph.) Line.  
3 I sailed for them for a few years, and I came  
4 -- I ceased my seagoing career in 1950.

5 Q You were going to say you came ashore?

6 A Yes, I did.

7 Q When did you come ashore?

8 A I came ashore in July 1950.

9 Q Now, can you give us a brief history of your  
10 employment background since 1950?

11 (2080)

12 A Certainly. In 1950 I came ashore, again for  
13 American President Lines, as port engineer, and  
14 within a year, I was promoted to superintendent  
15 engineer Atlantic, which gave me the territory of  
16 Europe and the East Coast of the United States  
17 for vessel maintenance repair surveys, damage  
18 surveys, survey repairs, and things to do with  
19 spare parts and the engine room crew. I stayed  
20 in that position for about 20 years, up through  
21 1969 or 1970.

22 Q What did you do in 1970?

23 A 1970 I was promoted to operations manager and  
24 general manager, Atlantic Coast, again for  
25 American President Lines.

1 Q What did you do in that capacity?

2 A In that capacity I was in charge of the  
3 operations, the terminal, the ship operations,  
4 the ship personnel, and after that I was promoted  
5 to general manager Atlantic, which put me in  
6 charge of the marketing, subsidy, rates and  
7 conferences, sales, marketing, the entire  
8 division. Later I was promoted, 1971 to '73, I  
9 served as vice president Atlantic for American  
10 President Lines.

11 Q What did you do after 1973?

12 A After 1973, I left the steamship company after  
13 almost 30 years, and went to work for Hudson  
14 Engineering Company, a ship repair and general  
15 structural fabrication company. I was president  
16 of Hudson Engineering.

17 Q How long did you stay there?

18 A Stayed there for three years. With Hudson,  
19 and with Hudson's brother companies, there was  
20 Heat Exchanger Engineering Company, Jefferson  
21 Electric Company, Perth Amboy Dry Dock, and then  
22 later we merged with a firm called Cornell and  
23 Underhill, and I assumed the position of chairman  
24 of the board for Cornell and Underhill at that  
25 time.

1 Q When did you leave that job?  
2 A I left that job in 1976.  
3 Q What did you do after that?  
4 A After that, I went out on my own, started my  
5 own business, the same as I'm doing now, as a  
6 marine surveyor, a consultant, and later I became  
7 active as an arbitrator.  
8 Q Do you hold any professional stationary  
9 engineer licenses?  
10 A The licenses I hold are PE license,  
11 professional engineer's license, for the states  
12 of New York and New Jersey. I received a New  
13 Jersey license in 1954, a New York State license  
14 in 1956.  
15 Q You said that you're also an arbitrator. What  
16 type of arbitrations are you involved with?  
17 A Most of the arbitrations I'm involved with are  
18 admiralty matters, matters of maritime  
19 arbitration, mostly in New York, and I serve as a  
20 panel member, and also chairman when so  
21 appointed.  
22 Q What do you mean by chairman?  
23 A Under the usual procedure, in most disputes  
24 clause, they state that if any dispute arises,  
25 it's to be settled by arbitration, structured so

1           that each party appoints an arbitrator, and those  
2           appointed arbitrators together appoint a  
3           chairman, who is the so-called -- that's called  
4           procedural chairman, in running the show. And  
5           then hearings are scheduled, hearings are held,  
6           and arbitration awards and decisions are handed  
7           down by those panels.

8           Q           And you've acted as chairman from time to  
9           time?

10          A           Yes, many times. And I'm still active in that  
11          capacity.

12          Q           Have you ever testified in court before?

13          A           Yes, I have.

14          Q           How many times?

15          A           I testified in State and Federal courts in New  
16          Orleans, in Connecticut, and in New York and  
17          Philadelphia 17 times. One of those occasions I  
18          referred to was when I served as a special master  
19          under what they call Rule 53 for -- in the  
20          southern district of New York for Judge Mary  
21          Johnson Lowe (ph.). Under those conditions,  
22          under the rule, if the court finds that there are  
23          accounting or technical matters that the court is  
24          unwilling to have to learn for the purpose of  
25          resolving a dispute, the court appoints a special



1 master.

2 In this case, the court asked both counsel to  
3 agree upon a special master in a very serious  
4 collision, insurance, damages, cost allocation  
5 case, and I was appointed in that capacity. I  
6 worked that through and gave my report to the  
7 court in keeping with the rules.

8 Q Now, have you been qualified as an expert in  
9 those instances where you've testified in court?

10 A Yes, I have.

11 Q In all instances?

12 A Yes.

13 Q Now, you've testified as an expert in  
14 arbitrations?

15 A Yes, I do.

16 Q How many times have you done that?

17 A I've testified about 50 times as an expert in  
18 arbitration, and I'm currently active in about 10  
19 current cases.

20 Q And in those cases, were you qualified as an  
21 expert?

22 A Yes, I was.

23 Q Now, have you ever given testimony as an  
24 expert with respect to grounding matters?

25 A Yes, I have.

1 Q On how many occasions?  
2 A Oh, about five or seven occasions.  
3 Q Were those groundings similar to the grounding  
4 of the Exxon Valdez?  
5 A Some of them were. Those are the cases where  
6 I've testified. Actually, in some of my  
7 surveying consulting work, I also attended  
8 groundings on behalf of, or as assigned by the  
9 owner, as assigned by the cargo underwriter, or  
10 as assigned by the P & I Club.  
11 Q You've actually seen vessels grounded before?  
12 A Oh yes. Yes.  
13 (2368)  
14 Q Have you seen vessels grounded on rocks?  
15 A Yes.  
16 Q How about on coral?  
17 A Yes.  
18 Q And what was your role in those groundings?  
19 A For the most part, my role in those groundings  
20 was to examine and make a determination and  
21 provide an opinion as to the damages sustained by  
22 the grounding, any damages sustained in the  
23 efforts to take the ship off the ground, and even  
24 in some cases damages which preexisted the  
25 incident, which were there before the ship went

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 aground. So it breaks down into about three  
2 categories.

3 Some get even more complex. In one case, last  
4 year, a ship called the Golden Unity, it was a  
5 coral grounding, the ship grounded once, and  
6 grounded again, and grounded a third time, so  
7 that determination was preexisting due to the  
8 grounding, second grounding, third grounding, and  
9 all efforts to refloat. Yes, I've been -- I'm  
10 currently active in that.

11 Q All right, have you in your career seen  
12 damages of the type sustained by the Exxon Valdez  
13 in this grounding?

14 A Yes, I have.

15 Q On how many occasions?

16 A Oh, at least six occasions. The Clara Mersk  
17 (ph.) was one, the President Harding was the  
18 second, the President Garfield was the third, the  
19 Arcangelos (ph.) up in Canada was the fourth, the  
20 Conchio (ph.) off Staten Island was the fifth,  
21 and the Golden Unity, currently -- which is  
22 currently active in my activity -- six, I've seen  
23 others too.

24 Q You've been asked to appear here as an expert  
25 for the defendant, have you not?

1 A Yes, I have.

2 Q What is your fee arrangement?

3 A My fee arrangement is on an hourly basis. My  
4 fee is \$90.00 an hour.

5 Q Do you know how many hours you've -- can you  
6 approximate how many hours you've worked on this  
7 matter so far?

8 A Yes, I can.

9 Q How many hours?

10 A About 160 hours.

11 Q So your fee, then, is somewhere around 14,  
12 \$15,000.00 so far?

13 A Yes, it is.

14 Q Now, let's talk about your trip to San Diego.  
15 Can you tell us specifically what you did in San  
16 Diego?

17 A Yes. Went down to the shipyard, entered,  
18 joined the group, went aboard the ship, and I  
19 think our sequence was deck examination first,  
20 showing the deck structures, the cargo activity,  
21 including the IG system, the access trunks, the  
22 deck piping, the winches, the sounding machines,  
23 we went up as far as the forecastle, examining  
24 the windlass and mooring arrangements, came back  
25 through the accommodations. We did make a

1 careful inspection of the navigating bridge, the  
2 wheelhouse, the chart room, the wings of the  
3 bridge, the controls, the radar, the  
4 instrumentation in the wheelhouse, I took  
5 numerous photographs to indicate that activity,  
6 after which ...

7 Q Let me stop you there, because I want to  
8 introduce some photographs into evidence.

9 A Certainly.

10 Q By the way, who was in this group of people  
11 that accompanied you?

12 A Best of my recollection was you, Mr. Chalos,  
13 Tom Russo, one member of the Coast Guard, Mr.  
14 Madson, Ed Hoffman -- there were some people from  
15 Exxon too, keeping us company.

16 Q Okay, let me show you what we've marked for  
17 identification as Defendant's Exhibit AV ...

18 A Yes, sir.

19 Q AW ...

20 A Yes.

21 Q AX ...

22 A Yes.

23 Q AY ...

24 A Yes.

25 Q And BW. And ask you, did you take these

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

photographs?

A I took some of them. I took a -- I took AY, and AV.

(2578)

Q Okay, let's take these two first. What does Exhibit AY represent?

A AY is a photograph taken from the port bridge wing, looking to starboard and inboard, toward the wheelhouse. It shows the radar mast, ship's name, wheelhouse windows, and it shows these three instruments outside the port wheelhouse door, which are the telegraph, the rudder angle indicator, and the engine RPM indicator.

Q Where is -- could you hold that picture up for the jury? Where is the rudder angle indicator, on the winch?

A By my finger. It's a round gauge, it shows five degrees rudder at this time.

Q That's right over the doorway?

A Yes, it is.

Q Let me show you now Exhibit AV. Is that a close-up picture of the rudder angle indicator over the door?

A Yes ...

Q Could you hold that up?

1 A ... this is a close-up picture here, this is  
2 the rudder angle indicator, the instrument  
3 currently shows a five-degree left rudder. The  
4 center instrument is the tachometer, showing the  
5 engine RPM ahead and astern, and the third one is  
6 the engine order telegraph, showing the orders  
7 from the wheelhouse to the engine room.

8 MR. CHALOS: Your Honor, at this time I offer  
9 Exhibits AY and AV into evidence.

10 MR. COLE: No objection.

11 THE COURT: They're in.

12 Q (Mr. Winer by Mr. Chalos:) And the other  
13 three pictures that I've shown you, do you know  
14 who took these pictures?

15 A Yes, I believe -- I'm sure Ed Hoffman took  
16 those pictures.

17 Q Taking a look at them, do they accurately  
18 represent what you saw on that particular day in  
19 the areas depicted by the photos?

20 A Yes, they do.

21 Q What does Exhibit AW for identification  
22 depict?

23 A Exhibit AW depicts basically the instruments  
24 shown directly above the wheelhouse windows  
25 looking forward. Here in the center we have

1 another rudder angle indicator, which also shows  
2 the position of five degrees left rudder. To the  
3 right of that, we have the maneuvering RPM sign  
4 showing the RPM for each ahead and astern order,  
5 and above that we have the rate of turn indicator  
6 in degrees per minute, showing the change of  
7 heading of the ship while changing course.

8 Q These are all on the bulkhead in front of the  
9 helmsman?

10 A Yes, they are.

11 Q Okay, and what does Exhibit AX depict?

12 A I'm sorry, I misidentified this instrument  
13 above the maneuvering RPM. That instrument is  
14 the actual engine RPM indicator, showing the  
15 ahead and astern RPM. The second photograph  
16 shows the wind indicators on the right-hand side  
17 of the photograph, first is the wind direction,  
18 and the one in to the left of that is the wind  
19 speed. The instrument at the center is the one I  
20 referred to before, that's the rate of turn  
21 indicator, showing how fast the vessel is  
22 changing heading in -- and it's calibrated in  
23 degrees per minute.

24 Q If someone wanted to see how quickly or how  
25 slowly the vessel's rate of turn was, what would



1           they do?

2           A           They'd look at the rate of turn indicator.

3           Q           And if, for instance, if you knew that the  
4           vessel should turn 20 degrees per minute if you  
5           use a certain rudder, could you look up and see  
6           if in fact it was turning at that rate?

7           A           Yes, you could.

8           Q           Now, Exhibit BW, what does that represent?

9           A           That represents a view, I believe, taken from  
10          the wing of the bridge, looking down, showing  
11          some of the mooring machinery, showing a  
12          protective shield, a water barrier, and showing  
13          some of the main cargo piping leading from the  
14          -- directly forward of the house to the port end  
15          of the ship.

16          Q           What is this, this right here, this opening  
17          right here, in the upper left?

18          A           The opening in the upper left is called an  
19          ullage trunk, or ullage opening, it's a large  
20          access going into one of the cargo tanks.

21          Q           Is that ullage trunk on all the tanks in this  
22          vessel?

23          A           It's on all of the cargo tanks.

24                   (Pause)

25           MR. CHALOS: Your Honor, at this time I offer

1 Exhibits AW, AX, and BW into evidence.

2 THE COURT: They're admitted.

3 EXHIBITS AW, AX, & BW ADMITTED

4 Q I'm sorry, Mr. Winer, I interrupted you, you  
5 were telling us about the inspection at San  
6 Diego.

7 Q Okay, then after we left the wheelhouse, again  
8 after taking numerous photographs, we made a  
9 complete tour of the machinery spaces, the engine  
10 room, the pumping arrangements, pumping  
11 machinery, the -- those portions located in the  
12 engine room, the auxiliaries, the lower engine  
13 room, after which we went to the steering engine  
14 room, in the very after end of the ship, and  
15 carefully looked at the steering machinery, the  
16 controls, the after steering station located on  
17 the after bulkhead, the communications equipment,  
18 as well as the hydraulic machinery there.

19 Q What did you do after that?

20 A After that, I believe I went back down below  
21 and took some additional photographs, and that  
22 was the end of the survey.

23 Q Did you go into the dry dock to look at the  
24 damage?

25 A Yes. Yes.

1 Q What did you do in that respect?

2 A In the dry dock to look at the damage, I -- I  
3 first made an overall walkaround under the ship  
4 on dock. It was fairly well -- it was an easy  
5 walkaround, because they had four-foot blocks  
6 which are pretty high. I made a complete  
7 walkaround, then I started to make photographs,  
8 take photographs, on a station-by-station basis,  
9 to try and portray by photography the important  
10 aspects of the damage, including the unique  
11 starboard side supports, which were made  
12 necessary by the severe bottom damage away the  
13 starboard side. I took about 100 photographs of  
14 the bottom.

15 Q Now, could you describe the damage that you  
16 saw?

17 A Yes, the damage was generally fore and aft.

18 Q What do you mean by "fore and aft"?

19 A From the fore end of the ship, from the bow,  
20 to the stern, including gouges, severe physical  
21 distortion of the shell plating as well as the  
22 internals, some scraping, both heavy and light,  
23 generally confined to the forward end of the  
24 ship, the starboard portion, and the centerline  
25 tanks. The port wing tanks were generally

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

unaffected.

The damage started about 50 feet aft of the actual bow, due to the shape of the ship and the forebody, and ran straight aft with a slight curvature to starboard, and the damage seemed to leave the vessel, or the vessel went over the damaging rocks, off the starboard number 5 tank and the starboard slop tank.

Q Do you have an opinion as to the cause of the damage you viewed?

A Yes, I do.

Q What is your opinion?

A My opinion is that the damage was caused by two contacts with a hard ground material. The first contact occurred under a situation where the vessel contacted and kept moving, until it passed completely over that obstruction, leaving a trail of indents, distortions, and wrinkles at the location I mentioned before, where the 5 starboard cargo tank, and the starboard slop tank. It appeared to me, in my opinion, the ship then proceeded for a short distance, and struck a shallower hard protrusion, which arrested the ship's motion, and that's where the ship ended up impaled on the rock. That's where she finally

1           stopped.

2           Q           Do you have an opinion as to the length of  
3                       time between the first hit and the second hit as  
4                       you've described?

5           A           Yes.

6           Q           What is your opinion?

7           A           My opinion is that the entire procedure of the  
8                       first and second hit took less than one minute.

9           Q           Why do you say that?

10          A           Sir, that's based on the -- the distance  
11                      between the two underwater rocks, which are  
12                      defined on the detailed depth chart, the velocity  
13                      of the ship, and the statements by various crew  
14                      members, all put together.

15          Q           Do you have an opinion as to what time this  
16                      vessel struck the first rock?

17          A           Yes, I do.

18          Q           What is your opinion?

19          A           In my opinion, the vessel first made contact  
20                      on the seven-fathom mark shown in the chart at  
21                      about eight and a half minutes after midnight on  
22                      the 24th of March.

23          Q           And what do you base that conclusion on?

24          A           I base that conclusion on the state of the  
25                      tide as displayed to me by the tide table and the

1 tide fluctuation chart, the soundings shown on  
2 the various harbor charts, and the draft of the  
3 vessel, which was slightly over 56 feet.

4 Number one, based on the tide charts, it  
5 appears quite certain that the tide was coming  
6 up, it was about 10 feet above mean low low water  
7 level. On that basis, you would take the depths  
8 shown on the various charts, which are in  
9 fathoms, and add ten feet onto that, and that  
10 would give you the depth of the water over the  
11 eight-fathom mark, over the seven-fathom mark,  
12 and over the six-fathom mark.

13 Q Have you reviewed a detailed sounding chart  
14 for this area?

15 A Yes, I have.

16 Q And did you take that chart into consideration  
17 in reaching your conclusions?

18 A Yes, I did.

19 (3290)

20 Q Let me show you what we've marked for  
21 identification as Defendant's Exhibit -- I  
22 believe it's AN. Yes, Defendant's Exhibit AN.  
23 Let me ask you, is this the chart that you  
24 reviewed?

25 A Yes, it is.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Q And who produces this chart?

A It's produced by National Ocean Survey, A.L. Powell, Director, Hydrographic Survey Number 9384.

Q That's a governmental agency chart with the responsibility ...

A Yes, I believe it is.

Q ... for producing such charts?

A Yes, it is.

MR. CHALOS: Your Honor, at this time I offer Exhibit AN into evidence.

MR. COLE: No objection.

THE COURT: Admitted.

EXHIBIT AN ADMITTED

Q (Mr. Winer by Mr. Chalos:) Now, at what time did you say you believe the vessel struck the reef the first time?

A About eight and a half minutes after midnight.

Q Did you plot the movement of this vessel to come to that conclusion?

A Yes, I did.

Q Let me show you what we've marked for identification as Defendant's Exhibit BU, and ask you -- Your Honor, may we approach closer to the jury?

1 THE COURT: Let's get the foundation and get  
2 it ...  
3 MR. CHALOS: Okay.  
4 THE COURT: ... in evidence before we do that.  
5 Q Is this a chart that you prepared?  
6 A Yes, it is.  
7 Q Where do all these figures come from, what  
8 does this represent?  
9 A What I did to achieve that is I took the  
10 appropriate section of this chart ...  
11 Q Exhibit AN.  
12 A Exhibit AN, and I enlarged that to an  
13 appropriate scale, which is shown in the green  
14 rectangle ...  
15 Q Did you prepare this scale?  
16 A Yeah. I prepared that both in feet and miles.  
17 Then I took the trajectory from Mr. Shizume's  
18 simulation, and then scaled the actual vessel  
19 size and overlaid them to put it on one piece of  
20 paper.  
21 Q And what do these ship lengths represent?  
22 A Those ship lengths represent the position and  
23 heading of the vessel at one-minute intervals.  
24 Q Starting at ...  
25 A 001 and a half, at the upper right-hand corner



1           ...

2           Q           A minute and a half after midnight?

3           A           Yes, sir.

4           Q           And what does the length of the vessel that  
5           you have depicted here represent?

6           A           That represents the ship length of 987 feet.

7           Q           Is that to scale?

8           A           Yes, it is.

9           Q           And you've marked the minutes on here in  
10          green?

11          A           Yes, I did.

12                   MR. CHALOS: Your Honor, at this time the  
13          defense offers Exhibit BU into evidence.

14                   MR. COLE: No objection.

15                   THE COURT: Admitted.

16                                   EXHIBIT BU ADMITTED

17          Q           (Mr. Winer by Mr. Chalos:) May we get a  
18          little closer so -- why don't you bring the  
19          pointer with you, Mr. Winer?

20          A           Sure.

21                   THE COURT: If you want to take the amplifier  
22          with you, it's on the top, metal black box, it'll fit  
23          in your pocket.

24          Q           All right. Now. You listened to the  
25          testimony of Mr. Greiner, did you not?

1 A Yes, I did.

2 Q And do you recall Mr. Greiner saying that he  
3 believed that the vessel struck the first reef  
4 somewhere around five and a half minutes after  
5 midnight?

6 A Yes, he did.

7 Q Do you agree or disagree with that opinion?

8 A I disagree with that opinion.

9 Q Why do you disagree?

10 A I disagree with that opinion simply because  
11 the simulation following from the four  
12 checkpoints on the way down from Valdez project  
13 onward to 0001 and a half at a given location,  
14 and the subsequent locations shown on an XY axis  
15 shown in the simulation brings the ship at a  
16 position here at the time 0005 and a half.

17 Q How much water is indicated according to the  
18 sounding chart at that time?

19 A Over 40 fathoms. Which is ...

20 Q Meaning how many feet?

21 A Over 240 feet.

22 Q Do you believe that the vessel struck a reef  
23 in 240 feet of water?

24 A No, I don't.

25 Q Okay. Where was the vessel at six and a half

1 minutes after midnight?

2 A At six and a half minutes after midnight, the  
3 vessel was in this position here, and the water  
4 depth ranges from approximately 18 fathoms to 33  
5 fathoms.

6 Q How much is that in feet?

7 A That'd be 108 feet to 300 -- to -- 180 feet to  
8 -- over 100 feet to 180 feet.

9 Q Do you believe that the vessel struck a reef  
10 at six and a half minutes after?

11 A No, I don't.

12 Q Now, you say you believe this vessel struck  
13 the reef for the first time at about eight and a  
14 half minutes after.

15 A Yes, I do.

16 Q What is the basis for that opinion?

17 A The basis for that opinion is the fact that  
18 following the track, the vessel was always in  
19 deep enough water -- the vessel passed over this  
20 range of eight-plus fathom protrusion shown here  
21 ...

22 Q What depth would have been at that point?

23 A Nearly eight-plus fathoms. The eight-plus  
24 fathoms would be 48 feet, plus 10 feet of tide,  
25 well, it's 48 plus -- I think that's 8.2 fathoms,

1 so it's about 49 feet, plus 10 feet of tide,  
2 which would be 59 feet. The vessel was only  
3 drawing 56 feet, so in my opinion, the vessel  
4 cleared that particular hazard. It didn't ...

5 Q All right. You have the ship at eight and a  
6 half minutes down here. What kind of shoals do  
7 you have there?

8 A The water depth here shows as low as 7.1  
9 fathoms, which would be about 42-plus feet, and  
10 allowing for the 10 feet above mean low low  
11 water, the depth there would be slightly over 52  
12 feet, which would have provided about a four-foot  
13 interference, that is, four foot too shallow  
14 water compared to the draft of the ship. At that  
15 point, at 008 and a half.

16 Q There's been some testimony about a tunnel  
17 that was noticed down in San Diego.

18 A Yes.

19 Q What was the depth of that tunnel?

20 A The depth of that tunnel shows on the sketch  
21 to be four feet.

22 Q Which is what you're talking about here?

23 A Yes, it is.

24 Q Does that support the conclusion that you just  
25 stated?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A Yes, it does.

Q The fact that you saw that tunnel at four feet?

A Certainly does.

Q Okay. At what time do you believe that the vessel struck the reef that caused it to stop completely?

A I believe that the -- the vessel struck the approximately six-fathom reef within one minute after contacting the seven-fathom reef.

Q So by nine and a half she was hard aground.

A Yes.

Q In your opinion.

A Yes, it was. My opinion.

(3789)

Q You prepared another chart in this regard, let me -- and we've marked it as Defendant's Exhibit BV for identification. Can you tell me what this chart represents?

A Certainly. That chart represents a magnified portion of the prior chart. The first chart was prepared with the idea in mind of showing the entire vessel's track from the time the turn commenced at 0001 and a half. This portion shows a close-up, if you may, of the vessel as it

1           proceeded over the eight-fathom reef and --  
2           another scale model here, down to the eight-  
3           fathom reef, to the seven-fathom reef, where it  
4           made contact, and then onward at a slight  
5           attitude difference from its course to the final  
6           six-fathom reef.

7           Q           When the vessel hit the six-fathom reef, do  
8                        you have an opinion as to whether she changed  
9                        heading at all?

10          A           Yes.  Some of the transcript by the third mate  
11                       and the helmsman indicated that counter-rudder  
12                       was given at that time, and ...

13          Q           What do you mean, counter-rudder?

14          A           Well, there was right or starboard rudder  
15                       giving -- given, in the effort to make this turn,  
16                       and then the testimony is that when the vessel  
17                       fetched up on the six-fathom rock, they gave  
18                       counter-rudder, or left rudder ...

19          Q           Are you talking about Mr. Cousins' testimony  
20                       about hard left rudder at that point?

21          A           Yes.  That also shows up on one of the  
22                       exhibits that I studied, and that is the exhibit  
23                       of the course recorder.

24          Q           Now.  Was this diagram done to scale?

25          A           Yes, it was.  And the scale is shown on the

1 upper left, this model I'm using here is also to  
2 scale.

3 Q All right. Does the course of the ship  
4 accurately reflect Mr. Shizume's simulation?

5 A Yes, it does.

6 MR. CHALOS: Your Honor, at this time we offer  
7 Exhibit BV into evidence.

8 MR. COLE: No objection.

9 THE COURT: Admitted.

10 EXHIBIT BV ADMITTED

11 Q (Mr. Winer by Mr. Chalos:) Okay, you may  
12 resume your seat.

13 A Thank you.

14 Q Sir, you spoke about the eight-fathom mark,  
15 and you said you thought there was 8.2 fathoms?

16 A Yes.

17 Q Let me show you what we've marked as Exhibit  
18 BF. Can you tell us what the eight-fathom mark  
19 indicates on this?

20 A Yes, it shows eight and a half fathoms, or 8.5  
21 fathoms.

22 Q That would be 52 feet, would it not?

23 A Yes, it would.

24 Q Sorry, 51 feet.

25 A Six, eight -- 51 feet. 48 plus three,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

correct.

Q All right, so if you had 10 feet of tide at that point, then this area would be 61 feet.

A Yes, it would.

Q So when we talk about the eight-fathom area, we're talking -- as shown on the chart, we're talking about an area that had at that point in time 61 feet ...

A That's correct.

Q ... of water.

A Yes.

Q Okay. Now, you spoke about the course recorder. Did you make a chart of the course recorder?

A Yes.

Q Is this the chart that you made?

A Yes, it is.

Q What was the purpose of making this chart?

A Purpose of making this chart was to place the entire pattern of the course during the interval involved in one scale without changing quadrants. The original course recorder is ...

Q Let me get that exhibit, so we can compare it. Let me show you what's been marked as Plaintiff's Exhibit 16, and ask you, is that the original



1 that you're referring to?

2 A Yes, it is.

3 Q Okay, what's the difference between Exhibit 16  
4 and what we've marked as Exhibit BT for  
5 identification?

6 A The difference is the quadrants, or the 90-  
7 degree segments of the total compass, are shown  
8 in four different scales here. First is 0 to 90,  
9 second is 90 back to 180, and 180 to 270, and 270  
10 to 360. And which quadrant you're in is defined  
11 by where this quadrant identifier stripe is,  
12 whether it's in the 0 to 90 range, the 90 to 180  
13 range, the 180 to 270, or the 270 to 360.

14 Technically, this is a fine way to record  
15 them, but for rapid evaluation and inspection,  
16 what I did is I actually unfolded, to keep the  
17 whole thing in one range, which I renumbered on  
18 the left-hand corner to show from 180 down to 310  
19 in one expanse.

20 (Tape: C-3665)

21 (0034)

22 So in fact what I did is, I took the portion  
23 as shown in the title from the hour 12:09 and a  
24 half, in here, and I merely folded it over, and  
25 that shows up here. I didn't redraw this, what I

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

did is I reproduced the original chart on an acetate sheet, and then simply inverted it and re-Xeroxed it. So I have an actual original just turned over.

Q So this chart more accurately reflects what was happening with the vessel's rudder?

A Well ...

Q When I say "more accurately," more pictorially.

A Yes.

Q In other words, starting at 12:01 and a half, the vessel carried right rudder right on through to about 12:10 and a half or something?

A Yes.

Q And it got all the way down to about course 305?

A Yes, it did.

Q And then there was this hard left that you're talking about?

A Yes.

Q Okay. So it's just an easier way to read the course recorder.

A Yes. Because in that fashion, the right rudder and the change of heading toward the right or starboard is continuous down to the change

1 here, directly after the time 12:10, and then  
2 this in the upper direction shows a change in the  
3 left-hand or port side direction.

4 Q Okay. Is this an accurate representation of  
5 the course recorder as depicted in Exhibit 16?

6 A Yes, it is.

7 MR. CHALOS: Your Honor, at this time we offer  
8 Exhibit BT into evidence.

9 MR. COLE: No objection.

10 THE COURT: Admitted.

11 EXHIBIT BT ADMITTED

12 Q (Mr. Winer by Mr. Chalos:) Mr. Winer, at  
13 about 12:06 and a half, there seems to be a  
14 hitch, if you will, in the course recorder, where  
15 the course appears to have flattened out.

16 A Yes.

17 Q Do you have an opinion as to what caused that  
18 hitch?

19 A Yes, I do.

20 Q What is your opinion?

21 A In my opinion, it was some variation in the  
22 helm or the steering of the ship.

23 Q What does that mean?

24 A That means instead of holding a 10-degree or  
25 whatever right rudder they were holding at that

1 time, a counter or left-hand rudder or reduced  
2 rudder helm was imposed, which interfered with  
3 the change in course depicted by the straight  
4 line shown here. That slight hitch at 12:06 and  
5 a half occurred at course approximately 246. At  
6 that time, the vessel was in deep water.

7 Q Well, that was going to be my next question.  
8 Do you have an opinion as to whether that hitch  
9 might have been caused by the vessel interacting  
10 with the bottom?

11 A Yes, I do.

12 Q What's your opinion?

13 A My opinion is it was not caused by interaction  
14 with the vessel bottom, because at course 246 and  
15 time 0006 and a half, the vessel was in deep  
16 water.

17 Q I would like you to hypothetically assume for  
18 the moment that the helmsman said that at some  
19 point in time he applied counter-rudder, trying  
20 to steady up on course 245. Would that kind of  
21 statement be consistent with the hitch that you  
22 saw in this course recorder?

23 A It certainly would.

24 Q Sir, in that regard, I'll show you what we  
25 marked as Exhibit BE. Have you had opportunity

1 to review this exhibit?

2 A Yes.

3 Q And is your opinion about the counter-rudder  
4 being applied at about 12:06 or so depicted in  
5 this particular exhibit?

6 A Yes, it is.

7 Q Can you point out where that is?

8 A Certainly. That is shown with the code of the  
9 triangles, which define what the course recorder  
10 indicates. And these triangles come down at the  
11 various times, 12:02, 12:03, the hours and  
12 minutes are marked on the bottom scale, and the  
13 course and degrees marked on the left-hand scale.  
14 It shows a steady movement on the course recorder  
15 up to the time of 12:06 and a half. At that  
16 time, it changes direction significantly and  
17 abruptly, and makes almost no move whatsoever for  
18 a full minute, after which time it goes back on  
19 to the same slope it had before.

20 Q What does that indicate to you?

21 A That indicates that there was, at the time  
22 12:06 and a half, an abrupt interruption of the  
23 previously steady change in heading.

24 Q Is that consistent with a counter-rudder being  
25 put on?

1 A Yes, it is.

2 Q Now, can you explain to us what the rudder  
3 angle 10 degrees indicates there?

4 A Yes, that indicates what the course would have  
5 been had a 10-degree rudder been applied at time  
6 0 or time 12:01 and a half. The course would  
7 have followed the value detected by this line.  
8 So ...

9 Q In a steady manner.

10 A In a steady manner, so for example, say, at  
11 12:05, the course would have been, as shown here,  
12 about 260, instead of being 260 where it shows  
13 for the actual rudder applied.

14 Q Well, let me ask you this, take 12:07 for  
15 instance. What would the course heading have  
16 been at that point, had 10 degrees right rudder  
17 been used?

18 A The course at 12:07 would have been 290  
19 degrees.

20 Q Meaning that the vessel would have swung past  
21 the 270 by that point ...

22 A At 20 degrees.

23 Q ... and headed sort of northwest.

24 A Yes, it would.

25 Q Okay. What course is indicated that the

1 vessel was actually on at the same time, at that  
2 time?

3 A About 250. About 245, 246, 250. In this  
4 range here.

5 Q And that's because less than 10 degrees of  
6 right rudder was being used then?

7 A Yes.

8 Q Okay. You may -- Your Honor, this probably is  
9 a good place to stop.

10 THE COURT: All right. We'll recess until  
11 tomorrow morning, I'll see you back then, remember my  
12 instructions not to discuss the matter among yourselves  
13 or with any other person, or form or express any  
14 opinions. Anything we can do, counsel, before we  
15 recess?

16 MR. COLE: No.

17 MR. MADSON: No.

18 THE COURT: We'll all recess, then.

19 (0268)

20 (Off record - 1:30 p.m.)

21 \*\*\*CONTINUED\*\*\*  
22  
23  
24  
25