

SPEC
COLL
6C
1552
P75
H39
1990
v.36

IN THE TRIAL COURTS FOR THE STATE OF ALASKA
THIRD JUDICIAL DISTRICT
AT ANCHORAGE

STATE OF ALASKA,

Plaintiff,

VS

JOSEPH HAZELWOOD,

Defendant.

No. 3AN 89-7217; 3AN 89-7218

TRIAL BY JURY
MARCH 9, 1990
PAGES 6639 THROUGH 6817

VOLUME 36

Original

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

BEFORE THE HONORABLE KARL JOHNSTONE
Superior Court Judge

Anchorage, Alaska
March 9, 1989
8:38 a.m.

APPEARANCES:

For Plaintiff:

DISTRICT ATTORNEY'S OFFICE
BRENT COLE, ESQ.
MARY ANNE HENRY, ESQ.
1031 West 4th Avenue, Suite 520
Anchorage, AK 99501

For Defendant:

CHALOS ENGLISH & BROWN
MICHAEL CHALOS, ESQ.
THOMAS RUSSO, ESQ.
300 East 42nd Street, Third Floor
New York City, New York 10017

DICK L. MADSON, ESQ.
712 8th Avenue
Fairbanks, AK 99701

3 3755 000 23400 5

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

TABLE OF CONTENTS

WITNESS INDEX

DIRECT CROSS REDIRECT RECROSS VOIR DIRE

FOR DEFENDANT:

WINER, JOSEPH (CONTINUED)

Mr. Chalos	6643		6709	
Mr. Cole		6676		6719

MARTINEAU, ANDRE

Mr. Chalos	6724		6731	
Mr. Cole		6727		6736

WALKER, SHIRAS MICHAEL

Mr. Chalos	6739			
Mr. Cole		6807		

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

EXHIBIT INDEX

<u>EXHIBIT</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
BY	Cover letter by Andre Martineau	6727

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

1 PROCEEDINGS

2 MARCH 9, 1989

3 (Tape: C-3665)

4 (267)

5 (On record - 8:38 a.m.)

6 THE CLERK: ...Third Judicial District with
7 the Honorable Karl Johnstone presiding is now in
8 session.

9 THE COURT: Thank you. You may be seated.
10 Sir, you're still under oath.

11 MR. CHALOS: Good morning. Good morning,
12 Judge.

13 THE JUDGE: Good morning.

14 DIRECT EXAMINATION OF MR. WINER, CONTINUED

15 BY MR. CHALOS:

16 Q Good morning, Mr. Winer.

17 A Good morning.

18 Q When we left off yesterday, we were talking
19 about the damage that you saw in San Diego?

20 A Yes.

21 Q Can you describe what damage you saw in and
22 around the number 1, number 2 and number 3 holds?

23 A Certainly. There were longitudinal of 4 1/2
24 score marks. There were tearing of the plates
25 and distortion of the internal members. In the

1 area around the starboard side of number 2 and
2 number 3, the structure was bodily crushed
3 upwards and to a large extent, the outer hull
4 plating had been removed previous to the ship
5 going in dry dock.

6 Q In what direction was the damage that you
7 viewed?

8 A From forward to aft.

9 Q In a straight line?

10 A Yes.

11 Q There was some turn near number 5 hold. Was
12 there not?

13 A Yes. It's shown on one of the exhibits,
14 scraping marks and indeed, the cutting of the
15 hull progressed aft and then sloped off toward
16 the starboard at a degree -- at an angle of about
17 5 degrees. This was due to the relative
18 direction of the ship with respect to the rocks.

19 Q Did you see any transverse damage?

20 A None, whatsoever.

21 Q Were you here when Professor Vorus testified?

22 A Yes, I was.

23 Q Do you remember his testimony with respect to
24 the subtle scratch marks in the transverse
25 direction?

1 A Yes.

2 Q Did you see any such marks?

3 A Well, those appeared to me, when I saw the
4 ship, they appeared to be stains and those stains
5 appear on the photograph to me as stains. They
6 were not physical contacts.

7 Q I put before you exhibit 146 which Professor
8 Vorus identified and indicated contained
9 transverse scratch marks or subtle scratch marks.
10 Do you see any such marks in that photograph?

11 A No, I don't.

12 Q Did you view that area?

13 A Yes, I certainly did.

14 Q Did you see any marks when you viewed that
15 area?

16 A No, I didn't.

17 Q Did you see any signs of rotational damage on
18 this vessel?

19 A Not in the plating that was -- that remained
20 on the ship. No, I didn't.

21 Q Do you have any opinion as to how the damage
22 was caused that you viewed?

23 A Certainly, I do.

24 Q What is that opinion?

25 A The damage was caused, in my opinion, by the

1 ship first having traveled over a hard area which
2 scored the ship and tunnelled the ship from bow -
3 - directly under the bow, straight down the
4 midship and then tapering off toward the number 5
5 starboard tank and the slop tank. Subsequent to
6 that, the vessel struck a second time shortly
7 after the first striking and fetched up and
8 stopped on a reef in the area of the six fathom
9 mark. That's where she came to rest.

10 Q Is it your opinion that whatever damage was
11 caused by the grounding occurred in the first and
12 second hit?

13 A Yes.

14 Q You mentioned there was additional damage. I
15 believe you said crushing damage. Do you have
16 an opinion as to how that was caused?

17 A Yes. That was caused by the variations in
18 tide during the several days that the vessel lay
19 on the reef. One indication of how severe that
20 was was in a divers -- was a diver's report where
21 he shows a localized cross section of the vessel,
22 sketched on the 24th -- excuse me, the 24th of
23 March. Again on the 25th of March showing a
24 crushing upward at the turn of the bilge or the
25 lower corner of the vessel and then he shows

1 another section dated March 31st that shows an
2 even more severe progression of that crushing,
3 showing a crushing about -- well, the dimensions
4 shown on there is about two feet.

5 Q Mr. Winer, would you mind drawing what you
6 just described on the board?

7 A Sure.

8 Q You need to take your microphone with you.

9 A Oh, okay.

10 Q What area of the ship are you describing?

11 A This area is in the way of, I believe, frame
12 23 or 25 on the starboard side. It shows on
13 March 24th -- be like that (indicating). And
14 that would be looking forward on the starboard
15 side. Reference is a point A here. And the
16 next sketch shown on diver's report is like this
17 (indicating) and this is March 25th which shows
18 point A here and the third sketch from the
19 diver's report is March 31st. Point A isn't
20 shown but there is a dimension that shows this to
21 be about two feet which in fact shows that the
22 bottom here was crushed upward and it bubbled out
23 to the side and even more intensely, at this
24 point. I believe this is two feet out at about
25 something like two feet off the flat side of the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ship.

Q And what does that all indicate to you?

A It indicates an upward crushing, caused by the consistent movement up and down as a result of the tides.

Q All right. You may resume your seat. Mr. Winer, there's been testimony here that subsequent to the grounding, the vessel's engine and rudder were used. Do you have an opinion as to whether any further damage was caused by the use of the engine and rudder after the grounding?

A Yes, I do.

Q What is that opinion?

A In my opinion, no substantial or significant damage was caused by the use of the rudder and the engine.

Q What do you mean by significant damage?

A Any more than perhaps some localized pressure or movement around the pivot point which -- but that area was already severely damaged.

Q Do you have any opinion as to whether any further pollution was caused by this additional damage that you described?

A Yes, I do.

Q What is that opinion?

1 A That no additional pollution was caused
2 because no additional tanks were ruptured.

3 (0600)

4 Q Now, you were present again when Professor
5 Vorus testified, did you hear his testimony with
6 respect to the bowing of the longitudinal frames?

7 A Yes.

8 Q Do you agree or disagree with that opinion?

9 A The opinion that it was caused by transverse
10 movement?

11 Q Yes.

12 A I disagree with that.

13 Q Why?

14 A For two reasons. One, we know that there was
15 an upward force exerted by the grounding itself.
16 The longitudinals on the bottom of the ship are
17 designed to provide cross section to the ship and
18 provide longitudinal strength. They're designed
19 also to provide a diaphragm resistance to the
20 upward pressure of the water. They are not
21 designed for a grounding situation. The
22 grounding situation in forcing the hull up would
23 necessarily cause those longitudinals to spread
24 apart due to the arched shape of the contact --of
25 the damaged caused by the contact.

1 Q When you say contact, are you referring to the
2 rocky bottom?

3 A Yes, I am. Secondly, if there are any such
4 transverse movement, it would not only -- it
5 would certainly show up in the adjacent plating
6 that remained intact and there was no such
7 evidence seen during my inspection.

8 Q Now, you were also present when Mr. Milwee
9 testified. Were you not?

10 A Yes, I was.

11 Q Do you agree or disagree with his opinion of
12 the tons aground in this situation?

13 A I generally agree with the tons aground.
14 Yeah, based particularly on the large variation
15 due to the extreme variation in tide, yes.

16 Q You heard Mr. Milwee's testimony with respect
17 to the thrust of the engine at full power?

18 A Yes.

19 Q Do you agree or disagree with his opinion that
20 about 200 tons of thrust was what this engine
21 could do at that particular time?

22 A I consider that to be somewhat on the high
23 side.

24 Q Did you do any calculations yourself to
25 determine the thrust of this engine?

1 A Yes, I did.

2 Q At 55 rpms, what did you calculate the thrust
3 of the engine to be?

4 A I calculated the thrust under the best
5 operating condition and that's the situation
6 where the propeller is turning 55 rpm and the
7 vessel is advancing through the water and that's
8 the function for which the propeller was
9 designed, I calculated the thrust to be
10 approximately 147 long tons.

11 Q If the vessel was aground, would you expect
12 that thrust to be less?

13 A Certainly.

14 Q Do you agree or disagree with the opinion
15 expressed by Mr. Milwee that this vessel -- it
16 was impossible to move this vessel using the
17 rudder or the engine?

18 A I certainly do agree with that opinion.

19 Q Do you have any opinion as to whether this
20 vessel was impaled or not?

21 A Yes, I do.

22 Q What is that opinion?

23 A The vessel was apparently heavily impaled.

24 Q Do you share the opinion that it would have
25 been impossible to move this vessel?

1 A I certainly do.

2 Q Now, you're familiar with the type of engine
3 that was on this vessel?

4 A Yes, that's the RTA Salzer.

5 Q And you're familiar with that engine?

6 A Fairly, yes.

7 Q Can you describe how a captain would go from
8 55 rpms to full sea speed in a hurry, if he
9 wanted to?

10 A Yes. There's an override on the program up
11 control. The program up control, being designed
12 to bring on powers above 33% horsepower in a
13 gradual fashion so that you would have a
14 stabilized heat balance on the engine. There
15 used to be what was called an emergency override
16 down in the engine room. There is still one but
17 it has a different name. With that, with what
18 they call the fine adjustment or the fine
19 control, the engine can be brought from 55 rpm to
20 full sea rpm of about 78 or 80 rpm in a time
21 between 60 and 120 seconds.

22 Q Sixty?

23 A Sixty to 120 seconds.

24 Q Is that in effect an override of the LPU
25 system? In other words, you don't use the load

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 program up in that instance?

2 A That's correct. That overrides the LPU.

3 Q So if the captain wanted to go from 55 rpms to
4 full sea speed, all he would have to do is press
5 a button?

6 A Yes.

7 Q Now, do you have an opinion as to whether or
8 not this engine would have overheated had she
9 been put on full speed in the condition that she
10 was grounded?

11 A The only way the engine could have overheated
12 would be if there were some impairment of the
13 cooling system. The actual output of the engine
14 would be no cause for overheating. If by some
15 means, debris came in as a result of the
16 grounding and it had plugged up the oil coolers,
17 you could get a high oil temperature, but I
18 wouldn't term that as being the engine
19 overheating.

20 Q Now, I'd like to speak a little bit about the
21 exhibits you prepared on the basis of Mr.
22 Shizume's reports?

23 A Yes.

24 Q All right. Let's start with exhibit BF
25 through BL. Can you tell the jury what you did

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

in the preparation of this exhibit?

A Sure. First, I took the hydrographic chart which gave me the background for this exhibit and...

Q When you say hydrographic chart, do you mean the nautical chart of the area?

A Yes, the one that showed the geography and the depths of water as well as the elevations on the shore. Elevation on shore as shown here on Bligh Island. And the depths of the water in the various areas is shown in fathoms which are six feet all throughout the water portion. Then I reviewed the printouts that Mr. Shizume provided to me...

Q Are those computer printouts?

A Yes, those are the CAOR printouts which showed all of the input employed in deriving the position and speed and heading of the vessel at various intervals.

Q Let me just show you what we've marked as exhibit BX. Are these the computer printouts that you refer to?

A Yes, they certainly are.

Q And what do these computer printouts tell you -- what did they tell you?

1 A Well, they tell me -- based on the numerous
2 inputs and the coefficients for solving what they
3 call the vessel motion equation, they utilize the
4 heading taken off the course recorder together
5 with the engine rpm taken off the bell logger and
6 put together with all the other coefficients and
7 constants of the vessel, the weight, the draft,
8 the rudder area, the shape of the hull, the block
9 coefficient and several others, you can derive
10 from that the actual speed of the vessel, the
11 heading of the vessel and the necessary -- the
12 essential derivatives from that, the change in
13 speed, the change in heading, eddy and all the
14 other inputs from the waves and the sea and
15 currents.

16 Q Having reviewed the information provided to
17 you by Mr. Shizume, based on your knowledge of
18 these type of matters, do you have an opinion as
19 to how accurate Mr. Shizume's computer runs were?

20 A Yes, I do.

21 Q What is that opinion?

22 A I'd say they're extremely accurate with an
23 estimated precision to be, oh, say, within one
24 tenth of a ship length.

25 Q One-tenth of a ship length is how many feet?

1 A About 90 feet, 98 feet.

2 Q Okay. So then tell us what you did once you

3 received the information from Mr. Shizume.

4 A Sure. I took the runs that Mr. Shizume

5 prepared. The first one being the actual course

6 of the vessel based on the course recorder and

7 the engine rpm and the day bell logger, I plotted

8 the position on a minute by minute basis,

9 starting at 1 1/2 minutes after midnight and

10 carrying through on a ship plan view on a minute

11 by minute basis up to the ship's entrance on and

12 beyond the 10-fathom mark.

13 Q What do you mean by the 10-fathom mark?

14 A The 10-fathom mark is an underwater contour

15 line which I outlined in red and that connects

16 all the points delineating that shelf where on

17 one side of the shelf, it's deeper than 10

18 fathoms and the other side of the shelf, within

19 this shape, it's shallower than 10 fathoms.

20 Q Now, 10 fathoms is 60 feet?

21 A Yes.

22 Q Now, within the 10-fathom line, there are

23 depths that are greater than 10 fathoms. Are

24 there not?

25 A Yes, there are. Yeah.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q Okay. Go ahead.

A For a chart of this scope, taking in such area, the details shown is one that would envelope the approximate 10-fathom mark, even though there are some deeper areas within here to include all the areas deeper than 10 fathoms here would complex the chart too much.

A Okay, then do the ship lengths that you've drawn on there represent the actual length of the ship in scale?

A Yes, they do.

Q Okay. Go ahead.

A After plotting the actual track of the vessel during the voyage, I superimposed upon that those calculations provided by Peter Shizume identified by the labels here. For example, 7A and that's identified...

Q Will those labels tell you what the angle of the rudder was at a particular time?

A Yeah, the particular runs in track determinations were made with specified rudder angles at specified times. This run shown in green for example is a 4 degree right rudder, given at 6 1/2 minutes after the vessel was .9 miles directly west of Busby Island at an

1 approach speed of 11.67 knots.

2 Q So the turn started at a minute and half after
3 midnight?

4 A Yes. And the vessel at that time was right
5 here where my finger is pointing showing the
6 label 0001 1/2.

7 Q Okay. What was the next run that you made?

8 A The next run I made is identified as run
9 number 7C and that took into account a 5 degree
10 right rudder at 6 1/2 minutes after and .9
11 nautical miles west of Busby Island light at an
12 approach course of 180.5 degrees and a speed of
13 11.74 knots.

14 Q Again, the turn started there at a minute and
15 half after midnight?

16 A Yes, that's correct.

17 Q Okay. What was the next run?

18 A The next one is 7D and it shows a 10 degree
19 right rudder at 6 1/2 minutes after and .9
20 nautical miles west of Busby Island light with an
21 approach speed of 11.4 knots and that's shown
22 with this green curve here identified with the
23 label 7D.

24 Q Why is the speed different using 10 degrees as
25 opposed to using 5 degrees?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A Because the effect of this -- there is an effect on the speed imposed by the rudder angle.

Q Could you explain what you mean by that?

A Yeah, when you impose a high degree rudder angle on the ship, it tends to slow the ship down and that's for example the reason why you can see as we progress through these various illustrations the ship scale sizes appear to get closer together and the reason for that is that the one-minute interval, the ship just doesn't go as far because it's moving slower.

Q In the scenario that you're describing once the rudder is put over, are you saying that that tends to slow the ship down?

A Yes, it does.

Q Okay. What was the next run that you made?

A The next run was at the same time a 20 degree right rudder at 6 1/2 minutes after and .9 nautical miles west of Busby Island and an approach course of 180.5 and a speed of 11.74 knots. And that speed, of course, is the speed entering or at the time of 0001 1/2. That track is shown by the upper green curve here identified by the label 7E.

Q All right. And the last run?

1 A The last one is a compilation of three rudder
2 orders. It's identified as chart number 7F and
3 it calls for a 10 degree right rudder for a
4 period of five minutes followed by 20 degree
5 right rudder for a period of two minutes and then
6 a 35 degree right rudder to the end of the run.
7 We'll hope...

8 Q What kind of effect did you get using those
9 orders?

10 A Well, the effect as you would expect, up to
11 the point of 0006 1/2, it's exactly the same as a
12 10 degree rudder shown on curve 7D because for
13 those first five minutes, you do have a 10 degree
14 rudder. From that point on, you go to a 20
15 degree rudder and it shows here in these ten ship
16 sketches a significantly more severe change in
17 the vessel's heading and a slight tightening of
18 the vessel's course because the higher degree
19 rudder, the 20 and the 35 were only imposed
20 toward the end of this curve.

21 Q All right. You have in three of those runs,
22 the vessel headed back north. Could you explain
23 why you did that? What's the purpose of showing
24 that?

25 A The purpose of that is just to run out what

1 the simulator shows where the vessel would be at
2 a given time.

3 Q Of course, a mate on the bridge wouldn't have
4 his vessel going in circles....

5 (1235)

6 MR. COLE: Objection. Leading.

7 MR. CHALOS: Let me strike that and start
8 again.

9 Q For the purpose of illustration, you ran the
10 -- I think you've said the simulation out to a
11 particular time?

12 A Yes.

13 Q Would you expect a crew member to be doing the
14 same thing if he were on the bridge?

15 A No, I wouldn't. He probably...

16 Q And what would you ex...

17 A He'd probably get an order for a given rudder
18 to a given course and then to hold that course
19 and I think I've illustrated that in one of the
20 other overlays.

21 Q All right. Now, having done these
22 simulations, I would like to give you this
23 hypothetical. If 10 degrees of right rudder was
24 put on this vessel at a minute and a half after
25 midnight, at the speed that this vessel was

1 traveling and that 10 degrees right rudder was
2 held steady, do you have an opinion as to whether
3 this vessel would have missed Bligh Reef?
4 A Yes, I do.
5 Q What's your opinion?
6 A My opinion is the vessel would have missed
7 Bligh Reef by a significant distance.
8 Q What is that distance, sir?
9 A I've scaled this chart and we can see a 10
10 degrees would be chart 7D which from the point of
11 the grounding site, the vessel's track would have
12 been just under one half a mile.
13 Q Okay, now you also prepared the chart and
14 overlays which we've marked as exhibits BM
15 through BS.
16 A Yes.
17 Q Did you follow the same procedures in
18 preparing these exhibits as you did the previous
19 exhibits?
20 A Yes, I certainly did. Starting off with the
21 actual track as plotted from the vessel's course
22 recorder and bell logger. That's shown in purple
23 here and it's called run 7B, the identical run to
24 the run shown previously.
25 Q All right. And then you ran a series of other

1 runs?

2 A Yes.

3 Q Could you tell us what those were?

4 A Certainly. Starting off with run 7G, that's

5 identified as the vessel providing a three degree

6 right rudder, starting at the point of the

7 vessel's being abeam and .9 miles off of Busby

8 Island light...

9 Q At what time was that?

10 A 2355 hours Alaska time.

11 Q At 11:55?

12 A Yes.

13 Q Okay. Go ahead.

14 A And that shows -- that track shows here in

15 blue, for example, that this point would be 000

16 hours or midnight and this position here is

17 identified as five minutes after midnight.

18 That's a three degree track.

19 Q And do you have an opinion as to whether this

20 vessel would have missed Bligh Reef at 11:55

21 p.m., three degrees of right rudder was put on

22 and held?

23 A Yes, it cert -- Yes, I do.

24 Q And what that's opinion?

25 A It would have missed it by a large amount.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q Okay. And what was the next run?

A The next run identified as 7H and that's a four degree right rudder starting at .9 miles off and abeam Busby light at a course of 180.5 and a speed of 11.69 knots shown here with a second blue curve identified by the label 7H.

Q If at 2355, when the vessel was abeam of Busby, four degrees of right rudder was placed on the rudder and held, do you have an opinion as to whether it would have missed Bligh Reef?

A Yes, I do.

Q What is that opinion?

A It would have missed Bligh Reef by a substantial amount in excess of one mile.

Q All right. What was the next run that you did?

A The next run we did was run number 7I which is a five degree right rudder starting again at .9 miles off and abeam Busby Island and an approach course of 180.5 and a speed of 11.69 knots. That shown with a third blue curve.

Q Do you have an opinion if the turn was started at 2355 when the vessel was abeam of Busby, using five degrees of right rudder and holding whether the vessel would have missed Bligh Reef?

1 A Yes, it would have.
2 Q By a distance greater than the other two
3 examples?
4 A Yes.
5 Q Okay. What's the next overlay?
6 A Next overlay is identified as 7J and 7J is a
7 10 degree right rudder, again at .9 miles off and
8 abeam Busby Island light at an approach course of
9 180.5 and a speed of 11.69 knots. This is the
10 one where I added to the computer information the
11 tangent to the course to portray the track of the
12 vessel if it were given the order with that 10
13 degree rudder and in addition to reach a course
14 of 245 and to hold that course. This is
15 supplemental to what Mr. Shizume provided.
16 Q Okay. If -- I'd like to give you a
17 hypothetical. If the rudder was turned to 10
18 degrees right at 2355 when the vessel was abeam
19 of Busby and held, do you have an opinion as to
20 whether this vessel would have missed Bligh Reef?
21 A Yes, I do.
22 Q What is that opinion?
23 A It would have missed Bligh Reef by a
24 substantial amount in the turn, had the turn been
25 held and also if the vessel had held a course of

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

245.

Q What would be the distance that it would have missed Bligh Reef if 10 degrees of right rudder was used at 2355?

A Would have been -- measure one half mile so it would be about -- in excess of one and half miles.

Q Now, if I were to give you the same scenario but ask you to start the turn at 2356, a minute later....

A Yes.

Q ...would your conclusions be the same?

A Yes, they would.

Q Would the distance be somewhat less than what you've described? In other words, you said if they used 10 degrees of right rudder would be in excess of a mile and a half.

A Yes.

Q How much less would the distance be if they started their turn at a minute after 2355?

A That's easy to determine by merely and physically just shifting these overlays down a one minute interval which would be about one ship length and that's about the distance that the vessel would be more closer to the grounding

1 sight, about a ship length.

2 Q So if they'd started their turn at 2356, the
3 distance that it would have missed Bligh Reef if
4 10 degrees of right rudder was used would still
5 be over a mile and a half?

6 A Yes, what that would do in fact is at 2355,
7 the vessel's position where I'm showing with the
8 divider at 2356, it was here, so that would bring
9 it down or a southerly direction about that much
10 which is approximately one ship length, 980 feet.

11 Q You see some fathom markings of 55 and 38 on
12 this chart?

13 A Yes.

14 Q In this area here?

15 A Yes, I do.

16 Q Okay. If the turn were started there, that is
17 using three degrees, four degrees, five degrees
18 or ten degrees, any one of those scenarios, would
19 the vessel still have missed Bligh Reef by a
20 substantial amount?

21 A Yes, it would.

22 Q And with respect to the 10 degree turn that
23 we're talking about, how far would it have missed
24 Bligh Reef if the turn was started in the area of
25 the 55 and 38 fathom mark?

1 A I can approximate that by taking my divider
2 and putting it on the 55/38 fathom mark region
3 and that would translate this entire track
4 pattern down this amount which is about six
5 tenths of a mile.

6 Q All right.

7 A So on that basis, it would have missed Bligh
8 Reef on the 10 degree right rudder by
9 approximately one mile.

10 Q All right. I'm going to show you one more
11 exhibit. (Pause) I'm showing you now exhibit
12 BV. On this exhibit, you've plotted the
13 grounding, the grounded position of the vessel?

14 A Yes, I did.

15 Q Which is the position that this vessel was in
16 when she finally stopped?

17 A The position as reported was approximately
18 this lower dotted vessel sketch.

19 Q Right here?

20 A Yes. Directly over the six fathom mark.

21 Q Now, looking astern of the vessel for a
22 distance of what appears to be several thousand
23 feet, do you have an opinion as to whether there
24 was sufficient water if the vessel were to back
25 up?

1 A Yes. There is sufficient water behind the
2 vessel up to the point of this singularity which
3 is a five fathom mark slightly in excess of one
4 ship length behind the vessel's position on the
5 reef. That would be -- there's the five fathom
6 mark here and here's how the ship could have come
7 off the ground right in this position.

8 Q Now, if it were to come off in a straight
9 condition from where she was laying, how much
10 water is behind it?

11 A It ranges from this eight fathom group here to
12 11 fathom, 14 fathoms and up to 21 fathoms.

13 Q Okay. This six fathom that you're talking
14 about, I read as 8-6, 8-7, how many feet is that
15 at low water?

16 A Well, eight fathoms is 48 feet; nine fathoms
17 would be 54 feet, so it would be around 50 plus
18 feet.

19 Q And if you add 10 feet at high tide?

20 A That would be over 60 feet.

21 Q Okay. So is it your opinion that this vessel
22 had plenty of water if Captain Hazelwood wanted
23 to back up?

24 A Yes, there was adequate water.

25 Q Sir, you viewed this vessel in San Diego. Is

1 that correct?

2 A Yes, I did.

3 Q Did you have an occasion to go into the cargo
4 control room?

5 A Yes, I did.

6 Q Did you see any devices in the cargo control
7 room for flooding any of the ballast tanks?

8 A Yes, there were actuators for the remote
9 control valves, yes.

10 Q Did one of those actuators control the ballast
11 tank -- the number 4 port ballast tank?

12 A Yes, it did.

13 Q Do you have an opinion as to how quickly one
14 could ballast down that tank if they wanted to?

15 A Yes, I do.

16 Q What is your opinion?

17 A It would be a matter of several minutes.

18 Q Why do you say that?

19 A Because you open the tank to the gravity head
20 of the outer water and when I say several
21 minutes, I mean between five, ten to fifteen
22 minutes, you get a substantial amount of water in
23 the tank on an increasing basis.

24 Q There's been some testimony that the flow of
25 the water is controlled by the size of the vents

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 on deck. With respect to the ballast tanks, is
2 there any way to increase the size of the opening
3 on deck?

4 A Yes, there is.

5 Q How is that done?

6 A That would be to open the ullage access plates
7 or the entire access cover.

8 Q Let me get a picture and have you show the
9 jury what you're talking about.

10 A Certainly.

11 THE CLERK: (Indiscernible -- away from mike)

12 THE COURT: Is it possibly already out, Mr.
13 Chalos?

14 THE CLERK: If it's defense....

15 Q (Mr. Winer by Mr. Chalos:) I show you what
16 we've marked into evidence as exhibit AP. Is
17 that the opening that you're talking about?

18 A Yes.

19 Q Could you show the jury what you mean by
20 opening the allege cover?

21 A Yes. On each of the cargo as well as the
22 ballast tanks, they have this allege trunk.
23 That's covered by a lid. On the lid, there's a
24 small, approximately 10 or 12-inch opening which
25 can be actuated or opened in a matter of seconds.

1 That 10 or 12-inch opening would have provided
2 substantial additional area for the air to enter
3 or leave the tank.

4 Q So if someone wanted to open the opening, if
5 you will, to provide for more air to come in,
6 would that increase the flow of water into the
7 ballast tank?

8 A Certainly would.

9 Q And how difficult is it to open one of these
10 covers?

11 A A matter of moments. Very simple.

12 Q Have you ever heard of the term, cavitation?

13 A Yes, I have.

14 Q Can you explain to the jury what cavitation
15 is?

16 A Cavitation is the introduction of air or gas
17 in way of the propeller which substantially
18 reduces the effectiveness of the propeller
19 producing thrust. That air or gas can be caused
20 by three or more things -- reasons. One being
21 the proximity of the propeller to the surface of
22 the water where, in fact, the propeller sucks
23 water from above the surface and causes a
24 bubbling condition.

25 The second one is when the propeller is not

1 properly threading through the water causes low
2 pressure areas which in turn cause the air
3 dissolved in the water to come out in gaseous
4 form and create bubbles.

5 The third is the churning of the propeller at
6 an advanced speed substantially less than the
7 propeller was designed for. This gives it sort
8 of an egg beater effect when all it does is move
9 water instead of causing a thrust.

10 Q If the engine was cavitating, would that mean
11 that it would overheat?

12 A Not necessarily at all, no.

13 Q Let me take that back. I used the wrong
14 phrase. If the propeller was cavitating, would
15 that mean that the engine would overheat?

16 A No.

17 Q There's been some testimony here that when the
18 vessel ran aground, the lube oil alarm went off.

19 A Yes.

20 Q Do you remember reading that testimony?

21 A Yes, I do.

22 Q Is that an indication to you of the engine
23 overheating?

24 A No.

25 Q What is that an indication of?

1 A Merely an indication that the -- in all
2 probabilities, that the oil cooler is ineffective
3 in performing its function of cooling the oil.
4 The oil cooler receives the heated oil which
5 picks up the heat from the engine at which time
6 it's passed through a cooler to bring it down to
7 the design temperature and then reintroduced to
8 the engine to provide lubrication. In the event
9 some temporary or other blockage is caused to
10 that cooler on the cooling water side which could
11 be caused by the grounding or contact with the
12 ground, that would plug up the cooler and render
13 it less effective. That would, in turn, set off
14 one of the alarms, high lube oil alarm, which is
15 a warning that something is amiss with the
16 cooling system. It's not necessarily a sign of
17 imminent danger nor is it a sign of engine
18 overheating.

19 Q You've read the testimony in this particular
20 case. Have you not?

21 A Yes, I have.

22 Q Was that problem cleared up by the time the
23 engines were restarted at 12:35?

24 A Yes, it was.

25 MR. CHALOS: I have no further questions of

1 this witness, Your Honor.

2 THE COURT: Do you want a glass of water?

3 A No thank you, Your Honor.

4 THE COURT: If you want one, just ask Mr...

5 (2028)

6 CROSS EXAMINATION OF MR. WINER

7 BY MR. COLE:

8 Q Good morning, Mr. Winer. How are you?

9 A Fine, thank you, Mr. Cole.

10 Q After looking at your resume, it appears that
11 you have been a consultant here for a number of
12 years. Is that correct?

13 A Approximately 14 years, yes.

14 Q And your work as a consultant has been in
15 various areas of the maritime industry?

16 A Yes.

17 Q It also sounds like you've testified over a
18 hundred times? Would that be a conservative
19 number?

20 A Seventeen times in court and quite a lot of
21 times during arbitrations, yes.

22 Q But in arbitration, it's the same thing. You
23 have to take an oath and you just talk to a judge
24 rather than to a jury. Correct?

25 A Well, you talk to the panel.

1 Q To a panel. It's the same thing. You have
2 three people and it's very similar to this?
3 A Not really. It's quite different in the
4 informality and the rules and things regarding
5 evidence and discovery. It's quite different.
6 Q But you testify and you have to convince three
7 people that your version of what happened is the
8 correct version. Correct?
9 A You provide the answers to questions that are
10 given to you. Certainly.
11 Q And your credibility is at issue in those
12 matters?
13 A It always is.
14 Q Just like your credibility is at issue in this
15 case.
16 A Of course.
17 Q Now, you've also had the opportunity to have
18 people testify in front of you. Is that correct?
19 A As an arbitrator?
20 Q Yes.
21 A Certainly.
22 Q And you've been able to watch how they
23 testify. Correct?
24 A Yes.
25 Q And I assume that you have been used as an

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 expert because of -- one of the reasons is
2 because of your past experience. Would that be a
3 fair statement?

4 A I would consider that to be reasonable, yes.

5 Q Now, when did Mr. Chalos contact you about
6 this case?

7 A I believe it was in June or July, 1989.

8 Q And what material did you review in preparing
9 for your testimony in this case?

10 A I reviewed some of the documents which were
11 provided by the ship, that is the course recorder
12 and the bell logger. I reviewed certain
13 transcripts of the witnesses, specifically the
14 chief engineer, chief officer, Third Mate
15 Cousins, AB Kagan, some of the others. I also
16 reviewed the production by Peter Shizume, the
17 CAOR simulation. I reviewed the charts. I
18 reviewed a substantial amount of the vessel's
19 blue prints, both the hull, machinery and piping
20 blueprints. That's about it.

21 Q So, it sounds to me like you listened to Mr.
22 Glowacki's testimony. Did you read all his
23 statements or just the ones that Mr. Chalos
24 provided you?

25 A I read parts of his testimony.

1 Q What parts? Was it the grand jury
2 transcripts? Was it his statements to the
3 troopers...

4 A Oh, no.

5 Q ...At the NTSB or was it his testimony in
6 here?

7 A Only the testimony here.

8 Q Oh, so the transcripts that you reviewed have
9 been only of the witnesses' statements here in
10 Court?

11 A Yes.

12 Q So you haven't reviewed any of their other
13 prior statements. Is that correct?

14 A I only recall -- no, I did not.

15 Q Mr. Chalos didn't send you any of that
16 information or you didn't think it was important?

17 A I just reviewed the information that Mr.
18 Chalos sent to me.

19 Q Okay. You read the CAOR simulation of the
20 track line of this case. Didn't you?

21 A The report by Mr. Shizume?

22 Q No. The one by CAOR?

23 A Yes, I did.

24 Q And that came out before Mr. Shizume's report?

25 A Yes, it did.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 Q You're sure about that? I'm not tricking you
2 or anything. I just want to make sure.

3 A Well, all I recall about that is that it did
4 not provide the track to the extent that was
5 required. It was done by someone other than Mr.
6 Shizume. The one I really paid attention and the
7 one I used in preparing these exhibits were Mr.
8 Shizume's.

9 Q Now, did you do a report in this case?

10 A No, I didn't.

11 Q Do you normally not do reports when you act as
12 a consultant or is this not the standard?

13 A It's very normal not to prepare a report
14 because actually this case, for me, has not come
15 to a point of any conclusion. The case isn't
16 over. I've provided my observations and opinions
17 as the inspection of the vessel and the case
18 progressed. That's all.

19 Q One reason that people do reports is so that
20 the other side can see what you're going to
21 testify to. Correct?

22 MR. CHALOS: I object, Your Honor.

23 THE COURT: Objection sustained, Mr. Cole.

24 Q Now, you work as a consultant out of New
25 Jersey. Correct?

1 A That's where my office is, yes.

2 Q And Mr. Chalos works for a maritime law firm

3 in New York. Is that correct?

4 A He works for a law firm. I believe it's

5 maritime.

6 Q Mr. Russo works for Mr. Chalos or with Mr.

7 Chalos in that firm?

8 A Yes, he does.

9 Q In fact, you're presently working on another

10 case that Mr. Chalos is involved in. Correct?

11 A Yes, I'm working directly for another firm.

12 Mr. Chalos' firm is also involved in that vessel

13 loss, yes.

14 Q You're working on another case in which Mr.

15 Chalos is involved in. Correct?

16 A That's correct.

17 Q What have you billed Mr. Chalos so far?

18 A Nothing.

19 Q When do you plan on doing that?

20 A After I've performed my assignment. When it's

21 completed. And if a report is required at that

22 time, I'll also submit it but no, I haven't

23 billed at all and I haven't provided a report.

24 Q And part of your assignment is testifying in

25 this matter?

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A Well, it was more than that. It was taking the pictures, studying the pictures and my pictures are not that much different than those provided as exhibits, just to generally stay abreast of developments.

Q Part of your purpose was to testify. Correct?

A Yes.

Q Now, I'd like to talk a little bit about the San Diego trip that you went on with Mr. Chalos and Mr. Russo and Mr. Walker.

A Sure.

Q Do you remember that?

A Yes, I do.

Q You talked for a little bit about the rudder angles that were available up on the bridge. Right?

A Rudder angle indicators?

Q Indicators, right.

A Yes.

Q And the turn rate indicators?

A Yes.

Q You didn't talk about it, but there were gyro repeaters up on the bridge too?

A Yes.

Q Did you go through the captain's quarters at

1 all?

2 A I entered the captain's office with the rest

3 of the group, yes.

4 Q Did you see any gyro repeaters in the

5 captain's quarters?

6 A I didn't notice any, no.

7 Q Did you see any rate of turn indicators in the

8 captain's quarters?

9 A No, I didn't.

10 Q Did you see any rudder angle indicators in the

11 captain's quarters?

12 A No, I didn't.

13 Q So in order to see the rudder angle indicators

14 that you mentioned, you'd have to be up on the

15 bridge, either in the bridge or out on the bridge

16 wing because that was the only place you can see

17 'em. Right?

18 A Yes. There were no rudder angle indicators

19 in the captain's quarters at all which is typical

20 actually.

21 Q Because people don't steer boats from the

22 captain's quarters. Right?

23 MR. CHALOS: Objection, Your Honor.

24 MR. COLE: He volunteered it, Your Honor.

25 THE COURT: Objection overruled.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q Isn't that right?

A Of course.

Q Now, I think yesterday, you were asked about whether you had an opinion about how long it took from the time the vessel Exxon Valdez had initial contact with the reef and when it came to a rest.

A That's correct, yes.

Q And you indicated yesterday that that would have taken less than one minute?

A About or less than a minute, yes.

Q If a vessel were traveling at 11.74 feet per minute, it would travel at about 19 -- well, let me see, traveling at 11.74 knots per minute, it travels at about 19 feet per second -- 18 feet per second. Is that correct?

MR. CHALOS: Your Honor, I'm going to object. I think Mr. Cole's numbers are convoluted. It can't travel 11.4 feet per minute and then 11. or 18.7 feet per second.

MR. COLE: I'll rephrase it.

Q If a vessel is traveling at 11.74 knots -- nautical miles per hour, it travels at 19.81 feet per second. Isn't that correct?

A Approximately, yes.

Q And you estimated that the distance that this

1 vessel traveled from the time it hit initially to
2 where it came to rest was about 1100 feet?
3 A Yes.
4 Q Going at 19.81 feet per second, how long does
5 it take to go 1100 feet?
6 A (Pause) Fifty-seven seconds.
7 Q Okay. Well, we know this vessel wasn't
8 traveling at 11.74 feet nautical miles per hour
9 when it hit and grounded finally because then
10 people would have thrown to their feet. Right?
11 A No. Not at all.
12 Q They wouldn't have been thrown to their feet
13 if it was...
14 A No.
15 Q ...if it became grounded -- if it had stopped
16 and it was going 11. -- let's say 19 feet per
17 second and it came to an immediate stop, it
18 wouldn't have caused anybody any concern?
19 A Depends on what you mean by immediate stop.
20 Saying within 2 or 400 feet?
21 Q No, I mean immediate stop because that's what
22 you're assuming.
23 A No. No, it appears to me that it came to a
24 stop after contacting the rock -- the second
25 contact within a distance of about 2 or 400 feet.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 It ground to a halt quickly.

2 Q But if you're right when you say it's around a
3 minute, you're assuming that the average speed
4 from the initial contact until when it came to a
5 stop was about 19 feet per second. Correct?

6 A No, not really. Not at all, no.

7 Q Well, yesterday you gave an opinion that said
8 if this was 1100 feet, it was a little less than
9 a minute. Right?

10 A Yes.

11 Q And I just asked you going 19 feet per second,
12 how long it would take to go 1100 feet and you
13 just said what? Fifty-seven seconds. Right?

14 A In answer to your question, yes.

15 Q So you're assuming that the average speed from
16 here, Point B to Point A is 19 feet per second.
17 Right?

18 A I'd rather work it in real life the way the
19 chart was and show you what I said.

20 Q Well, I'll give you a chance to do that, Mr.
21 Winer, but isn't it true that you said yesterday
22 that you gave an opinion that it was less than 50
23 -- less than a minute. Correct.

24 MR. CHALOS: Your Honor, I think Mr. Cole is
25 badgering the witness. He's already said and that's

1 true, 57 seconds. He said 19 feet per second was the
2 correct amount.

3 THE COURT: Why don't you get to the point,
4 Mr. Cole?

5 MR. COLE: Okay.

6 Q (Mr. Winer by Mr. Cole:) If this vessel was
7 traveling half that speed over the distance from
8 Point B up there to Point A, 1100 feet, how long
9 would it have taken to get from Point B to Point
10 A?

11 A With what part of the vessel being at Point A
12 and what part at Point B because my calculations
13 took into account the time that the grounding was
14 observed aboard the ship until the time the
15 vessel stopped in the water at the second
16 contact.

17 Q I want you to...

18 A That was my one minute or less.

19 Q That was your one minute or less.

20 A Yes.

21 Q But I would like to talk about the time from
22 initial contact until the time it essentially
23 became grounded, right. It stopped.

24 A Okay.

25 Q You said it traveled approximately 1100 feet.

1 A That's correct.

2 Q From the initial grounding to when it became
3 grounded. Right?

4 A Yes.

5 Q For it to do that in less than a minute, it
6 would have had to have been traveling at
7 approximately 19 feet per second.

8 A Yes.

9 Q Now, if it was traveling one half that amount.
10 Let's say 9.5 feet per second, how long would it
11 have taken to travel 1100 feet?

12 A Well, that's easy. That's pure arithmetic.
13 It would have taken twice as long if it were
14 traveling at half the speed, but I have no
15 indication that it was.

16 Q All I asked you is how long it would have
17 taken if it was traveling at half the rate.

18 A Twice the time.

19 Q And that would be about two minutes.
20 Correct?

21 A Yes.

22 Q Now, by saying that it took less than a
23 minute, you're not taking into account any
24 slowdown in the rate of speed that this vessel
25 was traveling from the time of initial contact

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

and when it became grounded. Correct?

A I believe my testimony was from the time the ship felt the first impact until the time it ended up at the six fathom mark was about a minute.

Q How long then did it take from the time it initially -- is that what you're saying from the time it initially started until the end?

A No, I don't believe that the vessel personnel were aware of the initial contact at the time the vessel contacted the seven fathom mark. That was not their first indication.

Q Your calculation that this took less than a minute to occur, to go the 1100 feet does not take into account any slowdown in speed caused by the initial contact with the first rock?

A Absolutely. And the reason for that is I don't believe there was a substantial slowdown at all.

Q Okay. There was no slowdown at all after putting a four foot tunnel from the port -- from the -- four feet all the way to starboard, no slowdown at all and that's what your calculations took into consideration. Right?

MR. CHALOS: Your Honor, I think Mr. Cole is

1 mischaracterizes the witness' testimony. He said
2 there was no substantial slowdown.

3 THE COURT: Want to rephrase your question?

4 Q You indicated that there was no slowdown -- no
5 substantial slowdown?

6 A No substantial slowdown.

7 Q But your calculations as far as the minute
8 don't take into consideration any slowdown.
9 Correct?

10 A They don't take into account any substantial
11 slowdown. No.

12 Q Now, you're fairly confident with your time
13 12:09 1/2 as being the final time that this
14 vessel came to rest?

15 A Yes, I am.

16 Q And that's why you say that the initial
17 contact was about a minute early...

18 A Yes,

19 Q And that would have been at about 12:08?
20 Correct?

21 A Yes. Yes.

22 Q Now, if the ship's initial contact with the
23 vessel -- with the reef had caused it to slow
24 down and I'm just saying if, the initial contact
25 would have been less than 12:08 1/2. Correct?

1 A Moderately less, yes.

2 Q And that would depend on the amount of

3 velocity that was reduced by the initial contact.

4 Right?

5 A If any, yes.

6 Q And if it was reduced in half, it would have

7 been two minutes and then it would be 12:07 1/2.

8 Correct?

9 A Correct.

10 Q Now...

11 A Wait a minute. I got to study that one

12 again. You say the contact would have been

13 12:07 1/2? No, at 12:07 1/2, the vessel -- no

14 matter where or when the contact was at 12:07

15 1/2, the vessel was where it shows here, just

16 over the eight fathom point, so no matter what

17 happened at 12:08 1/2 and onward times, at

18 12:07 1/2, the vessel was exactly here.

19 Q That's assuming that Mr. Shizume's chart is

20 right?

21 A My entire exhibit here is based on Mr.

22 Shizume's calculations and data, of course.

23 Q So, if he's off by a minute or two, then your

24 whole charts would be off by a minute or two?

25 A Yes.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 MR. COLE: I'm sorry. I want to get another
2 chart here.

3 UNIDENTIFIED SPEAKER: You need help?

4 Q Do you remember talking about this chart right
5 here?

6 A Certainly.

7 Q And this is a chart Mr. Shizume said that he
8 made. Is that correct?

9 A That's correct.

10 Q There's a chart exactly like that in the CAOR
11 model. Correct?

12 A I don't know. I'm not sure.

13 Q Would you like to see it?

14 A Sure.

15 Q The CAOR model is only different in that it
16 places the 180 degrees down here and the 280 up
17 here. Correct?

18 A Now, this is not the same chart.

19 Q Well, there's one additional simulation and
20 that's Mr. Shizume's simulation but other than
21 that, they're essentially the same.

22 A No. I analyzed this chart and found it to be
23 in severe contradiction with the numbers
24 provided. I didn't really pay too much attention
25 to this. I focused my analysis on not only Mr.

1 Shizume's chart but the input that went to create
2 that chart which is the same data -- this point
3 here that shows reduced change in vessel's
4 heading from 06 1/2 to 07 1/2 as portrayed here.
5 You'll see the vessel position at 6 1/2, the
6 heading is substantially the same as 7 1/2 here
7 as compared to the change between 5 1/2 and
8 6 1/2. I've portrayed it from Mr. Shizume's
9 numbers pictorially. This is merely another way
10 to show it. I didn't rely at all on the CAOR
11 charts simply because I had several questions
12 about the actual points achieved on that chart.
13 This ties in with this exhibit.

14 Q The CAOR chart though was done with the same
15 computer that Mr. Shizume used. Correct?

16 A I'm not sure, but that particular graph you
17 showed me, I recall having seen that and I recall
18 having some serious questions about the points
19 plotted on that chart.

20 Q But you never had any serious questions about
21 Mr. Shizume's work. Is that correct?

22 A It's only a matter of taking the numbers from
23 the printout to the chart. That's what I had
24 questions about. When I took the numbers from
25 Shizume's and compared it with his chart, I found

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

it to be right on.

Q Let me just ask you again. You have no serious concerns with Mr. Shizume's charts. Yes or no?

A As to the analysis, correct.

Q Now, this chart shows the change in heading, correct, of the vessel over time. Correct?

A Yes.

Q And this little blit (ph) that you have from here to here between 12:06 and 12:07, that's not showing this vessel making a left turn at that point. Is it?

A No. But the...

Q Yes or no.

A ...change in heading was derived by a calculated left rudder.

Q But it's not showing -- this vessel changing heading to the left. Correct?

A It just shows a reduction in the change in heading rate.

Q That's correct. It's not making a left turn so this shouldn't be interpreted like that. Correct?

A Oh, I didn't interpret it that way.

Q Now, decreases in rates of turn can be caused

1 by a number of things. Correct?

2 A Absolutely.

3 Q And one of them as you indicated is a counter
4 rudder. Correct?

5 A Yes.

6 Q And another is coming into contact with the
7 ground. Correct?

8 A Yes. Not only a counter rudder, a reduction
9 in the rudder angle alone will do it.

10 Q A reduction in the rudder angle would do it.

11 A Sure.

12 Q And there's a shallowing effect that causes
13 things to reduce the rate of turn. Correct?

14 A The shallowing effect will reduce the
15 consequence of a given rudder at a given vessel
16 speed. The shallower the water is, the slower
17 the response of the vessel to a given rudder,
18 yes.

19 Q Now, you indicated that after working the
20 calculations, you had no serious reservations
21 about Mr. Shizume's calculations. Is that
22 right? His track line?

23 A I took his track line and I plotted those XY
24 locations as well as the vessel heading on my
25 exhibits.

1 Q Now, have you seen any pictures of the chart
2 that was used on the Exxon Valdez that evening?
3 A I've seen the -- oh, the actual chart?
4 Q Have you seen the actual chart?
5 A Yes, I have.
6 Q And have you seen the 2355 fix on that chart?
7 A I've seen the 23 -- so-called 2355 location,
8 yes, the depth mark, yes.
9 Q Does that look like 2355?
10 A Yes.
11 Q Now, is the dot that's plotted for the 2355
12 the same point as you have plotted up on this?
13 A What are you referring to, dot?
14 Q The fix at 2355. Right there.
15 A Okay. Yeah.
16 Q Does your line run through that?
17 A I'm not sure.
18 Q Well...
19 A Because I didn't compare this with mine. I
20 merely set mine at the .9 miles from Busby and I
21 realize that some of the testimony said that they
22 were 1.0 miles off. There's even one reference
23 to a 1.1 mile off. The reason I selected the .9
24 miles off is because that was the worst case that
25 would have put him closer to the reef as compared

1 to the 1.1 mile off so I selected the .9 for that
2 purpose.

3 Q Mr. Winer, would you look at that...

4 A Yes.

5 Q ...and see if your track line goes through
6 that fix at 2355?

7 A Let's see. May I compare it with mine?

8 Q Sure.

9 A My track line is closer to Busby Island light.

10 Q In fact, it's about two tenths of a mile
11 difference. Isn't it?

12 A Somewhat, yeah. That's the .9 miles from
13 Busby light as shown in the simulation and as
14 shown in some of the testimony.

15 Q And...

16 A I did make this chart prior to my having
17 observed the actual ship's chart here in
18 Anchorage.

19 Q But your chart disregards Greg Cousins' 2355
20 plot. Correct?

21 A My chart was based on the information provided
22 to me for the purpose of making this exhibit.

23 Q And that was by Mr. Chalos. Is that correct?

24 MR. CHALOS: Objection, Your Honor.

25 THE COURT: Objection overruled.

1 Q That information was provided to you by Mr.
2 Chalos. Correct?

3 A If you call simulation data information
4 provided by Mr. Chalos, perhaps. But again, I
5 did take the .9 miles off Busby as being the most
6 dangerous location. That provided the worst --
7 with the greatest chance of encounter with Bligh
8 Reef. That's why we selected that. And I did
9 not, when I prepared this exhibit, have any
10 access to the ship's actual chart.

11 Q Could you have called up Mr. Chalos and asked
12 him to measure how far it was from Busby to the
13 2355 mark?

14 A Of course, I could. But what you can do to
15 see how that location, that Cousins fix at 2355
16 would affect the contact with the ground would be
17 merely to have moved the entire pattern of
18 overlays two tenths of a mile to the left or to
19 the west.

20 Q Well, let's talk about that. How fast -- how
21 long does it take for a vessel going 11.74 knots,
22 how far does it travel in a mile?

23 A A mile?

24 Q In a minute. At 11.74 knots, how far does it
25 travel in a minute?

1 A Take 11.74 knots times 6080 divided by 60. A
2 minute. (Pause) About two tenths of a mile.
3 Q Two tenths of a mile. I think on direct, Mr.
4 Chalos asked you what happens when the vessel
5 turns and you indicated it slows down. The
6 greater the turn, the more it slows down. Is
7 that correct?
8 A I think the answer was in response to a
9 rudder, what happens to the vessel's velocity and
10 I indicated that the rudder position does have an
11 effect on the vessel's velocity depending on the
12 degree of the rudder angle, yes.
13 Q And as the vessel begins to turn, it decreases
14 the speed, correct, because of the crabbing of a
15 vessel.
16 A Well, it's more than that.
17 Q But that's one of the reasons.
18 A No, the crabbing is caused by the turn.
19 Q And it decreases the speed of the vessel.
20 Correct?
21 A The velocity of the vessel decreases, yes.
22 Q It decreases -- if you're making a hard right
23 turn, it decreases more than maybe a 10 degree
24 turn. Correct?
25 A Yes. We can see that here where it shows the

1 20 degree rudder. You can see the vessel
2 positions on a minute by minute basis overlap
3 each other which shows pictorially the serious
4 reduction in speed at the larger rudders, yes.

5 Q Now, if you were to move this over, this track
6 line over two tenths of a mile...

7 A Yes.

8 Q ...and you wanted to end up in the same place,
9 you'd have to use a greater degree of rudder to
10 do that, wouldn't you?

11 A I don't think so.

12 Q You don't think so?

13 A No.

14 Q Now, I'd like to talk for a minute about the
15 LPU, load program up. You indicated that
16 there's an override. Is that correct?

17 A Yes.

18 Q And that that can be used to place the vessel
19 on top speed or top rpm within a matter of
20 minutes. Correct?

21 A The instruction book says in a period of 60 to
22 120 seconds, yes.

23 Q But I assume that the instruction book also
24 indicated that that's not the preferable manner
25 in going up to a high rpm

1 A Generally, it's for emergencies only.

2 Q Now, you didn't run any of these track lines

3 at 12:02 or 12:03, did you, assuming that the

4 vessel would have turned at 12:02 or 12:03?

5 A Yes, I did.

6 Q And what happened?

7 A Well, you can see very easily how that

8 translates at 12:02 or 12:03, all you have to do

9 is take this exhibit -- you're talking about the

10 original turn at 0001 1/2, Mr. Cole?

11 Q Uh-huh (affirmative).

12 A I'll keep this down here where it's handy. In

13 order to find out what would have happened were

14 this transposed to another time is shift this

15 down from the original position. Let's see. Say

16 three ship lengths which would be here and that

17 would have moved the entire operation down to

18 12:04 1/2 instead of 12:01 1/2 by just

19 transferring it down on the vertical axis. That

20 would give you then the projected tracks and

21 positions at these times plus a certain amount of

22 minutes with respect to the bottom and with

23 respect to the point of grounding, yes.

24 Q And if you did it at 12:06, what would happen?

25 A You could move the whole thing down five more

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 minutes, sure.

2 Q Can you show us what happens there?

3 A At 12:06?

4 Q Uh-huh (affirmative).

5 A Sure. Let's see. It'd be approximately
6 here. That would be the tracks at the various
7 rudder angles.

8 Q Okay. Thank you.

9 A You're welcome. (Pause)

10 (Indiscernible side conversation)

11 Q Now, if the Exxon Valdez maneuvering
12 characteristics show that it turned at a slower
13 rate than the maneuvering characteristics of the
14 simulator, that would mean that it would take --
15 to get to the same point, you would have to turn
16 at a greater amount. Correct?

17 MR. CHALOS: Your Honor, I object. Mr. Cole
18 is mischaracterizing the evidence. What the maneuvering
19 characteristics show is the rudder at hard right and
20 hard left and that's what he's talking about and the
21 way he's posing the question is at any angle and I'm
22 objecting that there's no foundation laid for that.

23 MR. COLE: I'll ask him on this.

24 Q (Mr. Winer by Mr. Cole:) Are you aware that
25 the maneuvering characteristics of the Exxon

1 Valdez at a full speed -- sea speed 35 degree
2 rudder turn. fully laden, takes approximately 17
3 more seconds than on the simulated -- under
4 simulated conditions? Are you aware of that?

5 A Yeah, I think I saw that in table 1 of Peter
6 Shizume's report. I'm also aware of the fact
7 that there was a modification made to the rudder
8 subsequent to construction of the vessel. I'm
9 not sure whether the turning data was
10 recelebrated. It may have been; it may not have
11 been but for the purpose of the track on table 2
12 on Peter Shizume's calculations, the fit between
13 the actual courses and positions during the
14 calibration period of those four fixes shown on
15 table 2 come out very precisely.

16 I'm really not concerned or I wasn't concerned
17 when I made these exhibits with how Shizume's
18 calculated turns at full speed, full rudder
19 correlated with those posted in the wheel house
20 because I had really had no foundation on what
21 basis those ones in the wheel house were created.

22 Q Well, if Captain Stalzer came in and testified
23 that those were posted based on sea trials that
24 they'd done with the Exxon Valdez itself, would
25 you have set those?

1 MR. CHALOS: Your Honor, I object. Captain
2 Stalzer did not say those were based on sea trials.
3 He said those were computer-generated maneuvering
4 characteristics.

5 MR. COLE: They were compared with the ones
6 that were done with the sea trials is what he
7 testified.

8 THE COURT: I'll let him answer the question.

9 A There was a major modification performed on
10 the rudder after the sea trials.

11 Q And if Captain Stalzer said that they did sea
12 trials after the rudder modification and that
13 those were the ones that were posted, how would
14 that affect your opinion?

15 A I haven't seen the data for that calculation.

16 Q Now, the charts that you showed -- the blow-up
17 charts that show the fathom markers, right here?
18 You indicated that Captain Hazelwood had plenty
19 of room to maneuver behind this. Is that
20 correct?

21 A I indicated that there was sufficient water
22 for the ship to have moved aft without contacting
23 the bottom with the exception of that five fathom
24 mark, yes.

25 Q Did you find any evidence that that particular

1 chart was on the Exxon Valdez on the 23rd?

2 A No, I did not.

3 Q In fact, if the only chart -- well, if one of

4 the charts that was there that -- where it was

5 plotted was this chart, what is directly behind

6 the six fathom mark? What marker?

7 A I can't see. This is too marked up. This

8 chart has the same numbers I have here. Do you

9 mind if I use this?

10 Q Sure.

11 A It says behind there "five fathoms and shoal

12 about one ship length -- a little more than one

13 ship length behind the point of grounding."

14 Q Now, when you saw the damage, you talked about

15 the diagrams that the divers had drawn on the

16 24th. Is that correct?

17 A The 24th, the 25th and the 31st. yes.

18 Q What time did those divers dive that night?

19 A I don't think it showed on the drawing I have

20 and if it did show, I couldn't read it.

21 Q Are you aware that the divers did not get out

22 there until nearly 10 o'clock that evening?

23 A Of what day?

24 Q On the 24th.

25 A Well, that's fine because on the first reading

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 on the 24th as I sketched here, there was no
2 distortion whatsoever.

3 Q And so the two low tides that had occurred,
4 one at 8:30 that morning and one at approximately
5 8 o'clock that night, did no damage -- no damage
6 that you saw? Is that what your testimony is?

7 A My testimony only was what the divers' report
8 showed. It showed no damage at all to that
9 portion of the turn of the bilge on the 24th and
10 it showed an increasing amount of damage on
11 subsequent dates, specifically the 25th and the
12 31st, yes.

13 Q Finally, you testified about, in this case,
14 that you would have assumed that had the turns
15 been made off of Busby Island, that corrections
16 would have been made to avoid the ice that they
17 had diverted away from in the first place.
18 Correct?

19 A I don't believe I testified to that at all.

20 Q Well, when Mr. Chalos asked you about the
21 turning, what would have happened at 2355, he
22 asked you about why you extended these lines out
23 the way you did. Do you remember that?

24 A Yes, I do remember that and my reply was
25 that's -- those are the positions, the headings

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

and the XY locations shown in Peter Shizume's simulation.

Q And you also explained that you would expect a mate to change course in order to avoid the ice, didn't you?

A I don't think I mentioned avoiding the ice. I mentioned the fact that I would expect the mate would receive an order to provide a given rudder up to a given course for which purpose I sketched beyond the computer data on this exhibit an example of what the course would be.

Q In order to get that order, the mate would have to have the captain on board -- on the bridge. Correct?

MR. CHALOS: I object, Your Honor.

MR. COLE: That's what he said, Your Honor.

THE COURT: Objection overruled.

Q In order to get that order, the captain would have to be on the bridge, wouldn't he?

A I don't know.

Q Thank you.

(0330)

REDIRECT EXAMINATION OF MR. WINER

BY MR. CHALOS:

Q Mr. Winer, Mr. Cole asked you if you had

1 prepared a report and I think you told him that
2 you did not, but you took pictures in this
3 matter, did you not?

4 A I certainly did.

5 Q And you prepared charts?

6 A Yes.

7 Q Now, is there any doubt in your mind, sitting
8 here today as to the time that this vessel ran
9 aground?

10 A Based on the information provided and the
11 exhibits made, they all fit together comparing
12 them with the crew's statements which also fit
13 together, the answer is no, I have no doubt.

14 Q And is it your opinion that the vessel struck
15 the reef for the first time at about 8 1/2
16 minutes after midnight?

17 A Yes.

18 Q And came to a rest at about 9 1/2 minutes
19 after midnight?

20 A Yes.

21 Q Do you have any reason to believe that Mr.
22 Shizume's calculations that were made in this
23 case are in error?

24 A No, I don't.

25 Q Do you have any reason to believe that they're

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

correct?

A Yes, I do.

Q What is the basis for that?

A All of the inputs -- every single input I have, the crew's statements, the actual plot, laying this out precisely according to the numbers provided by the simulation gives me a position of the turn; it gives me a time of grounding, it matches what the crew's statements as to when they heard the first contact with the reef and when it finally fetched up on the rocks. Everything puts together to support what was shown here.

Q You heard Mr. Greiner's testimony that this vessel ran aground in his opinion at 5 1/2 minutes after midnight?

A Yes, I did.

Q Do you agree or disagree with that?

A I disagree with that.

Q On what basis?

A Based on the fact that...

MR. COLE: Your Honor, I object. It's outside the scope of cross.

MR. CHALOS: I believe Mr. Cole brought that up, Your Honor, by suggesting that this perhaps this

1 vessel could have run aground in 5 1/2 minutes after
2 midnight.

3 THE COURT: Objection overruled and you'll
4 have another shot at the witness, Mr. Cole.

5 Q (Mr. Winer by Mr. Chalos:) Go ahead and can
6 you state your opinion -- the basis for your
7 opinion?

8 A Yes. The basis for my opinion is that at 5
9 1/2 minutes after midnight, this vessel was quite
10 far in ship length and parts of miles away from
11 shallow water. In fact, it was in water to the
12 depth of about 240 feet, far from being able to
13 touch the ground.

14 Q Have you had the opportunity to observe how
15 Mr. Greiner came to the conclusion that the
16 vessel ran aground at 5 1/2 minutes after
17 midnight, did you not?

18 A Yes. I was provided with a copy of his table
19 showing his track clock.

20 Q Do you have an opinion as to the conclusions
21 that he reached or how he arrived at the
22 conclusions?

23 A Yes.

24 Q What is that opinion?

25 A It seems as if he worked backwards from the

1 time he thought or assumed or was advised that
2 the vessel went aground, he worked from that
3 point backwards to determine the track upstream
4 instead of doing what Mr. Shizume did here and
5 finding four significant and separate fixes to
6 provide the input for his simulation and then the
7 extrapolation from 1155 out to the courses shown.

8 Q Do you have an opinion as to the accuracy of
9 working backwards in these type of matters?

10 A I certainly do.

11 Q What's that opinion?

12 A It makes the simulation highly inaccurate
13 because you're starting with the conclusion.

14 Q Now, Mr. Cole asked you whether crew members
15 would be falling over if the vessel was traveling
16 at 19.74 feet per second and came to stop within
17 a period of time.

18 A Yes.

19 Q And you said that you didn't believe that
20 would happen?

21 A I did.

22 Q Can you explain why?

23 A Certainly. If the ship is traveling at about
24 say 11 1/2 knots and comes to a complete rest in
25 say, 400 feet, it would be a deceleration in the

1 order of one foot per second per second. Under
2 the same decelerations, if you were in a car say
3 traveling at 60 miles an hour on an open road and
4 you decelerated that car at one foot per second
5 per second, you would proceed for about 7,700
6 feet or a mile and a half before you came to
7 stop. You'd hardly notice it.

8 Q On what basis do you say that the vessel came
9 to a stop within a 400 foot distance?

10 A On the basis of the evidence of where the
11 significant resting point of the ship was in the
12 area of number 2 and number 3 hatch -- or number
13 2 and number 3 tank. That being the distance
14 from that damage area or resting area to the
15 point of striking in the bow.

16 Q Could you describe how you in your mind's eye
17 see this vessel striking the first reef and then
18 going aground over this 1100 feet distance?

19 A Certainly. The vessel struck on the bow and
20 rode completely over the rock, causing that
21 tunnel at the mid ship portion and then veering
22 off to the starboard side due to the change of
23 the heading of the vessel. The velocity, as I
24 mentioned before in my estimation, was not
25 substantially reduced and the vessel proceeded to

1 the six fathom mark where it struck the higher
2 rocks and came to a very quick halt.

3 Q Could you demonstrate that on the exhibit in
4 front of you for the jury?

5 A Yeah.

6 Q What's the number of that exhibit?

7 UNIDENTIFIED SPEAKER: BV.

8 Q You can step up there...

9 A The calculations and the opinion which I
10 formed from those calculations show the vessel
11 coming upon the 7 fathom mark at about 08 1/2.

12 Q Eight and half....

13 A Eight and a half minutes after midnight. It
14 shows the heading as I've positioned the model
15 here. It shows the 7 fathom mark passing
16 underneath which would be in the way of the
17 number 5 starboard tank and the slop tank and
18 then it shows the vessel at that point when the
19 seven fathom mark was in the way of the number 5
20 tank and the starboard slop tank, the bow of the
21 vessel was almost upon the 6 fathom mark shown
22 here so within less than a half of a ship length,
23 after passing over the first impediment, the
24 vessel actually struck the 6 fathom mark in less
25 than half a ship length.

1 Q Okay. You may resume your seat.

2 A Thank you.

3 Q Now, finally Mr. Cole asked you if your
4 simulations were moved over two tenths of a mile,
5 would that have significantly affected any of the
6 conclusions you reached with respect to the
7 rudder angle used in these various simulations?

8 A Not significantly, no.

9 Q When you say not significantly, what do you
10 mean?

11 A It would be less than a minute in the time of
12 contact if I moved it over.

13 Q Let me ask you this. If you had moved it
14 over two tenths, would the distance between the
15 grounding site and the point where the vessel
16 would have missed Bligh Reef at a different angle
17 -- rudder angles were used differ at all?

18 A Not significantly.

19 Q When you say not significantly, what do you
20 mean?

21 A Less than half a ship length.

22 Q In other words, if you moved your track line
23 over two tenths further from Bligh Reef and ten
24 degrees of right rudder were put on at that
25 particular time, this vessel at 2355 -- let me

1 start again. If you moved your track line two
2 tenths of a mile to the west of Busby Island
3 light, where you have it in your simulation, and
4 you used 10 degrees of right rudder at that point
5 at 2355, she would have still missed Bligh Reef
6 by over a mile and a half, would she not?

7 A Yes, the missing of Bligh Reef by the
8 transfer, two tenths of a mile to the west would
9 have not changed the distance it missed Bligh
10 Reef at all.

11 Q Okay. Now you called the .9 mile the worst
12 case scenarios. What do you mean by that?

13 A I call it the worst case scenario because when
14 you bring the track line of the ship -- you
15 simulate the track line here, the further to the
16 east she is, the more likely she would be to
17 strike the reef. The further to the west you
18 bring it -- if you bring it far enough west,
19 she'll miss the reef completely, so given the
20 three numbers, the .9, the 1.0 and the 1.1, I
21 selected the one which gave the worse scenario
22 which gave the need to provide by the vessel the
23 greatest evasion of the reef. The most
24 dangerous situation was the .9 and that's the one
25 I worked on here.

1 Q Sir, is there significance in your mind to the
2 fact that the simulation is two tenths of a mile
3 different than the fix that was plotted on the
4 chart?

5 A None whatsoever.

6 Q Now at 12:35 when the engine was restarted was
7 the LPU engaged at 12:35 a.m.?

8 A No, it was not.

9 Q Do you have any reason to believe that the
10 vessel's heading started to change at any time
11 after one and a half minutes after midnight? In
12 other words, Mr. Cole asked you to assume three
13 minutes after and four minutes after midnight.
14 Do you have any reason to believe that the turn
15 started at three or four minutes after midnight?

16 A No, on the contrary. I think the course
17 indicator shows clearly that the turn started and
18 the course commenced to change and the heading
19 changed at one and a half minutes after midnight.

20 Q Okay. Now, Mr. Cole asked you about a
21 command -- the mate given a command. In your
22 opinion, based on your experience, does the mate
23 on watch give commands as far as the rudder and
24 the heading of the vessel?

25 A The mate on watch has authority, of course, to

1 command a helmsman to do whatever he wants.

2 Q And if that mate has the con, isn't he the man

3 that gives the orders?

4 A Absolutely.

5 MR. CHALOS: No further questions.

6 (0780)

7 RE CROSS EXAMINATION OF MR. WINER

8 BY MR. COLE:

9 Q Well, if you didn't see any indication of this

10 vessel turning at 1206 or 1207, did you see any

11 indication of this vessel turning at 11:55?

12 A It didn't turn at 11:55. The course

13 recorder shows clearly it continued on 180 at

14 11:55.

15 Q And it didn't turn on 11:56 either, did it?

16 A No, it didn't.

17 Q There's no indication that this vessel turned

18 at 11:57, did it?

19 A No, there isn't.

20 Q And there's no indication it turned at

21 11:58, is there?

22 A No, there isn't.

23 Q And there's no indication that it turned at

24 11:59, is there?

25 A Same answer. The course recorder shows a

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 course of about 180.

2 Q Excuse me. All I asked for was a yes or no
3 answer. Isn't it? Isn't that true?

4 A No, that's true.

5 Q So all these are just hypothetical. Correct?

6 A No, they're based on the course recorder.
7 They're not hypothetical.

8 Q They're hypothetical that that the vessel will
9 turn at 11:55 but there's no indication that it
10 turned at 11:55.

11 A The plots showing the turns at 11:55? Of
12 course, they're hypothetical. They're just there
13 to show what track the vessel would have made had
14 the turn been executed as described in the
15 testimony.

16 Q And if they had turned at 12:06, they would
17 have shown what the turn would have been at
18 12:06. Right?

19 A The course recorder doesn't show that.

20 Q But it doesn't show the 11:55 turns either,
21 does it?

22 A No. This just shows and I'm sure the
23 simulation -- text of the simulation shows that
24 had these rudder positions been achieved at that
25 time, this is the track the vessel would have

1 gone on. That's all.

2 Q Now, you say that all the evidence that you

3 reviewed that this track line was consistent with

4 that, with the track line that was developed by

5 Mr. Shizume. Correct?

6 A Yes.

7 Q And you say it was because it was based on

8 four fixes. Correct?

9 A That was the calibration of the simulation.

10 Q But you disregarded one of those fixes, the

11 2355 fix. Correct?

12 A No.

13 Q Well, your track line doesn't go with the fix

14 on the chart itself, does it? The 2355 plot?

15 Does your track line go through that?

16 A No, the simulation takes into account the

17 vessel being abeam Busby at .9 and I indicated on

18 all of the labels for every single track.

19 Q And you indicated that that doesn't make any

20 difference?

21 A I worked from the simulation positions on the

22 positions used by Dr. Shizume, purely. When I

23 made these, as I mentioned before, I took into

24 account various descriptions of how far abeam

25 Busby the ship was. I used the .9 miles.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 Q Now, I would like you to assume that you've
2 got a vessel -- you have your little ship there
3 in your pocket?

4 A Yes, but it's not to that scale.

5 Q It's not. Which scale is it to?

6 A Just to the...

7 Q This one?

8 A Yes.

9 Q Well, I bet my pen comes pretty close.

10 A Oh, a calibrated pen.

11 Q Would you show the jury what the track line
12 would be if you left -- if you were over .2 miles
13 and you went at the same speed and you did the
14 same turn?

15 A We can do that very simply by taking the
16 overlay and shifting it two tenths of a mile to
17 the west.

18 Q Well, I'd like to see you do it on this one.

19 A I'd rather do it the other way because you get
20 an interference there and I'll show you why.
21 What you're doing is you're taking this curve and
22 shifting it over, so what you'd have to do is
23 take each one of these and move each one of these
24 over so what in fact that would do is it would
25 move this one two tenths of a mile over as I

1 described in my answer to Mr. Chalos.

2 Q It would also move it up, wouldn't it?

3 A Absolutely not.

4 Q It would not move it up whatsoever?

5 A No.

6 Q Would you show the jury how that happens?

7 A Sure. If you assume that your vessel position

8 at one and a half minutes after midnight is two

9 tenths of a mile to the west, that would put

10 every single one of these ship positions two

11 tenths of a mile to the west. It wouldn't affect

12 the north and south at all, Mr. Cole.

13 Q It wouldn't affect it at all?

14 A No.

15 Q Okay. Thank you, Mr. Winer.

16 MR. COLE: No further questions of this

17 witness.

18 THE COURT: You're excused. We'll take our

19 break, ladies and gentlemen. Don't discuss this matter

20 in any fashion among yourselves or with any other

21 person. Do not form or express any opinions.

22 THE CLERK: Please rise. This Court stands in

23 recess and recall.

24 (Off record - 10:25 a.m.)

25 (On record - 11:14 a.m.)

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 (0983)

2 MR. CHALOS: Mr. Andre Martineau.

3 (Oath administered)

4 A I do.

5 PHILIP ANDRE MARTINEAU

6 called as a witness in behalf of defendant, being first
7 duly sworn upon oath, testified as follows:

8 THE CLERK: Sir, would you please state your
9 full name and then spell your last?

10 A Andre Philip Martineau, M-a-r-t-i-n-e-a-u.

11 THE CLERK: And your current mailing address,
12 sir?

13 A 30 William Fairfield Drive, Wenham,
14 Massachusetts.

15 THE CLERK: Could you spell the city?

16 A W-e-n-h-a-m.

17 THE CLERK: And your current occupation, sir?

18 A Master mariner.

19 THE CLERK: Okay.

20 DIRECT EXAMINATION OF MR. MARTINEAU

21 BY MR. CHALOS:

22 Q Mr. Martineau, who are you employed by, sir?

23 A Exxon Shipping Company.

24 Q How long have you been employed by them?

25 A About 18 years.

1 Q I want to call your attention to September of
2 1986. Do you recall what you were doing with
3 Exxon at that time?
4 A I was port captain.
5 Q And was that an administrative job or at sea?
6 A It was administrative job.
7 Q Let me show you something, sir
8 THE COURT: Sir, would you take the microphone
9 off that location and put it with your right hand side?
10 A On my right hand side?
11 THE COURT: Your right hand lapel. Up high
12 so we can pick you up a little better. Thank you.
13 A You're welcome.
14 Q Mr. Martineau, let me hand you what's been
15 identified as defendant's exhibit number B and
16 ask you -- just a minute, Let me show Mr. Cole
17 that for a second. And ask you if you've seen
18 this before, sir?
19 A Yes, sir, I have.
20 Q And when was that?
21 A September 18th, 1986.
22 Q Did you receive this in your capacity as Exxon
23 manager?
24 A Yes, sir.
25 Q What, if anything, did you do with this after

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 you received it?

2 A I think I attached a short memo to it and sent
3 it out as a fleet letter to the fleet because it
4 bore some operational significance.

5 Q One second. (Pause) Mr. Martineau, I'll
6 hand you now what's been marked for
7 identification as exhibit BY and ask you if you
8 recognize that, sir?

9 A Yes, that's the attached memo here.

10 Q When you say attached, would you explain what
11 you mean by that?

12 A Well, this is a cover letter that more or less
13 or briefly explains what the attached letter is
14 all about and it just says just to discuss it
15 with the officers on board the vessel.

16 Q And you would have done that in the normal
17 course of your business?

18 A Yes.

19 Q And that would have, I presume, that's
20 intended to go through all the vessels involved
21 in the traffic trade?

22 A Yes, it went out to the entire fleet at that
23 time.

24 Q Thank you, sir.

25 MR. CHALOS: I don't have any other

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

questions. Your Honor, I would offer Exhibit BY into evidence at this time.

MR. COLE: No objection.

THE COURT: BY is admitted.

EXHIBIT BY ADMITTED

(1160)

CROSS EXAMINATION OF MR. MARTINEAU

BY MR. COLE:

Q Captain Martineau, did you review the Coast Guard regs before you sent that out?

A No.

Q You didn't?

A No, sir. I didn't.

Q You didn't think it was important to find out what the actual regulations were before you sent it?

MR. CHALOS: Your Honor, I'll object. The only purpose of this witness is to say that he received this and sent it out as a fleet letter. His opinions or what he thought about it, I think, are totally immaterial and irrelevant.

MR. COLE: I believe that I should be able to go into the surrounding circumstances which he sent out this letter. It's relevant to why he did it and whether someone would rely on it.

1 THE COURT: Objection overruled.

2 Q (Mr. Martineau by Mr. Cole:) You didn't even
3 contact the Coast Guard before you sent out this
4 letter?

5 A No, because I've been involved in this sort of
6 doing away with pilotage up there with the Coast
7 Guard for a while. This really was just
8 information and didn't go out as company policy;
9 it was just purely for informational purposes
10 only.

11 Q So, it wasn't the company policy to follow
12 that? The information that was sent out in that
13 letter?

14 A Well, we followed it in that we didn't have
15 any Prince William pilotage available and other
16 masters so other masters that didn't have the
17 pilotage were allowed to go into Prince William
18 Sound without pilotage.

19 Q Okay. If I could just take a look at this.
20 So this only affected vessels without pilotage,
21 correct?

22 A I don't know. It's ambiguous.

23 Q Maybe you could read the first sentence and
24 explain to me why that's ambiguous.

25 MR. CHALOS: Your Honor, I'm sorry, but I once

1 again asked this gentleman here to show that he sent
2 the letter and that's all. Now if he wants to get
3 into his opinion as to what it means, it's totally
4 outside the scope of direct examination.

5 THE COURT: Objection overruled.

6 A "Effective September 1st 1988, the USCG
7 requirement for daylight passage in Prince
8 William Sound for vessels without pilotage has
9 been waived."

10 Q It says without pilotage, correct?

11 A That's what it says.

12 Q Is that ambiguous to you?

13 A Well, I mean if you go back into the
14 background of this thing here...

15 Q I'm just asking you yes or no.

16 MR. CHALOS: Your Honor, I'd let him explain.
17 If he can explain his answer and needs to, I think he's
18 entitled to.

19 THE COURT: Can you answer the question yes or
20 no?

21 A All right. Yes.

22 Q That's ambiguous to you?

23 A No, no. It's not ambiguous. The answer to
24 your question is yes. It's black and white. It
25 says it requires pilotage -- vessels without

1 pilotage.

2 Q And the second sentence reads "all non-
3 pilotage vessels will be able to transit from
4 Cape Hinchinbrook to the pilot station for all
5 hours as long as visibility remains at two miles
6 or greater." Correct?

7 A That's correct.

8 Q Is the words all non-pilotage vessels
9 ambiguous to you?

10 A No.

11 Q Now a non-pilotage vessel is a vessel that
12 doesn't have a mate or a captain with pilotage
13 for that area, correct?

14 A That's correct.

15 MR. COLE: Nothing further.

16 (1340)

17 REDIRECT EXAMINATION OF MR. MARTINEAU

18 BY MR. CHALOS;

19 Q Mr. Martineau, since we've gone into this, you
20 said that when you received this memorandum --
21 first of all, why did you get this from Alaska
22 Maritime Agency rather than the Coast Guard?

23 A Well, Alaska Maritime is just keeping us
24 informed of what was happening in the port.
25 That's all.

1 Q Was there any duty imposed on you to go
2 directly to the Coast Guard...
3 A No.
4 Q ...and see what they said?
5 A No.
6 Q You also said that this wasn't -- you didn't
7 believe this was extremely novel, new, unique
8 or...
9 A No.
10 Q ...important. Why is that sir?
11 A The Coast Guard was in the process of doing
12 away with pilotage up in Prince William Sound.
13 MR. COLE: Objection. Hearsay. Relevance.
14 MR. CHALOS: Your Honor, he asked the question
15 as to why and I think he's entitled to answer it.
16 THE COURT: I'm going to sustain the objection
17 unless you can lay a foundation and show it's not
18 hearsay.
19 Q (Mr. Martineau by Mr. Winer:) Well, you were
20 involved, I think you said, in matters of Prince
21 William Sound pilotage. Is that correct?
22 A That's correct. I was, at one point in time,
23 I filled out some sort of a form for the Coast
24 Guard as to whether we thought or I thought that
25 we needed pilotage in Prince William Sound.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 Q And you were requested to fill out a form to
2 that effect?

3 A Well, they sent it to the office and I filled
4 it out.

5 Q When you say we, it wasn't you personally; it
6 was directed somehow to your desk?

7 A That's correct.

8 Q By the Coast Guard?

9 A I believe it was a Coast Guard form.

10 Q And what did you tell them?

11 A I told them that I didn't think we needed to
12 maintain pilotage in Prince William Sound.

13 Q Why is that, sir?

14 MR. COLE: Objection. Relevance.

15 MR. CHALOS; Your Honor, Mr. Cole opened the
16 door here as to what this means and all the rest of the
17 background and circumstances. He directly used that
18 term, circumstances, so I think I'm entitled to follow
19 up and show what the circumstances was. Secondly, with
20 regard to any hearsay, it's not offered as hearsay;
21 it's an exception because I want to show only for the
22 purpose of what this witness did, not for the truth of
23 the matter asserted, so I think it's certainly an
24 exception.

25 THE COURT: I'll rule on the relevance

1 objection. I'm going to overrule the relevance
2 objection. It goes to one of the elements of the
3 defense. As to the hearsay, I haven't heard an
4 objection on the hearsay and I'll rule when that's
5 made.

6 Q (Mr. Martineau by Mr. Chalos:) And what did
7 you do, sir, on this form? How did you fill it
8 out?

9 A I don't remember how I filled it out. I just
10 remember filling it out and what I stated in
11 there was that in my opinion, they didn't need to
12 maintain pilotage up in Prince William Sound.

13 Q Why sir, in your opinion?

14 A Well, because it's a very easy area to
15 navigate. It's not very treacherous. The LORAN
16 is excellent as far as navigational aids are
17 concerned and the mountains and rugged coastline
18 provide excellent radar reception.

19 Q Did you have further discussions with the
20 Coast Guard after sending out that form?

21 MR. COLE: Objection. Hearsay.

22 MR. CHALOS: I didn't ask what they were; I
23 just simply asked if there were discussions. I don't
24 think I'm asking -- it calls for any kind of an answer
25 except yes or no.

1 THE COURT: Okay. As long as the witness
2 will confine himself to a yes or no answer. Objection
3 overruled.

4 Q Did you have other discussions or
5 correspondence with the Coast Guard? Do you
6 remember?

7 A I can't remember.

8 Q Now, Mr. Cole asked you just a couple of
9 questions about the letter itself or the document
10 itself and you said they were not ambiguous.
11 Taking the document as a whole, reading the whole
12 thing, would you agree or disagree or do you have
13 an opinion as to its ambiguity -- whether it's
14 ambiguous or not?

15 A Well, my opinion is that it would be ambiguous
16 if you were involved in pilotage in Prince
17 William Sound. Here they're saying that for
18 non...

19 MR. COLE: Objection. Hearsay.

20 THE COURT: When you say they're saying,
21 what are you referring to? The letter that's in
22 evidence?

23 A Yes, sir.

24 THE COURT: As long as the witness can confine
25 himself to what the letter content is and not what

1 somebody else has told him.

2 MR. CHALOS: That's fine.

3 Q Your understand that to be the case, sir?

4 A Yeah.

5 Q Okay, would you please finish your answer
6 then?

7 A Well, when you talk about pilotage vessels in
8 Prince William Sound, at that point in time there
9 were so many vessels that were running up there
10 without pilotage that there wasn't -- didn't seem
11 much point in maintaining the pilotage
12 requirements up there. So, whether you had
13 pilotage or not or didn't have pilotage, you more
14 or less went through the same procedures in
15 navigating in the Sound with the exception of
16 non-pilotage vessels had to report every ten
17 minutes.

18 Q Where, sir?

19 A Point -- Montague Point.

20 Q From Montague Point on up through the pilot
21 station?

22 A Normal reporting. And it didn't -- the letter
23 didn't say you needed a master on the bridge
24 either.

25 Q What about the pilot station? Did it say it

1 have to be at Bligh Reef or was it still at the
2 normal Rocky Point pilot station?

3 A The letter didn't state anything that I
4 recall.

5 Q Thank you sir.

6 MR. CHALOS: I don't have any other questions.
7 (1573)

8 RECROSS EXAMINATION OF MR MARTINEAU

9 BY MR. COLE:

10 Q Captain Martineau, you didn't think that there
11 should be pilotage because you couldn't imagine
12 an accident happening in that area. Correct?

13 A No, that's not true.

14 Q No, it isn't? Well, you thought it was an
15 easy area to navigate. Correct?

16 A Relatively easy compared to other areas that
17 we navigate in.

18 Q Have you navigated in and out of Prince
19 William Sound yourself?

20 A Yes, sir.

21 Q And did you ever strike Bligh Reef?

22 MR. CHALOS: Your Honor, we're getting as far
23 afield from the original purpose of this testimony as I
24 can imagine.

25 MR. COLE: I'll withdraw it, Your Honor.

1 Q Now, you said that this letter is ambiguous
2 taken in context. Where is anything in there
3 that says that the rules for a pilotage vessel
4 have changed?
5 A I'll have to agree with you. It doesn't say
6 that.
7 Q Thank you.
8 THE COURT: May the witness be excused from
9 further participation?
10 MR. CHALOS: He may be.
11 THE COURT: Mr. Cole?
12 MR. COLE: Yes.
13 THE COURT: You're excused, sir. Call your
14 next witness.
15 MR. CHALOS: Your Honor, at this time, the
16 defense calls Captain Walker -- Shiras Walker.
17 (Oath administered)
18 A I do.
19 SHIRAS MICHAEL WALKER
20 called as a witness in behalf of the defendant, being
21 first duly sworn upon oath, testified as follows:
22 THE CLERK: Sir, Would you please state your
23 full name, and then spell your last name?
24 A Shiras Michael Walker, W-a-l-k-e-r.
25 THE CLERK: Spell your first name?

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A S-h-i-r-a-s.

THE CLERK: And your current mailing address?

A 7969 Little Fox Lane, Jacksonville, Florida.

THE CLERK: And your current occupation?

A Bar pilot.

THE COURT: Would you move that microphone over to your right lapel, please.

DIRECT EXAMINATION OF CAPTAIN WALKER

BY MR. CHALOS:

Q Captain Walker, what is a bar pilot?

A A bar pilot is a person that directs the movement of a vessel from the sea buoy into docks in a river setting.

Q Where do you presently work?

A In the St. John's River in Jacksonville.

Q In Florida?

A Florida. Uh-huh (affirmative).

Q How long have you been a pilot down there?

A I've been there since October '85.

Q What were you asked to do in connection with this case?

A I was supposed to give my opinion on Captain Hazelwood's actions from the time he boarded the Exxon Valdez, on that night, up until the time she went on the reef.

1 Q Let's step back for a second. What is your
2 educational background?
3 A I graduated from King's Point in 1969.
4 Q U. S. Merchant Marine Academy?
5 A Yes.
6 Q Did you receive a degree?
7 A Yes. Bachelor of Science and Medical Science.
8 Q And did you receive a license at that time?
9 A Yes, I did. A third mate's license.
10 Q Would you briefly describe your employment
11 background for us?
12 A As soon as I graduated from the merchant
13 marine academy I joined the Master, Mates and
14 Pilot's Union.
15 Q What is the Master, Mates and Pilot's?
16 A It's a union of ship's officers.
17 Q Yes. Go ahead.
18 A And proceeded to ship out on two freighters
19 and then in October of 1969 I came ashore on
20 vacation and when I went back to the union hall
21 to get jobs, Viet Nam was winding down at that
22 time, and they were very few and far between, and
23 I was so low on the seniority poll that it looked
24 like I was going to stay around for a long time.
25 So in January of 1970 I started calling the oil

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 companies and asking them if they had any jobs.

2 Q And did you ultimately get a job?

3 A In January of 1970 I contacted City Service
4 Oil Company and they said they had two jobs. One
5 was a -- I think a one or two month job and the
6 other one was a permanent job. But to get the
7 permanent job I would have to take a grain ship
8 to India. So I took the grain ship to India.

9 Q The one that ship was a third mate?

10 A Yes.

11 Q How long did you work at City Service?

12 A From 1970 until approximately January 1st,
13 1976. The City Service people became known as
14 Inter-Ocean Management Corporation. They're
15 basically the same people they just changed the
16 name of the company.

17 Q Between 1970 and 1976 did you work on oil
18 tankers?

19 A Exclusively, yes.

20 Q Did you increase your license from third mate
21 to second mate?

22 A Yes. By 1976 I worked all the way up to chief
23 mate.

24 Q You were sailing as a chief mate in 1976?

25 A Yes.

1 Q What kind of vessels were you sailing on
2 between 1970 and 1976?
3 A In 1970 I started out on about an 18 to 20,000
4 gross ton tanker, and in 1975 I was selected for
5 the VLCC program.
6 Q What is a VLCC?
7 A Very Large Crude Carrier.
8 Q What does that mean?
9 A Well, it's anything, I would say, over 200,000
10 dead weight tons.
11 Q When did you first go on the VLCC?
12 A In April 1975 I was assigned to the
13 Massachusetts in Bethlehem Steel's shipyard at
14 Sparrow's Point.
15 Q How big was the Massachusetts?
16 A She was 265,000 dead weight.
17 Q Now you worked on the Massachusetts from,
18 when, 1975 to 1976?
19 A Just about the end of 1976, yes.
20 Q As a chief mate?
21 A Yes.
22 Q When did you obtain your master's license?
23 A Early 1977.
24 Q And when did you obtain your first master's
25 job?

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 A August 1977.

2 Q What ship did you become master of?

3 A The New York.

4 Q How big was the New York?

5 A She was a sister ship to the Massachusetts,
6 265,000 dead weight.

7 Q Now, have you ever sailed in Prince William
8 Sound?

9 A Yes, I have.

10 Q When did you first come up to Prince William
11 Sound?

12 A Right around August 1977.

13 Q Your first job as a captain?

14 A Yes.

15 Q Where did you come from?

16 A I obtained my first master's job in Singapore.
17 I moved up from chief mate to master in
18 Singapore, and I took the ship from Singapore to
19 Japan. We went to the shipyard for about one
20 week and then we left from there to come to
21 Valdez.

22 Q This was in -- I think you said August 1977?

23 A That's correct.

24 Q You were the first ULCC to come up to Valdez?

25 A Yes, we were. DLCC. The ULCC is over

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

400,000.

Q I beg your pardon, you're right.

Now, when you came up to Valdez the first time did you have pilotage?

A No, I did not.

Q What was the procedure in 1977?

A 1977, the very first time we came in there, the pilot came on board, I believe it was in a helicopter, and landed on deck.

Q At what point? Geographic point?

A Rocky -- not Rocky Point. Right at Cape Hinchinbrook.

Q And the pilot would then take the ship into the berth at the Port of Valdez?

A Instead of going to the berth that time we proceeded up to Knowles Head Anchorage, because we had to pass inspection. We didn't go to the berth for about another week.

Q All right. Now, how many -- how long did you work in the Valdez trade, if you will?

A It's difficult to tell you, but basically from 1977 to 1985, a few years in there I was on the offshore -- I would go foreign.

Q What do you mean by going "foreign"?

A Worldwide. We operated a tramp tanker service

1 worldwide.

2 Q How many trips would you estimate you made
3 into Valdez over the years?

4 A It would just be a guess on my part, but
5 probably between 25 and 30 trips.

6 Q These trips are all on VLCC type ships?

7 A Nothing under 165,000 gross tons -- dead
8 weight tons.

9 Q The majority of the trips were on VLCC's over
10 200,000 tons?

11 A Yes.

12 Q And all these trips you made you were the
13 captain of the ship?

14 A That's right.

15 Q Now, when did you first obtain your pilotage
16 endorsement for Prince William Sound?

17 A Approximately 1979.

18 Q How many trips had you made into Prince
19 William Sound by the time you got your pilotage?

20 A Six trips.

21 Q That's all you took was six trips?

22 A That's correct.

23 Q And how did you get you get your pilotage
24 endorsement?

25 A Studied the VTS manual and the chart and went

1 down to the Coast Guard in Jacksonville, Florida,
2 and they sent the chart -- they sent the forms
3 and the -- well, I guess the paperwork and the
4 questions that they wanted me to answer from
5 Valdez to Jacksonville. And I took the exam in
6 Jacksonville, Florida.

7 Q This exam that you took, was that a written
8 exam?

9 A Yes. Partly written, and you had to draw the
10 Prince William Sound chart.

11 Q Did this exam test your ability to handle a
12 vessel in Prince William Sound?

13 A No.

14 Q Well, what did the exam test?

15 A A lot of book work, the VTS manual, the aides
16 to navigation, the characteristics of the aides
17 to navigation, and if you could draw the traffic
18 separation scheme in the chart. Know where the
19 anchorage is.

20 Q And know, for instance, where Bligh Reef was?

21 A Yes.

22 Q The navigational aides for Prince William
23 Sound, how would you characterize them? Are
24 there a lot of them?

25 A Very few.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q So you only had to know a few navigational aides and where Bligh Reef was?

A That's -- well, a little bit more than that, but basically.

Q And the VTS system.

A There was a lot of -- you had to know all the little bays and inlets by name. It was kind of a make work exam.

Q Now, how many times did you travel into Prince William Sound before you got the pilotage endorsement?

A Well, about six round trips.

Q And in those round trips did you always pick up the pilot at Cape Hinchinbrook?

A Yes.

Q Were the regulations such that you were required to drop off the pilot at Cape Hinchinbrook going out?

A Yes.

MS. HENRY: Objection, Your Honor.

MR. CHALOS: Your Honor, this goes to the regulations as they existed, this whole pilotage issue, and he's got personal knowledge of what was going on.

THE COURT: I'll overrule the objection, Mr. Henry.

1 Q (Captain Walker by Mr. Chalos:) Captain
2 Walker, did you, in those six instances, drop the
3 pilot off at Cape Hinchinbrook on the way out?
4 A The very first time I did.
5 Q How about the other time?
6 A No.
7 Q Where did you drop the pilot off?
8 A Roughly around Busby Island.
9 Q Was that the practice at that time?
10 A I don't know. It was my practice.
11 Q Were the actions in contravention of the
12 regulations?
13 A Yes, they were.
14 Q I'm sorry?
15 A Yes.
16 Q Now, you've been asked to appear here as an
17 expert for the defense, have you not?
18 A Yes, I have.
19 Q Are you being paid for your appearance here?
20 A Yes, I am.
21 Q What is the fee arrangement that you have with
22 us?
23 A \$500.00 a day plus expenses.
24 Q Now, sir, on your master's license you have
25 radar endorsement?

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 A Yes, I do.

2 Q What is a radar endorsement?

3 A It used to be a 20 minute exam that would
4 qualify you to be a radar observer.

5 Q That's changed in recent years, has it not?

6 A Yes, it has.

7 Q Now it's a -- you have to go to a school and
8 you have to observe the radar?

9 A Right. But it's basically the same thing,
10 it's just that they take you into a little bit
11 more detail and give you a more detailed exam --
12 an on the job exam you might say.

13 Q In your opinion would you expect someone who
14 has been through the courts and has gotten the
15 radar endorsement to be able to identify targets
16 on the radar screen?

17 A Well, he couldn't say, "Yeah, that's the North
18 Slope or that's the Benicia.

19 Q I mean, be able to handle the radar and plot
20 on the radar and pick up?

21 (2260)

22 A Oh, surely, surely. That was the whole
23 purpose of the exam.

24 Q All right. You mentioned that you've been
25 asked to critique Captain Hazelwood's actions in

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

this matter, am I correct?

A That's correct.

Q What did you review before you appeared here today?

A The National Transportation Safety Board video tapes of, I believe, Mr. Cousins and Mr. Kunkel. Then we went to the grand jury -- I think they call them "depositions" of Kunkel, Kagan, Cousins, Beevers -- I think that's about all the people. And then I have the course recorder charts, the engine-room Bell Logger, the deck lock pages that were relevant, some CAOR statistics on the vessel maneuvering capabilities, and I probably left out a few documents. But that's the majority of them anyway.

Q Did you look at charts of Prince William Sound?

A Yes, at charts.

Q How about the vessel? Did you instruct the vessel?

A Yes.

Q When did you instruct the vessel?

A About the first week in September.

Q Where was that?

1 A In San Diego.

2 Q Did you review any trial testimony?

3 A Yes, I have.

4 Q Whose trial testimony did you review?

5 A Mr. Kunkel, Mr. Kagan, Mr. Cousins, the female
6 ordinary seaman.

7 Q Maureen Jones?

8 A Maureen Jones. I believe that's about all.

9 Q All right. Let's speak about your opinion.
10 There's been some testimony in this case that
11 Captain Hazelwood reboarded his vessel in Valdez
12 approximately 45 minutes before they left the
13 dock. Do you have any opinion as to whether that
14 was good, bad, indifferent?

15 A It's good. I have no problem with that, no.
16 In my company, in the early stages of my career
17 it wasn't unusual that the captain went down with
18 the gangway and came back up with the gangways.

19 Q What does that mean?

20 A He was the first one ashore and the last one
21 back on board.

22 Q So you find nothing unusual about Captain
23 Hazelwood returning to the ship 45 minutes before
24 sailing?

25 A Not at all.

1 Q Have you read testimony about the fact that
2 the sailing board was changed?
3 A Yes, it was moved back one hour.
4 Q What does that mean?
5 A That means that they were finishing cargo an
6 hour earlier than they anticipated.
7 Q Now, did you review any testimony with respect
8 to the undocking process of this vessel?
9 A Only to the fact that Mr. Cousins was back aft
10 and where the various people were. Mr. Kunkel
11 was on the bridge.
12 Q Do you have an opinion of Captain Hazelwood's
13 actions during the undocking process?
14 A I have no fault with Captain Hazelwood's
15 procedures.
16 Q Now, you read testimony about the vessel's
17 transit through the Port of Valdez?
18 A Yes, I have.
19 Q Do you have an opinion as to Captain
20 Hazelwood's actions during the transit through
21 the Port of Valdez?
22 A No, they were perfectly normal.
23 Q When you say "perfectly normal" what do you
24 mean?
25 A I understand there's been some business here

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 about whether or not he should have left the
2 bridge. And it's my experience, even as a pilot
3 now that about 25% of the U. S. ships that I
4 piloted in and out of the St. John's river the
5 captain is down below. We are in much closer
6 waters than they ever dreamed of being up there.

7 Q Well, could you explain that to me?

8 A Well, the maximum width we have in the St.
9 John's River is 1100 feet. The narrowest point
10 in Prince William Sound is 3,000 feet. So
11 there's quite a discrepancy. Our average channel
12 is 600 feet wide.

13 Q There's been some testimony here that Captain
14 Hazelwood left the bridge in the Port of Valdez
15 right on through the Narrows up through Potato
16 Point. Do you have an opinion as to those
17 actions?

18 A I found no fault there.

19 Q What is the basis for that?

20 A There is no regulations in saying where he has
21 to be. On the bridge, or he could be anywhere he
22 feels like being.

23 Q Do you have an opinion as to whether the
24 waters in the Narrows are dangerous waters or
25 not?

1 A In the Narrows, don't forget, they have the
2 speed limit there so the ship isn't going very
3 fast. They're only going about six knots.

4 Using the two scenarios of what could happen
5 in there, one would be an engine failure. As
6 long as the ship had its steering gear, we would
7 be in good shape. The VLCC's coast quite a long
8 ways. As long as he had his rudder he'd be in
9 good shape.

10 The other factor is that he lost his steering.
11 Well, there's an escort tug that's assigned to
12 each vessel, and in case she lost her steering
13 the escort tug would come up on the stern and put
14 up two lines, one on each quarter, and be used as
15 a rudder, at least as effective as the ship's
16 rudder.

17 Q Do you know Pilot Murphy?

18 A Yes, I do.

19 Q What is the nature of your familiarity with
20 him?

21 A He's been a pilot on my vessel numerous times.
22 He is also the pilot -- the first pilot I took in
23 Valdez that very first time.

24 Q Do you have an opinion as to Captain Murphy's
25 competence?

1 A Captain Murphy is an excellent pilot.

2 Q Now, there has been some testimony that the

3 captain returned to the bridge some time prior to

4 the pilot leaving. And there's a conflict in the

5 testimony. One witness said it was about 30

6 minutes before the pilot left, and another one

7 said about 15 minutes before the pilot left. Do

8 you have an opinion as to those actions, whether

9 it's 30 minutes or 15 minutes?

10 A Well, as long as he came up in time to sign

11 the pilot's bill and exchange the normal

12 pilot/captain information, I don't see no problem

13 there.

14 Q How long would that normally take?

15 A Less than five minutes.

16 Q Now, you said the regulations don't require

17 the captain to be on the bridge at any time.

18 What regulations are you referring to?

19 A The Coast Guard regulations.

20 Q Now, you reviewed testimony about the exchange

21 of the command of the vessel between the pilot

22 and Captain Hazelwood at around 11:20 on the

23 evening of March 23?

24 A Yes, I did.

25 Q Do you have any opinion as to the actions

1 taken at that time?

2 A Well, the testimony that I saw was very vague
3 on that point, just that they apparently got
4 together and then the pilot went down below.
5 There was no testimony as to who said what, or
6 who said this, so I have no opinion on that.

7 Q Well, did it appear to you to be the routine
8 exchange between a pilot and the captain?

9 A I would assume so, knowing Ed Murphy, I would
10 assume that that's what had happened.

11 Q Now, there's also been some testimony about
12 communications that Captain Hazelwood had with
13 the VTC, the Vessel Traffic Control Center. You
14 reviewed that information?

15 A Yes, I did.

16 Q I'm talking now of the time period between,
17 let's say, 11:25 and about 11:40 on the evening
18 of the 23rd?

19 A Uh-huh (affirmative).

20 Q You reviewed that communication?

21 A Yes, I did.

22 Q And do you have any opinion as to those
23 communications?

24 A No, I have no opinion on that. It was a
25 routine exchange of information there. In fact,

1 since I have left it had gotten even a little bit
2 more lax since I left on their communications
3 procedures.

4 Q Well, let me ask you this. You are familiar
5 with the VTS system in Prince William Sound?

6 A Yes, I am.

7 Q Have you had occasion in the past where you
8 communicated with the VTS Center with respect to
9 your course or speed?

10 A Yes, I have.

11 Q Were you ever called and told that your vessel
12 was not in the proper position?

13 A Yes, we were.

14 Q When did that occur?

15 A On the very first year of going in and out of
16 Valdez. The Coast Guard was very concerned about
17 the Valdez Narrows area which is kind of a wide
18 open area with one small rock in the middle.
19 They call it Middle Rock. And they had drawn on
20 a chart a line, and they called it the optimum
21 track. And they wanted all the ships that came
22 in and out of there to be on that optimum track.
23 And, of course, the pilots are doing a job. The
24 optimum track line that they had was a curve.
25 There was no steady course on there. It was just

1 a big curve. Well, that looks good, let's draw
2 that. So the pilots were a little one way or the
3 other all the time, and they would call up the
4 pilots and say, "You're 100 feet off the
5 centerline of the optimum channel.", and really
6 give them hell.

7 Q In the years that you operated in Prince
8 William Sound, did you believe that the Coast
9 Guard was monitoring you on the radar?

10 A Yes, I...

11 MS. HENRY: Objection. Relevancy as to time.

12 THE COURT: Let's get a foundation for when
13 you're talking about.

14 Q You operated in Prince William Sound between
15 1977 and I think you said 1985?

16 A That's correct.

17 Q During that period, the eight year period, did
18 you believe that you were being monitored by the
19 Coast Guard on the radar?

20 A Yes.

21 THE COURT: Don't answer the question. Just a
22 minute, please. When you see her stand, give us a
23 second to resolve this.

24 MS. HENRY: Objection. Relevancy and the
25 protective order that was discussed several weeks ago.

1 THE COURT: Well, I'm afraid I don't remember
2 the -- there were so many discussed several weeks ago.
3 Why don't you come on up here and we'll...

4 (2820)

5 (Whispered bench conference as follows:)

6 (Entire whispered conversation indiscernible.)

7 (End of whispered bench conference)

8 (2877)

9 Q (Captain Walker by Mr. Chalos:) Captain
10 Walker, directing your attention to 1985. Did
11 you believe at that time in 1985 that the Coast
12 Guard was monitoring you on the radar system that
13 was in place at the VTS Center?

14 A They were very proud of that radar and one
15 time they even took me in there and showed me
16 what they could...

17 MS. HENRY: Your Honor, I object and move to
18 strike. His answer is not responsive. He's going to
19 the prohibited time period.

20 Q I'm talking now about the time period of 1985.
21 Did you believe that you were...

22 MS. HENRY: Excuse me, Mr. Chalos. I have a
23 motion on the floor.

24 MR. CHALOS: I'm sorry. I'll withdraw the
25 previous...

1 THE COURT: The question didn't ask you if
2 they took you in there, they asked if you -- what you
3 thought about what they were doing at that time when
4 they were monitoring you in 1985. So restate your
5 question and try to answer the question.

6 Q (Captain Walker by Mr. Chalos:) Captain
7 Walker in 1985 did you believe that the Coast
8 Guard was monitoring you on the radar system that
9 they had in place at that time?

10 A Yes.

11 Q To what geographical point did you believe you
12 were being monitored at that time?

13 A Approximately 18 miles from Potato Point,
14 which would put you somewhere several miles
15 southwest of Bligh Reef.

16 Q Let me get a chart of the area so we're all
17 talking about the same -- (pause). Captain
18 Walker would you point to the jury the
19 geographical point which you believe the Coast
20 Guard was monitoring you down to on their radar?

21 A Approximately right down in here, in this
22 vicinity.

23 Q The area that you pointed is several miles
24 south of Bligh Reef?

25 A Yes.

1 Q Sir, in 1985, if your vessel were standing
2 into danger, did you have a belief that the Coast
3 Guard would warn you of that fact?

4 A That was the whole purpose that they were
5 there.

6 Q What do you mean by that?

7 A Well, in the beginning of their manual was
8 that they spent the \$70 million to build that
9 place, was to prevent collisions and groundings.

10 Q It says so right in the manual?

11 A Yes, it did. And you're not going to go
12 aground if you are staying in the traffic
13 separation scheme that they have right there.

14 Q What do you mean by that?

15 A Well, I would assume that as soon as you left
16 that traffic separation scheme that that would be
17 the time of intense monitoring, because you're
18 not going to have a collision and you're not
19 going to go aground if you're in the traffic
20 separation scheme.

21 Q So you believe that if you were outside the
22 VTS System for whatever reason north of Bligh
23 Reef that the Coast Guard would be monitoring you
24 and warning you if you got into danger?

25 A I believe they should be monitoring me even

1 more than normal.

2 Q Because you're out of the traffic lane?

3 A Absolutely.

4 Q I would like to speak a little bit about ice.

5 Have you ever had occasion to encounter ice in

6 Prince William Sound?

7 A Yes, I have.

8 Q On how many occasions has that happened?

9 A I believe at least three occasions.

10 Q In those occasions what did you do?

11 A One time I went through and two other times I

12 went around it.

13 Q The time that you went through was it

14 daylight, night?

15 A It was daylight.

16 Q And what did you do in that instance?

17 A Well, the ice was fairly heavy across both

18 traffic lanes and I just picked a spot to go

19 through the ice that I believed was the thinnest;

20 had the least amount of ice in the vicinity.

21 Q And on those two occasions that you diverted

22 around the ice, when was that? What time of day?

23 A That was at night.

24 Q And what did you do in those instances?

25 A Did about the same maneuver that the Exxon

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 Valdez was supposed to have done.

2 Q What do you mean by that?

3 A Come down around Busby Island and come right
4 close by the buoy at Bligh Reef.

5 Q Why don't you take a pointer -- there should
6 be a pointer either to your right or on the
7 chalkboard there.

8 THE COURT: Just behind your elbow there.

9 Q Why don't you point to the jury the maneuver
10 that you made on those occasions where you
11 diverted around ice?

12 A Around the ice?

13 Q Yes. It's very hard to tell you exactly where
14 I was because that was six or seven years ago.
15 The ice would come out of this place right here
16 and it would generally come out and come around
17 into this vicinity here. It seems that sometimes
18 it got up a little further it would tend to even
19 come along this shoreline here. And on your way
20 out, about right here would be where the pilot
21 would get off. I would normally come around this
22 buoy to check -- make sure I was in the
23 centerline here, and then do approximately what
24 Captain Hazelwood had done right here.

25 Q And at what point would you start to come back

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

into the lanes?

A Depending on where the ice was. How far around the ice I had to go. If the ice was only into the separation scheme, of course, you come down and just come back on -- I would like to use this light here abeam.

Q What is that?

A That's the light on Glacier Island.

Q Now, on those occasions did you steer a course around 180 when you were changing -- coming out of the lane?

A I couldn't really tell you right now, but it's the logical course to steer.

Q Why do you say it's a logical course to steer?

A Well, for one thing, it's a convenient course. It's a cardinal point.

Q What do you mean by "cardinal point"?

A Okay. It's a north, south, east, or west line.

Q So 180 is a north, south line.

A 180 -- your correspond -- it's very easy to plot with the -- because all your longitude lines here are north and south. It's just very convenient to steer at.

Q On those occasions when you diverted around

1 ice did you leave the traffic separation lanes?

2 A Yes, I did.

3 Q Let me ask you about your experience with ice
4 over the years. Have you found, let's say from
5 the early days until you stopped sailing in 1985,
6 that the ice had gotten worse or better?

7 A In the early days we never even saw it. We
8 used to come up here, and about this position
9 here everybody would come up on the bridge to see
10 if they could see Columbia Glacier. We were like
11 tourists up there. We wanted to see the ice and
12 the glacier that everybody said, you know, this
13 is up here, and nobody had ever seen it before.

14 After the years started to go by we started to
15 notice ice. Little bergy bits would start coming
16 out of here, and of course everybody oo'd and
17 ah'd. "Oh! There's one over there.", you know.
18 And towards the end, around 1984, 1985 it was
19 getting very heavy. The glacier was starting to
20 calve a lot.

21 Q Was it the customary practice of vessels to
22 divert outside the lanes to avoid the ice as best
23 as you knew when you were sailing up there?

24 A Some vessels would go through it but most came
25 around it.

1 Q You don't have any problem with the fact that
2 Captain Hazelwood diverted to go around the ice
3 on this particular night, do you?

4 A Not at all, no.

5 MR. CHALOS: Your Honor, I wanted to get into
6 another subject. I have to get some more exhibits.
7 Shall we take a break at this point.

8 THE COURT: That will be fine.

9 Take our next break, ladies and gentlemen.
10 Please don't discuss this case among yourselves or with
11 any other person. Please do not form or express any
12 opinions.

13 THE CLERK: Please rise. This court stands in
14 recess subject to call.

15 (Off record - 12:02 p.m.)

16 (On record - 12:26 p.m.)

17 (3406)

18 (Jury present)

19 THE COURT: You may resume, Mr. Chalos.

20 MR. CHALOS: Thank you, Your Honor.

21 Q (Captain Walker by Mr. Chalos:) Captain
22 Walker, I've put before you what we've marked as
23 Exhibit F, which is the chart of the Arco Juneau,
24 which was the vessel that immediately preceded
25 the Exxon Valdez out of the Port of Valdez.

1 Have you had an opportunity to look at that
2 chart?

3 A Just in the last few minutes, yes.

4 Q There's been some testimony here that the Arco
5 Juneau made some course changes and maneuvers in
6 and around the Bligh Reef area. Can you see
7 those on the chart?

8 A Yes, I can.

9 MR. CHALOS: Your Honor, may the witness
10 approach the jury?

11 THE COURT: All right.

12 Q Let's step over here by the jury and you could
13 show them what the Arco Juneau...

14 THE COURT: Captain put that black box in your
15 pocket and you can take the microphone with you a way.

16 Q Can you describe for the jury what the captain
17 of the Arco Juneau was doing to divert around the
18 ice? You have to step aside so the jury could
19 see.

20 A I'm sorry. The marks on the chart are very
21 faint; not too good of a reproduction here. It
22 looks like an 18 something fix right here.

23 Right now he's started to deviate out of the
24 traffic separation scheme; appears to be headed
25 down this way (indicating). At some point in

1 time -- okay. At some point in time he starts to
2 make a rather radical course change right here,
3 and it looks like he used the sector on Busby
4 Island Light to make his course change on.

5 You see, he's very, very close to that reef.
6 The course change that he had to make here was
7 probably -- it looks to me to be about 70 or 80
8 degrees course change. Very little maneuvering
9 around here. I don't like this maneuver at all.

10 Q Why is that?

11 A Well, he doesn't leave himself any sea room if
12 anything should happen to his vessel. The two
13 things you have to worry about on a ship are
14 mechanical failure -- well, the big thing is
15 mechanical failures, and the two things that you
16 have there, the engines and steering gear. You
17 always have to be alert to that fact.

18 If the engines had failed here he might have
19 been able to get his vessel out of the way of
20 Busby Island -- of Bligh Reef, rather. But if
21 his steering gear had failed at that time, I feel
22 that he's beyond the point of no return, and he
23 has no other choice but to hit Bligh Reef.

24 Q Let me ask you this: there's been testimony
25 that the captain was steering a course -- the

1 captain of the Arco Juneau was steering a course
2 of 175 headed for Bligh Reef at about 16 knots.
3 Do you have an opinion as to that maneuver?

4 A Well, again, like I say, he's really taking a
5 chance here. If anything should go wrong -- if
6 this quartermaster put the wheel the wrong way
7 when he ordered "right rudder", it's a common --
8 not too common, but it's not an uncommon mistake
9 for a quartermaster to put the rudder the wrong
10 way, or the helm the wrong way. If that had
11 happened, he doesn't have a whole lot of room to
12 recover from that situation.

13 Q There's also been testimony that he started to
14 make his final maneuver away from Bligh Reef
15 about a half a mile north of it. Do you have any
16 opinion on that?

17 A Well, like I say, a half a mile -- 16 knots is
18 not a whole lot of time. He's given up all his
19 maneuvering room -- his margin of area, let's put
20 it that way.

21 Q Do you have an opinion as to whether the
22 maneuver that the captain of the Arco Juneau was
23 making was a risky maneuver?

24 A I would say it's a risky maneuver.

25 Q Now, I also placed in front of you Exhibit AF,

1 which is Captain Knowlton's master's license that
2 indicates that he has pilotage to Busby Island
3 Light. Do you see that?
4 A Yes, I do.
5 Q I would like to give you a hypothetical. If
6 the pilot, according to the Bell Log of this
7 vessel, departed at Rocky Point. And this
8 captain's license only has pilotage to Busby
9 Island Light. Between Rocky Point up here and
10 Busby Island Light here, is how far?
11 A I would say close to three miles.
12 Q In that three mile stretch, would that captain
13 be in violation of the pilotage regulations?
14 A Well, you are talking pre this Bob Arts
15 letter, or as I knew it in 1985?
16 Q As you knew it in 1985.
17 A In 1985 he would have been in violation, yes.
18 Q Refer to Exhibit C, the letter from Alaska
19 Maritime. Have you reviewed that letter?
20 A Yes, I have.
21 Q Would your opinion as to the violation that
22 you just spoke about, be different, having
23 reviewed that letter?
24 A Yes, it would.
25 Q In what way?

1 A I think this eliminates him from having to
2 take a pilot.
3 Q You mean Exhibit B, the letter from Alaska
4 Maritime?
5 A That's correct. As long as his...
6 Q Why do you say that?
7 A ...visibility is over two miles, I don't see
8 any problem there. They let pilotage go.
9 Obviously, looking at this letter here, that's
10 the end of pilotage.
11 Q And that's how you interpret Exhibit B?
12 A As long as the visibility is over two miles
13 pilotage is no longer required.
14 Q I would like to talk about the course changes
15 that were made by Captain Hazelwood after he
16 dropped off the pilot. There's been testimony,
17 and I'm sure you read the testimony, that he went
18 from course 219 to course 200, and then
19 ultimately to course 180 over a period of about
20 20 minutes. Do you have an opinion as to those
21 course changes?
22 A No, I think -- if you get down to the 180...
23 Q No, you don't have an opinion, or, yes, you
24 do?
25 A Yes, I do have an opinion. The 218 or 219 was

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

when the pilot got off. And I think he waited a few minutes there to come to course 200, and then a few more minutes, came to 180.

He was setting himself up for a very nice maneuver around the ice. I think he started well back from the ice to assess where he was going to have to be when he had to go by Busby Island and Bligh Reef.

Q When you say he was setting himself up for a nice maneuver, what do you mean?

A He's never putting his vessel in any great danger there as the Arco Juneau captain did. He's leaving himself plenty of room to maneuver there. If anything would have happened at any point in there, in his planned maneuver, he had several miles that he could either stop the vessel using his engines, or if the steering gear failed, or if his engines failed, he had plenty of time to make a turn out of there.

Q Now, there's been some testimony that at 11:52 the load program up was engaged to move the vessel from 55 rpms to ultimately full sea speed in a period of about 45 minutes. Do you have an opinion as to the engagement of the load program up at 2352 or 53?

1 A Well, I'm just surprised that he waited so
2 long. I would have...

3 Q Why do you say that?

4 A Well, I would have done it as soon as the
5 pilot got off because you just want to get the
6 ship moving and get the voyage going. You're not
7 paid to lolly-gag around.

8 Q Was it customary practice to set sea speed
9 once you dropped the pilot off?

10 A Mine was, yes.

11 Q Did you do the same thing when you diverted
12 around ice?

13 A Yes.

14 Q You set it for sea speed?

15 A Yes.

16 Q Now, there's been some testimony that this
17 vessel was travelling at about 11.57 knots at the
18 time of the grounding. Do you have any opinion
19 as to that speed?

20 A It's a nice maneuvering speed. We on the St.
21 John's River use about 12 knots as our full ahead
22 speed. So that's a nice maneuvering speed.

23 Q When you say "full ahead" what do you mean?

24 A Full ahead maneuvering. When I talk "full
25 ahead", I mean full ahead maneuvering. If I want

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

more than full ahead, I say "full ahead sea speed".

Q Did you find in those years that you operated up there that your vessel responded better travelling at a speed of about 11 and a half or 12 knots than it would have if you were going much slower.

A I really don't understand the gist.

Q Well, I mean, if you wanted to make a course change in a short period of time, did you find that the vessel responded better at a higher speed?

A Well, on a slower speed you actually made the turns tighter than a higher speed. A higher speed will give you a much wider turn for the same angle of rudder. It just appears that it's not, but it is.

Q These vessels -- these VLCC's handle well at 11 and a half, 12 knots?

A All the ones I've been connected with have.

Q Now, there's been some testimony about the use of the automatic pilot. In your experience is the use of -- or, not use of the automatic pilot in the captain's discretion?

A Yes, it is.

1 (Tape: C-3667)

2 (000)

3 Q Anywhere he might be?

4 A At any time. Right.

5 Q Now, the testimony in this case was that the
6 autopilot was engaged at 2350, 11:50 and it was
7 disengaged at about 11:53. First of all, do you
8 have any opinion as to the use of the autopilot
9 in Prince William Sound?

10 A Well, it was my practice never to use it.

11 Q Do you have any opinion as to the use of the
12 automatic pilot in this situation?

13 A I find no problem with that.

14 Q Did you have an opinion as to the use of the
15 automatic pilot for three minutes?

16 A I find it kind of strange for only three
17 minutes, but I don't find any fault for using it
18 or not using it.

19 Q There's been some testimony that the automatic
20 pilot played absolutely no role in the grounding
21 of this vessel. Do you agree or disagree?

22 A I agree.

23 Q To the best of your knowledge are there any
24 Coast Guard regulations that prohibit the use of
25 the autopilot in Prince William Sound?

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 A None that I know of.

2 Q Again, it's left to the discretion of the
3 master?

4 A That's correct.

5 Q There's been some testimony in this case about
6 the AB look out being placed on the bridge wing
7 as opposed to the fore part of the vessel. Do
8 you have an opinion on that?

9 A Well, I never had a hard and fast rule of
10 thumb. Sometimes I put them on the bow,
11 sometimes I put them on the bridge wing, and
12 sometimes they would be travelling from one place
13 to another.

14 Q Again, at the discretion of the master?

15 A At the discretion of the master, yeah.

16 Q Now, I take it you read the testimony with
17 respect to what Captain Hazelwood wanted the mate
18 to do when he came abeam of Busby Island Light?

19 A Yes, I have.

20 Q Do you have an opinion as to the instructions
21 that were left by Captain Hazelwood with the
22 mate?

23 A I find no problem with the instructions.

24 Q On what basis do you say that?

25 A Well, as a very general term, he had set

1 everything up; he had plenty maneuvering room.

2 Q Well let's take it one step at a time. What
3 do you mean he had set everything up?

4 A He has set it up beautifully there. He came
5 abeam of Busby Island on a cardinal point. He
6 made the turn on an abeam bearing, which is, you
7 just look out the door and say, "Yeah, it's
8 abeam.", and start your turn. One mile off, or
9 .9, or 1.1, or whatever. It's a mile a off.
10 It's a nice round number. Had plenty of sea room
11 in there.

12 Q Now what about the instructions that he left
13 with the third mate. Do you have an opinion on
14 that?

15 A Well, he just said, as soon as you get abeam
16 of Busby Island start coming back into the
17 traffic separation scheme. It's obvious what he
18 meant by that.

19 Q What do you mean?

20 A To make a right hand turn and start coming
21 back into the traffic separation scheme.

22 Q Do you have any problem with those
23 instructions?

24 A No, they were real -- again, he had plenty of
25 room to maneuver; plenty of time. He didn't have

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

to make an extreme rudder command. It was just nice. He had, like I say again, sea room. He has plenty of sea room.

Q How much room did the third mate have between abeam of Busby Island Light and Bligh Reef?

A Oh, just an oddball, I would say two, two and a half miles.

Q Do you consider that to be a sufficient distance to make a simple course change?

A Oh, it's more than ample distance.

Q Now, there's also been testimony that Captain Hazelwood, a few minutes before the vessel got abeam Busby Island Light and left the bridge. Do you have an opinion as to those actions?

A No. I find no fault with that. He left proper instructions with his mate and he also left a check. And what I mean by "check", is he didn't just say, "Okay, I'm going down below." He gave instructions on what he wanted done. He gave a lawful command. And he gave another lawful command to the third mate to call him when he started to make the turn. That was Captain Hazelwood's check to make sure that his orders were being followed as he gave them.

Q There's been testimony that about 2357 or two

1 minutes after the vessel was abeam of Busby
2 Island Light the Third Mate Cousins called the
3 captain and told him what he was doing, that he
4 had started his turn. Do you have an opinion on
5 that?

6 A The mate did exactly as he was instructed to.
7 He called the captain when he thought he was
8 making the turn.

9 Q If you find yourself in the position of
10 Captain Hazelwood, would a call like that assure
11 you that your commands were being carried out?

12 A Yes, it would put me at ease.

13 Q Now, you saw the ship down in San Diego, did
14 you not?

15 A Yes, I did.

16 Q You saw the bridge of the ship?

17 A Yes, I did.

18 Q You had a chance to inspect the navigation
19 equipment?

20 A Yes, I did.

21 Q Could you tell us what you saw?

22 A A very well designed ship. The wheelhouse is
23 very well set up. I'm a pilot, and it was
24 beautifully set up for a pilot.

25 Q Did it have all the modern navigation

1 equipment?

2 A Absolutely. Everything.

3 Q Did you have a chance to look at the various

4 rudder angle indicators?

5 A Yes, I did.

6 Q All well placed?

7 A Very well placed.

8 Q Could be seen from anywhere on the bridge?

9 A Yes, it could.

10 Q Did you happen to notice two rudder angle

11 indicators on the bridge wings?

12 A Yes, I did.

13 Q Where were they?

14 A The one on the starboard wing was a small one.

15 That was over the top of the wheelhouse door.

16 The one on the port side was a larger one. It

17 looked like it had been removed from either the

18 console or the wheelhouse overhead and placed out

19 there so they could see it a little bit better

20 for the docking and undocking maneuvers that they

21 had to...

22 Q Were both rudder indicators on the wings easy

23 to see?

24 A The one on the port side was far easier to see

25 than the one on the starboard side.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 Q How much bigger was the one on the port side
2 than the one on the starboard side?
3 A Oh, I would say double the size.
4 Q Did you have any problem seeing the one on the
5 starboard side?
6 A With my glasses on I could make it out. It
7 wasn't very good. I thought they could have done
8 a little bit better job.
9 Q In any event, the one on the port side you had
10 no problem?
11 A Oh, no.
12 Q There was a big rudder angle indicator on the
13 overhead, was there not?
14 A Right.
15 Q And that was sort of in front of the chart
16 room?
17 A Yes.
18 Q Now there were also other instruments on the
19 front panel of the wheelhouse?
20 A Yes, there were.
21 Q There was a rate of...
22 A A turn indicator.
23 Q That was visible?
24 A Yes, it was.
25 Q Now, sir, in your experience is a 10 degree

1 right rudder command a simple order?

2 A A very simple order.

3 Q Is the task of turning the helm 10 degrees to
4 the right, is that a simple task?

5 A Very simple.

6 MS. HENRY: Your Honor, at this time I'm going
7 to have to object to the series of leading questions
8 that have been going on for quite a while, I'm
9 objecting at this point.

10 THE COURT: Objection sustained as to leading
11 question.

12 Q Sir, can you describe in terms of difficulty
13 the task of turning the helm to 10 degrees right?

14 A It's a very simple thing. You just turn the
15 wheel. It's all electro-hydraulic so there's no
16 strain or pain. It's even easier than steering
17 some cars.

18 (294)

19 Q Do you have an opinion as to whether or not a
20 third mate holding a second mate's license would
21 be able to carry out a command of 10 degrees
22 right rudder?

23 A In the first minute of his career he should be
24 able to do that.

25 Q Sir, there's been testimony that if 10 degrees

1 of right rudder was placed on this vessel and
2 held at 2355 or 2356 when the vessel was abeam or
3 just a little bit south of Busby Island Light,
4 she would have cleared Bligh Reef by at least a
5 mile and a half. Do you agree or disagree with
6 that opinion?

7 A I agree with that opinion.

8 Q There's also been testimony that if a 10
9 degree right rudder was placed on this vessel at
10 a minute and a half after midnight and held, she
11 would have missed Bligh Reef of over a half a
12 mile. Do you agree or disagree with that?

13 A I agree with that opinion.

14 Q Captain Walker, how would you compare the
15 waters in the area from Potato Point down Bligh
16 Reef to other areas of the world that you've
17 operated in?

18 A You mean in other -- like confined waters?

19 Q Yes. In terms of confinement, danger...

20 A Oh, this was like a millpond. After the
21 Straits of Malacca or...

22 Q Where are the Straits of Malacca?

23 A Singapore. That's a choke-point.

24 Q What does that mean?

25 A That means where you have a lot of traffic,

1 very shallow bottom. Sometimes you're running
2 through there one or two foot clearance from the
3 bottom of the sea floor. And some ships even
4 have to slow down because of squat so they could
5 get over the shoal spots.

6 Q What do you mean by "squat"?

7 A Well, a ship is -- the faster it moves through
8 the water it will tend to sink down, and we call
9 that squat. It will gain and draft. Some of
10 these DLCC's going through the Straits of Malacca
11 had to slow down because otherwise they would
12 strike the bottom, that's how close we were. And
13 some points it was only half a mile wide. Very
14 heavy traffic. Fishing boats. You name it. It
15 was -- pirates, everything was in that one in
16 those waters.

17 The English Channel is another place. Very
18 close to the bottom, very heavy traffic. What
19 would be another...

20 Q What about the St. John's River that you
21 operate in?

22 A Well, the traffic's not very heavy, but a lot
23 of places there, we're very close to the bottom,
24 very narrow channel. We're only minutes -- not
25 even minutes. Sometimes only seconds away from

1 catastrophe at any one time. That's what the
2 pilot is there for.

3 Q Sir, groundings and -- do you have an opinion
4 as to the occurrence of groundings and collisions
5 in the maritime business?

6 A I don't understand what you mean.

7 Q Well, I mean, have you found in your
8 experience that groundings occur?

9 A Oh, yes.

10 Q Now, you described the area as a millpond.
11 What do you mean by that?

12 A Very easy maneuvering. There is good radar
13 targets, good visual bearing navigation aides and
14 it's very deep so you don't have to worry about
15 the bottom and very little traffic.

16 Q All right. Now, with respect to the company
17 that you work for, did you have a bridge
18 organization manual on board?

19 A No, I did not.

20 Q Did your company then leave to you, the
21 captain, the discretion of doing whatever was
22 necessary at a particular moment in time?

23 A Yes, they did. They wouldn't even put a
24 satellite communications on there.

25 Q I would like to speak now about the grounding.

1 You are familiar with the vessel running aground
2 at about -- according to the testimony here,
3 between eight and a half minutes after midnight
4 and nine and a half minutes after midnight?
5 A Yes, I am.
6 Q I take it you reviewed Mr. Cousins' testimony
7 and Mr. Kunkel's testimony and Ms. Jones'
8 testimony with respect to the events following
9 the grounding?
10 A Yes, I did.
11 Q And I take it you read the testimony of the
12 captain coming back up to the bridge after the
13 grounding?
14 A Yes.
15 Q Do you have any opinion as to the actions that
16 he took immediately upon coming on to the bridge?
17 A No. Well, I do have an opinion. I think he
18 did the proper thing. Find out what was going
19 on, what happened. See where the vessel is. I
20 think he had the third mate get a bearing
21 position.
22 Q Well, the testimony has been, when the captain
23 came up on the bridge he went to the bridge wing,
24 looked over the side, then came in and told the
25 mate to take a fix. Do you have an opinion as to

1 that action?

2 A Oh, I think it's very good. I think he looked
3 to see, number one, is he dead in the water.
4 That's a very quick way of seeing if you're dead
5 in the water is looking over the side.

6 Q What does that tell you?

7 A Well, that tells you you're stopped. You're
8 hard aground at that point. The other thing was
9 to see if there was any oil coming up.
10 Obviously, then it's very easy to know if you've
11 been holed if you see the oil in the water.

12 Q There's been some testimony, also, that he
13 told the helmsman to put the rudder amidships at
14 that time. Do you consider that to be a proper
15 action?

16 A Very proper, yes.

17 Q There's been some testimony that the captain
18 instructed the third mate to call the engine-room
19 and have them stop the engines. Do you have an
20 opinion as to that action?

21 A Well, I think what he did there was give the
22 engine-room notice before he started to ring it
23 down on the manual lever, so that they would be
24 prepared that the order was coming down. I find
25 no problem with that.

1 Q Do you find that to be a proper procedure?
2 A That's very proper, yes.
3 Q There's also been testimony that he told the
4 third mate to go down and wake up the crew. Do
5 you have an opinion as to that action?
6 A I thought that was a very good action.
7 Q Why do you say that?
8 A In lieu of sounding the general alarm, it
9 prevented panic on board.
10 Q Well, let me ask about that. There has been
11 some criticism of Captain Hazelwood for not
12 sounding the general alarm. Do you have an
13 opinion on that?
14 A Yes, I do.
15 Q What is your opinion?
16 A I think it was a very good opinion -- a very
17 good thing that he did go down and -- or, not he,
18 but he sent somebody down to wake them up rather
19 than ring the general alarm. There's only two
20 signals that are authorized on the general alarm.
21 One is fire and one is abandon ship. And either
22 one of those would create panic at 1:30 in the
23 morning, or whatever time...
24 Q 12:30.
25 A Or, 12:30. Either one of those would have

1 created panic at that point in time, and it might
2 have created -- somebody might have got hurt.

3 Q If you found yourself in Captain Hazelwood's
4 position at that time, what would you have done
5 with respect to the general alarm?

6 A I would have done exactly what Captain
7 Hazelwood did.

8 Q Now, there's been testimony that Captain
9 Hazelwood called the engine-room at some point
10 before 12:30 and told them to sound the voids and
11 the tanks in the engine-room. Do you have an
12 opinion as to that action?

13 A I think it was a very good action.

14 Q Why do you say that?

15 A Well, in case the engine-room hadn't thought
16 of sounding their void tanks, you could find out
17 if the area in the way of the engine-room had
18 been holed. That's one way of doing it is to
19 have the engineers go back and sound their tanks
20 and make sure that there is no water in them, or
21 that the water that's in there has been in there.

22
23 Q What was the purpose of that command at that
24 time? Do you know?

25 A Well, the purpose was to find out if he had

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

been holed in the way of the engine-room. That would be my guess.

Q Why is that important to know?

A Well, you want to know every placed you're holed. And if you're holed in the engine-room, that's probably one of the biggest areas of reserve buoyancy that you have on a vessel -- a tanker -- a loaded tanker.

Q Do you consider the command to sound the voids and the tanks to be a proper one?

A Very proper.

Q There's been testimony that the captain had a discussion with the chief mate, where the chief mate relayed some information to them by 12:30 about the vessel being stable. And there's some testimony that the captain then told them to go back down to the cargo control room and continue ascertaining the damage and how much oil was being lost, as well as the stability. So you have an opinion on that instruction?

A I think it was a very good instruction.

Q Why do you say that?

A Well, the captain, at that time, needed information. And the chief mate was probably, besides the captain, the second most

1 knowledgeable person on board as relating to
2 cargo, and piping, and anything forward of the
3 engine-room. And he would have been the one man
4 that Captain Hazelwood would use to lean on to
5 find out the various things, the various factors
6 that Captain Hazelwood needed.

7 Q If you find yourself in Captain Hazelwood's
8 position, what would you have done at that point
9 with respect to your chief mate?

10 A Exactly what he did.

11 Q Now, there's been testimony that at that time
12 the captain told the chief mate to go below and
13 lower the life boats to the embarkation deck, and
14 to get the fire mains ready. Do you have an
15 opinion as to that action?

16 A I think he was really thinking -- really
17 thinking. It's amazing that he had the presence
18 of mind, with all the crushing pressures on him
19 at that one point in time, to think that clearly.
20 It just amazes me.

21 Q Why do you say that?

22 A Well, I've been in the way of an oil spill or
23 two myself, and the feeling is equivalent to
24 being punched in the stomach. And I'm talking
25 about a little one or two barrel spill. I'm not

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

talking about the magnitude that he faced. And to bear up under that is amazing.

Q Now, there has also been testimony that in the midst of the actions that I'm describing to you, he called the Coast Guard and reported that the vessel was hard aground and leaking oil. Do you have an opinion as to that action?

A I think that was a proper action to take. I think the law at that time says you have to report any oil spills.

Q Now, there's been some testimony by experts -- state's experts that have faulted Captain Hazelwood for not taking soundings. I want you to assume, because there hasn't been any evidence that soundings weren't taken -- but I want you to assume that soundings were not taken. Do you have an opinion as to that?

A I don't think it would have done him a whole lot of good.

Q Why do you say that?

A Well, he was on a pinnacle bottom. And of course this is -- I'm looking at hindsight. But every -- I'm not going to say "every tanker captain", but if I went aground I would look around to see what kind of -- what the scenery

1 looked like. Because usually what you have up on
2 top is also what you have down below. And in the
3 area of valdez you have a lot of pinnacles, and
4 peaks, and boulders, and stuff, and that -- what
5 I would assume would be what I was also sitting
6 on.

7 So to go around and take soundings, to me, at
8 that point in time, unless I knew I was on a
9 sandbar or a mud bank, wouldn't help me a whole
10 lot.

11 Q Why do you say if you were on a sandbar or a
12 mud bank you would take soundings?

13 A Because on a sandbar or a mud bank you have a
14 relatively stable bottom. In other words the
15 soundings might change radically in height, but
16 it's more or less like a level surface. It's not
17 -- you have 10 feet of water here, and 20 feet of
18 water two feet away. It ends, on the mud or a
19 sandbar, to be a more uniform surface.

20 Q Do you have an opinion as to taking soundings
21 through oil?

22 A It would be rather difficult.

23 Q Why do you say that?

24 A Well, because the sounding line that you use
25 is a cotton line, and your marks will be all

1 covered in oil the first time you dropped it.
2 That would eliminate a lot of your marks.
3 Q What do you mean by "marks"?
4 A They tie rags and ropes with -- they use
5 various things to indicate every six feet on that
6 line.
7 Q So it's your opinion after you dropped the
8 line in the water once through the oil that you
9 wouldn't be able to read it accurately any more?
10 A Yes. It would be rather difficult to read it
11 accurately after that.
12 Q With respect to soundings, what points would -
13 - let me start again. The sounding mechanism
14 that you use, you'd drop over the side of the
15 vessel, right?
16 A That's correct.
17 Q And all it would tell you is what you're
18 experiencing at that point next to the vessel?
19 MS. HENRY: Objection. Leading.
20 Q Well, what do soundings tell you. I'll
21 withdraw the previous question. What do
22 soundings tell you?
23 A Well, the sounding with a hand lead tells you
24 exactly the depth in that little small area about
25 three square inches, what is the depth of the

1 water at that one specific point.

2 Q If you found yourself in Captain Hazelwood's
3 position on that particular night, given the
4 situation as we discussed. In the list of
5 priorities, where would soundings come in?

6 A Very low down on that list.

7 Q In your opinion, if soundings were taken,
8 hypothetically, at that time, would they have
9 told the captain any more information than he
10 already had?

11 A Maybe a little bit more information, but
12 nothing substantial.

13 Q Now, if you were the captain of this vessel,
14 finding yourself in the position of Captain
15 Hazelwood on that particular night. If you
16 wanted to get off this reef, what would you do?

17 A I would call up the engine-room and tell them
18 I wanted maximum speed astern.

19 Q Why would you go astern?

20 A Well, you know that's where the deep water is.

21 Q How do you know that?

22 A Well, because you came from the deep water to
23 the shallow water by going forward. The obvious
24 thing is, go back.

25 Q If you had wanted to stay on the reef, what

1 would you have done if you were in Captain
2 Hazelwood's position?

3 A I would have either done nothing, or if -- I
4 assume he looked at the tide tables and saw that
5 the tide was coming -- or, the current was coming
6 in and the tide was rising. Just maintained a
7 little astern -- I mean, ahead, to keep the
8 vessel on the reef.

9 Q What engine order would you have used in that
10 situation?

11 A Half ahead.

12 Q Do you find the use of full maneuvering ahead
13 at 55 rpms to be -- do you have an opinion on
14 that?

15 (870)

16 A Well, it's a little bit more than I would use,
17 but I don't have any problem with it.

18 Q Sir, do you have an opinions to whether or not
19 the engine would have overheated if this vessel
20 were put to full sea speed ahead in the grounding
21 condition that she was in?

22 A The only time it would overheat is if the
23 intakes were -- the engine cooling water intakes
24 were obstructed.

25 Q Do you have an opinion as to the use of the

1 ship's engine and rudder by Captain Hazelwood
2 after the grounding?

3 A In what respect?

4 Q Well, do you think it was bad, good,
5 indifferent.

6 A Well, having the data that he had at the time,
7 I think he didn't want to come off that reef at
8 that point in time and to use his engines ahead
9 was a proper order.

10 Q Do you have an opinion as to whether the
11 rudder -- just using the rudder by itself would
12 have gotten them off the reef?

13 A Oh, absolutely not.

14 Q There's been some testimony that Captain
15 Hazelwood stopped the engine about 17 minutes
16 before the tide was at its highest point. Do you
17 have an opinion on that?

18 A Well, I think probably a half an hour before
19 the highest tide. I think he knew at that point
20 in time that there was no hope of coming off
21 that. As you get closer and closer to high
22 water, or closer and closer to refloating, you
23 can feel that on a ship.

24 Q There's been some testimony that the
25 difference in the rides of tide between the time

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

that the engine was shut off and high water, was one inch. Would that have significantly changed any of the information Captain Hazelwood had at that point?

A Well, if Captain Hazelwood felt that the vessel was about ready to come off the reef, then obviously one more inch might have done it. But if he felt that she was hard aground at that point, you might as well shut them down. You're not doing anything.

Q There's been some testimony in this case -- hypothetical testimony that if the vessel had come off the reef it would have sunk within 95 minutes if the crew did absolutely nothing.

I would like for you to put yourself in the position of a master of a vessel that's been holed to the extent that the Exxon Valdez was holed, coming off the reef at some point hypothetically. What action, if any, would you, as a master, take?

A You mean if she was starting -- if I knew she was starting to sink?

Q Well, let's say you started to notice her listing to starboard, and perhaps even the head starting to go down a little bit. What would you

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

do as a master?

A As a master, if she started to list to starboard and the head was going down, I think i would find out exactly -- try to find out as much information as I could where...

Q Where would you get that?

A From the chief mate. Where the water is coming in, because obviously water is coming in, and at what rate it's coming in. And if she's going to continue to go over. If she's going to continue to go over, I would start looking at my ballast tanks that are on the port side.

Q What would you do with those tanks?

A Well, number two. If I'm going down by the had -- there's two ballast tanks on the port side, number two and number four. I would hold off on filling number two, or putting water in number two.

(1100)

Q Excuse me one second. Let me get a model. Maybe you could demonstrate to the jury what you're talking about.

(Pause)

Let me show you what's been marked as Exhibit 154. The model of the Exxon Valdez. Now, could

1 you explain to the jury what you're talking
2 about?

3 A All right. If I'm captain on this ship. And
4 let's assume that she's on an even keel right
5 now, and she's run aground, backed off the reef
6 and for some reason, water is coming into number
7 two and number four starboard, and also into the
8 fore peak tank. So she's starting to come down
9 and she's starting to take...

10 Q You have to stand up and show the jury,
11 because I think...

12 A Okay. Well, maybe it would be better just to
13 do this. I'm trying to get the proper aspects so
14 they could see -- when I start tilting it.

15 Q Well, why don't you step closer to the jury,
16 maybe it will be easier.

17 A All right. Now, she's run aground. I've taken
18 her off the reef. The damage is on the starboard
19 side, and she's...

20 Q Excuse me, Captain Walker. Every time you
21 mention how you take this vessel off the reef you
22 show a backing motion. Is that your instinctive
23 way of getting the vessel off the reef?

24 A Yes.

25 Q All right. Go ahead.

1 A That's the only way to get it off. There's
2 water coming into the number two starboard and
3 the number four starboard and the fore peak tank.
4 That's the scenario I've been given.

5 The ship is starting to go to starboard and
6 starting to go down by the head. A ship is like
7 a seesaw with two kids, one on each end.
8 Obviously the kid on this end weighs a little bit
9 more than the kid on this end. So what we have
10 to do in order to counteract that is to put water
11 either here in this ballast tank, or, as I
12 understand, there was some ballast tanks in the
13 engine-room, to bring her back on to an even
14 keel.

15 I would hold off on putting water here to
16 bring her back this way, because she is going
17 down by the head (indicating). So you know
18 you're adding weight up here, so you don't want
19 to add any more weight up here (indicating).
20 She's like a seesaw; just like a seesaw, both
21 ways. If you want to think of it as a simple
22 seesaw, that's the easiest way to visualize it.

23 So she's going down by the head, got a
24 starboard list, put water in here, or the tank
25 back here on the port side and bring her back.

1 Q What would be the affect on her continued
2 flooding if you were to put ballast in the port
3 side and straightened out her list?

4 A Well, it would depend on how much water had
5 already come into these two tanks here. You've
6 got to figure that these two tanks are going to
7 seek -- the water is going to seek its own level,
8 so they're going to come up to the water line.
9 They are going to fill up to the water line. And
10 also you've got water coming in up here that will
11 also come in and water will seek its own level
12 and will fill up to the water line.

13 So the obvious thing to do is fill this tank
14 here, and if you can pump it full -- I understand
15 this was a 10,000 ton tank. Probably she would
16 have come even. The thing you don't want her to
17 do is keep going because these tanks are --
18 again, they fill up to wherever the sea level is.
19 And the more she's listing the higher the water
20 is going to come into these tanks, and the more
21 weight will be on that side. So she's going to
22 tend to keep going.

23 Q So your counterbalancing...

24 A What we call it is a -- a cross flooding is
25 what we call it.

1 Q Some ships have a valve in the -- an actual
2 pipe that goes from one side of the ship to
3 another, and in the middle of that line, that
4 pipeline, is a valve. And they call it the cross
5 flooding valve. And the naval architects put
6 that in there in case a ship gets rammed, starts
7 taking on water on this side, you just -- the
8 crew, all they have to do is go and open up a
9 valve and it will flood from one side to the
10 other. That compartment will automatically -- as
11 the water comes into this compartment, will
12 automatically go to this compartment and
13 stabilize the ship.

14 Now, the Andrea Doria, I think is a prime
15 example, where she got in a collision and was
16 holed on one side. The crew abandoned ship
17 without ever opening up the cross flooding valve.
18 And they suspect that that's why she sank. She
19 just continued to go over, because the crew never
20 did a simple thing like open up the cross
21 flooding valve.

22 Q Captain Walker, if you had the ability to
23 ballast out number four starboard -- the one on
24 this side?

25 A That's four starboard.

1 Q And your vessel was off and you're starting to
2 list a little bit to the right. We list more to
3 starboard. Would you pump out your number four
4 tank?

5 A You mean number four starboard?

6 Q Number four starboard.

7 A The water is coming in to number four
8 starboard?

9 Q Yes. But you have the ability to pump it out.

10 A Well, if you can get the water out faster than
11 it's coming in, that's great.

12 Q You would do that as a maneuver?

13 A Oh, yeah. But I'm assuming that you had a
14 catastrophic crack back here and the pump would
15 have been more or less useless.


16 Q The testimony with respect to the number four
17 starboard tank -- ballast tank, is that she had a
18 small crack in the bottom and that her ballast
19 pumps were available to be used.

20 A Oh, well, now you're even in better shape than
21 the scenario that I am.


22 Q Why do you say that?

23 A Well, because now, just as long as you can put
24 a pump on here and keep the water from coming
25 into this tank -- in fact, if your pump is bigger

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25



1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25



1 than the water that's coming into this tank, then
2 you can get the -- you could possibly pump the
3 tank dry -- keep it dry.

4 Q And that would tend to bring you back?

5 A Oh, yes. Oh, yes. You're taking the weight
6 off. Again, the seesaw. You're taking the
7 weight off.

8 Q And if you get the ship to a relatively even
9 keel at that point, is it your opinion that you
10 will stay afloat, given the amount of oil she
11 had?

12 A Sure. You're full of something that's going
13 to float, so obviously -- tankers are very hard
14 to sink; very hard.

15 Q When you say you are full of something that
16 would float, what do you mean?

17 A Well, you're full of oil or at least partially
18 full of oil anyway, and that will tend to also
19 keep you up. The Germans in World War II found
20 tankers -- if they didn't explode they were
21 extremely difficult to sink.

22 Q You may return to your seat.

23 A What do you want me to do with this?

24 Q Let's not have another accident here. (Pause)
25 Captain Walker, there's been testimony that

1 when this vessel grounded approximately eight
2 tanks were holed -- the cargo tanks were holed.
3 Do you have an opinion as to whether the fact
4 that you have holes in the bottom of your ship to
5 the extent that the Exxon Valdez did, would that
6 in itself mean that the vessel would sink if she
7 came off the reef?

8 A No, it wouldn't.

9 Q Why do you say that?

10 A Well, number one, he didn't know how many
11 holes he had, or the size of the holes of that
12 one point in time. I think the ship had plenty
13 of reserve buoyancy. She was full of oil. I
14 don't think she was gonna sink. She might have
15 capsized. Now, that's a whole different
16 ballgame. But, again, I showed you how you could
17 prevent that.

18 Q I would like to read to you a definition of
19 reckless, and ask you some questions. "A person
20 acts recklessly with respect to a result or
21 circumstance described by the law when the person
22 is aware of and consciously disregards a
23 substantial and unjustifiable risk that the
24 result will occur or the circumstances exist.

25 "The risk must be of such a nature and of such

1 a degree that disregard of it constitutes a gross
2 deviation from the standard of conduct that a
3 reasonable person would observe in the
4 situation."

5 Now, sir, based on the evidence you reviewed;
6 based on the testimony that you've read, was
7 there anything in what Captain Hazelwood did,
8 from the moment the vessel left the dock in the
9 Port of Valdez until the time that he shut his
10 engines down for the final time while he was on
11 the reef, that, in your opinion, constituted
12 reckless conduct on his part?

13 A No.

14 MR. CHALOS: I have no further questions of
15 this witness, Your Honor.

16 (1500)

17 CROSS EXAMINATION OF CAPTAIN WALKER

18 BY MS. HENRY:

19 Q Sir, how many times have you testified in a
20 trial?

21 A One time.

22 Q And how long ago was that?

23 A That was in 1981, I believe.

24 Q And what state was that in?

25 A New York.

1 Q What kind of trial was it?

2 A It was a civil case where the captain of a
3 VLCC had fallen in the fore peak tank and hit his
4 head and the chief mate ran out of the tank and
5 more or less abandoned him, and then shortly
6 thereafter went back down and found out that the
7 captain was still alive, and they took him out of
8 the tanks and brought him back to the
9 superstructure and put him in his bed, and...

10 Q Was that a personal injury?

11 A Yes, it was personal injury.

12 Q Now, going back to some of your employment
13 prior to starting the Prince William Sound runs.
14 I think you said on your resume indicates that in
15 1975 you were working for Cities Service Tankers,
16 is that correct?

17 A City Service Tanker Corporation.

18 Q And how long had you been working for them at
19 that point?

20 A I started with them in January 1970.

21 Q All right. And sometime in 1975 you began
22 working for Inter-Ocean Management Corporation?

23 A Yes. It's basically City Service Oil Company
24 personnel but they just changed the name.

25 Q Why did they do that?

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 A At that time I think the Arco Merchant had
2 gone aground and then everybody was concerned
3 about oil. It's my opinion that City Service
4 wanted to get their company's name off of their
5 ships. We had ships called the City Service
6 Miami, the City Service Baltimore, etc., and it
7 doesn't look too good if your ship's name is also
8 indicated with your oil company.

9 Q It wouldn't look good if the ship's name is
10 connected with an oil spill either, I suppose?

11 A Right.

12 Q In your opinion were they concerned about
13 groundings of their tankers?

14 MR. CHALOS: Your Honor, I object. It's
15 irrelevant in this case.

16 THE COURT: Petty long ago, Ms. Henry.

17 MS. HENRY: All right.

18 Q (Captain Walker by Ms. Henry:) I think you
19 also indicated to me last evening that during
20 some period of time in this area you were working
21 for a company and were asked to inspect a boat, I
22 think it was the Baltimore?

23 A Yes. We were -- the company had tried to get
24 the contract to operate these three VLCC's, and
25 the first one, the Massachusetts was supposed to

1 come out in April of 1975, and I was the first
2 chief mate assigned there. So they assigned --
3 you know, they thought that she was going to
4 sail. We were going to go on a sea trial; a week
5 later we were going to sail.

6 Well, she was so bad that we just stayed there
7 for, I think, about six and a half more months.

8 Q Doing what?

9 A We were originally ship's crew and then we
10 kinda got relegated to owner's representative.
11 We were kind of a grey area that -- nobody really
12 knew what we were.

13 Q And that was for about six months?

14 A Yes. From April until about October of '75.

15 Q Now, did somebody from the company ask you to
16 inspect the vessel and find things that are wrong
17 with it?

18 A Not from my company but from the owner's
19 company, yes.

20 Q From the owner's company?

21 A Right.

22 Q Did they, in fact, ask you to stall?

23 A Yes. They asked us to delay the sailing on
24 that vessel as much as we could possibly do it.

25 Q Why was that?

1 A Apparently the first vessel, the Massachusetts
2 was supposed to come out. And about that time
3 there was a bill in congress that said -- I think
4 it was the oil imports to the United States were
5 supposed to be -- I think, about 5% were supposed
6 to be carried in American bottoms.

7 Well, if that would have passed that tanker
8 would have quadrupled in value. And so when they
9 had those three tankers built, the last two were
10 supposed to have charters, but the first one was
11 supposed to be sold at speculation to pay for the
12 next two tankers.

13 Q So basically they wanted to stall so they
14 could make more money.

15 A Well, what happened was that the...

16 MR. CHALOS: Your Honor, I don't know what
17 relevancy that has to this case.

18 MS. HENRY: I'll withdraw the question, Your
19 Honor.

20 Q Now, during the time that you were doing the
21 Valdez runs, what kind of work schedule did you
22 have?

23 A You mean myself on a daily basis?

24 Q Yes, as a master -- no, as far as through the
25 year.

1 A It was six months on and six months off.
2 Q You worked six months straight?
3 A Yes.
4 Q And then you would have six months off?
5 A Yeah. Our company tried to make it that way.
6 We tried to get it four on and four off, but they
7 said, no, we gotta pay an extra man's air fare
8 ticket. So six months on and six months off.
9 They tried to enforce that.
10 Q All right. And the company you are referring
11 to here is Inter-Ocean Management Corporation?
12 A In 1977, yes.
13 Q All right. Now, you last sailed out of Valdez
14 in 1985?
15 A October of 1985.
16 Q And how much money were you making per year at
17 that point?
18 A At that point...
19 MR. CHALOS: Your Honor, I object. What
20 relevance is that to this case?
21 THE COURT: You were going to tie this up
22 somehow to prove something that's relevant?
23 MS. HENRY: No, Your Honor. I'll withdraw the
24 question.
25 Q The resume that you gave me last evening, who

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

wrote that resume?

A I did.

Q You did personally?

A Yes, ma'am.

Q And you wrote the last paragraph of it, too,
is that right?

A Yes, ma'am.

Q Now, you've told us that you were hired to
talk about -- or, I guess critique, is that...

A Yes.

Q Critique what Captain Hazelwood did from the
Port of Valdez out to Bligh Reef, is that
correct?

A From the time he boarded the vessel until the
time it went aground, yes.

Q Now, as part of your last job, I guess, why
you were hired. Did you ever try to plot the
course of the Exxon Valdez yourself?

A Yes, I did.

Q And did you actually draw it on a navigational
chart?

A Yes. Mr. Chalos bought a chart for me and
when I took it home I plotted according to the
Bell Book and as much information as I had
available. I tried to reproduce exactly what

1 they had done.

2 Q Okay. And when did you do that?

3 A It was about the middle of September -- last

4 September.

5 Q Did you also rely on the tracks, I guess, that

6 were charted by CAOR?

7 A Not at that one point in time, and not on the

8 chart at all.

9 Q Did you rely on anything from CAOR?

10 A The information that Mike gave me -- Mr.

11 Chalos gave me from CAOR, I took and separately

12 plotted on a piece of tracing paper the

13 coordinates starting at zero, and I used zero as

14 Busby Island. Every minute, or two minute

15 positions according to the data that CAOR -- see,

16 CAOR just gave us numbers, and that was

17 meaningless -- totally meaningless to me, unless

18 I could see exactly...

19 Q CAOR just gave you numbers?

20 A Yes, ma'am.

21 Q You didn't get any of the charts from CAOR?

22 A No.

23 Q Mr. Chalos didn't provide those to you?

24 A No, it was just numbers.

25 Q What I would like to talk about for a couple

1 of minutes is pilotage. And now I think your
2 testimony -- well, I don't know if you did tell
3 us. Did you have pilotage for Prince William
4 Sound?

5 A Yes. From 1979 on.

6 Q So you had pilotage endorsement, is that what
7 it's called, and it's on your license?

8 A Yes.

9 Q Now, you got that when?

10 A Some time in 1979.

11 Q So you had been going in and out of Prince
12 William Sound for a couple of years before you
13 got your pilotage, is that right?

14 A Approximately one year. I think one -- I was
15 off one tour of duty on a foreign trip in that
16 period. I think '78 was kind of a foreign year
17 for me.

18 Q Okay. Well, let's back up a minute. You
19 started going into Valdez what year?

20 A In August of '77.

21 Q All right.

22 A And I spent six months on that trade at that
23 time. Then a six month vacation. Now we come
24 back in '78. And about the middle of '78 I think
25 I went on a foreign trip that, again, lasted six

1 months. So by the time I get back on to the
2 Valdez trade it's '79 again.

3 Q And that's when you got your endorsement?
4 A Right.

5 Q So you probably only sailed into Valdez that
6 six month period of the first year.

7 A Just enough to get six trips.

8 Q Now, why is it that you got pilotage
9 endorsement?

10 A The company required me to have it.

11 Q Did you disagree with their decision to have
12 you get it?

13 A No.

14 Q That was fine with you?
15 A That was fine with me.

16 Q You didn't resent the fact that they were
17 requiring you to get it?

18 A No.

19 Q All right. Now, from back when you did have
20 pilotage and you were travelling in and out of
21 Prince William Sound, from Rocky Point to Cape
22 Hinchinbrook you were -- and you were the master
23 on a pilotage vessel and you had the pilotage
24 endorsement -- you were personally required to be
25 on the bridge during that transit, is that

1 correct?

2 A At that time, under those rules, yes.

3 Q All right. And that's where I assume you
4 would be, is that correct?

5 A That's where I was, yes. Except for one time.

6 Q Except for one time?

7 A Yeah.

8 Q Did you have someone else on the bridge that
9 had pilotage endorsement?

10 A No, I didn't.

11 Q How long were you off the bridge?

12 A About 14 hours.

13 Q Was that due to an illness or an injury?

14 A No. Here's another long story. I departed
15 Hinchinbrook; checked out of the VTS System.
16 And, again, to set the scene, I had been up
17 approximately -- about 50 hours at that point in
18 time. Very, very tired.

19 We set our course and about an hour had gone
20 by. I got a call from the third mate, so he said
21 to come on up on the bridge. So I came up on the
22 bridge and I saw exactly what he saw. We're not
23 making any headway. The weather was extremely
24 rough. We were actually going sideways instead
25 of ahead.

1 Q You're trying to get in now?
2 A No, leaving.
3 Q Oh, you're leaving.
4 A I'm leaving. And to the southwest of the
5 Entrance is a group of rocks called Wessel's
6 Reef. And it appeared to me that we were setting
7 down on Wessel's Reef.
8 I had several options that I could have
9 done...
10 Q Perhaps to make it short...
11 A Brief. Okay. We turned around.
12 Q Okay.
13 A The final thing, we turned around -- I turned
14 the ship around and brought it back into the Port
15 of Valdez. And I called the Coast Guard and
16 said, "I'm coming back in."
17 They said, "No, you can't do that."
18 I said, "Why not?"
19 He said, "Well, you've already checked out of
20 the system."
21 I said, "Well, I'm coming back in, I don't
22 care what you say."
23 "Well, we gotta check with Washington."
24 I said, "You can call anybody you want..."
25 Q All right. Again, sir, if you could make your

1 story shorter so we're not here all day.

2 A All right. We argued back and forth. I said,
3 "I'm coming in anyway." So, okay.

4 Q Did you go in?

5 A Yes, I came in.

6 Q So, despite what they said, you were going to
7 get in?

8 A You bet.

9 Q And you did?

10 A Yes. So we came in there and I got about in
11 the middle of the sound. You don't have a large
12 chart here. But in the middle of the sound, a
13 lot further south than this is a big wide area in
14 the thing. We got up in there and the Coast
15 Guard tried to make me anchor but the weather was
16 too rough, as far as I was concerned. It was
17 better off drifting around.

18 So what I did was, I drew a box on the chart -
19 - just a square box, and I told the mates -- I
20 said, "Don't get out of the box. Stay in the
21 box."

22 And the Coast Guard was making them report
23 their position every 10 minutes. So I went down
24 below and told the radio operator -- I said, "I
25 need a weather report and the next one is in two

1 hours. Now, I'm gonna lay down on my settee.
2 Now make sure you call me." And he acknowledged
3 that. And I went back and I laid down on the
4 settee and the next thing I knew, like, 14 hours
5 had gone by.

6 Q They let you sleep in?

7 A Well, he didn't wake me up. There's a hook on
8 my door. He just put it in the door and walked
9 away. Well, about 4:00 in the morning I woke up,
10 and, "Oh, my God, what..."

11 Q Okay. End of story?

12 A End of story.

13 Q All right.

14 THE COURT: End of trial day, too. We'll
15 recess until Monday morning. Same instructions. Pay
16 particular attention to avoid media information about
17 the case. And be safe. Don't discuss the case with
18 anybody at all, and don't form or express any opinions
19 concerning it. I will see you back 8:15 a.m. on
20 Monday, and we're still on the 8:30 to 1:30 schedule
21 next week as well.

22 Anything I could take up with counsel?

23 MR. CHALOS: No, Your Honor.

24 MS. HENRY: No, Your Honor.

25 THE COURT: We're in recess.

1 THE CLERK: Please rise. This court stands in
2 recess subject to call.

3 (Off record - 1:30 p.m.)

4 ***CONTINUED***
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661