

SPEL
COLL
GC
1552
.P75
H39
1990
v.38

IN THE TRIAL COURTS FOR THE STATE OF ALASKA
THIRD JUDICIAL DISTRICT
AT ANCHORAGE

STATE OF ALASKA,

Plaintiff,

VS

JOSEPH HAZELWOOD,

Defendant.

No. 3AN 89-7217; 3AN 89-7218

TRIAL BY JURY
MARCH 13, 1990
PAGES 7035 THROUGH 7252

VOLUME 38

Original

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

BEFORE THE HONORABLE KARL JOHNSTONE
Superior Court Judge

Anchorage, Alaska
March 13, 1990
8:30 a.m.

APPEARANCES:

For Plaintiff:

DISTRICT ATTORNEY'S OFFICE
BRENT COLE, ESQ.
MARY ANNE HENRY, ESQ.
1031 West 4th Avenue, Suite 520
Anchorage, AK 99501

For Defendant:

CHALOS ENGLISH & BROWN
MICHAEL CHALOS, ESQ.
THOMAS RUSSO, ESQ.
300 East 42nd Street, Third Floor
New York City, New York 10017

DICK L. MADSON, ESQ.
712 8th Avenue
Fairbanks, AK 99701

3 3755 000 23365 0

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

ARLIS
Alaska Resources
Library & Information Services
Anchorage Alaska

TABLE OF CONTENTS

WITNESS INDEX

DIRECT CROSS REDIRECT RECROSS VOIR DIRE

FOR DEFENDANT:

BURR, THOMAS

Mr. Cole

7046

7147/7165

Mr. Madson

7112/7161

HLASTALA, MICHAEL

Mr. Madson

7167

Mr. Cole

7204

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

EXHIBIT INDEX

EXHIBIT

DESCRIPTION

PAGE

No exhibits admitted

H & M Court Reporting
510 "L" Street, Suite 350
Anchorage, Alaska 99501
(907) 274-5661

1 PROCEEDINGS

2 MARCH 13, 1990

3 (Tape: C-3670)

4 (1434)

5 (On record - 8:37 a.m.)

6 (Jury not present)

7 THE CLERK: We're on record.

8 THE COURT: Mr. Madson?

9 MR. MADSON: Thank you, Your Honor. Yesterday
10 when we were leaving the court Mr. Cole gave me this
11 pile of materials which relates to Mr. Burr, the
12 witness that's about to be cross examined today. And I
13 think it well illustrates the depths to which the State
14 of Alaska has gone to acquire information about Mr.
15 Burr for cross examination prior to today.

16 But as Mr. Cole made an application to get
17 into a certain area and just briefly inform the court,
18 I wanted to make sure the court had a chance to fully
19 evaluate the nature of the cross examination area that
20 Mr. Cole wanted to go into.

21 From what he said yesterday, I looked at this
22 and that's all what this material relates to. We have
23 to have a little background here. And this goes back
24 to 1984 in Minnesota involving Mr. Burr and how it
25 relates to him. So it's six years old -- six to five

1 years old.

2 And it begins when the state of Minnesota was
3 changing over from Breathalyzers to Intoxilyzers.
4 There was a lot of controversy among the experts there
5 as to whether one test or two tests were necessary, and
6 there was controversy as to the relationship or
7 correlation between two breath tests taken separately
8 on the same subject.

9 Mr. Burr was an advocate of two tests. And he
10 also, during the time he was working for the City of
11 St. Paul, testified on occasion for defendants. And
12 this, of course, did not endear him to the hearts and
13 minds of state prosecutors.

14 So in 1985 in a case in Bemidji, he testified,
15 the defendant was acquitted and the police chief and
16 the city attorney there wrote his boss and complained
17 about Mr. Burr testifying, and they had lost this case,
18 and they didn't like his testimony saying that it had
19 to be within 90% of this correlation, which was the
20 gist of his testimony.

21 Mr. Burr wrote a memo -- this was before there
22 was any policy or anything on it, to Intoxilyzer
23 operators saying, in his opinion, the test had -- to be
24 valid, must be within 90% of each other. And, by the
25 way, he was proven correct in the two tests. They did

1 require two tests.

2 But anyway, because of this memo, which then
3 became an issue of whether it was really policy or just
4 Mr. Burr's opinion, there was enough pressure put on
5 his boss, the chief of police in St. Paul, that he
6 suspended Mr. Burr for a short period of time. That's
7 the whole gist of this.

8 The other part of this material has to do with
9 an article Mr. Burr wrote on the effect of a breath
10 test -- breath freshener, I guess you call it, which
11 contains ethyl alcohol, and it's affect on a breath
12 test. And there's a controversy about what type of
13 alcohol it was.

14 What it comes down to, Your Honor, is six
15 years old material, or five years old; a difference of
16 opinion between experts and the fact that some state
17 prosecutors didn't like Mr. Burr testifying for
18 defendants. It is totally off the track here. The
19 main issue here has nothing to do with breath testing.
20 This was a blood test, as the court knows. It has
21 nothing to do with breath freshener's; it has nothing
22 to do with correlation between tests and the
23 Breathalyzer machine or an Intoxilyzer, it has to do
24 with retrograde extrapolation, that's the whole issue.

25 So under 401 it's totally irrelevant, first of

1 all. Secondly, under 403, if it is relevant, it
2 becomes a waste of time and confuses the issues,
3 because it has absolutely nothing to do with the issue
4 here at hand.

5 THE COURT: Okay. Mr. Cole, this would be an
6 application you would have to make under 404 (b). And
7 I'm not sure exactly what it is you intend on cross
8 examining the witness with. I heard something about a
9 prior discharge, which sounds to me to be, under 404
10 (b) specifically. And what else was it -- maybe you
11 could elaborate on that, too.

12 MR. COLE: Your Honor, what Mr. Madson failed
13 to tell you in the first question which has to do with
14 this -- Mr. Burr testifying for defense attorneys, and
15 the letter that was written, is that after this letter
16 was written by the city attorney, the mayor and the
17 chief of police -- Mr. Burr then sued the City of
18 Bemidji, the police captain, the city attorney, and the
19 mayor, for defamation of character. Now, that suit
20 lasted four years and it actually went to trial in
21 1988.

22 THE COURT: Let's start at the beginning, Mr.
23 Cole. What is it you want to offer into evidence?

24 MR. COLE: I want to offer into evidence that
25 he sued the City of Bemidji for defamation of character

1 based -- and lost.

2 THE COURT: What are you trying to prove?
3 What...

4 MR. COLE: What I'm trying to prove is bias...

5 THE COURT: ...exception?

6 Bias against the State of Alaska?

7 MR. COLE: The state entities; governmental
8 and law enforcement agencies.

9 THE COURT: What else do you want to offer
10 besides his lawsuit for defamation and his loss?

11 MR. COLE: The other thing that Mr. Madson
12 brought up is that Mr. Burr has done some work in the
13 field of what is called "breath fresheners"; Binaca
14 specifically. On one occasion he testified that Binaca
15 contains a 50-50 mixture of ethyl alcohol and water
16 equivalent to whiskey.

17 In addition to that testimony that he gave
18 under oath, he also wrote an article about that, where
19 he said, again, on October 27th, "Binaca contains SD
20 alcohol 50% ethanol, water, glycerine and sacchrine."
21 That's wrong.

22 The literature demonstrates that it does not
23 contain and is not the equivalent of 50-50 -- a mixture
24 of 50-50 ethyl alcohol and water. It's actually -- he
25 misread the ingredients, and if he had read those --

1 and the ingredients are found in what is known as the
2 cosmetic ingredients dictionary and in the CFR's, Title
3 27 -- it's 27 CFR 21.65, he would have found that
4 that's incorrect. And we're offering that to show that
5 he has misled people in the past, and specifically fact
6 finders, a judge.

7 THE COURT: All right.

8 MR. COLE: And the other thing is that he was
9 suspended, Your Honor, when he was originally suspended
10 because he improperly used the state crime lab for his
11 personal consulting work in aiding defense attorneys.
12 And that's one of the reasons that he was suspended.

13 THE COURT: Mr. Cole, I'm not going to let you
14 introduce his suspension or his lawsuit. I find its
15 probative value, if there is probative value, is far
16 outweighed by its potential for undue prejudice,
17 confusion of the issues, introduction of a collateral
18 issue here, which would take a needless consumption of
19 time. It wouldn't come under 404 (b). I find it
20 doesn't come under any of their evidence rule 600
21 series. You are trying to impeach with character here.
22 That's not permitted. And any potential to show bias
23 against the state of Alaska is de minimus and it's
24 outweighed by its undue prejudice and potential to
25 create confusion in the minds of the jury.

1 As far as the 50-50 Binaca, I'm at a loss to
2 find out what that proves. Are you trying to show his
3 qualifications are not very good. I'm trying to figure
4 out what it is you're trying to prove?

5 THE COURT: He, under oath, has been deceptive
6 in what he has related the ingredients of Binaca was.
7 That was used in order -- in a case to show that Binaca
8 contained ethyl alcohol and it could affect breath
9 tests. And in trying...

10 THE COURT: You're trying to show he's
11 deceptive; that he lied under oath?

12 MR. COLE: That's right.

13 THE COURT: Okay. The only way you can show
14 that is to show he's been convicted of a crime
15 involving veracity and our rules don't provide for
16 showing that kind of character evidence. That's denied
17 as well.

18 Are we ready with the jury now?

19 MR. COLE: Yes, Your Honor.

20 THE COURT: Okay. We'll take about five
21 minutes; get the jury in and then we'll go.

22 THE CLERK: Please rise. Court stands in
23 recess subject to call.

24 (Off record - 8:45 a.m.)

25 (On record - 8:52 a.m.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(1845)

(Jury present)

THE CLERK: The Superior Court for the Third Judicial District with the Honorable Karl S. Johnstone presiding is now in session.

THE COURT: You may be seated.

Sir, you're still under oath.

THOMAS R. BURR

recalled as a witness, having previously been sworn upon oath, testified as follows:

CROSS EXAMINATION OF MR. BURR

BY MR. COLE:

Q You worked for the St. Paul Crime Lab for about 20 years, is that right?

A That's correct. Close to 21 years. 20 and three-quarters.

Q During that time did you consider yourself a toxicologist?

A I was a forensic scientist. My job title was criminalist. A large portion -- a great majority of the work and study I did over 20 years was in the field of toxicology.

Q But you were not called a toxicologist?

A I was not called a toxicologist, no.

Q You wouldn't consider yourself a toxicologist?

1 A I guess I don't consider myself a toxicologist
2 -- call myself a toxicologist, no.

3 Q Now, during your time at the St. Paul Crime
4 Lab I assume that you were provided on several
5 occasions blood samples, correct, to test?

6 A Yeah, I have tested thousands of blood
7 samples.

8 Q Thousands of blood samples. Okay. You were
9 personally involved with testing those blood
10 samples, I assume?

11 A I did many of the tests personally, yes.

12 Q And I also assume that while the time you were
13 at the St. Paul Crime Lab that you did testing
14 for drugs during that time?

15 A I did drug testing in biological samples and I
16 did some drug analysis of solid dose drugs, too.

17 Q And you were trained in the use of an
18 instrument called the gas chromatogram, correct?

19 A That's correct. I used gas chromatograph on a
20 routine basis. I studied gas chromatography,
21 took a course on it. And I developed a method of
22 gas chromatography for blood alcohol analysis of
23 my own, and so on, so I'm very familiar with gas
24 chromatography.

25 Q Most of my questions today are just going to

1 require, yes, or, no, answers. So if you could
2 limit yourself to that. I'm sure Mr. Madson will
3 give you a chance to explain if you want to do
4 that. Would that be okay?

5 A Sure.

6 Q In fact, I see from your resume here that you
7 were trained in 1974 on gas chromatography,
8 right?

9 A I believe that's correct, yes.

10 Q Did you use the gas chromatograph when you
11 were working in the St. Paul Crime Lab?

12 A Daily.

13 Q And without going into the detail of how that
14 instrument works -- it has the ability to
15 identify substances that are contained in the
16 blood, correct?

17 A That's correct, it can do that.

18 Q And it also has the capability of determining
19 the amount of that particular substance in the
20 blood, correct?

21 A That's correct.

22 Q Now, alcohol is one of these substances that
23 the gas chromatograph identifies in blood
24 samples, right?

25 A That's correct.

1 Q And it can also identify the amount of alcohol
2 in a blood sample?

3 A Certainly.

4 Q And I assume that since you were asked to
5 analyze numerous blood samples many times during
6 your career with the St. Paul Crime Lab, you
7 identified the presence of alcohol and blood
8 samples used in the gas chromatograph?

9 A I certainly did.

10 Q And I assume that when you identified alcohol,
11 you also identified the amount of alcohol in
12 these blood samples, correct?

13 A Most cases, yes.

14 Q And there was a standard procedure you took
15 before you used the gas chromatograph, correct,
16 to make sure that the integrity of the result --
17 there was a certain validity to the test,
18 correct?

19 A Correct. The standard procedure of analysis
20 was used.

21 Q And after doing these type of tests you needed
22 a way to record your results, right? And you did
23 record the results that you would do on a certain
24 test?

25 A The results of tests were recorded, yes.

1 Q And that's so that you could document the
2 results?
3 A The results were documented, yes.
4 Q And so that you could remember it later on,
5 right?
6 A That's one of the reasons why you write things
7 down.
8 Q And you wrote reports for a lot of those
9 cases, correct? That wasn't uncommon.
10 A I wrote many reports.
11 Q And after doing these tests and writing the
12 results, on numerous occasions you were called
13 upon to testify as to the results that you
14 reached, correct?
15 A That's correct.
16 Q And you would explain when you testified the
17 procedure that you used, to make sure and show
18 that the test that you had taken -- the results
19 that you had received were accurate, correct?
20 A That's correct.
21 Q And I assume that in the past you testified
22 both as to the presence of alcohol and the amount
23 of alcohol in a given blood sample on numerous
24 occasions?
25 A That's correct.

1 Q And during the course of your testimony you
2 would tell whoever you were testifying in front
3 of, what the results were in terms of grams per
4 milliliter and things like that? However they
5 wanted to know figure.

6 A That's correct.

7 Q And I'm sure that at the same time you
8 testified under oath about the accuracy of your
9 results, and how accurate they actually were?

10 A I testified under oath as to the accuracy of
11 the tests I conducted, correct.

12 Q Would it be fair to say that a gas
13 chromatograph has an accuracy of plus or minus 5%
14 for alcohol -- amount of alcohol?

15 A The instrument itself, yes, I would agree with
16 that.

17 Q Now, you also, from what I read in your
18 resume, have a very extensive background in
19 breath testing.

20 A I have an extensive background in breath
21 testing, yes.

22 Q And breath testing is simply an instrument
23 that measures the amount of alcohol -- the
24 presence of alcohol and the amount of alcohol in
25 a breath sample, correct?

1 A Breath testing is a procedure for measuring
2 alcohol on the breath and it can use a number of
3 measuring devices.

4 Q And the attempt is to correlate that figure
5 with the amount of blood alcohol in the system --
6 in the blood -- the alcohol in the blood,
7 correct?

8 A That's the basic desire in a breath test is to
9 get an answer which is comparable to the blood
10 alcohol. That's the theory and basis behind
11 breath testing, yes.

12 Q You would agree with me, would you not, that a
13 blood sample test done by a gas chromatograph for
14 the presence in the amount of alcohol in the
15 human body is more accurate than a breath sample
16 test done for the same purpose, would you not?

17 A In terms of determining blood alcohol
18 concentration, yes, absolutely.

19 Q And you're sure about that?

20 A I'm sure that a blood test is better for
21 determining blood alcohol than a breath test for
22 determining blood alcohol, yes.

23 Q Now, you are aware in this case that a blood
24 sample was drawn from Captain Hazelwood between
25 10:30 and 10:50 a.m. on March 24, 1989, correct?

1 A I'm aware of that, yes.

2 Q And you are aware that this sample was
3 transported to a lab in Sacramento for testing,
4 correct?

5 A That's correct.

6 Q And that this test revealed that Captain
7 Hazelwood's blood alcohol content, between 10:30
8 and 10:50 a.m. on March 24, 1989, was .061,
9 correct?

10 A That's correct.

11 Q And in addition to this test another test was
12 done on a urine sample provided by Captain
13 Hazelwood at or near the same time the blood
14 sample was drawn, correct?

15 A Correct.

16 Q And this was also sent to Sacramento for
17 testing?

18 A Yes.

19 Q And the result there was about a .094?

20 A That's what I recall, yes.

21 Q Now, as I understand your testimony, you
22 indicated one of the things that you were asked
23 to do was to interpret the tests and explain
24 their implications, is that correct?

25 A That's correct.

1 Q And you reviewed the verification documents
2 for the Compu-Chem Lab to determine whether or
3 not that test was accurate?
4 A I don't believe I reviewed the actual
5 documents from the laboratory.
6 Q So you have no reason to believe that this is
7 not an accurate test?
8 A I have no reason to believe it's not an
9 accurate test, no.
10 Q So we can start with the premise that at
11 10:30, 10:50 a.m. on March 23, 1989, Captain
12 Hazelwood had a .061 blood alcohol content, plus
13 or minus 5%, correct?
14 A Yes, I would say that that's a fair
15 assumption.
16 Q So you would agree with the doctor who
17 actually tested this, and Mr. Prouty's assessment
18 that that's correct.
19 A I have no reason to believe that there's
20 anything inaccurate about the results of the
21 tests.
22 Q But if you had found anything inaccurate you
23 surely would have brought that to Mr. Madson or
24 Mr. Chalos' attention, correct?
25 A That's correct.

1 Q That was part of your job?
2 A Correct.
3 Q And you didn't do that?
4 A That's correct.
5 Q And you didn't testify about anything?
6 A That's correct.
7 Q Now, you said yesterday that in your work at
8 the St. Paul Crime Lab you had the opportunity to
9 view hundreds of videos of individuals who had
10 been drinking while intoxicated, is that correct?
11 A I viewed hundreds of videos of people under
12 the influence of alcohol, yes.
13 Q I'm not sure what the law is in Minnesota, is
14 it drinking while intoxicated or drinking while
15 under the influence?
16 A You mean driving?
17 Q Driving.
18 A Well, in Minnesota there's a law called -- the
19 law says "driving under the influence", and
20 there's also two presumptive statutes of 1-0 and
21 1-0 within two hours.
22 Q Well, I'll talk about it in terms of "driving
23 while under the influence".
24 A Okay.
25 Q Now, you would agree with me that there is a

1 difference between a person whose mental and
2 physical abilities to operate a motor vehicle are
3 impaired due to alcohol and a person who is drunk
4 and driving, would you not?

5 A Yes, I would make a distinction between drunk
6 and impaired. Yes, I think that's a fair
7 distinction to make.

8 Q Because yesterday you talked about, when a
9 person is drunk -- you used the words "visibly
10 and noticeably impaired", right?

11 A Right. I was talking about drunk -- in terms
12 of when I was talking about visible and
13 noticeable impairment and that. Things you can
14 see physically.

15 Q People falling down, stumbling, things like
16 that?

17 A Things of that sort, yes.

18 Q You don't have to be drunk to have your mental
19 and physical abilities be impaired due to alcohol
20 use, do you?

21 (2498)

22 A No, absolutely not.

23 Q Now, in reviewing your testimony you also said
24 that you had reviewed thousands of police reports
25 over the years that you've been there?

1 A I have, over my career, read many, many police
2 reports. Thousands was just a figure. But it
3 probably is a thousand or more, I'm sure.

4 Q Now, when you were watching these video tapes,
5 were any of these situations where a person had
6 been arrested for drunk driving and then come
7 into the police station and then were video
8 taped?

9 A Most of them were, yes.

10 Q And they were video taped to preserve the way
11 the person looked at that time, correct?

12 A That was part of the reason for doing it,
13 sure.

14 Q Now, when -- in addition, during those times --
15 -- oftentimes people were asked, "Well, how many
16 drinks did you have tonight?", correct?

17 A Correct.

18 Q And they would give an answer sometimes. They
19 would say, well, I had such and such a number of
20 drinks, correct.

21 A They would sometimes answer. Most of the time
22 they would answer, actually.

23 Q Now, did you ever hear when you were working,
24 watching these videos, the persons who say, "I
25 just had a couple of drinks."? Did you ever hear

1 them say that?

2 A That was common.

3 Q That was a common thing for people to say, "I

4 just had a couple of drinks.", right?

5 A Sure. Absolutely.

6 Q And then you would get the results and you

7 would see that the amount of alcohol that's

8 indicated in the breath test just didn't

9 correlate with the number of drinks that they

10 said they had been drinking, right?

11 A That's correct.

12 Q In fact, a lot of times I'm sure you saw that

13 a person would say, "I only had a couple of

14 beers.", and they turn around and blow a one-six

15 or a one-seven, right?

16 A I've seen that situation, absolutely.

17 Q And it's impossible for a person to get to a

18 one-five or a one-six on just two beers, isn't

19 it?

20 A That's correct.

21 Q But it happened on a fairly regular occasion,

22 I assume?

23 A Correct.

24 Q And it's a fact, isn't it, that people rarely

25 give accurate accounting of the number of drinks

1 they consumed when compared to their breath test
2 results?

3 A I would say it's not common that the reported
4 drinking matches the results of the chemical
5 tests. It happens on a regular basis, but it's
6 more common that they don't match.

7 Q And most of the time they don't match is when
8 the individual has understated the number of
9 drinks he's had?

10 A That's correct.

11 Q How many times, while you were watching, do
12 you think, all these thousands of DWI video
13 tapes, did a person say that they had more drinks
14 that would actually have -- that the results
15 would show?

16 A Not very often. That may have happened?

17 Q Did you ever see it happen?

18 A I don't have any specific recall of it. No.
19 It may have.

20 Q Well, would it be fair to say that almost
21 every time a person is asked how much did you
22 have to drink, if he isn't accurate he
23 understates it?

24 A I would say that that's a fair statement.

25 Q Now, I also assume that -- and you talked

1 about this a little bit yesterday, about your
2 experiences in watching people and conducting
3 field sobriety tests, that you had a chance to
4 watch people perform certain acts after they had
5 obviously been drinking, correct?

6 A That's correct.

7 Q And you made observations about how they
8 acted, correct?

9 A That's correct.

10 Q And some people -- you made observations about
11 how people's personalities, for instance, would
12 be affected by alcohol?

13 A I did, yes.

14 Q And would it be fair to say that some people
15 become very quiet after they had been drinking?

16 A They do.

17 Q And some people become very loud after they
18 had been drinking?

19 A Yes, they sure do.

20 Q And some people become what -- they've been
21 drinking a lot, become very, very quiet. Would
22 that be fair to say?

23 A That's correct, yes.

24 Q And if some people have been drinking a lot
25 they become very, very loud?

1 A That's correct.

2 Q So it kinda varies depending on the particular
3 person?

4 A Oh, absolutely. The symptoms of alcohol
5 intoxication vary depending on who is drinking
6 and depending on the time. You know, they vary
7 from one time to another with the same person.

8 Q Now, while you were watching these videos did
9 you ever have the situation where you watch the
10 guy come in and you watched them go through the
11 field sobriety tests on the -- I assume you did
12 field sobriety tests on the video, correct?

13 A Correct.

14 Q And you would watch them do field sobriety
15 tests and that person would just do them
16 perfectly, and then turn around and blow a one-
17 seven or a one-eight. Did you ever have that
18 situation?

19 A Not -- no -- well, I have to admit that I
20 never saw anybody do them perfectly and blow a
21 one-seven or a one-eight. I saw them do fairly
22 well for a one-seven or a one-eight. There's a
23 distinction between those two.

24 Q Fairly well, but so well that you could hardly
25 notice the difference?

1 A They did well enough that you wouldn't expect
2 them to blow that kind of a result based on their
3 performance on the test, yes.

4 Q So it would be fair to say that how someone's
5 -- their physical manifestations don't always
6 accurately reflect their blood alcohol content at
7 that time? That's a fair statement?

8 A Well, not really.

9 Q Oh, you would disagree with that then?

10 A The way you made the statement I would
11 disagree with it, yeah.

12 Q So you think that at all times the physical
13 manifestations of a person are an accurate
14 reflection of what their blood alcohol content is
15 at that time?

16 A I guess that statement doesn't have any -- I
17 guess in the scientific context we're talking
18 about, I don't think that statement really has
19 any -- the way you pose the question, I guess I
20 can't answer it that way.

21 Q Why not?

22 A Because it doesn't make any sense.

23 Q Well, let me ask it again.

24 A Sure.

25 Q Are clinical observations of intoxication a

1 better indicator of a person's level of
2 intoxication than blood alcohol content?

3 A I would say that a chemical test is -- an
4 accurate chemical test is a better indication of
5 a person's alcohol influence at the time the test
6 was taken, than are the clinical symptoms. I
7 mean, in terms of judging which is the better
8 indication of alcohol influence, the chemical
9 test would be preferred to the clinical
10 observations, correct.

11 Q You'd agree that alcohol does more than just
12 cause you to slur your speech and stumble and
13 fail to do dexterity tests. It affects other
14 areas of the body, correct?

15 A Oh, absolutely. It affects things that you
16 can't see from casual observation of an
17 individual. Those things that are affected, they
18 cannot be seen on casual observation. It can be
19 measured scientifically and determined in other
20 ways, that's correct.

21 Q And the things that you're just talking about
22 are things like perception, correct? How a
23 person perceives things while he's under the
24 influence. That's difficult to tell based on
25 clinical observations, right?

1 A Exactly. That's difficult to tell based on
2 any kind of observations.
3 Q And decision making, that's something that can
4 be difficult, correct?
5 A That's correct. Absolutely.
6 Q Judgment, correct?
7 A Correct.
8 Q Those are all things that are oftentimes
9 difficult to observe. The clinical
10 manifestations are not always readily apparent
11 for those things, correct?
12 A That's correct.
13 Q And it's true, isn't it, that alcohol affects
14 that part of your brain that deals with things
15 like perception and judgment, decision making, to
16 a greater degree than it affects your muscular
17 coordination area?
18 A It affects that level and it affects your
19 muscular coordination, that's correct.
20 Q So, sooner, the less -- at a lower level
21 alcohol can affect -- the lower blood alcohol
22 content could affect your decision making before
23 it affects your coordination?
24 A Yes, I would say that's a fair statement.
25 Q And you're also familiar with the term

1 "masking" of -- the "masking effect". Are you
2 familiar with that term?

3 A Yes. Colloquially I'm familiar with what
4 you're talking about.

5 Q Would you explain to the jury what that means?

6 A What it means is that people -- some
7 individuals, when they become tolerant to alcohol
8 by consuming it on a regular basis, can, at the
9 same level of influence, at the same alcohol
10 concentrations, perform better than an ordinary
11 individual could at that alcohol concentration,
12 or better than they could have before they became
13 tolerant of the alcohol.

14 It's not a matter of -- it's a matter of
15 building tolerance. It's a matter of manifesting
16 the symptoms of that alcohol influence. It's not
17 a matter of being under the influence or not
18 under the influence, it's a matter of the degree
19 to which you show your influence at a particular
20 alcohol concentration.

21 Whether you show -- whether you manifest the
22 symptoms very apparently or whether you manifest
23 the symptoms of alcohol intoxication not so
24 grossly.

25 Q Subtly?

1 A It's more subtly, that's correct.

2 Q Let me give you -- tell me if this isn't an
3 example. Some people, when they have been
4 drinking, they go to reach for a drink, they'll
5 go a lot slower to pick up that bottle, to make
6 sure that they don't knock it over because
7 knocking it over is a physical manifestation of
8 somebody that's been intoxicated. Would you
9 agree with me on that?

10 A Oh, yes, that's one of the ways in which
11 people who are accustomed to drinking large
12 amounts of alcohol will adjust their behaviors by
13 moving a lot slower. That's why the symptoms of
14 alcohol intoxication, is people's movements begin
15 to slow down. That's just as much of a symptom
16 as knocking over the bottle.

17 Q And I suppose another one might be a person
18 not wanting to just stand in one place, but
19 rather -- say, for instance, lean against
20 something, so it wouldn't be as noticeable that
21 he's been drinking because he was swaying. Would
22 that be a fair statement?

23 A Yes. If one's been drinking a lot he might
24 lean against something because it's easier to
25 lean against it than to stand up straight.

1 (2995)

2 Q And if you were standing up straight people
3 might notice that you were intoxicated because
4 you would be swaying, right?

5 A That could happen, sure.

6 Q And that's kind of a learned or acquired
7 trait, isn't it?

8 A That's correct.

9 Q So you would agree then that the best
10 indicator of the level of intoxication of a
11 person is an accurate blood alcohol sample?

12 A That's correct.

13 Q Now, would you tell me when you were first
14 contacted to act in this case?

15 A I do not recall specifically the date that I
16 was first contacted. Our office was first
17 contacted by Mr. Madson sometime, I believe, in
18 January.

19 Q This year?

20 A I believe so. I don't have specific recall of
21 the specific date that I was contacted.

22 Q You said yesterday that you reviewed data and
23 test results and explained meaning. Would you
24 tell me, what did you review?

25 A In this particular case I reviewed some

1 documents that were prepared by Dr. Propst, I
2 believe it was. Some notes and documents. I had
3 conversations with some of the attorneys related
4 to some of the fact situations of the case. When
5 the tests were taken; what the time frames were,
6 and all that; those were included in the notes. I
7 reviewed transcripts of Dr. Prouty's testimony,
8 and had various discussions with counsels in this
9 case.

10 Q Did you review the personal interviews of any
11 of the people that were involved in this case?

12 A Of any of the people that were -- no, I did
13 not review any interviews of any people.

14 Q So it appears to me that the stuff that you
15 personally saw was Dr. Prouty's work and Dr.
16 Prouty's testimony, correct?

17 A I saw some of that, yes. And some other
18 documents -- some notes that were taken or made
19 by Dr. Propst or whatever it was. I reviewed
20 some other documents, too.

21 Q What were those other documents?

22 A I'm trying to remember what else I reviewed
23 and I don't really recall. I didn't receive any
24 -- I've looked at a lot of documents since I've
25 been here in Alaska, but I don't specifically

1 recall.

2 Those were the ones -- Dr. Prouty's transcript
3 and conversations with the attorneys and the
4 notes. I had the facts on when the tests were
5 taken and what the times were involved in the
6 case.

7 Q Those facts were given to you by Mr. Madson
8 and Mr. Chalos?

9 A Yes.

10 Q Did you check those out?

11 A Well, basically I did. I also looked at the
12 notes of the expert that was going to be used by
13 you and the testimony of Dr. Prouty relating to
14 the times that were involved and the results of
15 the test, so I assumed that he was telling the
16 truth under oath, I assumed that they were
17 correct.

18 Q Well, when did you get here, to Anchorage?

19 A Sunday -- Saturday night. Wait a minute, I'm
20 trying to remember. I got here Sunday.

21 Q Sunday. So you started reviewing this stuff
22 on Sunday?

23 A I reviewed Dr. Prouty's testimony on Sunday.

24 (3252)

25 Q Let's see, you can't really remember

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

everything you read in this case, is that right?

A Actually I had -- I may have read other documents. I can't specifically recall. What I formed my opinion on were based Dr. Prouty's testimony and the facts that I was given in terms of what tests were taken at what time.

Q Now, you didn't do a report in this case?

A I did not write a report, that's correct.

Q And one of the reasons for doing reports is so that you don't forget things, correct, things that you've read?

A One reason for doing reports is so that you -- when you do something that you -- you know, so you can recall it later, that's correct.

Q Well, you've done reports in other cases as a consultant, haven't you?

A In some cases I've done reports, yes.

Q Now, you testified yesterday that you have been qualified as an expert in Alaska. How many times is that?

A Once.

Q As a matter of fact, that was last Friday?

A That's correct.

Q Down in Ketchikan?

A That's correct.

1 Q And you did a report in that case, correct?
2 A Yes, I believe I did a report in that case.
3 Q Well, I don't want to trick you. Maybe I
4 could...
5 A I did do a report.
6 (3386)
7 MR. MADSON: Your Honor, I'm sorry, but I'm
8 going to object. I don't know what the relevance is.
9 There's nothing that requires a report. And a report
10 in the Ketchikan case, I don't know what relevance it
11 has in this case.
12 THE COURT: Objection overruled.
13 Q I want to show you your report and see if this
14 refreshes your recollection.
15 A Yes, I remember this report specifically.
16 Q That's your name at the end?
17 A That's correct. This is the report that I
18 wrote for the public defender's office in
19 Ketchikan, Alaska at their request.
20 Q And that report was done January 13, 1990,
21 correct?
22 A That's correct.
23 Q And it's three pages, correct?
24 A I believe so, yes.
25 Q And it sets out exactly what documents you

1 reviewed prior to the conclusions that you
2 reached, correct?

3 A That's correct.

4 Q And it sets out in detail what you are
5 prepared to testify to?

6 A It does.

7 Q And you didn't do that in this case?

8 A That's correct.

9 Q Now, I was looking at your resume here today.
10 You don't have a Ph.D., do you, Mr. Burr?

11 A No, I do not, sir.

12 Q You don't have a master's degree?

13 A No, I do not.

14 Q You just have a bachelor of sciences degree?

15 A That's correct.

16 Q While you were getting your bachelor of
17 sciences degree, would you tell the jury how many
18 credits you earned in courses dealing with
19 toxicology?

20 A I did not take any toxicology courses in my
21 undergraduate work.

22 Q Would you tell the jury since then how many
23 college credits you've earned in toxicology
24 classes?

25 A I've taken courses -- no college credits in

1 toxicology.

2 Q So you've not earned any?

3 A College credits, no. Not college courses for
4 credit, no, sir.

5 Q I notice that the people that you work with,
6 Mr. Jensen, Mr. Hemple, and is it Mr. Waiking
7 (ph)?

8 A That's correct, Mr. Waiking.

9 Q They all have Ph.D.'s?

10 A Yes, they do.

11 Q And you only have a B.S.?

12 A That's correct.

13 Q Now, you worked for the St. Paul Police
14 Department for about 20 years, is that correct?

15 A That's correct.

16 Q And you worked in the crime lab there?

17 A That's correct.

18 Q And your job was a criminalist?

19 A I was a criminalist, yes.

20 Q Not a toxicologist?

21 A That's correct. My job title was criminalist,
22 that's correct.

23 Q And after you analyzed the number of
24 substances you would be called upon to testify
25 about the presence of alcohol, or something to

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

that effect -- of drugs, correct?

A I often testified as to the results of the tests I did on biological samples for alcohol and drugs, correct.

Q But you never testified as to what the tests meant in those proceedings, did you? You just testified to the analytical findings?

MR. MADSON: Excuse me, I'm going to interrupt. That's two questions. I think he should have a chance to answer the first one before the second.

MR. COLE: I'll withdraw it.

Q You only testified to analytical findings that you made while you were a criminalist, correct?

A Incorrect.

Q You testified as to what the tests meant?

A Yes, I certainly did.

Q You didn't have a forensic toxicologist come in and testify about what the tests meant?

A I many, many times testified as to what the results of the tests meant in terms of the results that I found.

Q I think you indicated that you had done some training -- breath training of officers in 1960 through 1973, correct?

1 A Those are the wrong years. I did train breath
2 test operators from 1968 through 19 -- about '75.
3 I trained police officers as breath test
4 operators in those years.

5 Q How many students did you train in 1971?

6 A I do not have specific recall of how many
7 students I trained in any particular year.

8 Q Nine sound about right?

9 A I have no idea.

10 Q High or low, nine?

11 A I have...

12 MR. MADSON: Your Honor, I think he answered
13 the question. He said he doesn't know.

14 A I may have trained none in 1971, I don't know.
15 During those seven years I conducted probably 10
16 training sessions for breath test operators.
17 Maybe none of them were in 1971, I don't know.

18 Q So you might have trained nobody in 1971?

19 A That's correct. I may have not trained
20 anybody in 1971.

21 Q I was looking at your professional training on
22 your resume. Would you -- let me show it to you
23 here. Would you tell the jury which one of these
24 courses dealt with toxicology?

25 A Sure. The courses on the Intoxilyzer dealt

1 with toxicology in February 1984. The course on
2 -- at the University of Indiana in Bloomington,
3 Indiana, that dealt almost exclusively with the
4 alcohol toxicology. That was 80 hours of
5 training and work in the area, of breath testing,
6 blood testing, alcohol toxicology extensively.
7 Those areas dealt specifically with toxicology --
8 alcohol.

9 Q In the '72 case, the seminar that you went to,
10 that was a pretty good seminar, wasn't it?

11 A Which one is that?

12 Q The one that you talked about in Bloomington,
13 Indiana, the instructors course?

14 A Yes, correct. That was a course that was
15 involved with setting up chemical testing
16 programs in law enforcement settings and dealing
17 with all of the issues involved. That extensive
18 work in the laboratory and classroom instruction
19 and toxicology.

20 Q There was some big names of -- big names of
21 people in the field of toxicology that taught at
22 that, weren't there?

23 A Yes, Drs. Borkenstein (ph) and Dubowski were
24 instructors at that.

25 Q And Dr. Dubowski?

1 A Dr. Dubowski was on the faculty of that -- he
2 lectured at that, that's correct.

3 Q And, also, Mr. Prouty was on that faculty,
4 wasn't he?

5 A He was. I don't specifically remember if he
6 lectured at the one I was at. I think he may
7 have. I know he did lecture on occasion at the
8 University of Indiana, that's correct.

9 Q So you may have been one of his students back
10 in 1974?

11 A I may have been, yes.

12 Q A good chance of it?

13 A Yes. I don't specifically recall if he taught
14 there or not. He may have at that time.

15 Q So essentially one of students has come back
16 to critique one of his teachers?

17 A I suppose that's happened before.

18 (Pause)

19 Q Oh, I noticed in your resume that you listed
20 as professional and learned societies, the
21 American Academy of Forensic Science?

22 A That's correct.

23 Q Now, correct me if I'm wrong, but these have
24 multiple sections in these particular type of
25 groups. In other words, they have serology,

1 toxicology, criminalist groups in the American
2 Academy of Forensic Sciences, correct?
3 A They have multiple groups. The ones you
4 mentioned are not all groups in it, but there are
5 multiple groups in the American Academy, that's
6 correct.
7 Q Which one are you in?
8 A I'm in the criminalistic section.
9 Q You aren't in the forensic?
10 A I am not a member of the toxicology section,
11 that's correct.
12 Q And in the Midwestern Association of Forensic
13 Sciences?
14 A That's correct.
15 Q What group are you in there?
16 A The organization is not divided into groups.
17 Q Not at all?
18 A No, sir.
19 Q Now, let's see. As I understand your
20 testimony, you disagreed with Mr. Prouty on the
21 following. One of them was the fact that he used
22 retrograde extrapolation at all in this case,
23 correct?
24 A That's correct.
25 Q The second was the .14 value that Dr. Prouty

1 testified to for Captain Hazelwood's BAC at 12
2 o'clock that evening, correct?

3 A I disagreed with that, yes.

4 Q And you also disagreed with the two tests --
5 saying that two tests would be better than just
6 one test?

7 A That's correct. I said two tests are always
8 better than one test.

9 Q And you also said that Mr. Prouty's use of a
10 .51 Widmark Factor was a problem, correct?

11 A I said that I would not use that Widmark
12 Factor for a person of Mr. Hazelwood's
13 appearance, no.

14 Q Was there anything else that you had that you
15 criticized?

16 A I don't remember all of my direct testimony.
17 I know that those were the areas that I dealt
18 with. I may have said something else.

19 Q It sounds about right though?

20 A It sounds correct.

21 Q Now, yesterday you testified that if a person
22 had enough information he could go backwards in
23 time and estimate a person's blood alcohol
24 content, correct?

25 A Under some circumstances for some periods of

1 time, yes, that's correct.

2 Q You qualified it by saying a person needs a
3 whole bunch of information before he or she could
4 arrive at that result -- a valid result?

5 A That's correct.

6 Q You also testified that you have, in fact, on
7 occasion been asked to perform retrograde
8 extrapolation in the past?

9 A I certainly have, yes.

10 Q And you have also testified about that in the
11 past, haven't you?

12 A I have, yes.

13 Q And, in fact, you testified that the longest
14 period that you ever went back was for two to
15 three hours, correct?

16 A That sounds correct, yes.

17 Q Well, could it have been longer?

18 A Probably not.

19 Q It would have been between the time -- within
20 two or three hours of that time?

21 A That's correct.

22 Q When you testified what information did you
23 have to know before you did back calculation?

24 A Okay. First of all, when I did back
25 calculations I did not normally give a number on

1 a back calculation, because numbers spurious --
2 numbers -- okay, the information you really need
3 to know is you need to know that the test that
4 you've taken is, in fact, at or near the trend
5 line, so multiple tests are real desirable.

6 You have to know that the person is post-
7 absorptive. You have to know specifically what
8 their burn off rate is, or you have to use the
9 range. You have to know all kinds of
10 information.

11 You have to know that they're post-absorptive.
12 If they're not clearly post-absorptive...

13 Q You already mentioned that. What else is
14 there?

15 A Okay. You have to know -- yes, a single test
16 may or may not be near the trend line, so you may
17 be starting from a false point.

18 Q You already mentioned that.

19 A Okay. And you have to know the person's burn
20 off rate.

21 Q You already mentioned that.

22 A And if you know those things then you can
23 predict whether or not a person -- you would
24 expect them to be higher or lower at some
25 previous time.

1 Q Okay.

2 A Within a short period of time.

3 Q When you did this in the past you had three

4 things. One, whether they're on the trend line.

5 A Yeah.

6 Q Two, whether they are in the post-absorptive

7 phase.

8 A Correct.

9 Q Three, their burn off rate?

10 A Correct.

11 Q Anything more?

12 (Tape: C-3671)

13 (000)

14 A Those things you need -- you have to know

15 those things in order to have any validity at all

16 to your retrograde extrapolation.

17 Q Anything more that you needed when you gave

18 yours?

19 A When I looked backwards, probably not. That

20 was sufficient to make some comment about the

21 previous time.

22 Q Now -- and as I understand it, you cited

23 several reasons why back calculating, retrograde

24 extrapolation, whatever you want to call it,

25 should not have been used in this case, correct?

1 A That's correct.

2 Q And one of them was that it was too long a
3 period to calculate back, correct?

4 A That's correct.

5 Q And I assume that you meant that this 11 hour
6 period was too long to do?

7 A That's correct.

8 Q So it's okay for you to do it for two to three
9 hours back but not for Dr. Prouty to do it for
10 11?

11 A That's really not true, no.

12 Q Well, isn't it true, Mr. Burr, that the longer
13 the time period between when a person stops
14 drinking and the time he is tested, the more
15 accurate that back calculation is?

16 A Not necessarily.

17 Q Yesterday you drew what I think is called the
18 standard BAC curve against time, right?

19 A (No response.)

20 Q How about that. Is that -- and then we have --
21 -- I'll let you draw it.

22 A Draw what?

23 Q I want you to draw the standard post-
24 absorptive phase, peaked, and elimination phase
25 on this.

1 A A stylized curve, one would expect to look
2 something like this, and then going on down like
3 so. That's a stylized curve of alcohol.

4 Q You can have your seat. I just wanted to make
5 sure that that got drawn correctly.

6 Now, isn't it correct that one of the reasons
7 there's a controversy about retrograde
8 extrapolation is because of the concerns with
9 what is called the absorption phase, correct?

10 A That is one of the reasons why retrograde
11 extrapolation is not a valid thing to do
12 scientifically because we can never be sure that
13 a person is post absorptive, except under very
14 unusual circumstances, and given the fact that we
15 can never be sure that someone is post
16 absorptive.

17 If they are not post-absorptive then there is
18 clearly no validity to any back calculation,
19 because people are still going up at the time
20 we're calculating them.

21 If you still have alcohol in your stomach at
22 the time you back extrapolate to the whole thing
23 is nonsense.

24 Q Are you saying that when you testified on
25 retrograde extrapolation it was nonsense?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A I tested -- if a person is not post absorptive, then any estimation of alcohol at a prior time is nonsense.

Q Okay. So one of the fears now is that by back calculating you'll get a higher blood alcohol concentration that the person really had, correct?

A You could easily get a higher concentration than they had, correct.

Q And that's demonstrated by this, right? Sometimes a person is tested right about here (indicating)?

A That's correct.

Q And let's say he's tested -- he starts drinking here, he stopped right here, and he's tested right there (indicating)?

A Correct.

Q And if you back calculate you might get a figure up here, right?

A That's correct.

Q And the person's actual BAC might be right there, right (indicating)?

A That's correct.

Q And that wouldn't be right, right?

A That's correct.

1 Q So that's one of the reasons why it's very
2 important to make sure that the peak has
3 happened, right?

4 A That's absolutely correct. It's essential to
5 know that a person is clearly post absorptive.

6 Q Now, what happens when you go from here -- and
7 I'm not talking about the steeping effect, we're
8 going to get into that in a little bit.

9 A Okay.

10 Q But I'm talking about when you go from number
11 three to number two, does that do away with your
12 fear on post absorptive effect?

13 A That takes post absorption. If a person is
14 clearly post absorptive then they were clearly
15 higher at the time at a previous time, you know.

16 Q So you would agree that an absorption of
17 alcohol plays a key role in determining when the
18 peak occurs, right?

19 A Well, absolutely. The peak occurs when -- the
20 peak occurs when absorption -- when elimination --
21 -- basically when your elimination rate is higher
22 than the rate that you absorbed it. It does not
23 even mean you've completely absorbed all the
24 alcohol you've had to drink. It could be on the
25 down side of that curve and still have more

1 alcohol in your stomach.

2 Q But it's not going up, right?

3 A Not the trend line, no.

4 Q It also is important when a person stops
5 drinking as to when the absorption rate -- when
6 the absorption period ends, right?

7 A When you stop drinking -- obviously, if you
8 keep on drinking it is always adding more alcohol
9 to be absorbed, so it continues it on down the
10 road.

11 Q Now you said yesterday that the absorption of
12 alcohol in a human body could take anywhere from
13 a half an hour to six hours, correct?

14 A At least six hours, yes.

15 Q Is that based on your own experiments or the
16 literature you've read?

17 A That's based on the literature.

18 Q And I'm sure that someone like Dr. Dubowski,
19 who you think is such a great expert in this
20 field, his writings could support that
21 conclusion?

22 A I don't specifically recall anything in Dr.
23 Dubowski's writings that say -- that mentions --
24 I'm sure Dr. Dubowski is very clear about
25 absorption of alcohol being crucial.

1 Q You said...

2 A Dr. -- I don't know if -- the six hours is not
3 from Dr. Dubowski's paper, no.

4 Q You said you read everything from Dr.
5 Dubowski, correct?

6 A I believe I've read everything he's written.

7 Q Did you read where he said that the absorption
8 phase takes anywhere from a half an hour to three
9 and a half hours?

10 MR. MADSON: Your Honor, I'm going to
11 objection unless he can show what study he's referring
12 to and have the witness have a chance to examine it to
13 see if, in fact, he did read it.

14 MR. COLE: He said he's read every one of his
15 articles.

16 MR. MADSON: Then I would object for the same
17 reason Mr. Cole objected yesterday when I asked about
18 Dubowski, because it's hearsay and this witness wasn't
19 allow to testify about it.

20 If we want to open that door, that's fine. I
21 think he should be shown the article.

22 MR. COLE: Well, I don't have the article, but
23 the next witness is going to testify to that.

24 Q (Mr. Burr by Mr. Cole:) Is it true Dr.
25 Dubowski has said that the absorption phase takes

1 between a half an hour and three and a half
2 hours?

3 THE COURT: Don't answer the question.

4 Counsel approach the bench, please.

5 (315)

6 (Whispered bench conference as follows:)

7 THE COURT: You may cross examine the witness
8 (indiscernible - whispering), and without it it's
9 improper.

10 (End of whispered bench conference)

11 (322)

12 THE COURT: Objection sustained.

13 Q (Mr. Burr by Mr. Cole:) Mr. Burr, could you
14 tell me the literature that you've read, the name
15 of the article and the author who said that the
16 absorption phase takes longer than six hours.

17 A Takes longer than six hours?

18 Q Up to six hours?

19 A Up to six hours, yes. As a matter of fact, I
20 have the book in my briefcase. It's "Alcohol
21 Tests and Biological Specimens For Medical Legal
22 Purposes", I believe is the title of the book.
23 It's a chapter written by Randall Bassalt (ph),
24 et al.

25 Q Wait a minute, I need you to slow down.

1 A Randall Bassalt and others are the authors of
2 that chapter in that particular publication.
3 That's one of the things, specifically, I have
4 with me in which he said up to six hours.

5 Q And is that in all people?

6 A I don't understand that question.

7 Q Do all people absorb at a six hour -- does it
8 take six hours for alcohol to get into the blood
9 in all people?

10 A Well, of course not. Of course not.

11 Q What percentage of the population does it take
12 to get alcohol in your blood from your stomach?
13 Six hours?

14 A I don't think there is sufficient data to
15 answer that kind of question.

16 Q It's a very small amount, isn't it?

17 A The scientific data suggests and shows that
18 people can take up to six hours to be fully
19 absorptive -- to absorb all the alcohol -- to
20 reach their peak alcohol concentration, depending
21 on their physiological states, and, you know,
22 whether they eat after they drink, and certain
23 other factors that are involved in it. And the
24 research has clearly shown that it could take up
25 to six hours.

1 Q And your research showed that?

2 A No, other people's research.

3 Q What was the longest your research showed?

4 A Probably three hours to four hours, in that

5 range.

6 Q So if Dr. Prouty has said that it's three and

7 a half, four hours at max, you would disagree

8 with that?

9 A I would disagree with that. I would say that

10 the literature is clear that that's not true.

11 Q Now, in this case the evidence is that the

12 defendant stopped drinking between 7:30 and 8:00

13 p.m. on the night of the 23rd. Would it be safe

14 to say that he had peaked before giving his blood

15 sample at 10:30 the next morning?

16 A Assuming no more alcohol consumption...

17 Q The defendant stopped drinking at between 7:30

18 and 8:00 on March 23, 1989. Is it fair to say

19 that at 10:30 he had already peaked?

20 A He probably would have, yes.

21 Q Eight o'clock to 10:30. I calculate that as

22 14 and a half hours.

23 A And you're saying that he is still absorbing

24 liquor?

25 A No, he's still not absorbing the alcohol that

1 he had. No, absolutely not. He's not -- if your
2 question is, did he peak before the test was
3 taken? Quite probably, yes. Sometime before.

4 Q Sometime before?

5 A Uh-huh (affirmative).

6 Q And the facts that you've seen, or the
7 literature that you've read said that some people
8 it takes six hours, depending on what they've had
9 to eat, correct?

10 A That's correct.

11 Q And if he stopped at 8 o'clock, even under
12 your liberal definition of absorption rates, he
13 would have stopped -- peaked absorbing at about 2
14 o'clock that morning?

15 A Yes.

16 Q So if he would have stopped, even under your
17 liberal interpretation, absorbing at 2 o'clock,
18 if other concerns are met, you could calculate up
19 to that point?

20 A When he's post absorptive -- if you know that
21 he's post absorptive, yes. Using various burn
22 off rates you could go back up to that point,
23 sure.

24 Q And if other people testified that the
25 absorption rate is generally not more than four

1 hours, you could go back to midnight, couldn't
2 you?

3 A If he had absorbed all of it, you could give a
4 possible range, if he's on the downside past his
5 peak, and there's only a certain possibility for
6 burn off rates, sure.

7 Q By the way, did you happen to do any test with
8 Captain Hazelwood to determine what his burn off
9 rate was?

10 A Did I test Captain Hazelwood to determine
11 his -- when?

12 Q Elimination rate.

13 A When?

14 Q Any time.

15 A Any time. No.

16 Q Done any of...

17 A I have done no tests of his elimination rate,
18 no.

19 Q You could have? There are ways to do that.

20 A You could test somebody's elimination rate on
21 a particular occasion, sure.

22 Q So let me get back to what I asked you at the
23 beginning when we started that. Isn't it true
24 that the longer the time period between the time
25 a person stops drinking and the time he is

1 tested, the more accurate back calculating is?
2 A No.
3 Q Even though you said that it's okay to do it
4 after, your term, six hours?
5 A No, I said after six hours that he would,
6 under most circumstances, have reached his peak
7 alcohol concentration and be on his way down,
8 that's correct. But I still don't believe it's a
9 good practice to do based on other complicating
10 factors.
11 Q Okay. Well, let's talk about the second
12 complicated factor that you mentioned.
13 I believe that you talked about the fact that
14 sometimes these tests on the downward phase go up
15 and down, correct?
16 A Tests on all phases of the alcohol curve go up
17 and down.
18 Q So your testimony is -- is that based on your
19 own personal experiences or on the literature
20 you've read?
21 A Both.
22 Q And the experiences that you used were breath
23 tests, right?
24 A Most of them.
25 Q Well, how many times were you drawing blood

1 from an individual at various occasions while the
2 were going up?

3 A I probably tested 20, 25 subjects over a
4 period of time with breath tests and blood tests
5 taken.

6 Q No, I mean blood tests -- just blood tests.

7 A Just blood tests. I've never tested people
8 with just blood tests.

9 Q By the way, have you written anything on the
10 results that you reached in any of your stuff?

11 A I have not published any of my work.

12 Q You have not published anything?

13 A No.

14 Q Now, you're saying that the up and down effect
15 occurs in the absorption phase, is that correct?

16 A It occurs in both phases.

17 Q But it does occur in the elimination phase,
18 correct?

19 A The short term fluctuations in the curve occur
20 over the length of the whole curve.

21 Q And I assume that what you're referring to is
22 Dr. Dubowski's -- what he terms "steeping
23 effect"?

24 A He is one of the investigators that have shown
25 that to be something that happens, correct.

1 Q Now, you say you're aware of all the
2 literature, correct? You've read as much as you
3 could, I think you indicated yesterday, in this
4 field.

5 A I read much in the field, yes.

6 Q You read everything that Mr. Dubowski has
7 written? That's what you said yesterday.

8 A That's correct.

9 Q And I'm sure you read articles that critiqued
10 his work, correct?

11 A I read articles that critiqued Dr. Dubowski,
12 sure.

13 Q Now since you've read all those articles, you
14 know that his controlled drinking experience use
15 breath tests rather than blood tests, correct?

16 A I am not sure that all of Dr. Dubowski's --
17 Dr. Dubowski's work -- some of it has been done
18 with blood tests, some of it has been done with
19 breath tests -- all alcohol tests.

20 (710)

21 Q You're sure about this?

22 A I believe he has done work with -- I know he's
23 done work with blood tests. I don't know any
24 specific work. I would have to look at it and
25 see what he did, but I know he's done blood tests

1 and published things about blood tests.

2 Q I'm talking about in relation to his theory on
3 the steeping effect.

4 A Oh, in relation to the extrapolation theory
5 and the back calculations and the problems
6 associated with that. In later papers he wrote
7 he used a lot of data, but a lot of the data was
8 breath tests and some of the data was blood
9 testing.

10 Q You're sure about that?

11 A He used blood testing in some of his work,
12 yes. And what specific paper...

13 Q Now I'm not talking about some of his work. I
14 want to know, in the articles that he wrote in
15 this steeping effect, did he use breath testing
16 or blood testing?

17 A Breath testing, I believe. And...

18 Q Thank you.

19 A ...in one article in particular, I believe
20 you're referring to, where he really elucidates
21 the issues involved in retrograde extrapolation,
22 he used breath tests.

23 Q Isn't it true that the general consensus among
24 experts in this field is that these differences
25 that he's noted by the use of the term steeping

1 effect, or the zigzag, is due to his analytical
2 techniques, i.e., that he used breath tests and
3 not blood tests to determine variation in
4 elimination rates?

5 A Absolutely not.

6 Q Now, are you aware of whether Mr. A. W. -- you
7 know who Mr. A. W. Jones is, correct?

8 A That's correct.

9 Q And you hold him in very high esteem, I
10 assume?

11 A I believe that A. W. Jones is a very
12 knowledgeable expert in the field of alcohol
13 toxicology and he's a prolific researcher and
14 writer, and he's an expert.

15 Q And do you know whether A. W. Jones has
16 attempted to confirm Dr. Dubowski's results on
17 elimination rates?

18 A I don't specifically know. I haven't seen
19 anything he's published where he was critiquing
20 Dr. Dubowski's work. He's published a lot of
21 material on alcohol curves and concentrations and
22 elimination rates, and blood and breath ratios
23 and all kinds of things.

24 Q Go ahead.

25 A But I specifically don't remember any critique

1 of Dr. Dubowski's work on the steeping effect.
2 He may have.

3 Q Well, are you aware that he's done it with
4 blood testing to confirm whether or not a person
5 -- this steeple effect occurs?

6 A He's done work with blood testing. I don't
7 know what -- the purpose of the studies he's
8 done. You'll have to refer to a specific study,
9 I guess, if I can answer those questions.

10 Q I'm showing you a similar blood alcohol
11 profile. As you can see, this is written by Dr.
12 A. W. Jones. I just want to show you this
13 profile.

14 A Well, let me look at this thing, please.
15 Thank you.

16 (Pause)

17 MR. MADSON: Your Honor, maybe this would be a
18 good time for a break if the witness needs time to
19 review this. It's up to him, I guess.

20 A Okay.

21 Q You see that there's a graph there where he
22 took various blood samples from an individual,
23 right?

24 A That's correct. This is an alcohol
25 concentration curve of one individual that he

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

studied, sure.

Q Do you see any of that steeping effect in there?

A A little bit.

Q That one little mark right there, is that what you're point at?

A There's a flattening, a plateauing, and -- this is one particular individual and there's a small example of a steeping effect right in that area.

Q Do you see an up and down in that -- all the way down?

A No, there's not a splicing.

Q In fact, it's almost a pretty straight line, isn't it?

A Well, the general trend line is down but there's an example point. At two and a half hours it's a point above the trend line.

Q Above the trend line?

A Absolutely. Two and a half hours -- this is a decreasing alcohol concentration at two and a half hours right in here, at this point. Point one, two, three four. This test here is a point -- the trend line is this way, and this is a point that's gone above the trend line slightly.

1 Q One point in six hours, is that correct?

2 A That's correct. So if we back track from that
3 point then we're going to get a bad extrapolation
4 backwards. We're going to calculate an alcohol
5 concentration that's way too high. That's the
6 point of the problem with being off the trend
7 line.

8 Q But generally people are very close to the
9 trend line, aren't they?

10 A If you were to take 10 tests you would expect
11 most of them to be close to the trend line and
12 some of them to be away from the trend line.
13 That's the way data falls when you do it.

14 Q Well, what's the percentage that people fall
15 away from? What studies have you done to show
16 that?

17 A You can't do that. I mean, that's nonsense.
18 I mean...

19 Q That you were able to do retrograde
20 extrapolation in cases that you testified to?

21 A I was able to estimate alcohols at a previous
22 time based on certain facts and data that I had,
23 sure.

24 Q Now, the elimination phase, you would agree,
25 decreases in a linear progression, right,

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

essentially?

A Not always. There is some controversy on that, too.

Q But you have done no experiments yourself to prove or disprove that?

A I have not, in myself, done any experiments to prove whether it's a first or second reaction at low concentrations, no.

Q And you also disagreed with Mr. Prouty on the fact that two tests would have been more helpful in this case, correct? You said two tests would be more helpful.

A I said two tests are always better than one test, because you've got more information.

Q In this case you said that two tests needed to be done, correct?

A I'm not sure if I said that. I said two tests would be better than one test. It would be good to have two tests. Whether that's needed or not, I guess that's not a scientific opinion.

Q Well your opinion is based upon your concern of whether or not this sample was taken in the elimination phase or not?

A That's part of the reason. I mean, that's one of the reasons -- if you take more than one test

1 you have more data.

2 Q The major reason is to assure you that you are
3 in the elimination phase, correct? That's the
4 major -- that's what you said yesterday.

5 A That's one of the major reasons, sure.

6 Q Is there any doubt in your mind that at 10:30
7 to 10:50 that this was not in the elimination
8 phase?

9 A If he quite drinking at 8 o'clock and it was
10 the last consumption, he would have probably
11 absorbed everything he had to drink, sure.
12 Except given some really strange circumstance.
13 But, yes, he would be in the elimination phase if
14 he quit drinking at 8:00 and this test was taken
15 at 10:00 the next morning or 10:30, whatever.

16 Q And most people -- most people would have been
17 in the elimination phase at about midnight that
18 night, wouldn't they?

19 A The majority of people would have been totally
20 absorbed by midnight, that's correct.

21 Q 95% of the people, correct?

22 A I don't think one could put a percentage on
23 that.

24 Q Yet you could put percentage on the way people
25 would appear under different levels of

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

intoxication?

A Yes. That was based on the studies -- some very clear...

Q That was based on your own personal observation, wasn't it?

A That was based on my observations and it was based on the literature in which -- about a dozen of the classic studies were looked at in terms of evaluation of alcohol concentrations and what percentage of the people were under the influence. So some of that data is real clear in the literature. At certain levels percentages of people had been known to be under the influence of -- you know, observed to be under the influence by various researchers.

Q Now, the urine test that was done in this case, do you recall that?

A I recall it, yes.

Q The result of .094, correct?

A That's correct.

Q That confirms that Captain Hazelwood had not had a lot to drink prior to that test being administered, correct?

A What? I guess I missed that.

Q That confirms that Captain Hazelwood had not

1 had a lot to drink right prior to the blood
2 sample being drawn, correct?

3 A I don't know what you mean by "right prior".

4 Q Within the hour.

5 A Oh, he could have drunk within the hour and
6 still had those blood and urine levels, sure.

7 Q The urine sample would have gotten to that
8 level within an hour?

9 A Could have.

10 Q Could have.

11 A Could have. If he had drunk within an hour of
12 the time the test was taken we could get these
13 results, yes.

14 Q What do you base that on?

15 A The fact that he -- example, had he taken the
16 alcohol and absorbed everything he had to drink.
17 If he drank enough alcohol to get to o-six,
18 absorbed it all within 20 minutes to a half an
19 hour, within another half -- or your body is
20 eliminating it, it's in the urine. It's
21 incorporated within the hour in your body and
22 you've got an equilibrium situation. That can
23 happen in that short a period of time.

24 Q And you proved that in your tests?

25 A It can happen in that period of time. I've

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

never done that kind of a test specifically to determine that.

Q You're just saying that it's a possibility?

A It's possible to have your distribution complete within an hour of the time. If you were to take three ounces of whiskey right now at 10:00 and drink it. If I were to do that right now. I could be completed distributed through my system with these kind of consistency and samples within an hour. It probably would take longer than that, but it could be within an hour.

Q Now, as I understand it the next problem you had was Mr. Prouty's use of the .008 elimination rate, correct?

A I said .008 is on the -- near the bottom part of the spectrum of alcohol elimination and it is not -- you could use it. I mean, it's been measured in people, so I suppose you could use it if you want to. But it's not a very likely elimination rate for anybody.

Q But he did give the elimination rates of the other three possible groups, right?

A Well, there's a whole range of possibilities, right.

Q .10, .18, .030, right?

1 A It could be anyplace in between those and even
2 much -- even higher than that, up to three-five.
3 Even higher some people say.

4 Q Have you ever seen higher?

5 A Than al three? No.

6 Q And he gave a range of numbers at 12 o'clock
7 under those three factors, correct?

8 A I believe he did, yes.

9 Q And you say you wouldn't use a .008, correct?

10 A I said .008 is a very unlikely scenario. The
11 numbers derived from a .008 elimination rate is a
12 very unlikely thing to have happened and an
13 elimination rate based on a .18, or a .20, or .25
14 is much more likely.

15 Q What does A. W. Jones have to say about this?
16 What rate does he use?

17 A I don't know what he uses as the average.

18 Q Do you know what he suggested to be used?

19 A In one paper that I read by A. W. Jones, which
20 I happen to have with me -- A. W. Jones says that
21 .008 is the bottom that -- that he figures that's
22 the bottom number that's been measured. So if
23 you want to give the benefit of the doubt to
24 somebody as to the lowest they could have been,
25 then you should use .008. If you're going to do

1 it at all, which he says you should do.

2 Q But he says that in certain circumstances it
3 could happen, right?

4 A In certain circumstances a person can be .008,
5 yeah. He says if you use .008 then you are
6 giving the lowest possible number a person could
7 have been at a previous time if you are going to
8 be doing that.

9 Q Next you criticized Mr. Prouty for one of his
10 scenarios where he used a .051 Widmark Factor,
11 correct?

12 A When he was calculating alcohol based on the
13 number of drinks and he used a .51 Widmark
14 Factor, I criticized that, yes, absolutely.

15 Q Did you understand why he gave that figure?

16 A Well, he gave that figure because that gives
17 you the highest possible alcohol concentration
18 based on five and a half drinks -- or, five
19 drinks at an ounce and a half. Using the .5
20 Widmark Factor gives you the highest possible
21 alcohol concentration.

22 Q Isn't it true, Mr. Burr, that the reason that
23 he gave that is that there had been an inference
24 drawn that based on the number of drink Captain
25 Hazelwood had had during the day, as has been

1 testified to in this trial, the inference was
2 that no person under any scenario could be an 061
3 at 10:30 the next morning, correct?

4 A I don't know if that was the inference or not.

5 Q And all he did is point out that there is a
6 scenario.

7 A Yeah, exactly. He took a Widmark Factor,
8 which is completely unrealistic. That's
9 something you can -- the Widmark Factor is
10 something you can do without measurement. You
11 can take a look at somebody and tell that the
12 Widmark Factor is not .5.

13 Q He pointed out, didn't he, Mr. Burr, that he
14 did not agree with the use of that Widmark
15 Factor. All he was pointing out is that under
16 the scenario there was a way that a person with
17 the number of drinks that Captain Hazelwood had
18 that day could be at an 061 at 10:30 the next
19 day, correct?

20 A I guess from my reading of the transcript, he
21 was saying that that was a possibility. And I
22 don't believe that's a possibility at all,
23 because I don't believe Mr. Hazelwood could
24 possibly have a .51 Widmark Factor.

25 Q Well, so in other words that scenario was

1 fairly unlikely, is that what you're saying?
2 A Yes.
3 Q About as unlikely as people giving an accurate
4 assessment of the number of drinks they had in an
5 afternoon?
6 A I don't know how likely that is.
7 Q Well, you already testified that people rarely
8 accurate assess the amount of alcohol they've had
9 when they've been drinking, correct?
10 A Well, I was talking about people who were
11 arrested for DWI and being interrogated by the
12 police. I guess there is -- I don't know if you
13 would ask -- under the circumstances, I have no
14 basis to say whether someone on this circumstance
15 would give an accurate answer or not.
16 Q Now, finally, if a person -- you testified
17 yesterday that for a person to get to about a
18 two-four, two-five, it would take 13 to 14 shots
19 of 80 proof vodka, correct?
20 A That sounds correct.
21 Q Does Vodka come in different proofs?
22 A Yes.
23 Q What are they?
24 A 80 and 100 most vodka is. I don't know if
25 there is any -- there have been, and I don't know

1 what they can -- you can buy vodka -- as a matter
2 of fact, you can buy Everclear in some places,
3 depending on where you are, which is 190 proof.
4 But most vodka is 80 and 100. Everclear is the
5 same thing, it's just grain alcohol. But 80 and
6 100 proof. I don't know if any other proofs are
7 sold anyplace. Those are the only -- in most
8 states those are the only two that are sold.

9 Q How many drinks would it have taken if Captain
10 Hazelwood was having 100 proof vodka?

11 (1375)

12 A Well, that's about 20% -- it's actually about
13 10% strong. So it takes about 10% less -- a
14 couple less.

15 Q Which would be how much?

16 A 12, I suppose, or something like that.

17 Q 11 to 12.

18 A Uh-huh (affirmative).

19 Q And that would be, say, only about six half --
20 double shots then, correct?

21 A That's correct.

22 Q Thank you. I have nothing further.

23 THE COURT: We'll take a little recess now.

24 Remember my former instruction not to discuss the case
25 among yourselves, to form or express any opinions.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

We'll see you back after the recess.

THE CLERK: Please rise. Court stands in recess subject to call.

(1403)

(Off record - 10:08 a.m.)

(On record - 10:32 a.m.)

REDIRECT EXAMINATION OF MR. BURR

BY MR. MADSON:

Q Mr. Burr, Mr. Cole asked you a number of questions about your experience with gas chromatography and things like this, correct?

A That's correct.

Q As I understand it, the gist of it was, you see nothing wrong with using gas chromatography to tests for blood alcohol; it's a valid tests?

A That's correct, yes.

Q Sir, you were never asked to critique or look at the test results in this particular case with a view of whether they were accurate or inaccurate, were you?

A No, I was not.

Q You were asked to assume it was accurate?

A That's correct. That was the assumption that I worked under.

Q Now, Mr. Cole asked you a number of questions

1 about a breath tests in comparing it with a blood
2 test and which one was best. Can you describe
3 for the jury, please, how breath tests are
4 commonly done and how it relates to blood alcohol
5 levels?

6 MR. COLE: Objection. Relevance.

7 THE COURT: Objection overruled.

8 A Yes. Breath tests are done by having a person
9 blow into any one of a number of instruments.
10 For example, in the state of Alaska they use an
11 instrument called the Intoximeter 3000, which is
12 an infrared based instrument for measuring
13 alcohol in the breath.

14 You take a sample of a person's breath and
15 based on a built in ratio of 2100 parts to one it
16 gives you a number which will give you a fairly
17 accurate answer or a relationship to a person's
18 blood alcohol concentration.

19 Q Is there a commonly used or accepted factor
20 that relates from one to the other?

21 A Yes. 2100 to one is the factor that commonly
22 relates from one to the other. So when you take
23 a breath test and you take a blood test you get
24 results that are close to each other. They are
25 never going to be identical in all cases, but

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

they are going to be close.

Q But if you really want to know how much alcohol is in a person's blood, it's best to have a blood test as opposed to a breath test?

A That's correct.

Q But you could still get a fairly close approximation?

A That's correct.

Q In this case there was no breath test taken that you were aware of?

A Not that I'm aware of, no.

Q The research that you're aware of, does it use both breath and blood alcohol by the writers that you've read in this field?

A Yes. The writers that I've read in the field, depending on what study and what they're doing, when they're dealing with the absorption distribution elimination of alcohol and studying those issues, myself included, have done both blood and breath tests.

Oftentimes breath tests are done if your purpose of the study is to determine how people's alcohol goes up and how people's alcohol goes down. You can do that with a breath test just as easily as you can with a blood test. Whether

1 your correlation between the breath and the blood
2 is 100%, you're still looking at the same
3 progression. Your breath tests results are going
4 to be consistent as people go up and down in
5 their alcohol consumption, the same way as your
6 blood tests. And sometimes you take both to
7 compare them to see how they differ when people
8 are absorbing versus eliminating, how blood and
9 breath differ from each other.

10 Q Which of the two is a faster test?

11 A The breath tests are faster and easier to do,
12 and that's why they are often done in studies
13 that look at elimination, distribution of
14 alcohol, and so on, because they are easier to
15 do.

16 Q Now, Mr. Cole asked you about the urine test
17 that was taken of Captain Hazelwood that was a
18 .094. Does this by itself mean anything as far
19 as what percent of alcohol was in his blood at a
20 given time?

21 A Not particularly, no.

22 Q Maybe you could just explain why there is a
23 difference between, say, blood and the urine
24 alcohol. Very briefly.

25 Q Yes. The urine in a person's body has a

1 different water content in the blood for one
2 thing. And, also, the urine is a reservoir in
3 which, as your body eliminates alcohol, and as
4 alcohol is excreted from your body, it gets into
5 the urine and it collects there. So your urine
6 has alcohol in it when you've been drinking, and
7 that alcohol will be somewhat related to the
8 alcohol that's in the blood, but not directly
9 related unless you do some special things in
10 taking the sample, in order to get it to relate
11 directly to a blood alcohol test. But it's
12 basically an indication that there's alcohol in
13 the system.

14 Q And that could depend on such factors as when
15 a person last urinated, things like this?

16 A Absolutely.

17 Q Now, you indicated that you looked at hundreds
18 of videos in Minnesota involving DWI subjects, is
19 that correct?

20 A I've looked at hundreds of videos, yes.

21 Q Mr. Cole asked you a number of questions about
22 impairment versus drunk. Is there a distinction
23 that you're aware of, or is this just a term of
24 art, or how would you describe the difference?

25 A Well, scientifically there isn't any. Alcohol

1 impairs a person. Alcohol has an affect on the
2 person's body and now those affects are on the
3 brain and on the muscles, and so on and so on.
4 It all really has to do with the influence of
5 alcohol on the body's system.

6 Oftentimes we use the term "impaired" to mean
7 somebody is affected by the alcohol, and
8 intoxicated to mean that they are very noticeably
9 under the influence. Both of them have to do
10 with the impairment -- they are the same thing
11 that alcohol is doing to the body.

12 Q In other words, if you're making visual
13 observations of someone, you may conclude they
14 are drunk, they're impaired, or they're
15 intoxicated?

16 A Yes.

17 Q Is there any distinction in those terms except
18 -- as far as you know?

19 A No, not really.

20 Q Can you, then, based on your experience, look
21 at a person and determine whether they are
22 visibly impaired?

23 A Oh, absolutely. I think it's very easy to do,
24 to look at someone and tell if they are impaired
25 by alcohol, to see symptoms of alcohol

1 intoxication, particularly when you get to the
2 higher levels.

3 Q Now, you told Mr. Cole and the jury, in
4 response to his question, that a blood alcohol
5 test would be more accurate means of determining
6 a person's intoxication level, or whether he's
7 under he influence, if I understood you
8 correctly?

9 A Yes. A blood test basically is better than a
10 breath test.

11 Q But does a blood test by itself give you a
12 clear indication or criteria to determine whether
13 a person is actually impaired or not?

14 A Sometimes it does if it's real high, but not
15 always.

16 Q What would you use, if anything, to correlate
17 the number you get with your conclusion that the
18 person is impaired? What other factors could you
19 use?

20 A The other factors that you want to use is your
21 observations of this particular individual. How
22 they responded to your questions, how they
23 walked, how they talked, how they looked. Your
24 perception of their -- your judgment of their
25 perception of time and space and where they were

1 and what they were doing. And your evaluation of
2 how they are acting compared to how you normally
3 would expect someone to act, or how you've
4 normally seen this person act before, or
5 something like that, and, say -- you know, you
6 can notice these symptoms of alcohol on their
7 body.

8 Q And, for instance, if you had people that
9 said, yes, I saw the person and he seemed
10 impaired, and you had a blood alcohol level --
11 the two may go together and correlate.

12 A That's...

13 MR. COLE: Objection. Leading.

14 THE COURT: You can discontinue the leading
15 questions, please.

16 Q Mr. Burr, what would you look for, then, as
17 far as any relationship, if any, between
18 observations in blood alcohol?

19 A One would look for the observations to
20 corroborate the results of the tests and the
21 tests corroborate the results of the
22 observations, and that's when you have an alcohol
23 concentration test. You can make some pretty
24 accurate predictions as to what you would expect
25 to see in people at that particular level, and

1 those things, in most cases, agree with each
2 other.

3 Q Have you, in your experience, had occasion to
4 examine police reports? That is, officers'
5 arrest reports about arresting a person and then
6 taking the Intoximeter test of some type?

7 A Absolutely. Many of those.

8 Q Have you had occasion to review the officers'
9 observations in a report versus the number that
10 was reached by the breath test or the blood test?

11 A On hundreds of occasions.

12 Q Do you have an opinion, based on your
13 observations or your knowledge of those reports,
14 whether they go together, commonly correlate?

15 A They commonly correlate very well, yes.

16 Q If a person -- assuming in the area of a .15
17 blood alcohol content, okay. What, if anything,
18 can you say about the observations made by
19 arresting officers in that, whether they
20 correlate with the officers' observations of
21 impairment or not?

22 A Yes. I could say I don't ever remember seeing
23 a police report in which somebody tested a .15,
24 who the police officer did not find obvious signs
25 of impairment in that individual that they

1 elucidated in their reports, you know, in their
2 conclusions and findings that this person was
3 under the influence and exhibited certain signs
4 and symptoms of intoxication.

5 At that .15 level I've never seen a police
6 report where the person showed no signs of
7 impairment when tested .15.

8 Q You indicated that in your observations it was
9 common to notice some changes such as personality

10 A That's correct.

11 Q Would this be true, sir, if you knew the
12 person before or didn't know them?

13 MR. COLE: Judge, again, I'm going to object
14 to leading questions by Mr. Madson. This is direct.

15 Q Mr. Burr, what difference, if any, would there
16 be between observations of a person who you knew
17 from past experience or one that was a stranger?

18 A Well, obviously to determine if somebody's
19 personality has changed, one has to know what the
20 personality was before. The fact that somebody
21 is very boisterous and talkative and so on may
22 not reflect a personality change at all. It may
23 be the way they are. Or, if they are very quiet
24 and retiring and shy, that may be the way they
25 are normally.

1 By personality change, obviously you have to
2 know what the person was like before and that's
3 the sort of things you see in controlled drinking
4 experiments in human subject studies where you
5 observe somebody's behavior at the beginning at
6 the session and then when they get under the
7 influence their behavior changes, and then you
8 could notice that change in behavior.

9 Q Is there a term that is used in the field of
10 alcohol, physiology, known as mood swings?

11 A Yes.

12 Q What would that be, sir?

13 A Well, a mood swing would be when a person
14 would be basically happy at one moment and crying
15 the next minute and so on, to go from one
16 emotional state to the next. Their mood swings
17 from happy to sad very quickly, and that's common
18 under alcohol influence.

19 Q Mr. Cole asked you about such things as
20 leaning on an object rather than standing erect,
21 right?

22 A Correct.

23 Q Do you have any opinion as to how valid this
24 is by itself? I mean, for instance, I'm leaning
25 on this podium. Does that tell you whether I'm

1 drunk or not?

2 A No, it does not. Obviously people lean on
3 things when they are not under the influence,
4 just because it takes some weight off your feet
5 and it's something you just do.

6 Q You were also asked about people, I believe,
7 doing tests or alcohol sobriety tests at a level
8 of about 17 or 18. Your answer, I believe, was
9 some do fairly well. Can you tell the jury an
10 estimate of how many people actually could do
11 fairly well?

12 (1946)

13 A Yes, I would say at that level, less than 10%
14 of the people could do what I would consider
15 fairly well on the test. Virtually one would
16 show no signs of that alcohol influence.

17 Q If the level of intoxication or blood alcohol
18 were to increase, do you have an opinion as to
19 whether that percentage of people that could do
20 the test would go up or down?

21 A As the alcohol level increases there are fewer
22 and fewer people that can past the test, to use
23 the term that would do -- you know, perform
24 fairly well on the test -- on standardized field
25 sobriety tests as their alcohol level goes up

1 until you get in the range of .25, and very few
2 people will do anything, like passing a test on a
3 field sobriety test.

4 Q Mr. Cole asked you about that and I think you
5 said that the term "masking" is often used to
6 disguise or not for a person to show -- not show
7 the affects as readily as someone else?

8 A That's correct. Masking refers to a person's
9 ability to hide some of the symptoms of alcohol
10 influence.

11 Q When would masking, from your experience, be
12 utilized by a person at all?

13 A Masking is -- and this tolerance that you
14 build to alcohol, something that operates at --
15 in my experience, and much of the research and
16 the literature at the lower levels of alcohol
17 intoxication. When you get up to higher levels,
18 .15 and above, yeah, you're dealing with all
19 kinds of involuntary things that happen in your
20 body that you basically have no control over.
21 Things you can see in people's faces and just
22 things that you can't consciously control.

23 Q What about a time period. Do you have an
24 opinion as to whether a longer period of time for
25 a person to have to consistently do this would

1 make any difference?

2 A Oh, absolutely. Absolutely. The longer
3 period of time that you -- if your alcohol is up
4 and you are trying to act sober, if you will,
5 when you've been drinking a lot and you have a
6 high alcohol level in your system, you may have
7 some success in doing that for a short period of
8 time and do a fairly good job at it. But the
9 longer the period of time that goes on the less
10 likely you are to be able to continue doing that.

11 Q Does it matter as far as the circumstances are
12 concerned? Let's say, a police officer is trying
13 to make an arrest or something. Would that be
14 something that you would use in your opinion?

15 A Oh, absolutely. You can see that very much in
16 the field, that when people are given a task to
17 do and asked to perform, they tend to do better
18 at it than if you are just casually observing
19 them. So when people get arrested by the police
20 they tend to do better than they would when they
21 were in the bar and when they were drinking, for
22 example.

23 Q Now, assuming a person has built up a
24 tolerance to alcohol, does this normally require
25 -- or, how would you describe this drinking, on a

1 day-to-day basis, or would that require day-to-
2 day drinking to build up this tolerance as you
3 described it?

4 A Yes, it would. In order to build a tolerance
5 to alcohol and be able to somewhat accommodate
6 the affects of alcohol on your body, your have to
7 be a regular consumer of alcohol and you have to
8 regularly drink to the level that you're going to
9 be at masking the symptoms of that.

10 For example, if you were normally the type of
11 person who has three drinks and you go out and
12 have 10, you're not going to be able to hide the
13 fact that you had 10 drinks. I mean, you're
14 going to be in bad shape. But a person who
15 routinely goes out and has 10 drinks will
16 probably do a lot better than you if you only
17 normally have one or two drinks.

18 Q Again, this would depend on the circumstances
19 involved as to whether it would be noticeable by
20 others?

21 A Absolutely.

22 Q Would there be a chance, sir, in your opinion,
23 in a person's burn off rate if they were at that
24 level that you say is tolerant and drinking large
25 quantities of alcohol on a daily basis?

1 A Yes, there would. There is abundant evidence
2 that people who are regular consumers of alcohol
3 and who drink quite a bit regularly, they end up
4 having higher burn off rates than people who
5 drink rarely. At least to the point where they
6 start suffering a lot of damage to their body
7 from the alcohol. People who drink a lot,
8 eventually they will reach a state where their
9 body is beginning to break down from the alcohol,
10 then their burn off rates go down. But when they
11 are at the point where they just are normally
12 drinking a lot they tend to have higher burn off
13 rates because the body is adjusting to the high
14 doses of alcohol it's getting.

15 Q Now assuming, sir, you have a blood alcohol
16 test, let's say, at 14 or 15, something like
17 that, but that's estimated, okay. But all the
18 other evidence, the testimony is, there are no
19 signs of impairment. What, if any, conclusion
20 could you draw based on that?

21 A It would be my conclusion that if there is no
22 other signs of impairment and nobody else --
23 people watching this individual have any
24 indication that this individual is impaired, then
25 it's unlikely that that's their alcohol

1 concentration. Because people at that level over
2 an extended period of time would show some signs
3 of intoxication.

4 Q Mr. Cole asked you about a report, sir. You
5 were not asked to do one in this case?

6 A No, I was not.

7 Q Did you ever see a report that was purportedly
8 made by Mr. Prouty with regard to this case?

9 A No, I saw no report by Mr. Prouty.

10 Q Do you know if he did a report?

11 A I don't know of any. I don't think he did.

12 Q You indicated that you took some courses, sir,
13 in the field of toxicology even though you were
14 not a toxicologist, correct?

15 A That's correct.

16 Q Is it your understanding that -- or belief
17 that it is necessary to be a toxicologist to
18 understand the concepts of alcohol and its affect
19 on humans?

20 A No, absolutely not. Toxicology is --
21 actually, toxicology is a big term. There is a
22 lot of people who are toxicologists who don't
23 know anything about alcohol and other drugs in
24 human bodies. Toxicology is a wide field. And
25 there is forensic toxicology. People who

1 specialize just in that. And then there is a lot
2 of other people in the area of forensic science
3 who work and specialize in the area of toxicology
4 who aren't toxicologists. So it's a matter of
5 experience, interest, and area study and work
6 rather than what your title is. People are
7 called all kinds of things who work in the same
8 area.

9 Q Now Mr. Cole asked you some questions about --
10 and he referred to Dr. Dubowski and Dr. A. W.
11 Jones, correct?

12 A Correct.

13 Q You said you were familiar with their studies?

14 A Yes, I am.

15 Q And do you place any reasonable reliance on
16 the work that they've done?

17 A I certainly do.

18 Q Then, sir, do you know whether Dr. Dubowski,
19 for instance, agrees with the concept of a
20 retrograde extrapolation over a long period of
21 time?

22 (2300)

23 MR. COLE: Objection. Hearsay.

24 MR. MADSON: Your Honor, he went into all the
25 questions about whether he agrees with Dubowski and

1 Jones -- whether he disagrees. I think I should be
2 entitled to ask him the very same questions on the same
3 subject matter.

4 THE COURT: It's calling for some hearsay.
5 There was no objection to Mr. Cole's questions; there
6 is to your, so I'm going to sustain the objection.

7 MR. MADSON: Your Honor, once again -- well...

8 Q (Mr. Burr by Mr. Madson:) Now you said you
9 disagreed with Mr. Prouty in a number of
10 respects?

11 A That's correct.

12 Q For instance, you said the Widmark Factor?

13 A That's absolutely correct.

14 Q You said you can, I believe, tell by looking
15 at a person pretty well?

16 A One could make a real good estimate of the
17 Widmark Factor by looking at someone, that's
18 correct.

19 Q How could you do that, sir?

20 A Well, the Widmark factor -- what the Widmark
21 factor has to do with is the body water content
22 of an individual. The body water content of an
23 individual is directly related to their body fat.
24 With .67 being the average for a male, .5 being
25 the very low end for someone who is particularly

1 high in body fat, particularly obese. And at the
2 other end, about .8, maybe to as high as .85, for
3 somebody who is particularly a lean muscular
4 individual, a body builder or a running back on a
5 football team or something like that, they have a
6 high body water and a high Widmark Factor. And a
7 real obese person would have a low Widmark
8 Factor.

9 The average ordinary male would be in the area
10 of .67. Well, you can look at someone and get a
11 pretty good estimate of whether they're on the
12 lean end, or on the fat end, or on the middle of
13 that, and get a good idea what kind of Widmark
14 Factor to use.

15 Q And I believe you also said that .008 burn off
16 rate was something you disagreed with if that was
17 used to draw the conclusion that Dr. Prouty did?

18 A Yes, that's correct.

19 Q And why is that, again, sir?

20 A Well, I disagree with using that because I
21 think using that kind of a burn off rate over a
22 long period of time is -- I don't think that is
23 particular good because it's not a realistic
24 number to us. I mean, it's quite unlikely that
25 somebody is going to do that and it's not a

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

likely scenario.

Q Assuming then that his other considerations --
the other burn off rates that Mr. Prouty used
were used by yourself to calculate backwards.
And assuming, then, you've got a value of .25 to
.30, right.

A Correct.

Q Would that be -- and, still, let's assume that
there is still no visible signs for impairment at
those levels. Is that consistent or inconsistent
with the estimated blood alcohol reading?

A That's inconsistent. Absolutely inconsistent.

Q Why?

A At that alcohol concentration a very high
percentage -- 97% or greater, up to 25 and close
to 100% at the 30, people are visibly impaired to
any one observer. And over a period of time with
a number of people, I can't imagine somebody not
being noticed to be intoxicated at those alcohol
levels.

Q Mr. Cole asked you about the times in which
you have used retrograde extrapolation yourself?

A Correct.

Q Would you give the jury an example of when you
would feel there is some validity to this type of

1 estimate?

2 A Yes. First of all, as I stated, the
3 retrograde extrapolation, in my opinion, and
4 based on my previous testimony, is good for
5 indicating that somebody's alcohol concentration
6 may have been higher at a particular time, or
7 close to the level that it was.

8 And I believe it's useful in a situation were,
9 for example, you have someone who is driving
10 their automobile and they are stopped at 1
11 o'clock. And they are run through a police
12 procedure and they are tested at 1:45 or 2
13 o'clock, they're given a breath test or a blood
14 sample. And you have information that they quite
15 drinking at 11 o'clock, and so on, and were
16 drinking in an ordinary social manner. You know,
17 a few drinks and so on.

18 And to say, well, was their alcohol likely
19 higher, or lower, or close to what it was. And,
20 you know, were they going up or down and that
21 sort of thing...

22 Q What time period was that?

23 A At the time period when they're tested, say,
24 at 2 o'clock in the morning, and was driving at
25 1 o'clock in the morning, to say, barring some

1 unusual consumption pattern or something that
2 they were probably close to the level or slightly
3 higher than the level they're tested at. Just to
4 give you an idea of the fact that they were close
5 to the level that they were tested at. And that
6 the test is time related to the particular
7 incident, and that it has -- it has some
8 scientific validity, and looking at their level
9 of influence an hour earlier -- maybe even two
10 hours earlier.

11 Q Then in this situation, the one you were asked
12 to look at here, over a period of, say, 11 to 14
13 hours. Is it your opinion, sir, that it would be
14 necessary to assume absolutely no drinking on the
15 part of Captain Hazelwood during this period of
16 time, to have a validity to the extrapolation
17 period?

18 A Oh, absolutely. If there was any alcohol
19 consumption during this period of time, and there
20 is no validity whatsoever. There is no numbers
21 you can say anything about -- you can make any
22 estimate of any numbers.

23 Q Well assuming then, sir, if you drank at 7:00
24 a.m., 8:00 a.m., 9:00 a.m., would this test have
25 any validity at all?

1 A None whatsoever.

2 Q You were also asked questions about the
3 stylized curve. Now, if I understand correctly,
4 the curve you drew -- maybe you could explain
5 stylized, sir. What does that mean?

6 A Yes. By stylized it means -- it just shows a
7 smooth up and a smooth down, which is not what
8 normally happens. It normally goes up and down
9 on less than a regular basis and all the points
10 don't fall on the line, you just kinda draw a
11 line in between the points.

12 There also was an averaged out curve showing
13 absorption and I believe it was about an hour or
14 something like that. And, of course that can --
15 you can draw a hundred different curves given the
16 same drinking scenario.

17 Q Well, would it vary on the same person? In
18 other words, could you get a curve on him at one
19 time and then do another one later. Would they
20 always be the same?

21 A Oh, absolutely not. They may be completely
22 different. The rate of which they absorbed the
23 alcohol may change from one time to another
24 depending on all kinds of factors, and the burn
25 off rate may change from one time to another

1 somewhat. So there is -- that can vary, given
2 the same amount to drink over the same period of
3 time you can get two completely different curves
4 from the same individual on two different
5 occasions.

6 Q Now, Mr. Cole showed you a report by, I think,
7 Dr. Jones, one curve. Do you know if that was
8 done on one individual or an average of many?

9 A That particular curve was one individual, I
10 believe.

11 Q Would you have an opinion as to whether that
12 would be consistent with some other individuals?

13 A It might not be. That's one individual's
14 alcohol curve, and another individual may have a
15 different alcohol burn off.

16 Q And assuming, sir, that someone is in the
17 elimination phase, that is, their alcohol is
18 decreasing, but the curve is not nice a linear,
19 it goes up and down. If you happen to take a
20 blood test at one of its little peaks where it's
21 off the curve, what affect, if any, would this
22 have on the conclusion going backwards, 11, 12
23 hours?

24 A It would give you the wrong number going back
25 11 or 12, because you would be starting at the

1 wrong point and you would be extrapolating
2 backwards from there so you would be off in your
3 estimate.

4 Q Mr. Cole asked you about absorption times, and
5 I think, if I recall, you said that the
6 literature says it could be at least as long as
7 six hours to absorb alcohol?

8 A That's correct.

9 Q What about eating and -- say, drinking on an
10 empty stomach and eating afterwards. What, if
11 any, affect would this have?

12 A That's one of the things that could cause that
13 phenomenon to happen. That's been reported in
14 the literature that if you drink alcohol and then
15 eat after you drink, that that's one of the
16 things that can cause real delayed absorption of
17 that alcohol.

18 Q Now, you were also asked to assume that he --
19 that is, Captain Hazelwood -- had peaked -- that
20 is, his alcohol content had peaked before 2:00
21 a.m., right?

22 A Correct.

23 Q Do you know if any test was done on Captain
24 Hazelwood at 2:00 a.m. to give you any more
25 information as to whether this is true or not?

1 A There was no test done on him at that time,
2 no.
3 Q You were also asked whether or not you could
4 do burn off rates on an individual; you can
5 determine a person's burn off rate?
6 A You can determine it today, yeah. I mean, you
7 can give somebody alcohol to drink and determine
8 -- and take tests and draw their alcohol curve.
9 Q What about tomorrow?
10 A Tomorrow it may differ. Their absorption rate
11 may differ, and it will differ probably, and
12 their burn off rate may be slightly different
13 than it was. As a matter of fact, it would
14 probably increase if you did it three days in a
15 row.
16 Q Was there, in your opinion, any difference
17 between the works that you were asked about by
18 Jones and Dubowski as to whether blood tests or a
19 breath test was used on the individual subject?
20 A No, that's really ancillary to the issues that
21 were addressed and the scientific concepts being
22 done. Breath or blood was just a method of
23 getting an analytical number and looking alcohol
24 concentration curves and factors that affect the
25 absorption distribution elimination of alcohol

1 and those sorts of things. Whether you take a
2 blood test or a breath test really is not
3 relevant to the issues addressed in the papers.

4 Q What were the issues that were addressed?

5 MR. COLE: Objection. Hearsay.

6 MR. MADSON: Your Honor, he asked him about
7 the paper. I think I could ask what the title was.

8 THE COURT: Go ahead with your question. What
9 were the issues.

10 Q (Mr. Burr by Mr. Madson:) What were the
11 issues that were addressed in those papers?

12 A The issues that were addressed in papers by
13 Jones and Dubowski that we were referring to were
14 issues that had to do with the absorption,
15 distribution elimination of alcohol and -- in a
16 particular individual and the factors that
17 affected those things, and the issues involved in
18 per se drinking, driving, laws, and those things.

19 Q Now, sir, do you have an opinion as to what --
20 as far as Mr. Prouty is using the Widmark Factor
21 of .51, burn off rate of .008. Assuming these to
22 be correct, what value would they have? I mean,
23 what would be his purpose in saying these are
24 realistic and -- in other words, that they
25 support the state's scenario with this case.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. COLE: Objection. Speculation. Compound question.

THE COURT: Maybe you could rephrase your question. There were several questions in that one it sounded like.

Q Using Mr. Prouty's figures, .51 and .008, what value would you place on them with regard to whether they are realistic or not in this particular case?

A First of all, I don't believe that those numbers are realistic numbers to use in terms of what Dr. Prouty did. And what they did is they made a possible scenario where five, you know, shot and a half drinks, which is actually seven and a half drinks, could turn out to give you that alcohol concentration of .06 in the morning using a Widmark Factor that's unrealistic, and using a burn off rate that's unrealistically at the low end.

Q If realistic figures were used, then what, if any, affect would this have on that end result, so many drinks versus blood alcohol of .006...

A Right. If you use an average burn off rate and you used a realistic Widmark Factor for Captain Hazelwood, you would end up with needing

1 considerably more than five ounce and a half
2 drinks, and you end up more in the range of 14 or
3 something like that.

4 Q Thank you, sir. I don't have any other
5 questions.

6 MR. COLE: Can we approach the bench?

7 THE COURT: Yes, sir.

8 (2950)

9 (Whispered bench conference as follows:)

10 MR. COLE: Your Honor, I want to make an
11 application at this point. We were very careful in our
12 examination of Mr. Prouty not to go into the
13 experienced drinker, because I think there is an
14 inference that can be draw...

15 THE COURT: Let's do this outside the presence
16 of the jury.

17 We're going to need to take this matter
18 outside of your presence because it will take a little
19 longer than we have for a side bench conference.

20 Don't speculate what we're doing and don't
21 discuss the case among yourselves or with any other
22 person or form or express any opinions. When we are
23 completed with this we will call you back.

24 (3000)

25 (Jury not present)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: Mr. Cole?

MR. COLE: Your Honor, my application goes to the fact that in our direct of Mr. Prouty we were very careful when talking about the term, and asking not to refer to experienced drinkers. Dr. Prouty used the words "some people".

Now in this case Mr. Madson has opened up the door by going into a long recitation with Mr. Burr about people that drink often, and that build up a tolerance level. I believe that I should be entitled to go into with Mr. Burr his knowledge about heavy drinkers and how they react -- how alcohol affects them, whether or not they are better able to cover up the physical signs of intoxication. How they are the ones that are better able to perform field sobriety test at higher levels. How that does not necessarily mean a person that drinks day-to-day. This could be a reason why a person could have a number of drinks and not show the physical manifestation.

I believe Mr. Madson has opened up that door. I was very careful in my case not to go into it, and now they pretty much brought it up. I think I should be able to cross examine his knowledge on that.

MR. MADSON: Well, Your Honor, this was a classic example of attempt to sand bag. My notes show

1 that Mr. Cole asked this witness, what is masking?
2 What does that mean? How is that done? That requires
3 him to respond by saying some people are able to do
4 this because they are more tolerant to alcohol, and he
5 went into that explanation. Obviously, I was left in
6 the position then of letting the jury just hear, which
7 they could draw inference from which I believe would be
8 incorrect, or asking additional questions. I was
9 forced in that position by the state, not me, in asking
10 these.

11 Secondly, I think there are some questions I
12 think the State could ask on this tolerance, and just
13 the same area I covered. But I certainly would object
14 if they are going to try to get at any specific
15 inference that this jury would try to draw, that
16 Captain Hazelwood is somehow a heavy drinker and he
17 belongs in this category, when there is certainly no
18 evidence to support that at all.

19 THE COURT: It's my understanding, based on
20 other cases I've had and hearing other experts testify,
21 that some people can mask the symptoms of alcohol
22 better than others, and I recall hearing some experts,
23 I think -- I think Dr. Rodgers at one time indicated
24 that people who are used to drinking can generally mask
25 the symptoms better than people who are not. I haven't

1 heard evidence like that in this case, but that seems
2 to be relevant evidence in determining...

3 MR. MADSON: Your Honor, I have no problem
4 with that. In general terms, I fully agree. That's a
5 subject...

6 THE COURT: What are your intentions, then,
7 Mr. Cole?

8 MR. COLE: I would like to ask him if people
9 that have -- what he considers serious drinkers, and go
10 into -- that people that drink heavily do better on
11 field sobriety tests than people that don't drink
12 heavily. That people that drink heavily are able to
13 mask the signs of intoxication better than other
14 people. That people that drink heavily drink -- it's
15 not uncommon for them to drink things like vodka,
16 rather than, say, beer -- vodka straight.

17 That people that drink heavily can drink for
18 longer periods of time than people that cannot. That
19 people can obtain higher blood alcohol concentrations
20 and still perform routine activities.

21 THE COURT: What are you trying to prove with
22 this line of inquiry. Isn't the inference you're
23 trying to raise with the jury, that Captain Hazelwood
24 might be a heavy drinker and that's why he might have
25 been able to mask his symptoms when he went through the

1 guard gate and walked up the ladder and was on the
2 bridge at the time of the grounding. Isn't that the
3 inference you're trying to raise?

4 MR. COLE: Yes.

5 THE COURT: What support do you have for that
6 inference, other than these questions and answers?

7 MR. COLE: What support?

8 THE COURT: Uh-huh (affirmative). Admissible
9 evidence do you have?

10 MR. COLE: In this case?

11 THE COURT: That he's a heavy drinker. What
12 admissible evidence do you have, other than the
13 inferences that are naturally drawn from those kind of
14 questions?

15 MR. COLE: Well, I think that the fact that he
16 starts drinking at 1:45 and drinks for approximately
17 six to seven hours in a bar, that's one inference.

18 Number two, that he's not drinking just a beer
19 or two, but he's drinking vodka straight in tumbler
20 glasses.

21 Number three, that he's able to perform very
22 well in the eyes of others even though he has a very
23 high -- he had to have had a very high blood alcohol
24 level at the time he was performing these things.
25 Those are the inferences that I have that's been

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

admitted in this case.

THE COURT: Mr. Madson, anything else?

MR. MADSON: Only that the State's own evidence, Your Honor, shows that assume the 1:45 start drinking time is correct, which the evidence is certainly disputed on. That's, say, almost about a four hour period -- four hours or better. And the State's own evidence says it was approximately five drinks, which we are saying is even on the high side. How in the world you could draw an inference of about a drink an hour is heavy drinking, I think that is totally unrealistic and doesn't make a bit of sense.

THE COURT: All right. Mr. Cole, I think you should be entitled to explore all the possibilities that could lead to a blood alcohol that the evidence reflects could have been present here, and the affects on the defendant, which would include the possibilities that some people can mask better than others if they are heavy drinkers. If you think you can get that out of this witness.

However, it's a thin line that you're walking here. If you start using it for an improper purpose, I'm going to interrupt you. It's only merely to show the other parameters that might exist.

Before you argue that Captain Hazelwood may

1 have been a heavy drinker and therefore he could mask
2 his symptoms better, you will have to apply to the
3 court. Be very careful in this area, Mr. Cole.

4 Call the jury back in.

5 Being very careful can translate into being
6 brief and to the point.

7 (3560)

8 (Jury present)

9 RE CROSS EXAMINATION OF MR. BURR

10 BY MR. COLE:

11 Q Now, I believe you testified on redirect that
12 under this scenario right here that if a person
13 stops drinking at 11 o'clock and then drives and
14 is stopped at 1 o'clock, and then is tested at 2
15 o'clock, you would feel comfortable about
16 testifying about the time as far as what their
17 blood alcohol level would be at 1 o'clock, is
18 that correct?

19 A I said that that's the kind of case in which
20 one can make -- since the time period is close,
21 that one can make some reasonable inferences
22 about what the alcohol level would have been,
23 under an ordinary drinking scenario, a person was
24 consuming in a normal social manner. Absent
25 some, you know, drinking down at a heavy amount.

1 If you had some facts you could make some
2 inferences about what their alcohol would have
3 been at a prior time, right.

4 Q And that's even though you testified that your
5 understanding of the literature is that the
6 absorption rate could take between one-half hour
7 and six hours, correct?

8 A Absolutely. And there is no reason that the
9 person -- I mean, given this scenario, I didn't
10 say that I would take an average burn off rate
11 and add it to the result and say that it's a
12 person's alcohol concentration, I didn't say that
13 at all.

14 Q But you testified that you said you would be
15 fairly confident in testifying that it was going
16 up?

17 A I said I would be confident in testifying as
18 to the issues involved in that. We could draw
19 some reasonable relationship between this test
20 and a prior time if it was close in time, in that
21 there's not enough -- that if the person had
22 absorbed all their alcohol and was on the way
23 down for a short period of time that it would be
24 reasonably close to this level, absent some
25 strange set of facts of drinking. And, you know,

1 the burn off rates don't make that much
2 difference because you have a short period of
3 time, and so on. And that's what I'm saying.

4 Q Isn't it true that the general literature
5 accepts about an hour as the absorption rate
6 generally for the absorption time between when
7 alcohol is consumed and when it enters into your
8 blood system?

9 A I suppose on an average an hour is probably a
10 good number.

11 Q And that's what you would use in a situation
12 like that, correct?

13 A Well, in a situation like that, say, if you
14 were an -- you know, somebody's drinking at 11:00
15 and stopped 12:00 and tested at 1:00, and -- so
16 you have certain things you can say about that.
17 That, depending on the other facts you have of
18 drinking.

19 If you have no drinking history the you can't
20 say anything.

21 Q And obviously you wouldn't use a six hour
22 absorption rate in fact pattern like that, would
23 you?

24 A Depending on the -- well, you can say that
25 some of it, it can't be absorbed until six hours,

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

sure. So depending on how they were drinking it may affect the results of your number.

Q So you still feel comfortable about testifying about the blood alcohol level in a person under that scenario?

A Yes. I think there is some relevant scientific information you can produce given that kind of scenario, right.

Q You testified on cross examination -- I just want to make sure. Blood tests of the alcohol in a person's system are more accurate than breath tests?

A That's not what I said.

Q Well, is that true?

A No, it's not true.

Q So breath tests are more accurate than blood tests for determining the amount of alcohol in a person's system?

A Well now it depends on -- see, you're asking me a different question.

Q Well let me ask it to you again.

A Sure.

Q Are blood tests more accurate than breath tests in determining the amount of alcohol in a person's system?

1 A No.

2 Q In a person's blood?

3 A In a person's blood, yes. Blood tests are a
4 more accurate method of measuring alcohol in a
5 person's blood. If you want to know how much
6 alcohol they have in their breath, then a breath
7 test is better.

8 Q But in a person's blood, blood tests are
9 better?

10 A Exactly. If you want to know how much alcohol
11 is in a certain media, the best test is to test
12 that media. You may be able to test something
13 else and estimate the alcohol in the other media
14 based on that test, but, you know, if you want to
15 know how much alcohol is in their body, it
16 depends on what you mean by "their body".

17 Q Blood supplies the brain with alcohol,
18 correct?

19 A Oh, sure. Blood is the most...

20 Q Correct?

21 A ...relevant test to -- that's correct.

22 Q Blood is the most relevant test to determine
23 how much alcohol is going to the brain, right?

24 A Oh, absolutely.

25 Q And blood is the most accurate test in

1 determining how much alcohol is being eliminated
2 from the blood system, correct?

3 A That's being eliminated from the blood, yes.
4 Right.

5 Q So my understanding is that in issues about
6 absorption and burn out, you said on redirect,
7 that the accuracy of the test that you used to
8 determine absorption rates and burn off rates is
9 not relevant?

10 A Well that's not what I said at all. I said
11 that breath tests are absolutely acceptable and
12 just as good as blood tests if your goal is to
13 determine how alcohol is being absorbed and
14 eliminated from the body. Although you're
15 measuring a media that might not correlate
16 exactly to the blood level, you're measuring a
17 media that will remain consistent over the time
18 of your testing. So if your answer that you get
19 is .12, and if you did a blood test and got a .11
20 or a .13, that's really irrelevant in terms of
21 determining what you're determining, as long as
22 you don't mix your tests.

23 Q A blood test is more accurate than a breath
24 test, isn't it?

25 A For determining blood alcohol, sure.

1 Q Now, you testified that clinical observations,
2 like the way a person walks and talks are things
3 that you would take into consideration in
4 determining whether a blood alcohol concentration
5 is corroborated by the facts, correct?

6 A Yes. Clinical observations and alcohol levels
7 corroborate each other. We talked about that
8 area.

9 Q And you would also agree that decision making
10 is another way of determining whether or not a
11 person is impaired?

12 A Oh, sure. It's much harder to measure than a
13 lot of things, but people's decision making
14 ability is impaired by alcohol, sure.

15 Q And I'm sure that you testified on a number of
16 occasions that in, say, for instance, automobile
17 accidents, that when a person is driving a car he
18 is involved in a great number of decision making
19 processes, correct?

20 A Oh, absolutely.

21 Q And when there is evidence that a person has
22 made poor decisions, like they run through a red
23 light, or they have been speeding in a particular
24 case, or they have an accident -- they hit
25 another car, that's evidence of bad judgment that

1 corroborates a blood alcohol content, correct?

2 A Correct. Those are things that involve bad

3 judgment and are consistent with -- if somebody

4 has an alcohol concentration of something, it may

5 be consistent with that, sure.

6 Q Now, you talked about officers and police

7 reports. Officers are trained in the field of

8 alcohol detection, aren't they?

9 A Some of them are.

10 Q A lot of them are. In fact, I'm sure that you

11 did some training of officers on how to observe

12 the indication of intoxication, correct?

13 A They all have some training in that area.

14 Q And, in effect, you were a teacher at times?

15 A Correct, absolutely.

16 Q And people with special training in observing

17 alcohol would be better, you would think, than

18 persons that are not trained in detecting

19 alcohol, correct?

20 (Tape: C-3672)

21 (000)

22 A You would hope so.

23 Q Now it's true, isn't it, that you talked about

24 masking. Some people mask better than other

25 people, correct?

1 A That's correct. Some people can do better at
2 the same level of alcohol concentration than
3 others.

4 Q And studies have shown that people that drink
5 more, or more experienced drinkers, I think you
6 talked about it earlier, are better at masking,
7 correct?

8 A That's correct.

9 Q And if a person didn't want to have other
10 people observe his signs of intoxication, one of
11 the things he could do would be to go somewhere
12 where nobody could observe him, right?

13 A I suppose.

14 Q That would be a pretty good idea, right?

15 A If you didn't want to be seen you'd go to
16 someplace where nobody could see you, that makes
17 sense.

18 Q Makes sense?

19 A Yeah.

20 Q Like, maybe go below.

21 MR. MADSON: I object to that, Your Honor.

22 MR. COLE: I'll withdraw the question.

23 Q Now, it's true, is it not, that in people that
24 you saw that did well on field sobriety tests,
25 even though they had high BA's -- well, let me

1 withdraw that. You indicated that a person has
2 to drink daily in order to be an experienced
3 drinker?

4 A Well, I may have -- routinely is a better word
5 than daily. I maybe did say daily, but it
6 doesn't have to be daily. But routinely -- you
7 have to routinely drink in order to accommodate
8 or to mask the effects of alcohol. You can't
9 mask the effects of a 15 alcohol unless you
10 regularly drink to that level. You don't learn
11 to do it if you never get there.

12 Q And it's not uncommon for people who regularly
13 drink to drink harder alcohol, or harder liquor?

14 MR. MADSON: I'll object to the form of that
15 question, Your Honor, it calls for shear speculation.

16 MR. COLE: If a person says he's done tests...

17 THE COURT: He could give his opinion based on
18 his experience.

19 A I don't know what determines people's
20 preference for alcohol.

21 Q (Mr. Burr by Mr. Cole:) So you wouldn't agree
22 with that?

23 A Wouldn't agree with what? That people who
24 drink a lot drink hard liquor?

25 Q It's not uncommon for people...

1 A It's not uncommon for people who have drinking
2 problem, that drink a lot, to drink whiskey, and
3 it's not uncommon for them to drink beer.

4 Q You said it's not uncommon for someone to be
5 involved in the area of toxicology and not be a
6 toxicologist, is that correct?

7 A Yes. As a matter of fact, probably most of
8 the scientists that are involved in the area of
9 alcohol and drug toxicology in the forensic area
10 are not toxicologists by education, are educated
11 in some other way and have worked in that area
12 and have become toxicologists by experience
13 rather than by education.

14 Q Oftentimes their actual position is
15 toxicologist, right?

16 A Yes. Some people are hired and their job
17 title is toxicologist, sure.

18 Q Isn't it true that people that take toxicology
19 and study toxicology are generally better versed
20 than people who don't?

21 A Generally speaking, sure.

22 Q Now, at one point you -- would you consider
23 Mr. Prouty a forensic toxicologist?

24 A Yes.

25 Q A fairly experienced forensic toxicologist,

1 correct?

2 A He's fairly experienced, yes.

3 Q Forty years in the field, correct?

4 A I don't now.

5 Q You read his transcript.

6 A I don't remember if it was 40 years or not.

7 Q You didn't read any of his qualifications

8 then?

9 A I did read some of the qualifications. I

10 don't remember 40.

11 Q You would agree that they are quite a bit more

12 than your qualifications?

13 A He's very well qualified in the area of

14 forensic toxicology.

15 Q Better qualified than you are?

16 A I guess that's a judgment. He's got more

17 experience than I do.

18 Q Nearly 20 years more, correct?

19 A I don't remember if it 40 years or not, I

20 didn't know he was that old. But however many I

21 have -- 22 years of experience and work in the

22 field. If he has more, then that's how much more

23 he has, I guess.

24 Q And you would consider him a forensic

25 toxicologist?

1 A Yes. He's worked in the area of toxicology
2 for a long, long time.

3 Q You wouldn't consider yourself even a
4 toxicologist?

5 A I don't call myself a toxicologist. That's
6 not what I call myself.

7 Q Now, from the way I understand your testimony
8 about the .008, and him using that as an
9 elimination rate, you're faulting him for being
10 conservative?

11 A I'm saying that .008 is not a likely burn off
12 rate to use.

13 Q Let me repeat my question. You're faulting
14 him for being conservative, right?

15 A Well, he is using what he considers to be the
16 lowest possible burn off rate.

17 Q One more time. You are faulting him for being
18 conservative, correct?

19 A I don't know if that's being conservative or
20 not. I guess I can't answer that question
21 because I don't know if that's being conservative
22 or liberal.

23 Q Well, do you consider a .008 a liberal amount?

24 A Depending on what you're trying to prove.

25 Q It's conservative in the fact that it protects

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

defendants?

A In some cases, yeah.

Q Now, sir, you were asked some questions by Mr. Madson about drinking that Captain Hazelwood might have done prior to this test, is that correct? Do you remember him asking you -- this would have no validity if he had anything to drink at 7 o'clock, or if he had anything to drink at 8 o'clock, or if he had anything to drink at 9 o'clock, and you expressed your opinion that this back calculation had no validity, correct?

A That's correct. That there was drinking -- if you're doing back calculation, obviously, if there is some more drinking going on in between there, that means nothing. The numbers are useless.

Q What evidence have you to support the fact that Captain Hazelwood was drinking after 8 o'clock?

A Did I say he was drinking after 8 o'clock?

Q I want to know. Do you have any evidence, from the record that we have before this jury, that he was drinking after 8 o'clock that evening?

1 A I have no evidence of that.

2 Q So you have no reason to doubt -- no reason to
3 say that there is anything wrong with the
4 validity of this test due to some type of
5 drinking that may have occurred, or you were
6 asked to hypothesize occurred between 12 o'clock
7 and 10:30 that morning, correct?

8 A If there was no drinking, then there was no
9 drinking. If there was, there was.

10 Q And so if there was no drinking then that's
11 not grounds for saying that this is not a valid
12 test, correct?

13 A Correct. If there was no drinking then it is
14 not a factor. And the issue is, if he was
15 drinking, it is.

16 Q But you were aware of no evidence, correct?

17 A That's correct. I have no evidence that there
18 was any drinking after 8:00 p.m. or whatever.

19 Q I have nothing further.

20 MR. MADSON: I'll be very brief, Your Honor.
21 I just need to cover a couple things.

22 REDIRECT EXAMINATION OF MR. BURR

23 BY MR. MADSON:

24 Q The diagram on the board, Mr. Burr, you said
25 in response to Mr. Cole that you could draw

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

reasonable inferences from that scenario. For instance, would you use a particular burn off rate in the times that you used retrograde extrapolation?

A On the times that I've done it I used .015, .018, those ranges. Somewhere between 10 and 20 as being an average type of burn off.

Q Would you try to get a figure that you would come up with and say, well, at 11 o'clock his blood alcohol was x, y, or z?

A No, no.

Q Why not?

A Well, because you can never really know what somebody's alcohol concentration was given a number at an earlier time. You can say based on the evidence, he was probably post absorptive; he was probably going down; and it's close in time, so given some unusual amount of absorption during that period of time he was relatively close. If he was going down he was a little bit higher. If he was coming up he was a little bit lower.

Q Well, let me ask you a hypothetical on that. If, say, a person was in an accident and a police officer comes on the scene and arrests him, and the guy says, yeah, I was driving but I was

1 sober, but then I had six drinks right away after
2 the accident. Is that in a situation where you
3 believe -- or, do you have an opinion where
4 retrograde extrapolation may or may not have
5 some...

6 A Yeah, that sort of situation -- obviously, you
7 know, if somebody had an accident and then drank
8 a bunch after the accident and was tested later
9 on, you certainly couldn't go back to their
10 alcohol at the time of the accident.

11 Q Mr. Cole asked you about decision making and
12 judgment. Do you know of any studies, any tests,
13 any scientific data to show how a person could be
14 judged for his judgment or decision making at
15 various alcohol or intoxication levels?

16 A No, those are very difficult things to
17 measure, and they really aren't measured. What's
18 basically measured is the results or consequences
19 of behaviors, by looking at things like rates of
20 people having accidents, and so on. But to
21 actually measure someone's judgment ability is a
22 really hard thing to do.

23 Q But, for instance, if somebody went through a
24 red light, that would be a factor you could
25 consider then in determining whether he used

1 judgment or not?

2 A Yes. Right. Running through a red light is
3 obviously not using good judgment, but that's a
4 fact you can consider, sure.

5 Q If on the other hand you had evidence to show
6 a person had exercised good judgment and there
7 was still an accident, what if any value could
8 you place on the judgment quality -- or the
9 effect of intoxication on judgment?

10 A If people are under the influence, one of the
11 things they exhibit is bad judgment. And if you
12 exhibit good judgment, obviously it's not -- it's
13 indicative of somebody who is not under the
14 influence of alcohol.

15 Q Now, does one have to be a police officer, or
16 should one be a police officer. Is it necessary
17 to detect visible signs of intoxication at, let's
18 say, a .15, .20 or .25 blood alcohol level?

19 A Oh, absolutely not. Most ordinary people can
20 detect those symptoms of intoxication.

21 Q Mr. Cole asked you about Mr. Prouty's use of a
22 .008 as a conservative burn off rate and
23 conservative values to benefit a person. Do you
24 have an opinion, sir, as to whether or not the
25 use of those figures could come up to a blood

1 alcohol level of, say, .14 or .15 that would be
2 consistent with intoxication and yet consistent
3 with a person's personal observations. In other
4 words, intoxicated but not to the point where
5 it's visibly intoxicated by observations?

6 A Yes.

7 Q So using those factors that would complete
8 that scenario?

9 A That's correct.

10 Q Lastly, do you believe Mr. Prouty is better
11 qualified to determine when retrograde
12 extrapolation is a valid forensic tool in a
13 particular than you are, sir?

14 A No.

15 Q I don't have any other questions.

16 MR. COLE: I have one question, sir.

17 RECROSS EXAMINATION OF MR. BURR

18 BY MR. COLE:

19 Q Mr. Burr, I would like you to assume that the
20 blood alcohol test was valid between 10:30 and
21 10:50 on the morning of March 23, 1989, okay?

22 A All right.

23 Q And I would like you to assume that retrograde
24 extrapolation was possible. There was no
25 drinking, and that the absorption rate ended

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

prior to midnight, correct? Can you do that?

A Okay.

Q No matter what the person's elimination rate, it would always be greater than a .10 at midnight, wouldn't it?

A With that hypothetical, yes.

Q Thank you.

THE COURT: May the witness be excused?

MR. MADSON: Yes.

THE COURT: You're excused.

A Thank you.

(Witness excused.)

(550)

THE CLERK: Sir, if you'd go forward to the witness stand and remain standing and attach the microphone to your tie or your lapel.

(Oath administered)

A I do.

MICHAEL HLASTALA

called as a witness in behalf of the defendant, being first duly sworn upon oath, testified as follows:

THE CLERK: Sir, would you please state your full name and spell your last name?

A Yes. My name is Michael P. Hlastala. And that's spelled H-l-a-s-t-a-l-a.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE CLERK: Spell it again.

A H-l-a-s-t-a-l-a.

THE CLERK: And your current mailing address?

A It's 7393 Braemar Drive, B-r-a-e-m-a-r,
Edmonds, Washington, 98020.

THE CLERK: And your current occupation, sir?

A I'm a professor at the University of
Washington in Seattle.

THE COURT: Mr. Madson, when we complete the
qualifications of this witness, let's take a break
before you get into substantive examination.

MR. MADSON: That's fine, Your Honor.
Certainly.

DIRECT EXAMINATION OF MR. HLASTALA

BY MR. MADSON:

Q You have a Ph.D., sir?

A Yes.

Q Dr. Hlastala, your last name is a little
unusual. What type of name is it?

A It's a Czech name.

Q What is your current position, sir?

A I'm a professor in Seattle at the University
of Washington and my field is physiology. I
have appointments in three different departments,
the Department of Medicine, the Department of

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Physiology and Biophysics and I'm an adjunct professor of bioengineering.

Q And in that capacity then what are you actual duties?

A Well, my duties are pretty standard for a university faculty member. I'm involved in teaching, in research and administrative work. My teaching is to medical students and also graduate students. These are students that are going for master's or Ph.D. degrees, mostly in health-related areas.

I'm the director of research in the division of pulmonary and critical care medicine, so I have some administrative work to do in that area. And I do research. My research relates to the lungs, the way that substances move between the breath and the blood in the lungs and also the way that substances are moved around to the body by the blood stream.

Q Now, in teaching, you teach medical doctors in addition to students?

A Yes. I also teach -- just let me amplify on that a little bit. Some of the students that we have are already physicians. They're becoming specialty trained in the field of pulmonary and

1 critical care medicine and my role is to be
2 involved in teaching them how to do research and
3 assisting them with their research projects.

4 Q And you're not a medical doctor though?

5 A That's correct.

6 Q Now, with regard to what you said your
7 teaching and research experience and substances
8 moving around the body, through the blood stream,
9 would those substances include alcohol?

10 A Yes, that's one of them.

11 Q And have you studied and researched this
12 particular topic...

13 A Yes, I have.

14 Q ...of alcohol in the blood?

15 A Yes, I have.

16 Q Would you describe your educational background
17 just briefly, sir?

18 A Yes, I have a bachelor of science degree in
19 physics and that's from the University of
20 Washington in Seattle. I received that in 1966.
21 And I have a Ph.D. degree in physiology. That's
22 from the State University of New York at Buffalo.
23 I received that in 1969.

24 Q And have you worked in the field continuously
25 since receiving your Ph.D?

1 A Yes, that's correct. After returning from
2 graduate school, I went to Seattle and worked in
3 the aerospace industry for just a short while and
4 then joined the University of Washington and I've
5 been there since 1970.

6 Q Receive any particular honors or awards for
7 your work or research?

8 A Yes, I've received a couple of awards from the
9 National Institutes of Health in Washington, D.C.
10 and one of them is called a research career
11 development award; the other is called a merit
12 award. I've also received a Guggenheim
13 Fellowship which is an award given by the John
14 Simon Guggenheim Foundation. That was for work
15 that I did in the 1979-1980 academic year. I
16 was on sabbatical leave and it allowed --
17 supported the research that I did during that
18 time.

19 Q And have you received or done any foreign
20 appointments?

21 A Yes, actually during the time I was a
22 Guggenheim fellow, I was on sabbatical leave and
23 my research was in Germany at a place called the
24 Monts Blanc (ph) Institute for Internal Medicine.
25 It's a research establishment in a university

1 town called Guttinghem (ph). I did research
2 during that whole year.

3 Q And do you have any national responsibilities,
4 sir, in the particular fields in which you are a
5 professor or researcher?

6 A Yes, I do. I'm a member of a number of
7 scientific organizations and I have some
8 responsibilities in a few of those. Most of the
9 time is spent in two areas. I'm an associate
10 editor of a major journal, the Journal of Applied
11 Physiology, and this is the journal where most
12 scientists dealing with physiology related to the
13 lungs publish their research. My job is to
14 review papers that are submitted and with the
15 assistance of other reviewers, to make a
16 determination as to whether or not the papers
17 should be published or whether it should be
18 rejected or in fact, how it might -- we might
19 also make suggestions to the authors on revising
20 their paper as well.

21 Q What about publications or scientific papers
22 yourself? Have you written any, sir?

23 A I have. I have about 180 publications and
24 about 75 of those are full-length scientific
25 articles. There's one other thing I needed to

1 mention with respect to my national
2 responsibilities and that is I sit on a committee
3 at the National Institutes of Health which takes
4 a significant period of time. There, we're
5 involved in reviewing very large grant
6 applications that come in from other scientists
7 in other universities. Our job is to prioritize
8 those grant proposals.

9 Q And lastly sir, what would you say is your
10 area of expertise and how does it relate to the
11 determination of blood alcohol and physiology
12 involved in blood alcohol and intoxication, if I
13 can use that term?

14 A My general field of work relates to
15 measurement of substances in breath and in blood
16 and the physiology of the substances, the
17 dynamics of them, how they change in the body,
18 how they increase and decrease. That's the
19 general field of work that I do and where I
20 perform my research.

21 Q Thank you sir.

22 MR. MADSON: That's all the questions I had on
23 qualifications, Your Honor.

24 THE COURT: Okay. We'll take a recess, ladies
25 and gentlemen. Don't discuss the matter among

1 yourselves or form or express any opinions.

2 THE CLERK: Please rise. This Court stands
3 in recess and recall.

4 (Off record - 11:04 a.m.)

5 (On record - 12:04 p.m.)

6 THE CLERK: This Court now resumes its
7 session.

8 MR. COLE: Judge, is Mr. Madson through with
9 his qualifications? If he is...

10 MR. MADSON: No, I've got a couple of others I
11 just remembered.

12 Q (Mr. Hlastala by Mr. Madson:) Dr. Hlastala,
13 have you testified before as an expert in the
14 field of blood and alcohol and blood/alcohol
15 physiology?

16 A Yes, I have.

17 Q On how many occasions, sir?

18 A Well, I'm not sure exactly. In excess of
19 400. I'm not sure exactly though.

20 Q Have you testified on this particular subject
21 as an expert in the State of Alaska?

22 A Yes, I have.

23 Q How many occasions?

24 A Again, I'm not certain, but it would be
25 somewhere between half a dozen and a dozen times.

1 Q Over what period of time?

2 A Over about a two-year period.

3 MR. MADSON: That's the qualification
4 questions.

5 MR. COLE: Judge, I object to Dr. Hlastala
6 being a witness and I would ask to voir dire.

7 THE COURT: I haven't heard a question yet. I
8 have no idea what the questions are going to be. Let's
9 wait until we hear a question.

10 Q (Mr. Hlastala by Mr. Madson:) Well, Dr.
11 Hlastala, do you consider yourself an expert in
12 the field of moving -- or the substance of
13 alcohol in the blood stream and how it affects
14 the physiology of a human being?

15 A Yes.

16 MR. COLE: Objection. Relevance. What he
17 considers himself is not relevant.

18 THE COURT: I'll let the answer stand.
19 Objection overruled.

20 Q Let me ask you this, sir. Do you feel
21 qualified to speak on that subject?

22 A Yes, I do.

23 Q And what basis do you have for that belief,
24 sir?

25 A Well, based on my 20 years experience with

1 physiology with research related to physiology,
2 I...

3 Q Perhaps you can explain physiology. Maybe
4 some of us don't understand.

5 A Well, physiology could be defined as the
6 physics and mathematics of the human body or also
7 of other animals as well and it's the processes
8 that go on in the body. The way that alcohol is
9 absorbed for example. The way that it burns off,
10 the way that it comes out in the lungs, the use
11 of the breath to make measurements of substances
12 in the blood. All of these are physiological
13 type tests and procedures and that's my general
14 field.

15 Q Do you utilize the work of other experts in
16 the same field to advance your own knowledge in
17 the subject?

18 A Yeah, I certainly do. That's part of the
19 scientific process. First, one has to have a
20 little background in the area. You need to train
21 in an area and then performing research I think
22 is very important in developing expertise in an
23 area, but you also have to recognize other people
24 that have done work in this area and learn from
25 what they've done and the things that they've

1 gone through and that's the whole process of
2 publishing in the scientific literature. It's a
3 process that I go through in publishing my
4 research findings and also I'm involved with
5 reading the publications that other scientists
6 have put in the literature.

7 Q And Dr. Hlastala, there's been some testimony
8 about the relationship or difference between
9 breath alcohol and blood alcohol.

10 MR. COLE: Objection. Relevance.

11 THE COURT: Objection overruled. So far the
12 question has not even been asked, Mr. Cole. Just wait
13 until the question gets asked.

14 Q (Mr. Hlastala by Mr. Madson:) Can you tell
15 us briefly, sir, how alcohol in the blood relates
16 to alcohol in the breath and whether there is a
17 difference in physiology involved?

18 MR. COLE: Objection. Relevance.

19 THE COURT: Objection overruled.

20 A There's a substantial difference between blood
21 alcohol and breath alcohol. Breath alcohol is
22 often used to make a determination of blood
23 alcohol and the breath alcohol that gets out here
24 to the breath does so by coming from the lungs.
25 There's the air going down into the lungs through

1 a branching network of airways and air sacs,
2 comes in close proximity to the blood. And then
3 some alcohol if it's in the blood will come out
4 into the breath and the breath then passes along
5 these narrow airways to get out to the mouth and
6 so there's a lot of things that go on in the
7 meantime between the two. And that's the reason
8 why there is a substantial variation between
9 blood and breath. They're really two very
10 different things. The blood alcohol and the
11 breath alcohol.

12 Q If you wanted to know a measurement of a
13 person's blood alcohol level at a given time,
14 which of the two methods of testing would you say
15 is the best or most preferable?

16 A Well, without question it's the blood.

17 Q Now, are you familiar with the absorption of
18 alcohol in a human being after drinking has
19 commenced and ceased?

20 A Yes.

21 MR. COLE: Objection. Lack of foundation to
22 answer that question.

23 Q How are you familiar with that subject, sir?

24 A Well, I've done research in that area. We've
25 published a study, in fact, just recently, in the

1 Journal of Studies on Alcohol related to the
2 relationship between breath alcohol and blood
3 alcohol and in doing so, one has to -- in doing
4 experiments on humans, you have to understand the
5 dynamics of the absorption and burn-off because
6 it's very important to know whether a subject is
7 in the burn-off phase or in the absorption phase
8 in making these measurements. So we have
9 performed research related to this.

10 In addition, I've reviewed probably in excess
11 of 50 articles where people have made actual
12 experimental measurements of blood and breath and
13 the dynamics of alcohol.

14 Q What about alcohol elimination rates from the
15 body? Are you familiar with that topic, and if
16 so, how?

17 A Yes. Well, both -- for both the same reasons.
18 I've done some measurements myself and I've also
19 reviewed the literature in that area.

20 Q And sir, I wonder if...

21 MR. MADSON: Your Honor, would it be all right
22 if I could move this up just a little and have Dr.
23 Hlastala explain some charts?

24 THE COURT: Are they visible from back there
25 so he doesn't have to stand up or...

1 MR. MADSON: I'm not sure, Your Honor. I
2 guess it depends on -- they probably are to a certain
3 extent. We can just try it.

4 Q Let me put on here now what's been marked as
5 defendant's exhibit CC for identification.

6 MR. COLE: Judge, I would object to showing
7 any exhibits to the jury until they've been admitted.
8 That's a standard procedure and it's anything -- but
9 the proper procedure is to get them admitted and then
10 he can show them to the jury.

11 MR. MADSON: I'm not admitting these. These
12 are for illustrative purposes only, Your Honor. He can
13 draw it on the board, but this is much faster. It's
14 already been done. He's prepared these.

15 THE COURT: He's made a point, Mr. Cole.

16 MR. COLE: That's fine. If that's what his
17 purpose is, I have no problem with that.

18 Q (Mr. Hlastala by Mr. Madson:) Anyway, can you
19 identify what's been marked as Exhibit CC there,
20 sir and ask you, first of all, did you prepare
21 this yourself or assist in its preparation?

22 A Yes, I did actually prepare it myself. I
23 didn't prepare the hard copy here. I prepared
24 something on a computer with a laser output and
25 then it's been blown up by someone else.

1 Q There may be a pointer right there handy
2 somewhere if you need it.

3 A That will come in handy.

4 Q Right there by your left hand, I believe.

5 A There we go.

6 Q Would you briefly explain what that's supposed
7 to be, sir?

8 A Well, this is a pretty standard curve that you
9 may have already seen before and it's -- the
10 process that alcohol goes through in the body is
11 a process of absorption and burn-off and this is
12 just to illustrate that. This shows the blood
13 alcohol concentration over here and down below
14 here is the time in hours. Here's zero hours,
15 one, two, three and the time of drinking is
16 marked here with this little box down here.

17 Q Why is there a little line underneath there?

18 A Line underneath where?

19 Q On your base line there, there seems to be two
20 lines. I don't know if that means anything or
21 not.

22 A These little marks? Oh, this little curve
23 right here is the period of drinking right here.

24 Q Now, does that purport to be an exact curve of
25 every individual or how would you describe this

1 curve?

2 A No, it's actually quite different from person
3 to person and this is just sort of a general
4 curve. See, what happens is you ingest alcohol
5 into the stomach. A little bit is absorbed from
6 the stomach but not too much. What happens is
7 the stomach begins the initial digestion process,
8 then there's a muscle that separates and closes
9 down the connection between the stomach and the
10 intestines. And that relaxes. The stomach
11 contents will go into the intestines and it's
12 from the intestines where alcohol is absorbed
13 primarily.

14 The absorption process is indicated by here.
15 While the alcohol is being absorbed, the blood
16 alcohol content is increasing gradually. And
17 while it's increasing, it's going into the blood.
18 The blood is distributing it around to the body
19 and it's going into primarily the watery tissues
20 in the body. There's also fatty areas in the
21 body and not very much alcohol goes into that
22 portion of the body. It gets distributed around
23 in a dynamic sense.

24 As the blood alcohol is increasing, the amount
25 of alcohol in the arm is increasing, in the legs.

1 Everywhere, it's increasing. In the brain also.
2 And then after a peak is reached, after all of
3 the alcohol is absorbed from the gastro-
4 intestinal tract and then this burn-off occurs
5 and this is the elimination phase or the post-
6 absorption phase. Most of this elimination is
7 due to the breakdown of the alcohol in the liver.
8 There are chemical processes that go along that
9 break down the alcohol. And that accounts for
10 this. As the blood passes through the liver,
11 it's that blood and the alcohol in that blood
12 which is being broken down.

13 While it's being broken down, the alcohol is
14 then washing out or coming out of the arm and the
15 other tissues and so it's a process of going in
16 and out. It's a very dynamic process, a changing
17 process and you see here an example of it going
18 up and coming down in a straight line.

19 Q Let me ask you, sir, so I can make sure
20 there's no confusion. If you took a blood test
21 of a person and say you've got a sample from his
22 left arm and then got one from the right arm,
23 would they be the same or would there be some
24 difference because of these dynamics?

25 A There would be differences depending upon

1 where you took the blood sample. They may be
2 very similar in the two arms if you took a venous
3 or -- the venous blood is the blood that's coming
4 away from the tissues. The arterial blood is the
5 blood that's going to the tissues. If we're in
6 the absorption phase and we're -- that initial
7 part over here and alcohol is increasing, it's
8 being unloaded or it's increasing in the tissues,
9 the blood is coming into the artery, delivering
10 alcohol and then as it's departing in the veins,
11 it would have a lower alcohol concentration,
12 because it's being given to the tissues. And
13 less would be available in the returning blood.

14 In this post-absorptive phase, it's just the
15 opposite. The blood actually increases in
16 alcohol as it's passing through the tissues,
17 picking up alcohol because it's washing out and
18 going down in concentration. So it depends upon
19 where you get the blood sample.

20 Q And sir, let me hand you now what's been
21 marked for identification as exhibit CD. Perhaps
22 you can just take those down if you need to refer
23 to them later and ask you if you can identify
24 this particular chart, sir.

25 A Yes, that's a similar chart. You notice it's

1 a little bit different. This particular chart
2 illustrates the fact that there are differences
3 amongst individuals in the burn-off rate or the
4 elimination rate and that may have already been
5 mentioned to you, but this shows an example of
6 three different curves for three individuals that
7 would have the same absorption, reach the same
8 peak and then burn off at different rates. The
9 normal, average burn-off rate is shown in the
10 middle and the increased burn-off rate is shown
11 here. It would go down faster and reach a lower
12 level and this is a lesser burn-off rate, this
13 top curve and that shows a case where there would
14 be a higher alcohol concentration at a later
15 time.

16 Q You can, I assume, determine a person's
17 individual burn-off rate at a given time. Can
18 you not?

19 A You can if you make measurements of the person
20 and what you need to do is to take blood
21 measurements along this curve and measure the
22 slope or the change of the curve.

23 Q Would that change from one day to the next in
24 the same individual or remain constant throughout
25 his life?

1 MR. COLE: Objection. Lack of foundation.
2 There's been no showing that this person has done any
3 type of studies himself concerning blood alcohol
4 concentrations.

5 THE COURT: Objection overruled.

6 Q Can you answer the question, sir?

7 A Yes.

8 Q What would your answer be, sir?

9 A Well, it's pretty constant with a person from
10 person to person, this particular aspect. But
11 it's important to realize that it can change with
12 time and I'm not aware of any studies that have
13 in a single person measured with time how those
14 curves change, but there are known to be
15 differences between males and females for example
16 and other sorts of differences.

17 Q Now, going to the next chart...

18 A You know -- can I continue my answer to that
19 previous one?

20 Q Oh sure. I assumed you had...

21 A Well, I thought of something else that I think
22 it's important to say. I've drawn this as a
23 fairly straight line and there's much debate in
24 the literature and amongst scientists about the
25 degree of straightness of this line or linearity

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

is another way of saying it. Most people argue that...

MR. COLE: Objection. His answer calls for hearsay.

MR. MADSON: Your Honor, I think we've already established that under Rule 703, an expert can rely -- reasonably rely upon the opinions and the work of others, as long as there's a reasonable reliance to formulate his opinion, he can testify to what would be normally inadmissible evidence. That's the reason experts are given greater latitude as opposed to lay witnesses.

THE COURT: There's no question he can rely on evidence that sometimes is not admissible. However, before the jury can hear it, I have to hear what it is first to see if it's probative value is outweighed by its undue prejudicial effect. I don't know what this is going to be, so confine your questions and answers to his opinion and preliminary things that might be hearsay are okay but as far as him giving his opinion, that's one thing but to have him relate the opinions of others is impermissible.

Q (Mr. Hlastala by Mr. Madson:) And Dr. Hlastala, do you have an opinion as to whether or not the elimination line there shown on the graph

1 is necessarily a straight or a linear function as
2 opposed to one that might be curved?

3 A Well, in the data that I've obtained, in fact
4 there's some question about that. Most of the
5 individuals have fairly straight curves. Some
6 individuals have a curviness in this direction.
7 That is, it will bow down a little bit and then
8 flatten out a little bit and there's also some
9 biochemical reasons to believe that it may be
10 slightly different in different individuals, so
11 this is an idealized curve.

12 Q Okay. Are you finished with this one?

13 A Yeah.

14 Q Next is defendant's exhibit CE. Could you
15 explain that please?

16 A This is a similar curve, only in this case,
17 it's designed to illustrate variations in
18 absorption time. There's a well known variation
19 that occurs from individual to individual in the
20 absorption time. I think that in general it's
21 thought that without any food that this may vary
22 between around a half an hour to reach a peak up
23 to around three and a half hours to reach a peak,
24 but that's kind of a limit.

25 There are also different kinds of values and a

1 lot of people may absorb in the one-hour time
2 frame. There's just variations from time to time
3 but this shows an example of different curves if
4 there's different absorption times. What you do
5 is you may increase rapidly your alcohol. Here's
6 the drinking period. You may increase and after
7 a half an hour or so reach a peak and then come
8 down this straight curve or you may have a curve
9 where you reach a peak afterwards, a little later
10 in this case about an hour and in this case, it's
11 almost an hour and a half for this peak. In this
12 case, this peak has reached about three and a
13 half hours, but you'll notice that all of them
14 come up and reach this same straight line.

15 After the alcohol has been absorbed, if
16 everything is identical on all of these
17 individuals, they would reach this same point.
18 The later the absorption period -- the longer the
19 absorption period, the lower the peak. Notice
20 that this peak is lower than most peaks and in
21 addition, the peak is reached later in time than
22 those peaks.

23 Q Now, does that assume each individual -- let
24 me ask you. What assumption is this based on?
25 Is this different individuals drinking the same

1 amount of alcohol or just...

2 A That's right. These would be different
3 individuals that have the same body fat content
4 and the same body weight, the same burn-off rate
5 but have different absorption times within the
6 normal range without any food.

7 Q Before the next chart, sir, let me ask you,
8 you were retained by the defense with regard to
9 this case, were you not?

10 A Yes.

11 Q What is your fee arrangement, sir?

12 A Well, the fee depends upon the time that I'm
13 here and there's a charge dependent upon the time
14 both working on the case beforehand and also the
15 time that I'm here.

16 Q Do you have an idea -- have you billed
17 anything yet, for example?

18 A Not yet.

19 Q Do you have any estimate of what your time
20 involved in this case will be and your
21 approximate fee?

22 A Well, that depends a little bit on when I'm
23 done with testifying. If we -- it would be
24 probably likely on the order of -- my guess would
25 be somewhere around 15 hours or so. I charge at

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

about \$100 an hour.

Q Approximately \$1500?

A Something like that plus expenses.

Q That includes your testifying too?

A That's right. That's about what I expect it
to be.

Q Putting up exhibit CF. Before you explain
that sir, I'd like to ask you a few more
questions. What were you asked to do with regard
to this particular case? In other words, what
function did you have or what role were you asked
to play?

A In this particular case, my understanding of
your request to me was that there was situation
where there was an incident at around 12 o'clock,
a few minutes after 12 o'clock, the grounding of
a ship. And that there was a blood test taken at
or around 10:40 or so and at this time, the value
-- had a value -- am I allowed to say what the
values were?

Q Sure.

A The value, as I understand it, to be as a .061
and that's what this point represents. And I was
asked to make a determination as to what we can
say about information about the blood alcohol

1 content in the individual back in the 12 o'clock
2 time frame.

3 Q What information were you given, sir? What
4 did you look at in addition to what you just
5 described, if any?

6 A Well, let's see. I was given some information
7 on the tests on the blood sample. I was given
8 some written information and my recollection was
9 it was a -- from a hearing, the National -- NTSB
10 that was a hearing that provided some information
11 about times involved here and I can't remember if
12 the time of the blood sample came from that or
13 from other information but I had received a
14 little bit of literature from you.

15 Q What about testimony, sir? Have you reviewed
16 any testimony?

17 A Yes, I received some testimony from that NTSB
18 hearing.

19 Q What about trial testimony? Have you seen any
20 trial testimony relating to this topic?

21 A Yes, I've seen some trial testimony from Mr.
22 Prouty or Prouty.

23 Q Did you know Mr. Prouty, sir?

24 A I know his name, but we have not met.

25 Q Then, sir, calling your attention to what I

1 believe is exhibit CF there?
2 A Yes.
3 Q Would you explain -- well, let me ask you
4 first of all. Can you explain that chart and
5 relate that to the information you were given
6 with regard to this case?
7 A Yes. This chart shows a plot of blood
8 alcohol concentration on this axis. If you can't
9 see it over there, it's going from zero. This is
10 a .10 here and this is .50 up here. The time of
11 drinking I have noted down here goes from around
12 4:30 p.m. to around 7:30 p.m.
13 Q Why did you make those assumptions?
14 A Because there was some information in the
15 literature that I was sent that indicated that it
16 is an approximate time, but that's just noted
17 down here.
18 Q So, when does the drinking stop according to
19 your chart?
20 A About 7:30 is what the chart says here. Also,
21 I have a line at 12 a.m. right here, straight
22 line going up which is the time -- the time of
23 the incident, I understand to be a few minutes
24 after 12. And then the blood sample is over
25 here.

1 Q Are you familiar with this term called
2 retrograde extrapolation?

3 A Yes, I am.

4 Q What exactly is that, if you could just
5 briefly describe it? There's been testimony on
6 that already sir.

7 A Okay. Retrograde extrapolation. The words
8 just mean backwards estimation. Retrograde is
9 backwards and extrapolation is to go out beyond
10 where you have information. If you were going
11 between points where you had information, you'd
12 call it interpolation so we're extrapolating,
13 going beyond where we have information. So the
14 idea is we have information here and we're
15 extrapolating or projecting back to some other
16 time.

17 Q You recall in your review of Mr. Prouty's
18 testimony where he said that this particular
19 subject was at least a subject of debate among
20 experts in the field?

21 A That's an understatement. It's very much a...

22 Q How would you describe it, sir?

23 A Well, there's a substantial amount of debate
24 and question about that and it's primarily
25 because of some of these variations that we've

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

already talked about a little bit before.

Q Could you describe it as having any forensic validity at all or under what circumstances would it have validity in your opinion, if you have one?

A Most experts that I'm aware of, that deal with this on a regular basis, are hesitant to...

(1950)

MR. COLE: Objection. Hearsay.

THE COURT: Objection overruled.

A ...are hesitant at extrapolating back for such a long time. Because of the variations that occur amongst individuals, it's usually argued that information can be obtained within a few hours perhaps of the time of the blood by making this backward guess or estimation. But even then, you have to recognize a range of variation. And these different curves illustrate why there's some of this variation but the farther you go back into time, the greater is the variation to the point where once you're beyond a few hours, it's virtually impossible to make any sense out of an extrapolation.

Q Then would you describe, sir, what the lines you've drawn on there, the downward sloping lines

1 if you will?

2 A Sure. These are different curves showing
3 burn-off rates for different individuals that all
4 go through the same blood point. So it asks the
5 question, if we were to extrapolate back for
6 different people that had different burn-off
7 rates, what would their blood alcohol be at the
8 time of this 12:00 o'clock time frame?

9 And shown here are different curves. And the
10 curve that I've shown here, I know you won't be
11 able to see over there, but that's -- here it
12 says a .017 per hour. That's an average burn-off
13 rate.

14 There are different studies show slightly
15 different average values. But that's a
16 reasonable average value; .017 per hour.

17 Also shown here is a range that I would
18 consider would include the majority of the
19 population. Perhaps somewhere between 90% and
20 95%. No one has done a lot of good statistical
21 work on this, but we know that there's -- most of
22 the people fall in the range of a .010 per hour
23 up to around a .025 per hour.

24 And, again, there are differences amongst
25 males and females. But the extreme values that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I've shown here are of -- here's a value of a
.004 per hour...

Q You say extreme. What basis do you have to
use this figure?

A That's kind of the low end of what has been
published. I, personally, haven't seen numbers
this low, but others have.

At the high end, a .040 per hour, probably
represents the most extreme burn-off rate. And
so this just shows different values.

Now, if you were to go back to this 12:00
o'clock time frame with these different burn-off
rates, it just shows that, if you are willing to
make the assumption that at this 12:00 o'clock
frame all of the alcohol is absorbed and you are,
in fact, on the post-absorbative phase, that
you're anywhere between about a .10 and up here
about a .50.

Now, that's an incredible variation. Kind of
demonstrates why it's so difficult to go back so
far. If you don't have any information on the
specific individual's burn-off rate, it's
virtually impossible to go back to this point in
time.

Q Now, for instance the .50 blood alcohol level,

1 how would you relate that in terms of a person's
2 ability to do virtually any physical activity?

3 A There would be a lot of difficulty. That's to
4 the point of death; approaching the point of
5 death.

6 Q And yet that's consistent with your knowledge
7 and the information you have and the literature
8 from values that other researchers have found?

9 A Yes. Yes.

10 (2747)

11 Q Next, sir, let me put up there what's been
12 marked as Exhibit CG and ask you to explain this,
13 sir.

14 A This particular curve illustrates a few other
15 things. And one of the things that you see
16 here -- I'm gonna use this pencils because it's a
17 little easier than this big thing. I've added on
18 here. Not just these straight extrapolations
19 back, but I've also added on an absorption curve
20 here.

21 So this shows you then what a typical curve
22 might look like.

23 Q Let me interrupt just a second. Are you still
24 using the same elimination rates?

25 A That's correct. This is the same scale.

1 These are the same lines. These five lines that
2 were on the previous chart. And I've added some
3 things in here.

4 Now, this shows a typical kind of absorption
5 curve where you'd come up, reach this point, and
6 then come down the curve. Notice that my time of
7 absorption here, let's see, this drinking in this
8 particular case is over a three hour -- let's
9 see, that's -- excuse me -- 4:30 to 7:30, is
10 about three hours. And this is more than when I
11 showed those earlier charts, where we had only
12 about a 20 minute absorption time.

13 But you see here that we have drinks coming
14 along all the way along here, depending on how
15 much was consumed. And there would be absorption
16 going on. And here the absorption is reaching
17 completion about a half an hour or so after the
18 end of the finish of the drinking.

19 Q So that would be at approximately 8:00, 8:30;
20 roughly in that range?

21 A Yeah. That's what this is. That would be
22 straight up here. And that's about 8:00 o'clock.

23 So this just shows what typical kinds of
24 curves there might be to be compatible with these
25 burn-off rates.

1 This is the 004 per hour. This is the 010 per
2 hour. The 017 per hour. The 025 per hour. And
3 the 040 per hour. On this case it was pretty
4 high and I didn't even put this on the chart...

5 Q So at 12:00 o'clock then, what figures do you
6 come up with with regard to blood alcohol
7 content, based on your assumption of the
8 absorption rates?

9 A Well, these are the same numbers. At 12:00
10 o'clock these are the same numbers that we had on
11 the previous chart. Varying between a .10, since
12 these are the same lines.

13 But this makes the very important assumption
14 that there is complete absorption here before
15 that particular time.

16 Also shown here are some numbers over here and
17 maybe I should explain those.

18 Q I was going to ask you that next. What does
19 that mean there?

20 A Well, these particular numbers are a
21 calculation of the amount of alcohol that would
22 have had to have been consumed to achieve these
23 levels, making assumption of an average
24 individual with an average body fat content.

25 And for each of these curves, let me just read

1 these numbers, if you can't see them. This is
2 7.7 standard drinks.

3 Maybe I should explain what a...

4 Q What's a standard drink?

5 A ...standard drink.

6 A standard drink is a one ounce shot of 80
7 proof liquor or a 12 ounce beer or a three and a
8 third ounce glass of 12% wine.

9 So, again, it's a one ounce shot of 80 proof
10 liquor or a standard beer. Those are about the
11 same.

12 (2325)

13 So this would be about 7.7 standard drinks.
14 So in order to be on this curve, even with this
15 low burn-off rate, to reach that blood value,
16 there would have had to have been 7.7 drinks
17 consumed; standard drinks. And also we would
18 have had to have complete absorption.

19 Now, further on, the .010 curve, again, at the
20 low end of most of the population, there would
21 have had to have been 14.1 standard drinks
22 consumed over that period and immediate, a fairly
23 rapid, absorption.

24 For this curve, for an average burn-off rate,
25 there would have had to have been 21.5 ounces

1 consumed, or standard drinks, to reach that point
2 here.

3 For this highest curve, this .025 per hour, we
4 have 30 standard drinks. And for this very high
5 burn-off rate, we have 45.9 standard drinks.

6 Now, maybe I should just mention that a fifth
7 of liquor has about 25.4 standard ounces in it.
8 So that would be a fifth of -- this is roughly or
9 almost the equivalent of a fifth of 80 proof
10 liquor.

11 Q And that's assuming the average burn-off rate

12 A That's...

13 Q And average absorption.

14 A That would be in this case. You have the
15 average burn-off rate.

16 Q Now, let me hand you next, sir, Exhibit CH.
17 What additional information or factors have you
18 assumed or placed on there?

19 A Well, I've added a few more things on here.

20 For one, I've put some dash lines across here,
21 at the .10 area, so that's easier to see. And
22 then this is a .05 area right here.

23 Now, the other thing, is you'll see three
24 other curves here. And these are curves for
25 individuals that have a longer absorption time.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

And this is a hypothetical curve.

Q Well, let me just stop you for a second.

This still assumes the same individual? The same number of drinks?

A That's right.

Q The same everything else?

A In this case, I've taken the liberty of using the value where a person would have a .004 burn-off rate. The lowest extreme value. And I show examples where a person may be absorbing and reaching a peak out here and, which case, the value at 12:00 o'clock would be lower than -- about a .075 or so.

And here's some examples of absorption that's very prolonged. And where that individual has values below a .05, about a .02 and about a .04, at the 12:00 o'clock time frame. Still compatible with a blood alcohol of a .061 at this time.

Now, that's for an individual who falls on this low burn-off rate curve.

Q And I assume then, sir, if you change that assumption, that is the low burn-off curve, you could draw additional curves, but they'll be raised higher?

1 A Yeah. That's right.

2 And, in fact, I think I have another chart
3 that shows an example of curves like this for
4 higher burn-off rate.

5 Q Perhaps we can look at that one then.

6 And last then, sir, defendant's Exhibit CI.
7 Would you explain that, please?

8 A Yes.

9 This is an example of a normal curve, a normal
10 standard curve, with a .017 burn-off rate. And
11 it shows three curves where the same absorption
12 times, these same rates of absorption, but now
13 intersecting or cutting into this curve here
14 later on.

15 You see later on in time down in here the
16 value is, in fact, greater than a .10. But back
17 here earlier on it's not. It's lower than that.

18 This really illustrates the reason that it's
19 argued that it's so difficult to go back so far
20 in time. Because here's an example where,
21 consistent with everything, we've got a normal --
22 we've got a blood alcohol reading here.

23 And we've got a tremendous range from almost a
24 zero all the way up to, in that other chart, all
25 the way up to a .50 for the value of possibility.

1 That's why it's virtually impossible to go back
2 and get meaningful information about what the
3 blood alcohol was at that time.

4 Q And lastly, sir, do you agree or disagree with
5 Mr. Prouty's conclusion that you can draw some
6 valid forensic conclusions based on the
7 retrograde extrapolation in this case to relate
8 back to 12:00 o'clock to illustrate Captain
9 Hazelwood's expected blood alcohol level at that
10 time?

11 A Well, I would disagree in that I don't believe
12 there would be any sense at all in trying to make
13 any kind of extension back to that period of
14 time.

15 Q Thank you, sir. I have no other questions.

16 MR. COLE: Your Honor, may I approach the
17 clerk? I'd like to have a couple of exhibits marked.

18 THE COURT: Certainly.

19 (2680)

20 (Pause)

21 THE CLERK: State 176 through 179.

22 THE COURT: Thank you.

23 (2700)

24 CROSS EXAMINATION OF MR. HLASTALA

25 BY MR. COLE:

1 Q Good morning, Dr. Hlastala.
2 You work as a professor then at the University
3 of Washington, correct?
4 A Yes. Excuse me.
5 Q And you have appointments approximately in
6 three areas. Physiology, biophysics, and
7 bioengineering. Correct?
8 A And in medicine, yes.
9 Q And in medicine. But you're not a doctor?
10 A I am a Ph.D.
11 Q You're not a medical...
12 A I'm not a physician. I'm not a physician.
13 Q You're not a physician.
14 A That's right.
15 Q Now, my understanding is that you have
16 testified in the past that you're field of study
17 is called respiratory physiology. Correct?
18 A Yes, it is.
19 Q And that is the study of the -- I want to make
20 sure I get this right here -- my understanding is
21 that is the study of the way substances come from
22 the blood and lungs out to the breath. One part
23 of it.
24 A Yes.
25 Q And also, the way substances are distributed

1 throughout the body. Substances through the
2 blood. Correct?

3 A Yes.

4 Q Now, for the past 20 years you've been
5 involved in research in respiratory physiology.
6 Correct?

7 A Yes.

8 Q You're not a forensic toxicologist.

9 A That's correct.

10 Q You've been asked to testify in Alaska, you
11 said, about a half a dozen times. Is that
12 correct?

13 A Yeah. I'm not sure. I've also testified
14 telephonically a few times. And I just don't
15 recall exactly how many.

16 Q The jury trials that you've been asked to
17 testify in the state of Alaska, one of them was
18 named State of Alaska vs. Sarah Bellinger (ph),
19 correct?

20 A Yes.

21 Q That was in Ketchikan?

22 A Yes.

23 Q Another one was State of Alaska vs. Mr. Stagno
24 (ph), correct?

25 A That's correct.

H & M COURT REPORTING • 510 L Street • Suite 350 • Anchorage, Alaska 99501 • (907) 274-5661

1 Q And another one was State of Alaska vs. Mahand
2 (ph), correct?
3 A Yes.
4 Q That was one you did with Mr. Madson, correct?
5 MR. MADSON: What was the name?
6 A No.
7 Q Mr. Stagno was the one you did...
8 A Stagno was with Mr. Madson, yes.
9 Q Okay. You've testified many times in the
10 state of Washington, correct?
11 A Yes.
12 Q One of them was in a case called State of
13 Alaska vs. Shantz (ph). Do you remember that?
14 A No, I don't.
15 Q If I showed you a copy of your testimony in
16 that, would that refresh your recollection?
17 A Where was it?
18 Q I'll find out here.
19 (side conversation)
20 A It was the State of Washington vs. Shantz. I
21 also don't recall. This is in King County. I
22 don't remember the name, but...
23 Q Do you know the name Chris Madson (ph)?
24 A Yes.
25 Q And he's an attorney in Seattle?

1 A In Seattle, that's correct.

2 Q And he's hired you on several occasions?

3 A On a few.

4 Q Does this refresh your recollection?

5 A Well, I don't remember the case, but...

6 Q Now, in the Bellinger trial -- that was down
7 in Ketchikan, correct?

8 A Yes.

9 Q And you were qualified as an expert in the
10 area of physiological aspects of breath and other
11 areas dealing with lung and respiratory
12 conditions, correct?

13 A Could have been. I don't remember.

14 Q In that case you testified for a person by the
15 name of Ray Brown?

16 A Yes, that's correct. I remember that.

17 Q He was the defense attorney in that case,
18 correct?

19 A Uh-huh (affirmative).

20 Q And you were testifying as to the validity of
21 a breath test in that case, correct?

22 A I believe so. I don't recall for sure. That
23 may have been a blood test. I'm afraid I don't
24 remember.

25 Q You don't remember that?

1 A That's correct.

2 Q Okay. Now, in the case of State vs. Mahan
3 (ph) you were qualified as an expert in lung
4 physiology and blood testing, is that correct?

5 A I don't know, but I presume that would be,
6 yes.

7 Q And in Washington vs. Shantz, you were
8 qualified in the area of respiratory physiology,
9 correct?

10 A Again, I don't even remember that case, so I
11 don't know.

12 Q I'll show you a copy of that to refresh your
13 recollection.

14 (Pause)

15 A Well, that's what it says there, respiratory
16 physiology.

17 Q That case dealt with a breath test, correct?

18 A I presume that it was, but I do not recall.

19 Q In all the cases that I've just mentioned, you
20 were testifying about the inaccuracy of breath
21 testing.

22 A I don't recall. I believe so.

23 Q Twenty years of research you've used the gas
24 chromatograph to measure substances in your lab
25 in Washington, correct?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A Yes, I have.

Q And I think you've testified in the past that you have an accuracy level of a plus or minus 2 percent, correct?

A I don't remember that. I often testified about the general accuracy of gas chromatography for measuring blood alcohol, and it's thought to be about plus or minus .01. In my particular case we measure other substances, also. And the accuracy is different for those different substances.

Q You don't have any first hand experience in measuring alcohol blood using gas chromatography?

A We've only done a little bit of testing with alcohol and blood. Most of it is -- when we do test, mostly we have some associates at the toxicology lab in the state of Washington at Harborview Hospital. They run them for us.

Q You have no firsthand experience in measuring alcohol content and blood using gas chromatograph?

A My own tests have been with other substances, that's correct. Except on one or two occasions is all.

Q One or two occasions you have been asked to

1 test alcohol using a gas chromatograph?

2 A That's right. Most of my research deals with
3 other substances. But using exactly the same
4 chromatography principles.

5 Q You've never done any controlled experiments
6 on the different levels of blood alcohol content
7 in a person and its relationship with physical
8 and mental impairment?

9 A I've done some tests, but they have not been
10 in a controlled fashion. We were doing...

11 Q Excuse me. My question was, you've never done
12 any controlled experiments on the different
13 levels...

14 MR. MADSON: Excuse me. I don't believe that
15 was the question. He said "test". He didn't use the
16 word "controlled". So I think the witness is entitled
17 to answer the question as it was originally phrased.

18 THE COURT: Well, just ask the question and
19 see if the witness can answer it.

20 Q Have you ever done any controlled tests on the
21 different levels of blood alcohol content in a
22 person in its relationship with physical and
23 mental impairment?

24 A That's correct. I have not.

25 Q You've never done any controlled tests on the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

absorption rate of alcohol in the human body?

A We have done tests on the absorption rate in the human body.

Q Those tests were based on breath tests?

A No. They were with blood.

Q Are those tests that you did yourself?

A Yes. We took the blood samples. The actual measurements were done in the toxicology lab in Seattle.

Q How many of those samples did you take?

A Oh, there were somewhere between 15 and 25 subjects.

Q And you took blood samples from those subjects?

A Yes.

Q Based on those 15 or 20 subjects, when was this test that you did?

A Well, it's part of a study that was just published in the journal of studies on alcohol in the January issue. We did the work a couple years ago.

Q Now, looking at your curriculum vitae that I have a copy of. You talked about the number of articles that you've written. And I believe you said somewhere in the neighborhood of 180?

1 A Yes. Those are the total, but the scientific
2 articles would be a smaller fraction of that.

3 Q Now, in the CV that I have, there is a group
4 of 74 full length articles, correct. Is that
5 about right?

6 A Sounds about right.

7 Q And only the last one deals at all with
8 alcohol, correct?

9 A No, that's not correct. Do you want me to
10 point out the ones that do?

11 Q No. I want you to look at the first 74 of
12 this, and find which one deals with alcohol.

13 A All right. Well, there is some information
14 about alcohol at number 67. There is -- number
15 66 does not actually include alcohol, but is very
16 closely related to that issue.

17 Q But it doesn't have anything on alcohol,
18 right?

19 A It has -- that's right -- a subsequent study
20 that we're working on now does have alcohol. It
21 follows from...

22 Q But that article doesn't?

23 A That one does not.

24 Q And that article deals with soluble gas
25 exchanges in human analysis, correct?

1 A Which one is that?

2 Q The one you just pointed to.

3 A Number 66, that's correct. Number 67 is the
4 influence of gas physical properties on pulmonary
5 gas exchange. And that has some alcohol
6 information in it.

7 Q But that one is dealing with breath testing,
8 right?

9 A That's correct.

10 Q Okay.

11 A But I think you asked about studies that
12 pertain to alcohol.

13 Q Well, I'm going to ask you more questions when
14 you point them out.

15 A Also point out 59, which is the interaction of
16 ethanol with airway mucosa during exhalation.
17 Ethanol is ethyl alcohol, which is the kind we're
18 talking about.

19 Q But that has to do with breath testing?

20 A Of course.

21 Q What else? Of the first 74.

22 A This number 74, which has now been published.
23 That's the one that I referred to earlier.

24 Q Other than that, all the rest of them deal
25 with respiratory physiology, right?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A They all deal with respiratory physiology.

Q But none of the other ones deal with alcohol blood testing?

A No. They deal with blood testing for other related substances.

Q But not for alcohol?

A You have to understand that the properties and the testing of the way that these gases come out depend on their physical properties. And in order to really understand alcohol, you need to make measurements of other substances as well in order to study them, in order to do something kind of like extrapolation, and interpolate, also. Depending on the solubility and the diffusion of these gases, they all behave a little bit differently.

Q Sir, of the first 74 articles, one deals with the measurement of blood alcohol concentration, correct, or incorrect?

A I understood your question to be...

Q My question now to you is, of the seventy four articles that we just looked at, one deals with blood alcohol concentration?

A That's correct.

Q Now, you contributed some stuff to book

1 chapters and book reviews, correct?

2 A Yes.

3 Q And one deals with blood gas transport,
4 correct?

5 A I don't remember. I believe so. Which book
6 was that? Was that in the physiology textbook?

7 Q Applied Physiology. Or, no. Let me rephrase
8 that. Of the four articles that you have written
9 in your CV, none of them deal with alcohol?

10 A I'm not sure. Let me take a look at them.
11 I'm preparing one at the present time, but I'm
12 not sure if any of those are currently believe
13 not. (Pause) That's correct. None of the four
14 chapters do.

15 Q Now, you have -- the next category you have is
16 "other articles". And there you list 14 other
17 articles that you had published, correct?

18 A That's correct.

19 Q And all except for one deal with alcohol
20 breath testing, correct?

21 A That's correct. But those are not scientific
22 articles. Those are more review and summary
23 articles.

24 Q Let me ask you again. All except for one
25 focus on alcohol breath testing, correct?

1 A I don't remember how many. I'll have to look
2 at it. I believe most of them do -- all of them.
3 But what I'm not sure about is that one that you
4 are referring to. (Pause) All of them relate to
5 alcohol.

6 Q I said "alcohol breath testing". They all
7 relate to alcohol breath testing.

8 A All of them relate to alcohol breath testing,
9 yes.

10 Q And then you list another 79 abstracts,
11 correct?

12 A Yes.

13 Q And all deal in one way or the other with lung
14 physiology, correct?

15 A Yes.

16 Q And not one of them deals with alcohol?

17 A I believe some of them do deal with alcohol.

18 Q Their dealings with alcohol are all alcohol
19 breath testing, correct?

20 A I don't know. Let's see. Well, there is one
21 on alcohol, but that is breath testing for
22 alcohol. I relate it to the interaction with the
23 airways. Number 53 and -- these are closely
24 related articles, but neither of those two did we
25 make blood measurements.

1 Q There is not one of those articles that's
2 related to blood testing for alcohol?
3 A Oh, yes. They are related -- many of them are
4 related, but in them we didn't measure blood
5 alcohol. Many of those articles have blood
6 measurements for other substances, and the
7 process is virtually the same.
8 Q But any of these articles aren't proof of that
9 testing for alcohol, are they?
10 A No, they are for other closely related
11 substances, not alcohol.
12 Q Not alcohol, correct?
13 A That's right.
14 Q Now, you are a professor at the University of
15 Washington. I assume that you give lectures at
16 various points, correct?
17 A Yes.
18 Q It's important to be prepared for those
19 lectures?
20 A Yes.
21 Q Do you make notes and prepare in advance the
22 material to help to remind you what you want to
23 say in those lectures?
24 A Sometimes. More recently I don't need to use
25 notes, but I have in the past.

1 Q Is that because you got to know the subject so
2 well?

3 A That's one of the reasons, yes.

4 Q Did you make any notes or reports in this
5 case?

6 A I've made some notes. The only reports I've
7 made are this information that I sent up to Mr.
8 Madson, but I haven't sent any other reports.

9 Q How many times have you been called to testify
10 in criminal matters?

11 A Well, I mentioned that I testified in excess
12 of 400 times, and the majority of those are in
13 criminal matters.

14 Q I assume that because you deal with attorneys
15 who may not have the required knowledge to
16 question you properly on your field of expertise,
17 you've drawn up a list of questions and answers
18 to help them prepare to examine you, right?

19 A On occasion, if an attorney asks for such
20 questions, I provide it. That's a pretty common
21 practice for expert witnesses, as you mentioned,
22 because they don't know the field, and it's
23 easier to convey that information in that format.

24 Q Did you send one to Mr. Madson?

25 A I don't recall. Not with regard to this case.

1 I may have in a previous case. I don't remember.

2 Q In fact, you've changed these questions that
3 you send attorneys over time, haven't you?

4 A Because issues change, and, also, they are
5 different in different locations, because
6 different instruments were used; different
7 processes are involved; there are different
8 requirements.

9 Q And it's also because prosecutors find out
10 about these questions and they ask you
11 embarrassing questions about what you write in
12 here, don't they?

13 A I don't think there is anything embarrassing
14 in there. I also sent these to prosecutors who
15 have asked them for me, and I've done that on
16 numerous occasions.

17 Q Do you recognize what has been marked for
18 identification as plaintiff's Exhibit 176?

19 A Well, this may have been a very old set of
20 questions from five or six years ago. I don't
21 remember. The date is not shown up here at the
22 top. This is a fax copy from somewhere else, so
23 I'm not sure.

24 Q Is that a list of questions that you drew up?

25 A Oh, yeah, from a long time ago.

1 Q Is that an accurate representation of those
2 questions?

3 MR. MADSON: Your Honor, I'm going to object.
4 I don't see any relevance to this at all. Some other
5 case, he can't remember where. The questions are
6 probably a totally different issue.

7 MR. COLE: Your Honor, I could tie this up.
8 It goes to his ability to be fair and objective.

9 THE COURT: I'll let Mr. Cole ask a couple
10 more questions. If it doesn't get tied up promptly you
11 will have to go on to another matter, though.

12 Q (Dr. Hlastala by Mr. Cole:) Sir, the top of
13 this reads, "Suggested defense questions directed
14 to Dr. Hlastala", correct?

15 A That's correct.

16 Q And in this, you tell the person that you send
17 this to, "These questions are designed to allow
18 concise answers." Correct? That's what you say,
19 right?

20 A Uh-huh (affirmative).

21 Q "More complex scientific answers are best left
22 for the response to questions from the opposing
23 party." Correct?

24 A Yes.

25 Q And the reason is, because you want to try an

1 embarrass a person who is cross examining you
2 when you are...
3 MR. MADSON: I'll object to that.
4 THE COURT: Objection sustained, Mr. Cole.
5 Q Well, in this script that you've written out,
6 you tell an attorney the order of how the
7 questioning should proceed, don't you?
8 A No. They can choose to use that if they are
9 uncomfortable with designing their own questions.
10 If they use their own questions, that's no
11 problem. I mean, they don't have to use those.
12 Q You tell the attorneys the answers you expect
13 to give them?
14 A Yes, that's, again, common practice.
15 Q You tell them the amount of time it will take
16 to answer the question. You have them an
17 estimate?
18 A I don't think so. What are you referring to?
19 Q Well, the length of the answers that you
20 provide. You give them an idea of how long it's
21 going to take.
22 A I don't think I have that in there. Maybe I'm
23 wrong. I just don't remember.
24 Q You tell them when you are going to use
25 charts?

1 A That's true. And on one of the questions I
2 use a chart and I say in there that I'm going to
3 use a chart.

4 Q You suggest to them what type of redirect
5 questions to ask after the person has gotten
6 through cross examining you?

7 A That's right. And for some young attorneys,
8 that's handy to have.

9 Q As a consultant you have been asked to testify
10 in Washington a number of times. You talked
11 about that, right?

12 A Yes, I have, I think.

13 Q You testified in King County in Washington?

14 A Yes.

15 Q In district court, municipal court and
16 superior court?

17 A Yes.

18 Q Pierce County, Washington. District court,
19 three or four times. Correct?

20 A At least that. More than that, I believe.

21 Q Snohomish County, you testified there?

22 A Yes.

23 Q And other areas around Washington, correct?

24 A Yes.

25 Q You have been called on as an expert in 11

1 other states.

2 A Twelve, I think. Once in British Columbia.

3 That would be 13 different places. But I think

4 it would be 12 states.

5 (Tape: C-3673)

6 (000)

7 Q In all the criminal trials where you've been

8 called upon to testify as an expert, you've

9 always testified on behalf of the defendant,

10 correct?

11 A That's correct. I've never been called by the

12 prosecution in a criminal matter.

13 Q So, 100 per cent of the cases you've

14 testified, correct? You've testified as a

15 defense witness, correct?

16 A No, in criminal cases.

17 Q A hundred per cent of the criminal cases,

18 you've testified as a defense witness.

19 A Correct.

20 Q Now, you've been asked this question before by

21 prosecutors, haven't you?

22 A What question?

23 Q The question I just asked you, how many times

24 you've been asked to testify by...

25 A Yes.

1 Q And you've prepared answers for that question,
2 correct?

3 A I've prepared answers regarding the number of
4 times that I've testified?

5 Q You had a prepared answer for Mr. Madson. Why
6 don't you just read it and save me the time, on
7 redirect, asking you your response to that
8 question.

9 MR. MADSON: Excuse me. I don't know what
10 we're referring to here. Something was given to me?

11 THE COURT: Why don't you show Mr. Madson...

12 MR. MADSON: Yeah, what are we looking at
13 here?

14 THE COURT: ...what you want this witness to
15 do and then ask a question Mr. Chalos.

16 Q (Mr. Hlastala by Mr. Chalos:) Well, you have
17 a response that you typically give prosecutors
18 after they've asked you how many times you've
19 testified for defendants, don't you?

20 A I don't recall. My response varies from time
21 to time. I mean, the more often I -- as I
22 testify, that increases the number of times that
23 I testify, so that would change, I suppose.

24 Q The professional organizations that you belong
25 to all relate to the field of respiratory

1 physiology, correct?

2 A No, not completely.

3 Q Which ones don't?

4 A Well, let's see, I belong to the American

5 Heart Association and that deals with the heart,

6 it also deals with the lungs. I belong to the

7 American Thoracic Society, that deals with the

8 lungs; not only physiology, but also clinical

9 matters. I belong to the Undersea Medical

10 Society. That relates to aspects of diving, not

11 just respiratory physiology. Let's see, I also

12 belong to the Aerospace Medical Association and

13 that deals with other stress related areas, and

14 not just respiration. I deal with -- I'm a

15 member of the Comparative Respiratory Society,

16 that deals with respiratory physiology, but in

17 animals, not in humans. The American

18 Physiological Society deals with different

19 aspects of physiology, respiration is just one of

20 those aspects. And there are a few more, but I

21 don't recall them.

22 Q You're not a member of any forensic sciences?

23 A That's correct.

24 Q Your editorial responsibilities are all

25 related to the field of respiratory physiology.

1 A That's correct. We've dealt with physiology
2 here today, and that's my field.

3 Q Your national responsibilities are all related
4 to your field of respiratory physiology?

5 A Yes.

6 Q Now, you make money outside of the salary that
7 you receive as a professor, correct?

8 A Yes, in consulting, I do.

9 Q And you are making money in this case. That's
10 a form of income, correct?

11 A I mentioned that earlier, yes.

12 Q And you had not billed anything yet?

13 A That's correct.

14 Q The amount of money you charged depends on the
15 extent of your involvement, correct?'

16 A That's correct.

17 Q Some small DWI cases, you charged as little as
18 \$350.00, correct?

19 A Even less for the Public Defender's Office in
20 Seattle.

21 Q But your expense up here are about \$750.00 a
22 day?

23 A That's correct, plus expenses -- travel
24 expenses.

25 Q Would it be fair to say that you get almost as

1 much money consulting as you do working as a
2 professor?
3 A That's possible. My last income tax, that
4 wasn't the case, and the previous one, that was
5 not the case, and the previous one, my recollect,
6 was not the case. It may have been in the past,
7 I don't recall.
8 Q Now, my understanding is, you've been called
9 to testify on how alcohol concentrations
10 accumulate in the blood, correct?
11 A That would be one way of paraphrasing it, yes.
12 Q Different absorption rates of individuals?
13 A Yes.
14 Q Different elimination rates of individuals?
15 A Yes.
16 Q How elimination rates affect that calculation
17 or retrograde extrapolation?
18 A Yes.
19 Q And a calculation of the number of drinks to
20 get to a certain BAC blood alcohol content at a
21 certain time, correct?
22 A Yes.
23 Q Did you conduct any tests of Captain Hazelwood
24 to determine his absorption rate?
25 A No, I have not.

1 Q Did you conduct any tests with Captain
2 Hazelwood to determine his elimination rate?

3 A No, I have not.

4 Q You could have done that, correct?

5 A One could, but it would be impossible to
6 reproduce the absorption profile. So people
7 usually don't do that with respect to absorption,
8 because you just can't. It varies so much from
9 time to time.

10 With regard to the burn-off rate, you possibly
11 could, but the burn-off rate I don't think is
12 really the issue.

13 Q The burn-off rate is not the issue?

14 A It doesn't really matter what the burn-off
15 rate is in this particular case.

16 Q Well, before we get to that, it appears to me,
17 in your testimony, you have that the blood
18 alcohol content between 10:30 and 10:50 March 23,
19 1989.

20 A Yes, I have made that assumption.

21 Q You have no reason to doubt the assumption of
22 that?

23 A No. My understanding is that the error -- you
24 see, the error -- usually if it's operated
25 properly, a chromatograph will be plus or minus

1 .01 or so. And whether we've got a .05 or .07,
2 that doesn't matter in terms of the main issue.
3 The main issue is this distant extrapolation and
4 absorption possibility variation. So I assumed
5 it to be accurate. I assumed it to be accurate.

6 Q So you have no reason to believe it's not
7 accurate?

8 A No, I assumed it to be accurate. I have no
9 reason to believe it's not accurate.

10 Q Now, the absorption phase -- this is when
11 alcohol that is consumed -- or, when the amount
12 of alcohol that's ingested is greater than the
13 amount of alcohol that can be eliminated in the
14 body, correct?

15 A When the rate of absorption is greater than
16 the rate of burn-off, then you would be
17 increasing. That would be the absorbtive phase.

18 Q And in your studies, what was your findings on
19 various absorption rates?

20 A I don't recall it in detail, but my
21 recollection was that some individuals absorbed -
22 - reached a peak within about an hour, whereas
23 others took in excess of two hours to reach a
24 peak.

25 Q Did you find, in any of your studies, that a

1 person took, say, six hours?

2 A No, we did not.

3 Q Have you ever read anything where a person
4 took six hours?

5 A Yes.

6 Q Would you consider that to be the norm in the
7 community, the six hours?

8 A No. I think those extended times occur under
9 certain circumstances. And the primary
10 circumstance that that appears to occur is what
11 is called -- the term is pre-prandial alcohol
12 consumption. Wherein, taking in alcohol before
13 you have anything in your stomach, there is some
14 evidence that, published in literature, that that
15 extends the absorption time. And, in fact, if
16 you take a look at Barboriak and Mead. In 1970
17 they found half times for emptying of the stomach
18 in excess of seven hours, caused by alcohol.

19 Q So those are the fatty foods, correct?

20 A No, that was -- I don't recall, but they were
21 testing the difference. My recollection was that
22 it was the same food given with and without
23 alcohol. And there was a delay in the absorption
24 -- the release time from the stomach contents,
25 but I don't remember the food that they had.

1 Q You don't remember the...

2 A It was the same food under both circumstances.

3 Q But generally your opinion has been, in the

4 past when you've testified, that it takes about

5 an hour. Most people fall with the hour, hour

6 and a half?

7 A No, that's not been my opinion. I think in

8 most -- well, I think the majority of people

9 probably, it's usually argued, fall within an

10 hour and an hour and a half.

11 Q The ranges are between a half an hour and

12 three and a half hours?

13 A That's correct, yeah. Except under the

14 unusual circumstance where the alcohol is taken

15 in fairly high concentration without food and

16 then it could extend the emptying time.

17 Q And you've also testified, have you not, your

18 opinion that part alcohol, part liquor absorbs

19 faster than, say, beer, correct?

20 A In general I believe the studies show that,

21 except in these unusual conditions that we're

22 just talking about. But if you have a normal

23 absorption pattern -- maybe I ought to put that

24 up here.

25 Q I think a reasonable study to look at on that

1 is Leak and Silverman. And they showed -- my
2 recollection was -- and this is not the kind of
3 curves that they had, but they showed that beer
4 is more -- because the stomach recognizes beer as
5 having food content in it, something to process,
6 that it tends to go farther to the right here,
7 and a purer form of alcohol is more likely to be
8 absorbed faster. Unless this clamp-down thing
9 occurs, where the pillar extincer (ph) closes
10 down.

11 Q But in most instances, most people, it occurs
12 between a half an hour and three and a half
13 hours, correct?

14 A I would say that is usually the case, yes.

15 Q And that is the accepted amounts in the
16 literature?

17 A Without food. And when you're not having this
18 clamp-down.

19 Q Now, the problem related with absorption, as
20 you see it in that calculation, is the difficulty
21 in determining when the peak time was, correct?

22 A That's one of the problems, yes.

23 Q That's a major problem?

24 A Indeed.

25 Q I'm not trying to trick you, but that's one of

1 the major problems?

2 A Yes.

3 Q That's what you spend a fair amount of your
4 time talking about, right?

5 A I agree with you. It is one of the major
6 problems.

7 Q Now, on this graph that you drew you are
8 showing Captain Hazelwood peaking at 8 o'clock,
9 right?

10 A Well, I didn't mean this to refer to Captain
11 Hazelwood. In fact, I don't think I said that.
12 But this is sort of making the assumption that a
13 half hour post-drinking peak on all of those
14 cases.

15 Q And in every one of those scenarios, if he has
16 peaked at 12 o'clock, he's above a .10, right?

17 A On those five curves, yes. This is right
18 about a 1.0, but essentially all of those are
19 above.

20 Q And if the peak occurred at a time over here,
21 further toward midnight, your numbers that you
22 have here would be less, right?

23 A No, not in terms of the numbers of standard
24 drinks. That would be the same. But the curve
25 would look a little different. So you would have

1 -- this refers to any curve that intersects that
2 curve right there. So if something came over
3 here and hit, or came over here and hit, it would
4 still be consistent with 7.7 to get to that
5 value.

6 Q So if someone takes longer to peak, they are
7 going to have the same amount of drinks?

8 A Yes. It's just a delayed absorption.

9 Q So if Captain Hazelwood was still drinking at
10 nearly 8 o'clock, or between 7:30 and 8:00, the
11 likelihood is that he peaked sometime after 8:00,
12 correct? Or, that he peaked before midnight,
13 that night, correct?

14 A Yes. We wouldn't know that for sure, but the
15 odds since absorption times, are usually an hour
16 to an hour and a half. That would indicate that
17 there would likely be a peak here -- well, yes.

18 Q You would agree with that?

19 A Well, I would agree that the odds -- I mean,
20 if you want to talk about the odds more likely
21 than not, then it's more likely than not that the
22 peak would be achieved before that time.

23 Q Is it 75 or 90% of the people that peaked --
24 would have peaked before midnight?

25 A I don't know.

1 Q Three and a half hours later?

2 A I don't know. It probably would be that.

3 Q Well, in your studies, how long was the

4 longest you saw that it took somebody to peak?

5 A Well, in my particular studies, we never saw

6 anybody in excess of three and a half hours. And

7 we didn't see anybody that had this...

8 Q Excuse me. What was the longest time that you

9 saw? That's a specific question.

10 A I think I mentioned before it was a couple

11 hours -- two hours.

12 Q And the material that you've read -- well, let

13 me withdraw that question. Would it be fair to

14 say that under the information that you've

15 received in your studies, that you would feel

16 comfortable with saying that Captain Hazelwood

17 would have peaked by 12 o'clock, correct?

18 A No, I'm not comfortable with that.

19 Q You're not?

20 A Right.

21 Q And that's even though you never saw anybody

22 that went beyond two hours of their absorption

23 rate, correct?

24 A I said that, but that's not the reason I'm

25 uncomfortable.

1 Q Correct?

2 A That's correct.

3 Q And you generally feel that the amount -- the
4 times are a half an hour to three and a half
5 hours, correct?

6 A That's correct.

7 Q Now, on another chart, you indicated different
8 elimination rates, but they are there, right?

9 A (No audible response.)

10 Q And you've testified in this case -- the
11 elimination rates are set out from 10:30, right,
12 in the graphs?

13 A You mean these curves here?

14 Q Right.

15 A Yes. That's on this chart.

16 Q .04, .01, .017.

17 A But you referred to another chart, and I'm not
18 sure which chart you are talking about.

19 Q That chart.

20 A Oh, I see. You asked me about another chart.

21 Q This chart, there is different elimination
22 rates on there.

23 MR. MADSON: What chart?

24 THE COURT: Maybe if we identify it by number
25 it will be of some assistance.

1 Q Can you identify that one by number?
2 A If you are referring to this one, it's "CG".
3 Q CG. You, in your studies, found what type of
4 elimination rates per individuals?
5 A What type of elimination?
6 Q Yes. What are the variations?
7 A Oh, you mean the magnitude of the elimination
8 rate? The average male elimination rate that we
9 found in ours was a .018 plus or minus a .004 per
10 hour. That's a standard deviation.
11 Q What was the maximum and minimum?
12 A I don't remember that. But they weren't too
13 far off of that range. We could calculate it,
14 but I just don't remember the specifics.
15 Q Everybody that you tested was right around a
16 .018?
17 A Well, there was a standard deviation of a plus
18 or minus .004. And I -- you know, I could figure
19 that out. That would be plus or minus -- three
20 standard deviations would include 99% of what we
21 did. That would be -- well, maybe we should
22 figure 95%, which would be plus or minus two
23 standard deviations. That would be a plus or
24 minus .0 -- that would be a .009 up to a .025,
25 would be the range that we found approximately.

1 Q Those are the ones that you remember?

2 A I'm calculating that from what I remember the
3 variation to be. I don't remember what the
4 maximum and minimums were.

5 Q That's all you have to say is, don't remember
6 the maximum and minimums.

7 You've testified on several occasions how
8 difficult it is to perform retrograde
9 extrapolation, correct?

10 A Yes.

11 Q One of it is based on the absorption rate --
12 the difficulty involved with the absorption rate.
13 And the other is the variability of burn-off
14 amongst people, correct?

15 A Yes.

16 Q And you've set out this one chart that would
17 show where -- there are scenarios where Captain
18 Hazelwood's blood alcohol could be quite a bit
19 lower, correct?

20 A Yes.

21 Q We're looking at CH, right?

22 A Uh-huh (affirmative).

23 Q Under this scenario -- for this bottom line,
24 right? That would mean that if he started --
25 stopped drinking at 7:30, on your scenario, it

1 would have taken nearly 16 -- no, 12 -- it stops
2 at 8:00. It looks like about 12 hours of
3 absorption. Right.

4 A Maybe a little more than that. Let's see.
5 This is four, eight, 12 -- it would be a little
6 more than that, right. I have no way of knowing
7 if that's the actual curve. That's just an
8 example of a curve that's consistent with the
9 information.

10 Q But it's also a curve that is inconsistent
11 with any medical data that you know of, as far as
12 absorption rates?

13 A No. I mentioned...

14 Q Do you know of absorption rates where people,
15 14 hours later, had alcohol?

16 A I mentioned the study by Barboriak and Mead,
17 who showed seven hours as a half-time for stomach
18 emptying. And that's consistent with that as a
19 possibility.

20 Q As a possibility?

21 A Uh-huh (affirmative). As a possibility.

22 Q So you think that there is a possibility that
23 if he stopped drinking at 7:30 he still could
24 have had alcohol in his stomach being absorbed
25 all the way until 9 o'clock the next day?

1 A I think that's possible. Not likely, but
2 possible.

3 Q Give us a percentage?

4 A Well, there's a slim possibility. It's about
5 as possible as having a .004 burn-off rate.

6 Q You've gone from possible to slim. Give us a
7 percentage?

8 A I can't give you a percentage.

9 Q It's like, less than 1%, isn't it?

10 A Virtually any of these possibilities have
11 small chances, that's why it is so difficult to
12 extrapolate.

13 Q Well, .17 doesn't have small chances, because
14 that's the average, right?

15 A But that also assumes -- this curve assumes so
16 much. That's just an average burn-off rate,
17 that's right.

18 Q Now, what about this one. This second line
19 that you have, you have that as between four and
20 six. That would be 10 hours?

21 A Yes. Well, it would be from the end of
22 drinking -- maybe 10 to 12 hours.

23 Q That would mean that you're saying that the
24 absorption rate is 10 to 12 hours, correct?

25 A In that curve, that's an example where it

1 would be that, yes.

2 Q And in your studies you never saw anybody more
3 than two?

4 A No, I haven't.

5 Q And in prior testimony you've always said
6 between a half hour and three and a half hours
7 for most people, correct?

8 A That's assuming sort of a normal process, yes.

9 Q And you referred to Dr. Dubowski's tests on
10 that particular point, haven't you?

11 A Yes.

12 Q And you said that in Dr. Dubowski's studies,
13 people had slightly longer absorption rates
14 because of food that they had, correct?

15 A No. In his studies there was no food --
16 Dubowski's studies.

17 Q And in this one right here, this scenario that
18 you had, the third one, that would be for a six
19 hour burn-off rate, is that correct?

20 A Absorption rate, yes.

21 Q Absorption rate. And you didn't find anybody
22 in your studies that was more than two?

23 A In mine I did not.

24 Q And you generally feel that most people burn-
25 off between an hour and an hour and a half,

1 correct -- or, absorbed between an hour and an
2 hour and a half, correct?

3 A I guess the studies that I've done has been
4 between an hour and two hours. But I think, if
5 you look at most, it's between an hour and an
6 hour and a half.

7 Q And so those are really not that possible, are
8 they?

9 A They are as possible as a lot of these other
10 curves. They are difficult. They are just one
11 of the types of curves that is consistent with
12 that blood value, is all.

13 Q Now, you've written about retrograde
14 extrapolation, haven't you?

15 A Yes.

16 Q One of the articles you wrote is called
17 "Physiology of Alcohol in the Body", correct?

18 A That was in a Washington Bar Association
19 Journal.

20 Q Mr. Cole, would this be a good time to recess
21 for the day?

22 A Yes.

23 (943)

24 THE COURT: Mr. Cole, would this be a good
25 time to recess for the day?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. COLE: Yes.

THE COURT: Ladies and gentlemen, I asked counsel yesterday approximately how much longer the evidence will be. And based on the responses by both, I think probably we will be coming close to finishing the evidence this week. We may finish a little earlier than the end of the week, I'm not sure, though.

That doesn't mean that the case will be over at the end of the week, because if we do finish the evidence we will have probably a day of handling some miscellaneous matters that pertain to this case, and then you would be hearing final arguments next week sometime -- early in the week, hopefully. I can't tell you for sure, but I think we are going to be close to finishing the evidence this week. I'll give you an update as we go.

In the meantime, I'll see you back tomorrow at 8:15. Please be safe, and remember my instructions concerning the media sources about this case. Please don't discuss this case with any person, including yourselves, and don't form or express any opinions. See you back tomorrow at 8:15.

MR. MADSON: Your Honor, could we take up a matter after the jury is excused?

THE COURT: Yes, sir.

1 (Jury not present)

2 THE COURT: You may step down.

3 A Thank you.

4 (Witness steps down)

5 THE COURT: All right.

6 MR. MADSON: Your Honor, with regard to the
7 matter brought up yesterday about the tape and the
8 testimony. We have a witness that's arriving here
9 tonight from New York. He can testify only tomorrow on
10 this topic.

11 I don't know the court's calendar, and I'm
12 only suggesting that if it's at all possible, if we can
13 do the hearing after 1:30 the usual jury time tomorrow,
14 that's certainly feasible with us. We are willing to
15 do that.

16 I don't know what else we could do, but it
17 would be just imperative that we can put him on some
18 time tomorrow.

19 THE COURT: Could you call and see what's on
20 the calendar tomorrow afternoon. I know I have, almost
21 every day, spoken for 2:30 and 3:30 sentencings, and
22 the other preliminary hearings.

23 I have two sentencings scheduled tomorrow, one
24 2:30 and one 3:30, and it generally involves
25 participating by multiple persons, including the

1 Department of Corrections personnel. I am reluctant to
2 try to reschedule that. Perhaps we could let the jury
3 go a little early tomorrow.

4 MR. MADSON: That's what I was going to
5 suggest. Maybe 12 or something like that?

6 THE COURT: Do you anticipate that we could
7 finish in an hour and a half?

8 MR. MADSON: Well, with this one witness, I
9 think we could, Your Honor. That means we have other
10 ones that we probably couldn't use tomorrow; we'll just
11 have to do it when we can. But...

12 THE COURT: Well, I don't know what I can do
13 to accommodate you, other than to release the jury a
14 little early. If you want me to release the jury be
15 noon, I can do that. But I need at least an hour to
16 start preparing for those things in the afternoon, and
17 I'm burning on both ends, too, right now.

18 MR. MADSON: Well, why don't we try that, Your
19 Honor. At least we can have this witness, who is
20 available, and he could testify. I think that in all
21 likelihood he could finish his testimony in an hour.
22 It is pretty simple and straight forward. You know,
23 he's going to give an opinion on these tapes. And
24 that's the issue here, as to whether or not...

25 THE COURT: So we need to have some sort of a

1 FRI hearing ahead of that, is that what you're saying?

2 MR. MADSON: It really isn't a FRI hearing,
3 Your Honor. The court tentatively admitted that
4 inbound tape. Obviously the prosecution wants to use
5 the voice of Captain Hazelwood on one; compare it to
6 the other; and argue to the jury, "See, look at the
7 difference; he must be drunk."

8 This individual, along with others, is
9 prepared to testify that while these tapes are a
10 sufficient quality that they could certainly be used to
11 transcribe what a person said, and you could hear what
12 they said. You cannot infer from those, because there
13 are differences in speed and pitch in these tapes, that
14 you can say a person is really talking -- they're
15 speaking differently from one to the other.

16 Now, that's...

17 THE COURT: That's going to go to the weight
18 of these tapes, is that the way you look at it?

19 MR. MADSON: Your Honor, I don't feel it's
20 even sufficient to go to the jury. I mean, if that's
21 the inference, just to say, "Listen to these two
22 tapes." I think the court has to make a preliminary
23 judgment on that to say whether or not they are even
24 admissible for that particular purpose.

25 Now, we had a little argument about

1 admissibility, as long as they are relevant. The
2 inbound tape isn't relevant for anything. I mean,
3 there's somebody speaking and saying -- talking about -
4 - assuming it's Captain Hazelwood. We don't know that
5 for sure.

6 THE COURT: Well, as I understand the
7 relevance, it's the manner in which he spoke on the
8 inbound tape compared to the manner in which he spoke
9 immediately after the grounding, to determine whether
10 or not he may have been impaired.

11 MR. MADSON: Well, manner, I think, is going
12 to be argued as speed. In other words, how fast he
13 speaks on one and how slow on the other. We have
14 reason to believe that the first tape is fast and the
15 second tape is slow. That is, the pitch of the tape
16 itself. The weight in which it's being recorded and
17 the way it's played back.

18 It gets kind of complicated, but that can
19 change, and we can show how that changes. And,
20 granted, we can certainly argue that to the jury. But
21 at the same time, I think it's important that this
22 threshold level of admissibility, or reliability, for
23 that purpose, has to be addressed. And I frankly don't
24 feel that that's the case yet. We just have a tape.
25 And we know that this inbound tape -- the original

1 doesn't exist; that's gone.

2 THE COURT: What foundation do you have for
3 the admissibility of that tape? Now, we are only
4 talking about the inbound tape, Mr. Cole. What
5 foundation do you have to show that this is an accurate
6 duplication of the original?

7 MR. COLE: I'm trying to remember the guy's
8 name. He was the Coast Guard person -- Shepherd -- who
9 testified, who Mr. Madson had an opportunity to cross
10 examine on that issue and chose not to. He testified
11 that this was an accurate representation of his voice
12 and the people that he heard that day.

13 MR. MADSON: Your Honor, what he -- sure, it's
14 his voice. He wasn't asked by the state whether it
15 seemed the same, faster, or anything. It wasn't even,
16 as far as he's concerned, relevant.

17 The question was, "Do you recognize on that?"

18 "Yes, I do."

19 Sure, we can recognize his voice on there.
20 But if you're looking at the subtle differences, and
21 that's what they are arguing about. That tape gets
22 complicated, but the original reel-to-reel recording
23 doesn't exist.

24 An individual made a copy of the original by
25 using a Lanier little portable microcassette, holding

1 it up to a speaker; recorded that; and then transferred
2 that on to a basic cassette.

3 THE COURT: Is that in evidence?

4 MR. MADSON: Yes.

5 THE COURT: That's what my recollection is.

6 MR. MADSON: And I think it's in evidence that
7 the original has been destroyed.

8 Now, our expert, and our people will testify
9 that this process changes the original to the extent
10 where you can't say at all that it's an accurate
11 reproduction. In that sense it's accurate in that you
12 could certainly hear the words, and we've never had any
13 argument with that. You can hear the words. But are
14 they accurate as far as how they were spoken is really
15 the issue. And that's what we are getting at with the
16 individuals that we hope to have testify here.

17 They can't be deemed as accurate in the manner
18 in which that person speaks. You can hear the words.
19 But, in other words, is he speaking fast, slow, the
20 same, things like this. Because that could change
21 depending on how the tape was made.

22 THE COURT: Mr. Cole, anything else?

23 MR. COLE: Well, Judge, he testified, Mr.
24 Shepherd, that this was an accurate representation of
25 his conversation. It accurately portrayed the

1 conversation. There is an inference from that that if
2 they are both being taped, that the same conversation
3 is being taped; same voices in that conversation; that
4 the other one is accurate, also.

5 What Mr. Madson is arguing only goes to the
6 weight of that tape, but it doesn't go to the
7 admissibility.

8 THE COURT: That's what it sounds like to me,
9 too, Mr. Madson. If you've got a witness who is going
10 to testify as to the inaccuracy, or how you can't rely
11 on, that goes to the weight -- you can certainly call
12 him in your case to support that assertion.

13 But whether or not -- I don't think we need to
14 really do this outside the presence of the jury, if
15 that's what your point is. We don't need to have a
16 special hearing for that.

17 MR. MADSON: Well, if that's the court's
18 ruling. I frankly disagree. But, you know, but that's
19 what makes lawsuits.

20 THE COURT: Well, there is two whole floors in
21 this building to go to the possibility I make mistakes.
22 But my inclination now is that, if you want to call
23 that witness you may call the witness in your case in
24 chief, and we don't need to have a special hearing for
25 it.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. MADSON: Okay. We'll do that.

THE COURT: Okay. Is there anything else we can take up at this time?

MR. MADSON: No.

THE COURT: Okay. Instructions today, remember?

MR. MADSON: Yes.

MR. COLE: Yes.

THE COURT: Thank you.

THE CLERK: Please rise. This court stands in recess subject to call.

(1230)

(Off record - 1:40 p.m.)

CONTINUED